

1 This Transcript has not been proof read or corrected. It is a working tool for the Tribunal for use in preparing its judgment. It will  
2 be placed on the Tribunal Website for readers to see how matters were conducted at the public hearing of these proceedings and is  
3 not to be  
4 relied on or cited in the context of any other proceedings. The Tribunal's judgment in this matter will be the final and definitive  
5 record.

6 **IN THE COMPETITION**

Case No. 1280/3/3/17

7 APPEAL TRIBUNAL

26 June 2018

8 Victoria House,

9 Bloomsbury Place,

10 London WC1A 2EB

11 Before:

12 **THE HON. MR. JUSTICE MANN**

13 (President)

14 **DR CLIVE ELPHICK**

15 **ANNA WALKER CB**

16 (Sitting as a Tribunal in England and Wales)

17 **BETWEEN:**

18 **VIASAT UK LTD AND VIASAT, INC**

Appellants

19 - and -

20 **OFFICE OF COMMUNICATIONS**

Respondent

21 -supported by-

22 **INMARSAT VENTURES LIMITED**

Intervener

23 \_\_\_\_\_  
24 *Transcribed by **Opus 2 International Ltd.***

25 *(Incorporating **Beverley F. Nunnery & Co.**)*

26 *Official Court Reporters and Audio Transcribers*

27 ***5 New Street Square, London EC4A 3BF***

28 ***Tel: 020 7831 5627 Fax: 020 7831 7737***

29 ***civil@opus2.digital***

30 **HEARING**

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

## **APPEARANCES**

Michael Bowsher QC, Fiona Banks and Khatija Hafesji (all of Monkton Chambers) appeared on behalf of the Appellant .

Josh Holmes QC, Julianne Kerr Morrison (of Monckton Chambers) appeared on behalf of the Respondent.

Tim Ward QC and Anneli Howard (both of Monkton Chambers) appeared on behalf of the Intervener.

---

Tuesday, 26 June 2018

(10.30 am)

Housekeeping

THE CHAIRMAN: Yes, Mr. Bowsher.

MR. BOWSHER: May it please the Tribunal. I appear again today for Viasat, the appellant, with Fiona Banks and Khatija Hafesji. Ofcom are represented today by Josh Holmes QC and Julianne Morrison and Inmarsat by Tim Ward QC and Anneli Howard.

Just a few introductory matters. I hope that the Tribunal now has all of our various skeletons in their various confidential and non-confidential forms and so forth.

THE CHAIRMAN: Yes.

MR. BOWSHER: I was not going to run through all the bundles as I trust that they are received, unless there are any issues about what is in them or the arrangement that needs to be addressed to start with.

THE CHAIRMAN: We will find out if there are any problems with the bundles when they happen.

MR. BOWSHER: There are always some.

There is, obviously, a general issue around confidentiality of the content of some of the materials in the bundle. Broadly speaking, the documents that are on yellow paper are said to be wholly confidential.

1 Other documents with some confidential material are  
2 identified in the index. My approach today in opening  
3 was simply going to try and work around that and if  
4 necessary, perhaps direct the Tribunal's attention to  
5 material without specifically reading it out.

6 THE CHAIRMAN: Yes.

7 MR. BOWSHER: We will have to see how far that goes.

8 Whether that approach will work with witnesses I suggest  
9 may be something that is best left to that occasion, and  
10 I hope that will work. We may have to adapt as we go  
11 along.

12 THE CHAIRMAN: Yes, I hope the witnesses will be briefed  
13 that they should not refer to them openly while we are  
14 in open tribunal, as it were, to material which is  
15 marked confidential and which I am sure they will know  
16 is confidential. Looking at the witnesses, they will  
17 all be able to identify that which is not said to be  
18 confidential.

19 MR. BOWSHER: The difficulty I can foresee is that for any  
20 witness in responding to a question, without having the  
21 document in front of them it may not be obvious in their  
22 recollection, may be not quite as obvious to them as to  
23 us as they may not have lived through the excitement of  
24 the confidentiality battles in this matter as to what  
25 they should or should not be saying.

1 THE CHAIRMAN: We will cross that bridge when we come to it.  
2 I would want to try to avoid going into private as much  
3 as possible. If we have to, we will. I have to say  
4 that having now seen, I think for the first time, some  
5 of the allegedly confidential material, I can see that  
6 some of it is obviously confidential, but I think some  
7 of it, for my part, is slightly excessively  
8 confidential. So unless there is the accidental slip,  
9 I do not think it will do much damage, but I will say NO  
10 more than that at this stage.

11 MR. BOWSHER: Yes, I was not going to comment on the nature  
12 of it. We have been over that ground in the past.  
13 I was not going to go over it again.

14 In terms of housekeeping, on the timetable that we  
15 had discussed at the pre-trial review, the intention was  
16 that I would carry on until 3.30 today. That was my  
17 plan, to see -- it may be that I have to adapt as I go  
18 along. I was not clear whether the Tribunal had any  
19 particular intention about timing breaks or whatever for  
20 transcribers within that. I will plough on unless I am  
21 told otherwise.

22 THE CHAIRMAN: I will tell you now, Mr. Bowsher, that there  
23 will be a break for our transcribers, which will be  
24 about halfway through the morning, of about five minutes  
25 or so, at some point between 11.30 and 11.45. That is

1 a convenient moment. They will have their five minutes  
2 or so, and similarly at some point between 3.00 and  
3 3.15.

4 MR. BOWSHER: Thank you.

5 THE CHAIRMAN: Mr. Bowsheer, let me, in order to assist you  
6 by way of housekeeping coming from here, let me tell you  
7 about the nature and extent of our reading. I may be  
8 slightly the lowest common denominator, so I will tell  
9 you what I have managed to read and not read and the  
10 depth of it. I think it will coincide by and large with  
11 my colleagues, but I think they will have read slightly  
12 more widely in the sense of going into pleadings.

13 I have basically read everything on your reading list  
14 except the pleadings which I, with respect, never find  
15 particularly informative by the time you get to a final  
16 hearing unless there is a row about the pleadings. So  
17 I personally have not read those. I have read them in  
18 the sense of reading them, but not mastering all the  
19 detail, particularly some of the detail of the witness  
20 statements.

21 By and large, I have not gone into the exhibits to  
22 any of the witness statements. I have confined myself  
23 to witness statements. So for my part, and I suspect  
24 for my colleagues if they will allow me to do so, if you  
25 need to go to exhibits, then you will need to go to

1 exhibits, as it were. That applies to all of you,  
2 obviously, as far as that is necessary. That is the  
3 extent and level of my reading. I have read the  
4 skeleton arguments for my part, I think, twice now. So  
5 I hope I have the overall picture of the argument.

6 In one sense, that is not very helpful because you  
7 do not know the depth of my understanding, but at least  
8 you know it is not complete. I do not know if my  
9 colleagues want to add to that, but I think if they have  
10 read more than I have, I am still the most common  
11 denominator and you are going to have to play to me.

12 MR. BOWSHER: I was not proposing to open the witness  
13 statements in great detail, with one or two exceptions,  
14 on the basis that they would be re-read by the Tribunal  
15 at the appropriate time in any event. So I was going to  
16 focus the opening by reference to, as it were, a few key  
17 exhibits that are exhibited to them rather than by the  
18 content, but that is not necessarily the only way we  
19 will deal with the matter.

20 THE CHAIRMAN: Yes.

21 MR. BOWSHER: But it may be that I need to double back in  
22 some cases.

23 THE CHAIRMAN: Can I just at this stage indicate something  
24 on which we would like a bit more fleshing out  
25 assistance in terms of fleshing out a bit of detail of

1 points which have interested one or other of us.

2 You and/or your brethren can deal with this as and  
3 when you think fit, but we note that you have said,  
4 I think it is you have said in your skeleton argument,  
5 that Viasat have raised various of its complaints with  
6 the Commission recently and the Commission have not  
7 intervened and have not taken action. I am  
8 paraphrasing. I think it is your skeleton argument, is  
9 it?

10 MR. BOWSHER: Yes, I think it is mentioned certainly by us  
11 and Inmarsat.

12 THE CHAIRMAN: Right. Nobody tells us what their reasons  
13 were or what their position was. Unless there is some  
14 reason why it would be improper or unhelpful for us to  
15 know, in general terms, we think we would like to know  
16 what the Commission's attitude was in broad terms. We  
17 do not want to be swamped with documents, but we would  
18 like to know in broad terms what the Commission's views  
19 were, why they decided to do what they did not do, as it  
20 were, or what they did do.

21 DR. ELPHICK: Yes, and in particular it was paragraph 12 of  
22 the Inmarsat skeleton was where I was particularly  
23 getting that sentence.

24 THE CHAIRMAN: Right. Dr. Elphick has identified the  
25 particular point. I do not know whether Inmarsat --



1 I do not think we mind who gives us a bit of information  
2 on that, but if we can be supplied with some  
3 information, we might find it at least interesting, if  
4 not helpful.

5 Second, there is a -- we do not think that we have  
6 got any indication of what the general nature of  
7 Inmarsat's original application was back in 2007 or  
8 2008, or whenever it was. By way of background, if  
9 nothing else, we would find it helpful to understand  
10 what that was.

11 I think our present understanding is that Inmarsat's  
12 general application when it was applying was for -- I am  
13 just putting it very broadly, I am prejudging nothing  
14 for the moment -- a broadband satellite, sort of  
15 broadband service filling in gaps in terrestrial mobile  
16 service, that sort of thing, and did not in any way  
17 feature the sort of specific application which they now  
18 have.

19 That is the impression we have, and if that is  
20 a wrong impression, we would like to be disabused of it.  
21 It may or may not matter, but at least by way of general  
22 background one of us would like to know the nature, at  
23 least, of Inmarsat's original application. It may be  
24 that Inmarsat will deal with that; as long as somebody  
25 can tell us that.

1 MR. BOWSHER: Can I just briefly --

2 THE CHAIRMAN: Yes.

3 MR. BOWSHER: I will try -- I will see if I can weave those  
4 points in as we go along. If I do not, it may be  
5 something I have to come back to later.

6 THE CHAIRMAN: It ought to be -- the answer to those points  
7 ought to be expressible in a non-contentious way. So  
8 maybe one of your colleagues or brethren can do that.  
9 As long as we are informed, in general terms, of those  
10 issues, then I do not think we care much who does it.

11 So those are the only two initial points that we  
12 have, Mr. Bowsher. You may have your head.

13 MR. BOWSHER: It may be, given those questions, that I am  
14 now saying things which are already obvious to the  
15 Tribunal, but are worth saying anyway.

16 Opening submissions by MR. BOWSHER

17 MR. BOWSHER: At the very highest level, Viasat sees the  
18 matter as follows: the EU wanted to promote a satellite  
19 system for this -- it wanted to promote a satellite  
20 system generally. This spectrum was available and it  
21 specified a specific type of satellite system for  
22 exploitation of this spectrum and made that selection on  
23 the basis of what that applicant could provide. The  
24 assessment of the applications was not made in any way  
25 by reference to the ground-based element of the proposed

1 solution.

2 Again, to put matters loosely, EU told Ofcom who  
3 should have the opportunity to put in place the  
4 satellite system, having identified who that person was  
5 within its own procedures and which system they were  
6 authorised to use. They did not say that whatever that  
7 person subsequently chose to put in place, regardless of  
8 its existing commitments, should be authorised.

9 Inmarsat designed a system which uses a satellite.  
10 We say only a little, and there is some debate about  
11 words about how much the -- and we have had this at  
12 previous hearings as well, as to how much the Inmarsat  
13 system does actually use the satellite. We will come  
14 back to that in detail, but at the very best it seems to  
15 be common ground that the satellite system is not the  
16 primary part of this system. It is only relevant in  
17 marginal or incidental respects.

18 The satellite is not the main purpose of this  
19 system. It would be sensible to say, we say, that  
20 a mobile satellite system is only a mobile satellite  
21 system if its main purpose is to provide satellite  
22 communication between earth and space, and that refers,  
23 of course, to the definition in the EU decision,  
24 Article 1(1).

25 Inmarsat's system is very far from that. We know

1           that in a significant portion of cases in the system  
2           there will be communication without any involvement of  
3           the satellite, and where users will be using the service  
4           without even any ability to use the satellite. They  
5           will be in a position where they are using their system  
6           and simply will not, given the equipment that they have,  
7           be able to access the satellite.

8           Inmarsat effectively say, "You have to let us use it  
9           because it has a satellite". The national regulatory  
10          function called for by legislation is, on that argument,  
11          just reduced in scope such that the national regulator  
12          has no meaningful function to check that what is being  
13          proposed was that which was authorised by the EU, and we  
14          say that must be wrong.

15          Just pausing there, and having gone through that  
16          narrative, I was not going to go through the glossary in  
17          any detail. In a case about definitions, necessarily  
18          the whole process of definitions and agreeing them  
19          becomes a little bit controversial, but I hope the  
20          glossary was helpful, at least, as a guide.

21          Can I just highlight one important matter which  
22          I get wrong all the time, and I am sure I will get  
23          wrong, but needs to be -- there is a distinction between  
24          MSS and mobile satellite systems. They are not the same  
25          thing. When we come to the legislation, I will point it

1 out.

2 MSS is short for mobile satellite services. That is  
3 not the same as mobile satellite systems. Mobile  
4 satellite systems provide MSS, but they obviously are  
5 not the same thing and they are not interchangeable  
6 phrases. You can get into a bit of a mess if you do not  
7 keep that in mind, as I have done on more than  
8 one occasion.

9 A number of sort of general cross-cutting points are  
10 made by Inmarsat and Ofcom. I was proposing to deal  
11 with some of them before going into the bundles and  
12 opening the legislation which is really at the heart of  
13 the matter. So I was going to address four or five of  
14 these cross-cutting themes before getting buried in the  
15 legislation.

16 First, the whole question of the extent of the  
17 discretion that Ofcom enjoyed or the extent to which it  
18 was subject to operating subject to obligation under the  
19 legislation. As I say, we will come on to the legal  
20 constraints in due course, but when we look at the  
21 authorisation process, we can see that whatever Ofcom  
22 says about it now, they certainly were not behaving as  
23 an authority that just thought we were obliged to  
24 rubber-stamp.

25 They were involved in an interaction, at least to

1 start with, and it is plain that they were involved in  
2 an interaction in an area which is not harmonised. If  
3 you want a simple illustration, and it is no more than  
4 that, of the lack of harmonisation in the area of  
5 complementary ground components and their authorisation  
6 you can see that very simply by taking E1/18. I do not  
7 need to go through the content of this. This is  
8 a European Commission document updating the position as  
9 of some time. I think it is around about 2014, although  
10 the date has been taken off this. I think we  
11 established the date in the index as being some time in  
12 2014.

13 But as at that date, it is plain that the process of  
14 reviewing and authorising the operation of complementary  
15 ground conditions was very much a matter of separate and  
16 individual concern by each member state. I am not quite  
17 sure why some of the material is being suppressed as  
18 irrelevant now, but that does not matter. This is not  
19 an area where the EU Commission as of 2014 -- and this  
20 has been put forward -- was actually simply leaving --  
21 simply controlling. It was -- a considerable part of  
22 the process was for the member state to act upon it.

23 THE CHAIRMAN: Sorry, I am not understanding the point you  
24 are making on this. I thought you were making the point  
25 that Ofcom now say they do not have a discretion, but

1           they act as though they did.

2       MR. BOWSHER:  Exactly.  They act as though they did have  
3           a discretion.  They clearly acted as if they did have  
4           that discretion.  They are now saying, "No, it was  
5           an automatic process".

6       THE CHAIRMAN:  But this is a Commission document.

7       MR. BOWSHER:  All I am saying, it is from the Commission's  
8           perspective.  It is clear that the member states were  
9           very much in control of the process in 2014.  It is  
10          simply to illustrate that from the Commission's  
11          perspective in 2014, this was very much a national  
12          process.

13      THE CHAIRMAN:  Would you like to take us to a passage in  
14          this document which shows that?

15      MR. BOWSHER:  Well, it is illustrated simply by the fact  
16          that each member state is dealt with separately and it  
17          is treated as a compilation, as it says on the front of  
18          the page, of the national legal provisions -- it is in  
19          the third paragraph on the front page -- applicable to  
20          complementary ground components and the different uses  
21          for how that is to be dealt with.

22          Each member state's process is an entire and  
23          distinct process.  Insofar as we can see them when they  
24          are not blanked out, they are separate and distinct.  
25          But they are not -- I do not think any of them -- when

1           you read through them, I have not found any -- simply  
2           say, "We apply what we are required to apply by the  
3           EU Commission. We simply process the requirement." It  
4           is a separate discretionary assessment by each national  
5           authority.

6           Inmarsat understood that and on a number of  
7           occasions during 2014 was putting a lot of pressure on  
8           the member states through COCOM, the communications  
9           committee, to, as it were, hurry up with the process of  
10          authorisation. We do not need to look at the detail of  
11          it, but the references are at tab 30 and tab 40, where  
12          Inmarsat have, as it were, raised the pressure on member  
13          states to get on with the approval processes.

14          Again, I am not sure why most of these documents are  
15          suppressed now as, we were told at the PTR, irrelevant  
16          because if it is in the confidential file and still  
17          suppressed, it must be irrelevant. Anyway, there it is.  
18          A great deal of those two documents is not known. Those  
19          are Inmarsat putting pressure on member states to hurry  
20          up and proceed with this authorisation process.

21        THE CHAIRMAN: Mr. Bowsher, if these are important points,  
22          I am afraid it is not satisfactory for you just to point  
23          to these documents and expect us to extract in due  
24          course what you want. Can you point us to passages  
25          which you say make your point as far as this goes



1            somewhere?

2            MR. BOWSHER: Yes. Tab 30.

3            THE CHAIRMAN: Yes.

4            MR. BOWSHER: This is December 2014, the letter. Inmarsat  
5            has submitted this current report to member states:

6            "We are pleased to provide the same report to all  
7            other member states to give full transparency to our  
8            continued commitment."

9            It tells those member states that they are proud of  
10           their significant progress and set out the opportunity  
11           to describe what they have done.

12           If you go then, please, to the penultimate page  
13           under "Regulatory developments", that letter at tab 30,  
14           there is a heading "Regulatory developments: licensing".  
15           Then just after the grey passage they say:

16           "We urge those member states who have not yet done  
17           so to finalise national CGC licensing conditions in  
18           a way which does not impede upon Inmarsat's ability to  
19           fully realise its Aero CGC plan. The further support of  
20           member states in the form of written confirmation is  
21           urgently now needed to give us comfort and retire the  
22           remaining, still significant, regulatory risk. The  
23           retirement of regulatory risk is crucial to further  
24           incentivising respective S-band customers and partners  
25           throughout Europe to benefit from the highly innovative

1 aviation services Inmarsat plans to offer."

2 Real concerns though were being addressed by member  
3 states regarding the approach being taken. If you go  
4 back to E1/20, it is a few months previously, you have  
5 the meeting of the working group of the ECC, which is  
6 a committee within CEPT. So that is the broader  
7 committee dealing with telecommunications coordination  
8 in Europe, not just in the EU, but beyond.

9 This is a meeting on 7 -- it is a meeting between  
10 the 3rd and the 7th and the minutes are produced on the  
11 7th. If you turn to what is paginated as page 37, there  
12 is a heading "Aeronautical CGC". They turn to discuss  
13 exactly this issue and they talk about -- you can see  
14 that there is a discussion about the implementation of  
15 the Aero CGC system in the spectrum that we are dealing  
16 with.

17 THE CHAIRMAN: You want the bit at the top of 38, do you  
18 not?

19 MR. BOWSHER: Exactly. Inmarsat speak to the meeting at  
20 paragraph 33. Then you have the passage, which is  
21 Germany's expression of concern, and it is the  
22 penultimate passage particularly:

23 "Excessive use of CGCs and at the same time  
24 a potential increase in the data transmission capacity  
25 of the actual MSS system is not within the scope of the

1 current regulatory framework. Furthermore, different  
2 interpretations, especially on the scope of a CGC/DA2GC  
3 usage within CEPT could lead to a fragmentation of  
4 future air to ground implementation and would therefore  
5 be in contradiction with the pan-European character of  
6 such a broadband service."

7 We say that the German regulator seems to have been  
8 looking at matters correctly in that regard and  
9 expressing proper concerns. It is surprising then,  
10 perhaps, to see how matters developed in Germany. The  
11 neatest way to look at that is at tab 47 in the same  
12 file where we have the response of the federal -- the  
13 German agency, the Bundesnetzagentur, a response to that  
14 to a consultation on Inmarsat's proposed EAN solution.

15 THE CHAIRMAN: This document at 47 is the response of the  
16 German regulator; is that right?

17 MR. BOWSHER: It is a translation, as I understand it, of  
18 the German regulator's response to the consultation that  
19 it conducted regarding the Inmarsat proposal, and there  
20 are some introductory matters dealing with the various  
21 legislative issues. The passage I wanted to take you to  
22 is on page 2:

23 "The Bundesnetzagentur finds that Inmarsat's  
24 proposed EAN is not directly reflected in this  
25 underlying EU legislation. However, the decision

1 suggests there is scope that enables the EAN to be  
2 authorised under certain conditions. In addition, the  
3 European Commission has indicated that to date it does  
4 not see any legal or competitive problems with respect  
5 to the EAN."

6 This is, to some extent, relevant to the question  
7 that has already been raised. In our submission, the  
8 German regulator is on the right track here. This is  
9 not -- the first part of that is correct: this, the EAN,  
10 is not consistent with the EU legislation.

11 I am not sure what the next two sentences mean,  
12 "Decisions suggest there is scope for EAN to be  
13 authorised under certain conditions" or, "The European  
14 Commission has indicated that it does not see any legal  
15 or competitive problems," because that does not actually  
16 explicitly say what -- how it is that -- what those  
17 conditions are or what the basis is upon which those --  
18 the legal difficulties identified by the German  
19 regulator are to be resolved.

20 DR. ELPHICK: But if I can just clarify: is your principal  
21 point here that this is demonstrating that the national  
22 regulatory authority do have some discretion?

23 MR. BOWSHER: Two points. That is the first point, exactly.  
24 The second point is that for some reason clearly, which  
25 is not stated here, a national regulator seems to have

1           been prevailed upon to decide this is not consistent  
2           with EU law, but we are going to authorise it  
3           nonetheless. I mean, because there is really no -- it  
4           is a pretty -- those two paragraphs are pretty stark.  
5           They are saying, "It is not consistent with EU law, but  
6           we can see that it might be possible to authorise it  
7           nonetheless."

8           THE CHAIRMAN: Well, under certain conditions, which are not  
9           specified.

10          MR. BOWSHER: Which are not specified.

11          MR. WARD: Just to assist the Tribunal and Mr. Bowsher,  
12          below where it says, "The European Commission does not  
13          see any legal or competitive problems", it says below:  
14                  "For these reasons, it considers the EAN can be  
15          authorised, subject to the following conditions ..."

16                  So there are the conditions.

17          MR. BOWSHER: Well, if that is all they are. I mean, I am  
18          not -- we are back to the same point: if those are the  
19          only conditions that are supposed to cure the problem,  
20          those do not actually cure the issue which the regulator  
21          was concerned about in the COCOM meeting. I mean, we  
22          challenge -- we address those points in our appeal. It  
23          seems to us that what this is showing is that the  
24          regulator is concerned that the legal compatibility of  
25          EAN is in question.

1           In our submission, the question for this tribunal  
2 therefore must be is there, in fact, a proper legal  
3 basis for these complementary ground components to be  
4 authorised as such? We say it is not.

5           Ofcom in its case also tries to get around the  
6 difficulties, and perhaps to some extent adopt this  
7 conditions approach, by saying it is enough for  
8 something to be a complementary ground component if it  
9 can be shown that it might be capable of meeting the  
10 complementary ground component common conditions, which  
11 we will come on to. We say that cannot be right. That  
12 is not the definition. You first have to decide whether  
13 something is or is not a complementary ground component  
14 and then whether it meets the conditions that are put in  
15 place for a CGC to be implemented.

16           Both that approach taken by Ofcom and the approach  
17 set out here in E1 puts matters the wrong way around.  
18 You have to first decide is something permitted to be  
19 a complementary ground component, and only then if you  
20 decide it is do you then decide: well, what conditions  
21 do I apply to allow it to proceed? To simply say: well,  
22 we can apply these milestones or this coverage  
23 requirement can apply, you can apply the coverage  
24 requirement to anything. It does not have to be  
25 a complementary ground component for the coverage

1 requirement to be met.

2 MR. HOLMES: If it assists Mr. Bowsher, it is not Ofcom's  
3 case that this definition of CGCs does not need to be  
4 met. Of course it is the case that the regulator must  
5 ensure that the definition of CGCs is met and must then  
6 consider whether the CGCs would be capable of meeting  
7 the Article 8 common conditions before authorising  
8 particular CGCs.

9 MR. BOWSHER: That is helpful. I had understood there to be  
10 a different slant being put on that in the skeleton on  
11 that, but anyway, that is a helpful clarification. The  
12 point must be to start is it or is it not  
13 a complementary ground component?

14 We have heard at previous hearings that Inmarsat is  
15 concerned that it has been brought here against its  
16 will. I do not know whether that will come back again.  
17 We say that nothing really turns on that. They have had  
18 this spectrum or the -- they have sat on this spectrum  
19 for many years beyond the period that was originally  
20 allowed for it. The question as to whether or not there  
21 is some sort of emotional heart string to be pulled,  
22 which has been attempted in the past, is an entirely  
23 circular argument, as seen from Inmarsat's own letter to  
24 the Commission. I am not going to go into it any  
25 further, but if we are right, we are right. It is

1 a simple question of law.

2 Let me turn then -- I will come back to a couple of  
3 the other themes once I have dealt with the legislation.  
4 Can we go to put E1 away, and take file F. File F is  
5 the legislation bundle, tab 1. I do not think we need  
6 to go to the relevant treaty provisions. It is plain  
7 that we are dealing with a matter that arises under the  
8 treaty and that the principles underlying European  
9 treaty law apply.

10 We can go immediately to F/3, the framework  
11 directive, which is at tab 3, which sets out the common  
12 regulatory framework for electronic communications. The  
13 only passage I wanted to take the Tribunal to there was  
14 at Article 4 in that directive, 2002/21, under the  
15 heading "Right of appeal".

16 Again, I was not proposing to read it all out.  
17 There is a description in Article 4, paragraph 1  
18 regarding the nature of the review mechanism which is to  
19 be applied, because this is the applicable framework to  
20 these proceedings, and the key passage here is about  
21 two-thirds down, starting:

22 "Member states shall ensure that the merits of the  
23 case are actually taken into account and that there is  
24 an effective appeal mechanism."

25 That appeal applies to this process.



1 THE CHAIRMAN: What does that mean? I understand, and my  
2 colleagues understand, what is meant by the judicial  
3 review test. There is an additional test of taking the  
4 merits into account and, if so, what does that mean?

5 MR. BOWSHER: I think it must mean that there is a greater  
6 intensity of review. It will reinforce, when we come to  
7 it, our point that this is in the context of EU-based  
8 review. This is a more intense judicial review test.  
9 One must look at the merits of --

10 THE CHAIRMAN: As opposed to the normal flabby standards  
11 applied by English courts to their own review.

12 MR. BOWSHER: There is some authority on this. I was not  
13 proposing to deal with this in opening. That may not be  
14 necessarily the way I would put it, but yes, this is  
15 a judicial review requiring an intensity of analysis of  
16 the relevant facts.

17 THE CHAIRMAN: Right. Well, we will be intense then.

18 MR. BOWSHER: Good.

19 Someone, I cannot remember who, has asked in the  
20 last few hours that the directive 2002/20 be added to  
21 the bundle, but I am not -- the authorisation directive,  
22 but I am not certain what point is being taken by whom  
23 on that, so I will leave it to someone else to take the  
24 point on that. It has been inserted in the bundle just  
25 before that.

1           This process regarding the operation of mobile  
2           satellite services arises out of the regulatory  
3           framework for radio spectrum policy, which flows from  
4           the decision at tab 2 in file F, decision 676/2002.  
5           There is a useful commentary in the recitals as to what  
6           the various relevant bodies are in this field.

7           Recital 13, I am not going to read it out, describes  
8           what the CEPT is. In short, it drafts the technical  
9           measures which are then taken on board by the EU, but it  
10          is not an EU body. It is broader than the EU.

11          There is then a reference later at recital 15 to  
12          need for EU allocation of radio spectrum to complement  
13          existing community and international requirements for  
14          publication of information on use of radio spectrum, and  
15          again further reference in recital 19 to the need for  
16          the EU to collaborate with other international bodies in  
17          dealing with the allocation of radio spectrum.

18          The active part of the legislation starts at  
19          Article 1:

20          "The aim of this decision is to establish a policy  
21          and legal framework in order to ensure the coordination  
22          of policy approaches and, where appropriate, harmonised  
23          conditions with regard to the availability and efficient  
24          use of radio spectrum."

25          That includes -- the goals to be achieved are

1 identified in paragraph (2) of Article 1, including:

2 "Ensure the effective coordination of Community  
3 interests in international negotiations where radio  
4 spectrum use affects Community policies.

5 3. Activities pursued under this Decision shall  
6 take due account of the work of international  
7 organisations related to radio spectrum management, e.g.  
8 the ITU and the CEPT."

9 That is important, we say, when it comes to this  
10 case and these definitions. As a matter of law, the  
11 place to look for additional guidance as to the meaning  
12 of the relevant definitions is the material produced by  
13 the ITU and the CEPT. This legislation provides that  
14 and that serves an obviously important purpose. It is  
15 important that if bodies such as the EU or national  
16 regulatory authorities are to act in this field that  
17 they should act in a manner using the same definitions  
18 as other international bodies, particularly where they  
19 are dealing with cross-border resources.

20 The background to this legislative initiative is  
21 perhaps most clearly seen in the document at tab 4,  
22 which is a report from the CEPT to the European  
23 Commission. You get from the executive -- it is at  
24 tab 4 and at page 3 the first paragraph tells you what  
25 it is.

1           There has plainly been a mandate from the European  
2 Commission asking the CEPT to describe or propose the  
3 way in which the regulatory regime would deal with  
4 innovative mobile satellite services consisting of  
5 systems with a satellite component only or based on  
6 a hybrid platform relying on complementary ground  
7 components.

8           We have already seen the relevance of CEPT  
9 documents, their legal import significance as they feed  
10 into the EU law requirements. This document that you  
11 will see is, in fact, the genesis for a number of the  
12 definitions which then get embedded in EU legislation.

13           The introduction on page 4 summarises the mandate.  
14 I want to draw attention to the fourth paragraph:

15           "The report also provides elements for mechanisms to  
16 address situations where the frequency requirements  
17 exceed the available spectrum and to avoid so-called  
18 paper satellites."

19           That would refer -- the "paper satellites" reference  
20 is a reference to people making applications and then  
21 not using the -- applying for a satellite but not using  
22 it, as it were. That is perhaps a rather loose way of  
23 putting it.

24           There is then a discussion under "Services provided  
25 by MSS operators" as to the general context for why

1           these MSS systems might be useful, and we can see it is  
2           a very general discussion in the first paragraph.

3           Perhaps we can then go to the second:

4           "The importance of the mobile satellite industry has  
5           been well-recognised by the EU. Space developments have  
6           been recently referred to by the European Commission as  
7           strategic to the interests of the European Union because  
8           they promote economic growth, the knowledge economy and  
9           security. European space policy is currently being  
10          developed by the European Commission, the European Space  
11          Agency and EU member states [and so forth]."

12          There is then, two paragraphs down, a long passage  
13          talking about why mobile satellite services would be  
14          useful within the EU because they would enable you to  
15          get broadband communications and the like to parts of  
16          the EU which are not -- at least were not at that point  
17          well connected, and you can see references to that.

18          So there is a number of policy requirements which  
19          this -- which are being addressed by the CEPT. Firstly,  
20          that there is no specific outcome or use being required  
21          for MSS, mobile satellite services. It is envisaged,  
22          though, that this spectrum would be used to deliver such  
23          services, and there is a clear --

24          THE CHAIRMAN: I am sorry, which services?

25          MR. BOWSHER: Mobile satellite services in general terms.

1 THE CHAIRMAN: Right.

2 MR. BOWSHER: There is a clear -- while it is not said that  
3 you should use it for communication with aircraft or for  
4 communication with farmers in remote parts of eastern  
5 Poland or whatever, there are a number of possible uses,  
6 in particular the broader possible imperative to expand  
7 availability to telecommunications services across the  
8 EU.

9 THE CHAIRMAN: But the paragraph to which you did not take  
10 us, which precedes the one to which you did, actually  
11 refers in terms to aeronautical use, including  
12 communications for passengers.

13 MR. BOWSHER: Yes, that is one possible use, but it is not  
14 the only possible use --

15 THE CHAIRMAN: Right.

16 MR. BOWSHER: -- certainly because at that point this was  
17 a contemplated use. But the point is it is not that  
18 this spectrum was being contemplated as reserved for  
19 communication to passengers to enable them to watch  
20 Netflix. There are a number of broader social goals  
21 which were under consideration, and those social goals  
22 would require a broad coverage.

23 But also there was an industrial -- effectively  
24 an industrial policy goal that promoting the satellite  
25 industry was, in itself, a good thing. We will see that

1           again in further documents; that it was necessary for  
2           Europe to do what it could to promote its satellite  
3           industry.

4           It is not the case that this policy was at all  
5           neutral from the outset: this was a satellite-directed  
6           policy. It was intended that this spectrum should use  
7           a satellite and that it was important that, for example,  
8           paper satellites should not be put up. This should not  
9           be a mechanism were people were able to get spectrum and  
10          then not put up a satellite or not properly use it. The  
11          promotion of satellite as a means of delivering these  
12          systems was an essential part of the process.

13        THE CHAIRMAN: Presumably you would want us to look at the  
14          third paragraph, beginning "in the context of ..." on  
15          the next page, page 6.

16        MR. BOWSHER: Yes, I was going to say that and the following  
17          one, including -- up to and including "satellite system  
18          beyond the rural areas" and then:

19                "Satellite systems are inherently capable of  
20                reaching a larger population of users. Very suited for  
21                multi-casting applications ... [and so on and so  
22                forth]."

23                The meaning and use of a complementary ground  
24                component must be seen in that context: that the  
25                specific discussion about what is to be a complementary

1 ground component comes at page 11 of that document under  
2 the separate heading "complementary ground components".  
3 4.2.1, "Elements about CGC". Again, I am not going to  
4 read all of this out. There is too much to cover in one  
5 day, but there is a long discussion here as to some of  
6 the things that a complementary ground component might  
7 address. But when you look at them, for example in the  
8 middle of this first paragraph under 4.2.1 where they  
9 talk about things like urban canyons and satellite  
10 shadows in topography, those all may be concerns if we  
11 are talking about a service that is going to be received  
12 on the ground, and it is sensible that they are covered  
13 by the legislation.

14 But in my submission, it is important to see that  
15 those concerns are raised because this service might  
16 apply to a service that is going to be received on the  
17 ground. It does not mean that, of course, those are  
18 matters which are even relevant if one is communicating  
19 to an aircraft. One would not expect an aircraft to be  
20 in an urban canyon, for example, and looking to receive  
21 air services. Indeed, if one is receiving services from  
22 a satellite, in principle it is quite hard to see what  
23 the obstruction would be.

24 So the sorts of matters which are addressed here as  
25 being the reasons why you might have a CGC, because



1 someone in a rural area who should be getting the  
2 service cannot get it because the satellite is in the  
3 wrong place, the sort of problem that people have with  
4 their house is wrongly oriented to pick up Sky, the dish  
5 cannot point at the satellite, if they are in -- that  
6 might need a CGC because there is a mountain in the way  
7 and they cannot receive the satellite because of where  
8 they are. That is obviously not a concern if you are  
9 dealing with the sort of aviation service that we are  
10 dealing with here.

11 Furthermore, they may play -- 4.2.1:

12 "They may play an important role in enhancing the  
13 efficiency use of the radio spectrum. Some types of  
14 CGCs can transit traffic from one end user to another  
15 without passing through the satellite component of the  
16 system, reusing spectrum used by the satellite in  
17 another geographical area. Such direct routing would  
18 temporarily bypass the satellite component to provide  
19 communications services which are identical to and fully  
20 integrated with the service offered throughout the whole  
21 MSS system footprint. Such bypass would allow increased  
22 spectrum efficiency."

23 If you then turn to page 14 there is then the  
24 definition which gets taken into the EU legislation, so  
25 this is the definition of complementary ground

1 components. Its analysis starts in this CEPT report.  
2 In fact, it is the whole of page 14.

3 Can we then turn to the document at tab 5. This is  
4 the harmonisation -- we are now running through the  
5 sequence of EU measures which lead to the decision we  
6 are dealing with here. This is the decision taken by  
7 the EU Commission to harmonise the 2 GHz band on  
8 a pan-European basis. It is important just to note, as  
9 far as we are aware, the only pan-European measure of  
10 this type. It is done for the specific purpose of  
11 facilitating the development of a competitive internal  
12 market for mobile satellite services and to ensure  
13 gradual coverage in all member states.

14 It is not a technology-neutral harmonisation  
15 framework. It is intended to direct this to the use of  
16 satellite services, and you can see that in recital 3:

17 "Systems capable of providing MSS are seen as  
18 an innovative alternative platform to enable various  
19 types of pan-European services."

20 We have seen some of these referred to before:

21 "These services could improve coverage of rural  
22 areas thus bridging the digital divide. The  
23 introduction of new systems providing MSS would  
24 potentially contribute to the development of the  
25 internal market and enhance competition by increasing

1 the offering and availability of pan-European services  
2 and end-to-end connectivity."

3 Then the next recital:

4 "Space systems capable of providing MSS should  
5 include one or more space stations."

6 That is a satellite, as we will see:

7 "They could include complementary ground components  
8 in order to improve the availability of the mobile  
9 satellite service in zones where communications with one  
10 or several space stations cannot be ensured with the  
11 required quality."

12 Then recital 9 refers back to the CEPT report and is  
13 dealing with the question of how MSS and systems  
14 providing terrestrial only services might affect each  
15 other.

16 THE CHAIRMAN: The first reference to CEPT is in 7.

17 MR. BOWSHER: Yes, but I think that is just the reference to  
18 the report we have just seen.

19 THE CHAIRMAN: Yes. Well, it shows the centrality of this  
20 report to this document.

21 MR. BOWSHER: Yes, exactly.

22 The distinction, or the need for a distinction  
23 between MSS and terrestrial only systems, is emphasised  
24 by recital 9. It is in the middle, nine lines down,  
25 I think:

1            "This means that where 2 GHz bands are used by  
2 systems which are not capable of providing MSS these  
3 other systems should not cause harmful interference nor  
4 claim protection from systems providing mobile satellite  
5 services. According to the CEPT, CGCs would not cause  
6 harmful interference as long as they are an integral  
7 part of the system providing MSS, are controlled by the  
8 resource and network management mechanism or are  
9 operating on the same portions of frequency band as the  
10 satellite components of the system. Under these  
11 conditions, subject to an appropriate authorisation  
12 regime, CGCs could also be utilised even if signals are  
13 not transmitted through the satellite components."

14            That is a reference back to the material we have  
15 just seen from the CEPT report.

16            Then the active part of the legislation, Article 2,  
17 there is a definition of systems providing mobile  
18 satellite services in Article 2:

19            "They are systems capable of providing  
20 radiocommunication services between a mobile earth  
21 station and one or more space stations or between mobile  
22 earth stations by means of one or more space stations or  
23 between a mobile earth station and one or more  
24 complementary ground-based stations used at fixed  
25 locations."

1           Now, we come back to that presently. The key point  
2           for ground 1(a) is what is a mobile earth station for  
3           this purpose? The space station we know is a satellite  
4           and that is why we come on to look at the ITU  
5           definitions presently.

6           Article 3(1) requires the relevant spectrum to be  
7           designated for this use. Then Article 3(2) sets out as  
8           a matter of legislative requirement:

9           "The complementary ground-based station shall  
10          constitute an integral part of the mobile satellite  
11          system, be controlled by the satellite resource and  
12          network management. It shall use the same direction of  
13          transmission as the associated satellite components and  
14          shall not increase the spectrum requirement."

15        THE CHAIRMAN: One thing I have never understood reading  
16          this, and I am sure the fault is mine, is what is meant  
17          by "shall use the same direction of transmission". We  
18          see it once or twice. What does "direction of  
19          transmission" mean in this context?

20        MR. BOWSHER: That you are using -- so if you are uplink --  
21          that the uplink -- so you have got a downlink and  
22          an uplink.

23        THE CHAIRMAN: It refers to downlinks and uplinks, does it?

24        MR. BOWSHER: That is my understanding. That the downlink  
25          uses -- you do not have someone trying to downlink where

1 the uplink is going and vice versa. So you have one --  
2 and it is in the document somewhere. There is  
3 a particular frequency allocated for downlink and  
4 a particular for uplink, and you make sure that the CGC  
5 and the satellite are using the same frequency so they  
6 do not get in each other's way.

7 THE CHAIRMAN: It does not mean literally geographical  
8 direction. It means direction in terms of uplink and  
9 downlink.

10 MR. BOWSHER: Up and down.

11 MR. WARD: Sir, there is an explanation of this that I think  
12 is uncontentious in Mr. Sharkey's first witness  
13 statement.

14 THE CHAIRMAN: Yes, I think that is what I did not  
15 understand. I am sure the point is mine. Has  
16 Mr. Bowsher just got it right?

17 MR. WARD: Yes.

18 THE CHAIRMAN: Thank you. I am sure Mr. Sharkey should  
19 assume the fault is not his: I am sure it is mine.

20 MR. BOWSHER: Then the next stage is the decision on the  
21 selection and authorisation of systems providing mobile  
22 satellite services. That is at tab 6.

23 Before we go there, can we go to -- can I just show  
24 you recital 3. That refers to the communication of  
25 26 April 2007 on European space policy.

1 THE CHAIRMAN: Sorry, tab?

2 MR. BOWSHER: Tab 6 is the decision.

3 THE CHAIRMAN: Yes.

4 MR. BOWSHER: I just wanted for you to see -- we are going  
5 to come back to this.

6 THE CHAIRMAN: Yes.

7 MR. BOWSHER: Recital 3 refers to a Commission  
8 communication. That Commission communication should be  
9 behind -- immediately behind that at tab A.

10 THE CHAIRMAN: This does not open as a traditional  
11 Commission document, does it? The words in italics and  
12 the first sentence not in italics; it does not sound  
13 like a Commission document at all.

14 MR. BOWSHER: Quite poetic. It starts poetic. It does not  
15 get better though.

16 THE CHAIRMAN: No.

17 MR. BOWSHER: Anyway, it is very taken with space policy.  
18 The relevance, I rather clipped over it, is simply that  
19 this is explicitly referred to in the decision that we  
20 will come on to look at.

21 So the procedure we are dealing with is having  
22 regard to the strategic mission of the European space  
23 policy, which is described at page 4, and that includes,  
24 again, if I can give, rather than read all of it out,  
25 the second paragraph:

1           "Europe needs an effective space policy in order to  
2 exert global leadership."

3           If I can then go -- it tells you how large the space  
4 sector is, including the satellite manufacturing sector.  
5 Then to start at the next paragraph, starting "to  
6 respond":

7           "The strategic mission of a European space policy  
8 will be based on the peaceful exploitation of Outer  
9 Space by all states and will seek:

10          To develop and exploit space applications serving  
11 Europe's public policy objectives and the needs of  
12 European enterprises and citizens.

13          Skipping over the next:

14          "To ensure a strong and competitive space industry  
15 which fosters innovation, growth and the development and  
16 delivery of sustainable, high quality, cost-effective  
17 services."

18          Then again under the next page, page 5, heading 3,  
19 "Applications":

20          "The key to securing the maximum political, economic  
21 and social return from investment in space technologies  
22 lies in the development and exploitation of space  
23 applications, meeting the objectives of EU policies and  
24 the needs of European enterprises and citizens. The  
25 evolution of European user needs requires the



1 development of integrated space systems, seamlessly  
2 linking satellite and terrestrial telecommunications,  
3 positioning and monitoring in areas of strategic,  
4 economic and societal value."

5 Sorry, one more reference from here on page 7 under  
6 "Satellite communications" -- sorry, page 6 has  
7 a heading "Satellite communications" and it tells you  
8 there how important that is as a sector. It is the box  
9 at the end of "Satellite communications" at the top of  
10 page 7:

11 "European policies will facilitate the introduction  
12 of innovative services, including aggregating demand in  
13 remote and rural areas in order to permit satellite  
14 services to be as viable as terrestrial solutions. The  
15 space industry's technical capabilities need to keep  
16 pace with global competitors, many of which are  
17 underpinned by defence investments. The EU will invest  
18 in advancing technology developments to achieve  
19 convergence and interoperability between terrestrial and  
20 satellite-based networking sectors."

21 So closing that and going back to the decision which  
22 we are actually dealing with in this case, it is  
23 important, and in recital 3, that the EU is placing its  
24 space policy and, as we see, its concern to encourage  
25 and promote satellite solutions for a range of social



1 terms of outcome, there is a specific goal, but also in  
2 terms of method, method is satellite. That is the  
3 method that is being required by this legislative  
4 process, and we get that from 2, 5, 6.

5 Recital 11 talks about the need for harmonisation  
6 and common selection process in recital 12. Recital 13  
7 is the qualification to that:

8 "Since authorisation of the selected operators of  
9 mobile satellite systems involves attachment of  
10 conditions to such authorisations and a broad range of  
11 national provisions applicable in the field of  
12 electronic communications must thus be taken into  
13 account, the authorisation issues should be dealt with  
14 by the competent authorities of the Member States.  
15 However, in order to ensure consistency of authorisation  
16 approaches between different Member States, provisions  
17 relating to the synchronised assignment of spectrum and  
18 harmonised authorisation conditions should be  
19 established at the Community level, without prejudice to  
20 specific national conditions within Community law."

21 Compatible, sorry:

22 "Compatible with Community law."

23 Recital 14 emphasises the particular appropriateness  
24 of MSS, mobile satellite services, because they can  
25 generally reach geographic areas not well covered.

1           Again, coming back to the same policy goal,  
2           recital 16 again goes back to the need for coordination  
3           of frequencies.

4           Recital 18 is then particularly significant because  
5           it comes to turn to complementary ground components:

6           "Complementary ground components are an integral  
7           part of a mobile satellite system and are used,  
8           typically, [and typically is important] to enhance the  
9           services offered via the satellite in areas where it may  
10          not be possible to retain a continuous line of sight  
11          with the satellite due to obstructions in the skyline  
12          caused by buildings and terrain."

13          So the typical problem, we would say, is not in fact  
14          a problem that is typical of EAN at all, because you  
15          would rather hope that your planes are not flying in  
16          a location where any of that was an issue.

17          Jumping over the next sentence because we have seen  
18          it many times before:

19          "The authorisation of such complementary ground  
20          components will therefore mainly rely on conditions  
21          related to local circumstances. They should therefore  
22          be selected and authorised at national level, subject to  
23          conditions established by Community law. This should be  
24          without prejudice to specific requests made by competent  
25          national authorities to the selected applicants to

1 provide technical information indicating how particular  
2 complementary ground components would improve the  
3 availability of the proposed mobile satellite services  
4 geographical areas where communications with one or more  
5 space stations cannot be ensured with the required  
6 quality, provided that such technical information has  
7 not already been provided in accordance with Title II."

8 So that, we would say, envisages an analysis by the  
9 national authority as to why a particular CGC is needed  
10 in a particular place.

11 The operative part starts then on the next page.

12 Article 1, point 1:

13 "The purpose of this decision is to facilitate the  
14 development of a competitive internal market for mobile  
15 satellite services ..."

16 THE CHAIRMAN: Sorry, what are you reading now? I am making  
17 a note.

18 MR. BOWSHER: Sorry, Article 1, point 1 over the page:

19 "The purpose of this Decision is to facilitate the  
20 development of a competitive internal market for mobile  
21 satellite services (MSS) across the Community.

22 This Decision creates a Community procedure for the  
23 common selection of operators of mobile satellite  
24 systems that use the [relevant band] for space to Earth  
25 communications."

1           I mean, this is the point, or related to the point,  
2           that you were raising earlier. You have got  
3           two different bands for different directions, as it  
4           were, but the point is it is for earth to space and then  
5           space to earth communications. Then it sets out that  
6           there shall then be a process for identifying the  
7           operator of these systems.

8           The definitions include -- well, they refer to  
9           previous definitions from the regulatory framework, but  
10          the two key definitions that are added in by this  
11          provision are the definition of mobile satellite system  
12          and complementary ground components. We will come back  
13          to them, if I may, when we come to look at the  
14          definition of the specific arguments, but again, the  
15          importance is that we are dealing here with the  
16          licensing of a -- or, rather, what has been identified  
17          is the operator of a mobile satellite system, which is  
18          a system involving communication with a space station.  
19          We have seen this definition before. There may be  
20          complementary ground components of the mobile satellite  
21          systems, which are ground-based stations at fixed  
22          locations in order to improve the availability of those  
23          satellite services.

24          The question is whether or not this is, what we are  
25          dealing with here, in fact a complementary ground or

1           what is being sought here is an authorisation for  
2           complementary ground conditions.

3           The point we will come back to again when we look at  
4           the argument is that it is a central part of the  
5           definition of a mobile satellite system that there be  
6           a mobile earth station in each route of communication.

7           You get that from the definition of "mobile  
8           satellite systems". So if you go through the  
9           definition, you will see it is communication between  
10          a mobile earth station and one or more space stations,  
11          between two mobile earth stations or between a mobile  
12          earth station and one or more complementary ground  
13          components at fixed locations.

14          So we say it is part of the definition that there  
15          must always be that mobile earth station. We will come  
16          on to what that means later.

17          It is not enough, we say, just pausing there, for  
18          Inmarsat to be able to say, "Well, we have been  
19          authorised to use this spectrum for mobile satellite  
20          services. As long as we can comply with conditions set  
21          out in this legislation, we are permitted to provide  
22          mobile satellite services." That misstates the position  
23          in at least two significant ways.

24          Firstly, the first question is: is what they are now  
25          doing the provision of a mobile satellite service? Are

1 the complementary ground components actually  
2 complementary ground components? The link to that, of  
3 course, is if they are not mobile satellite services,  
4 then there is nothing for them to be complementary to.  
5 You cannot have a complementary ground component if what  
6 is now being provided is no longer a mobile satellite  
7 service.

8 There is -- then the further question is has there  
9 been a change from that which was originally proposed,  
10 such that the EU principles of transparency were  
11 infringed and so Ofcom should not be permitting  
12 a continued operation in this -- by granting the CGC in  
13 these changed circumstances --

14 THE CHAIRMAN: Is that different from a construction point?

15 By "construction point" I mean what they are now  
16 proposing is not within that which was originally  
17 authorised by this document? Is that different?

18 MR. BOWSHER: It might be broader because it might include,  
19 for example, in theory, the change in the identity of  
20 a subcontract operator might be relevant. I will come  
21 back to it when we look at the case law. So the  
22 starting point is certainly the definitional question,  
23 and there is certainly an overlap there, or more than  
24 an overlap. If it is no longer a mobile satellite  
25 service so there is nothing for the ground component to



1 be complementary to, then plainly the principles of  
2 transparency will not have been complied with. But  
3 there may be other circumstances where, pursuant to EU  
4 law, a change has been made to what is going to be  
5 provided which also infringes the principles of  
6 transparency.

7 THE CHAIRMAN: So what is the comparison that we make in  
8 deciding whether a change has taken place? A change  
9 between what they are now proposing and what they were  
10 originally proposing?

11 MR. BOWSHER: Yes.

12 THE CHAIRMAN: Why does that matter if what they are now  
13 proposing is within the authorisation? How can that  
14 matter?

15 MR. BOWSHER: Well, because they obtained the authorisation  
16 on the basis of a particular application, which we will  
17 come on to in a moment. Their application was made.  
18 They were granted the authorisation. Others were not  
19 granted the authorisation on the basis of a particular  
20 application. Principles of EU law would require in such  
21 a competition that if there has been a change that that  
22 outcome cannot be relied upon if the basis of that  
23 competition, or the basis on which they won that  
24 competition, has varied.

25 THE CHAIRMAN: So in order to decide that you will have to

1           be providing one of the things that I asked for at the  
2           beginning of this hearing, which is a clear picture of  
3           what it was that they originally asked for.

4       MR. BOWSHER: We are going to come on to that. There is  
5           a limit to what we can do in that area because there is  
6           only a limited amount of information we have as to what  
7           the original application was for. But, yes, I will take  
8           you to what we do and do not have in that area.

9       THE CHAIRMAN: Is there whatever exists in our papers?

10      MR. BOWSHER: Yes.

11      THE CHAIRMAN: Right.

12      MR. BOWSHER: We say there is enough to make our case on  
13           what is in the papers. It is not a comprehensive answer  
14           to your question, though; to your question as to what  
15           was the full technical application, because a lot of  
16           that material is not available to us.

17      THE CHAIRMAN: Well, how can you take this point, then,  
18           without knowing --

19      MR. BOWSHER: Because we know enough to be able to take the  
20           point, we say. We know enough about what the  
21           application was to be able to take the point.

22      THE CHAIRMAN: Right.

23      MR. BOWSHER: Sorry. (Pause)

24           I have got it wrong again. I am reminded I keep  
25           saying satellite service when I should say system and

1 the other way around. A complementary ground component  
2 of a mobile satellite system, and that is what is the  
3 important definitional issue.

4 The reason why this works -- and I will come on to  
5 the relevant case law presently, but the reason why this  
6 works is because this was all -- Inmarsat obtained its  
7 authorisation to provide mobile satellite services  
8 pursuant to a selection procedure which is set out in  
9 this decision -- system. Article 3 and Article 4 sets  
10 out that process and the selection phase, the different  
11 selection phases, by which that is done. We will see in  
12 a moment the criteria which were then applied and the  
13 way in which it was done.

14 THE CHAIRMAN: Will we be able to see -- in the material  
15 that you say is available about Inmarsat's application,  
16 will we be able to see what they said or proposed or  
17 demonstrated in relation to Article 4(1)(c)(ii)?

18 MR. BOWSHER: I do not believe so. I do not think we have  
19 that. Yes, it was treated as admissible, so presumably  
20 we do not know what they put forward to meet that  
21 requirement.

22 THE CHAIRMAN: Is the answer to the question "where is this  
23 material going to lie", "in volume such and such, so  
24 many tabs, this that and the other"? Is that going to  
25 be the short answer?

1 MR. BOWSHER: The short answer, yes. I have got three or  
2 four references which I was going to come to.

3 THE CHAIRMAN: I wonder if your junior would be good enough  
4 to jot down on a piece of paper which could come to each  
5 of us where those -- where that material -- not now this  
6 minute, but at some point during the day so we have got  
7 them and we can go away and read them.

8 MR. BOWSHER: I will give you the references before,  
9 probably as we go. Yes.

10 THE CHAIRMAN: All right.

11 MR. BOWSHER: We will come on to the guts of the selection  
12 process in a moment, because that is set out in  
13 a further document. What is set out in this decision is  
14 then the conditions which are to be applied to the  
15 entity which receives the relevant authorisation.  
16 Article 7 is often referred to -- is referred to in the  
17 glossary as "MSS common conditions" and those are the  
18 conditions set out in Article 7.

19 Then Article 8 are the conditions that apply to  
20 complementary ground components. So the first stage is  
21 that Inmarsat would have had to comply with milestones 6  
22 to 9, which are all set out in that annex and they are  
23 all to do with a setting up of satellite and so on and  
24 so forth, you can see that on the last two pages of the  
25 document, and honouring any commitments which they made.

1           When we come on to the history we will see that what  
2 they did not do was meet those satellite-related  
3 milestones within the 24 months specified in Article 7  
4 of the decision.

5           The complementary ground components conditions are  
6 the matter for this national authorisation, and they --  
7 this is the passage that provides for this process, or  
8 the process which we are appealing against:

9           "Member states shall, in accordance with national  
10 and Community law, ensure that their competent  
11 authorities grant ... the authorisations necessary for  
12 the provision of complementary ground components of  
13 mobile satellite systems on their territories."

14           That is done after the selection procedure which we  
15 have just seen is conducted. Then 3:

16           "Any national authorisations [again skipping a few  
17 words] ... shall be subject to the following conditions:

18           Operators shall use the assigned radio spectrum for  
19 the provision of complementary ground components of  
20 mobile satellite systems;

21           Complementary ground components shall constitute an  
22 integral part of a mobile satellite system and shall be  
23 controlled by the satellite resource and network  
24 management mechanism; they shall use the same direction  
25 of transmission and the same portions of frequency bands

1 [and so forth]."

2 We have seen that before. Then:

3 "Independent operation of complementary ground  
4 components in case of failure of the satellite component  
5 of the associated mobile satellite system shall not  
6 exceed 18 months."

7 I will come back to that in a moment:

8 "Rights of use and authorisations shall be granted  
9 for a period of time."

10 Again, we have seen that. That goes back to the  
11 CEPT report and was referred to there as being  
12 an important requirement:

13 "It is an important requirement to ensure that we  
14 are dealing with the operation of a mobile satellite  
15 system."

16 Now, in our submission, it is clear when you read  
17 these conditions together that a complementary ground  
18 component must be operating only as part of a mobile  
19 satellite system. It is the operation of the satellite  
20 that is crucial to the operation of this -- of the  
21 system. There is an express limit for the amount of  
22 time that a complementary ground component is permitted  
23 to be operated when -- in any circumstances in which the  
24 mobile satellite system is out of action, and there is  
25 a specific time limit put on it.

1           What that does not deal with, in our submission,  
2           probably because it is already covered inherently in the  
3           legislation, is what you do if, in fact, someone is  
4           using the service without any mobile satellite  
5           component. It does not even contemplate -- or rather,  
6           the nature of the mobile satellite system and the  
7           service which it provides is that it should include the  
8           use of the satellite.

9           By derogation, if the satellite breaks down or fails  
10          or whatever, there is a short window in which another  
11          satellite presumably is to be put up there, failing  
12          which the service cannot be -- the system cannot be  
13          authorised. The service cannot be provided. There is  
14          simply no provision in here which says you can have  
15          periods where you do not use the satellite at all, you  
16          simply run this service as a complementary ground  
17          component only service, or even a primarily  
18          complementary ground component service. This is  
19          a satellite service with CGCs which are there, as  
20          envisaged by the legislation, to deal with specific  
21          issues because of the mountain or the urban canyon or  
22          whatever it is.

23          There is, therefore, a general characterisation  
24          question. The fundamental issue in looking first at  
25          whether or not this is -- the Inmarsat solution is

1 a mobile satellite system providing a mobile satellite  
2 service, or whether the authorisation which is now being  
3 sought actually is an authorisation for complementary  
4 ground components, we say stumbles fatally on the same  
5 problem, which is that what is now being put forward is  
6 not properly characterised as a satellite system. It is  
7 a system based on ground stations which can be  
8 supplemented by satellites. That is an important  
9 distinction which ought properly to be, like any  
10 question of characterisation, must be, grappled with as  
11 a matter of analysis.

12 Now, the process by --

13 THE CHAIRMAN: Is your point that it is not a satellite  
14 system, therefore, cannot be a mobile satellite system,  
15 therefore, you do not get as far as the definition? Is  
16 that a point which you take separately from the points  
17 you take about the definition of mobile satellite  
18 system?

19 MR. BOWSHER: Yes, because it cannot be a complementary  
20 ground component if there is no mobile satellite system  
21 for it to be complementary to.

22 THE CHAIRMAN: So you have two points: one is that it is not  
23 truly a satellite system because it is truly  
24 a ground-based system.

25 MR. BOWSHER: Yes.



1 THE CHAIRMAN: Secondly, an associated point that it relies  
2 heavily on the ground "complementary".

3 MR. BOWSHER: Well, not just the word "complementary", the  
4 many layers of analysis going back in the legislation as  
5 to what was to be a complementary ground component. It  
6 is not just a question of words. It is a question of  
7 real substance: this was about ensuring that this be  
8 a satellite-driven solution.

9 So we say there is not a -- just to reiterate, there  
10 is not a sort of broad, flexible discretion to decide  
11 whether something is or is not a mobile satellite  
12 system. That is a matter of analysis and definition.  
13 When you look at the facts in this case, we say it is  
14 clear that this is not -- and the clarity of that  
15 definition was put in place for a clearly apparent  
16 reason: to prevent services being put forward on the  
17 basis of authorisations in this space which were not, in  
18 fact, delivering real satellite systems.

19 THE CHAIRMAN: Sorry, I do not want to misunderstand, but  
20 you say there is a broad -- discretion is not quite the  
21 right word, but an assessment question of whether this  
22 is a satellite system at all. I thought you told me  
23 that was separate from your point on compliance with the  
24 definition of MSS.

25 MR. BOWSHER: Yes, it is a separate question, but it is

1 a question of assessment. But there is not a broad  
2 discretion to say -- well, it is a question of law.  
3 When you look at the facts of this case, one has to  
4 define is this or is this not a mobile satellite system?  
5 You cannot say broadly, for example: well, it has got  
6 a satellite in. Therefore, it is a mobile satellite  
7 system. One must look at the substance of the  
8 definition and what its main purpose is: is this, in  
9 fact, a mobile satellite system delivering a mobile  
10 satellite service? To do that, one must grapple with  
11 the point purpose of what is, in fact, now delivered.

12 THE CHAIRMAN: I still do not understand you, Mr. Bowsheer,  
13 I am afraid. We have the definition of MSS.

14 MR. BOWSHER: Yes.

15 THE CHAIRMAN: You have a point in relation to that about  
16 where the earth station is. The other side's answer is  
17 you can combine the two. We will come to that. That is  
18 a question of definition.

19 Do you say there is a prior question as to whether  
20 it is -- never mind the mobile, whether it is  
21 a satellite system at all which does not depend on the  
22 definition; it depends on the question, the broad  
23 questions of construction? Is your point you cannot  
24 have a mobile satellite system until you first of all  
25 have a satellite system, and this is not one?

1 MR. BOWSHER: Sorry, you are quite right. I see how I have  
2 confused you and I apologise. It is my fault entirely.

3 In my own mind there is a -- when we come on to look  
4 at the definition of a -- this is really in the area of  
5 ground 1 being -- when we come to look at the definition  
6 of a complementary ground component, a complementary  
7 ground component has to be complementary to a mobile  
8 satellite system. It is not complementary if it is, in  
9 fact, the system.

10 If what, in fact, we are dealing with is a system of  
11 ground components to a system which happens to have a --  
12 a system of ground components providing a service, which  
13 is in some cases supplemented by a satellite service,  
14 that is not a mobile satellite system of the type that  
15 was required by this process and it is not  
16 a complementary ground component because the ground  
17 components are no longer complementary; they are the  
18 service.

19 THE CHAIRMAN: Right. So those are questions of assessment.  
20 I think you used the word "discretion" as I pointed out,  
21 but leave that slightly contentious word aside. There  
22 is a question of assessment of the overall concept here  
23 which is different from the pure definition, almost.

24 MR. BOWSHER: Yes. Yes. Yes, the definition is  
25 ground 1(a). Ground 1(a) is our definitional point. In

1 ground 1(b) we look at the point: what is  
2 a complementary ground component and what does it mean  
3 to be a complementary ground component?

4 THE CHAIRMAN: Emphasis on the word "complementary"?

5 MR. BOWSER: Yes, and that is not just a matter of word --  
6 that involves looking back at ... sorry, it is in the  
7 definition of complementary ground component, exactly.

8 THE CHAIRMAN: Sorry, exactly what?

9 MR. BOWSER: I am getting my letters and numbers mixed up.  
10 When you look at first the definition of mobile  
11 satellite system, you look definitionally at what is  
12 a mobile earth station? When we come to look at what is  
13 in the same part of our ground 1, we look at what is  
14 a complementary ground component. When we do that, you  
15 have to look at what is this CGC complementary to or is  
16 it, in fact, capable of being complementary to anything?  
17 Is it, in fact, the main system?

18 That is a separate point, we say, but it involves  
19 looking at what is the nature of what has been put  
20 forward as the mobile satellite system and the MSS being  
21 delivered, or whether it is a MSS at all, whether it is,  
22 in fact, just a ground service rather than a satellite  
23 service?

24 We then come on to the selection process, which is  
25 in E1. Can I just put F to one side? We will come back

1 to it in a moment. But the introduction to -- do not  
2 put F away because we will be back there in a second.  
3 E1/5.

4 This in 2008 was the call for operators of  
5 pan-European mobile satellite services. A lot of the  
6 language we will see here is much the same as before.  
7 It is telling you that today is the day that they have  
8 called for candidates to come forward to apply for  
9 an authorisation to deliver MSS.

10 On page 2 there is, again, a reiteration of the  
11 importance of this being a mobile satellite service  
12 opportunity. Then a description, a very general  
13 description here under "What are the next steps",  
14 third paragraph:

15 "During the first phase technical and commercial  
16 ability of the candidates to launch their systems in  
17 time will be assessed using five pre-defined  
18 milestones."

19 Then:

20 "If more candidates pass the first stage than can be  
21 accommodated within the spectrum available, they shall  
22 be assessed in a second phase."

23 They go through what is to be done. We will see the  
24 detail of that in a moment. The importance here is that  
25 all of those factors are satellite-related factors, and

1           this again emphasises --

2           THE CHAIRMAN: Sorry, all what factors? The milestones?

3           MR. BOWSHER: The criteria, the selection criteria. When  
4           you look at all the technical material that we will come  
5           on to in a second, we will see that is all  
6           a satellite-based assessment.

7           We can put that away and come back to file F, where  
8           we see the detail of that in F/7. This repeats a lot of  
9           material which we have seen before and fleshes out some  
10          of what we have seen in the EU decision which we were  
11          just looking at, repeats the definitions and so forth.

12          But, again, we are still dealing with the  
13          Commission's process. It is the Commission's decision.  
14          This is their call for applications. The selection  
15          procedure is then described from page 7.

16          THE CHAIRMAN: Sorry, what was the last document you looked  
17          at? This is a formal document. What was the last one?

18          MR. BOWSHER: That was a press release, as it were, a news  
19          announcement to the public also by the Commission. This  
20          is the more detailed --

21          THE CHAIRMAN: So this was in the OJEU.

22          MR. BOWSHER: Yes. So page 7, there is -- the process is  
23          described as a time line on page 8. Then you get into  
24          the detail on page 9 under the heading "First selection  
25          phase", which starts:

1            "In this selection phase, the Commission shall  
2            assess whether applicants have demonstrated the required  
3            level of technical and commercial development of their  
4            respective mobile and satellite systems. Such  
5            assessment shall rely on the satisfactory completion of  
6            milestones 1 to 5 set out in annex 2 to this call."

7            So the detail then is what you see in annex 1 and  
8            annex 2. So the first stage is "General information on  
9            the applicant". Annex 1:

10           "Applicants shall demonstrate their experience and  
11           expertise in the satellite telecommunications market  
12           [and so forth]."

13           References there to financial strength and stability  
14           and legal structure.

15           Then the milestones, which we have already seen, and  
16           I was going to take you here to them. So you will have  
17           to show at this point that you have completed  
18           milestones 1 to 5 on page 13. Obviously it is all of  
19           the key steps, and they are not just abstract steps.  
20           They are key, concrete steps towards having a satellite  
21           which can be built and which can be launched. Then the  
22           conditions 6 to 9 are what will follow on thereafter.

23           Then the second selection phase, if there is more  
24           than one that gets through that phase, deal with the  
25           detailed selection criteria, which we have seen referred

1 to in outline in that notice. The headings were there  
2 on page 14. So, for example, "Consumer and competitive  
3 benefits", you have number of end users and range of  
4 MSS. Date of commencement of MSS. So, again, it is all  
5 about the ability of the satellite service to achieve  
6 the requirement. There is the coverage, the efficiency,  
7 and then the coverage requirement is stated as being  
8 something which is a selection criterion. The -- some  
9 other material around security.

10 Important to note, though, under the introductory  
11 notes, that these criteria are to be measured by  
12 reference to the point in time at which milestone 9,  
13 that is the provision of MSS, must be met. So  
14 presumably that is at the end of the delivery timetable:

15 "Since, according to the decision, applicants will  
16 have to meet milestones 6 to 9 within 24 months of the  
17 selection decision, the date of which is not known at  
18 this stage ..."

19 They give you an indicative date. Then the next  
20 paragraph:

21 "The criteria/sub-criteria shall be measured on the  
22 basis of the mobile satellite system excluding any  
23 complementary ground components."

24 THE CHAIRMAN: I am lost as to where you are reading.

25 MR. BOWSHER: My fault, sorry. The foot of page 14.



1 THE CHAIRMAN: Introductory notes which they have put at the  
2 end of a document.

3 MR. BOWSHER: Yes, no idea why. It is the introductory note  
4 to the detail, the technical detail of the different  
5 criteria we have just seen.

6 THE CHAIRMAN: I see.

7 MR. BOWSHER: The most important factor here is the second  
8 of those notes:

9 "The criteria ... shall be measured on the basis of  
10 the mobile satellite system excluding any complementary  
11 ground components."

12 So it will have been necessary to demonstrate  
13 compliance with all of this technical material,  
14 including, for example, the pan-European geographic  
15 coverage and so forth, by reference only to the  
16 satellite performance without regard to CGC performance.

17 So they need, for example, to be able to meet the  
18 targets, which are on page 17 and 18, from the  
19 satellite.

20 THE CHAIRMAN: 17 and 18. Well, basically 18. I see.

21 MR. BOWSHER: I was hoping not to work through all the  
22 detail of that, but ...

23 THE CHAIRMAN: I am just trying to see. So the real  
24 requirements come on 18, whatever they may mean.

25 MR. BOWSHER: Well, you get -- we are now in a competition.

1 I mean, the point is once you are in this second phase,  
2 you are now supposedly in a competition. So there is  
3 more than one applicant so that the different bids are  
4 being assessed by reference to what is put forward, and  
5 they are getting different scores.

6 So depending what geographical coverage you are able  
7 to show by reference to your satellite, you are going to  
8 get a different number of points that are then  
9 accumulated forward as to who the winner is of the  
10 competition.

11 THE CHAIRMAN: Yes.

12 MR. BOWSHER: That is what the system was to require, but  
13 because of the -- when we look at the actual decision we  
14 see that the other competitors never got there. So that  
15 scoring process never happened, as it were. It never  
16 needed to happen. But that is what would have been  
17 required from the submission, so that the submission  
18 which Inmarsat made, in our submission, has to be able  
19 to meet a requirement -- would have had to, as it were,  
20 participated in that competition. They could not have  
21 changed their bid during the competition, and that is --  
22 there was the target which they had to be meeting,  
23 a coverage requirement measured only by reference to  
24 their satellite component, or, to put it more clearly,  
25 without any top-up from the complementary ground

1 component.

2 Part of that assessment -- sorry, the only actual  
3 point at which the complementary ground component is any  
4 part of the assessment is in the assessment of the  
5 business plan that is part of this competition. Sorry,  
6 you are quite right. It is not an assessment. It is  
7 only for information and is not part of the selection.

8 That is at page 21. So this is, presumably, to  
9 ensure that applicants are committed to what they are  
10 putting forward. The business plan is to, we can see  
11 from paragraph 1 on page 21, "Introduction", last  
12 sentence of the first paragraph:

13 "Data on complementary ground components is  
14 requested for information purposes and will not be ..."

15 THE CHAIRMAN: Where are you?

16 MR. BOWSHER: Page 21, first paragraph, just under  
17 "Introduction". Last sentence, "Data and complementary  
18 ground components."

19 THE CHAIRMAN: Yes.

20 MR. BOWSHER: I think the only actual reference to it is  
21 under satellite and CGC system. What you see is in the  
22 description under 2, there is a long description of the  
23 satellite system and any CGC to be used, a technical  
24 description of the satellite system including, for  
25 example, specific details of the satellite surface area

1 and then the CGC-specific bit is the last three items.

2 The outcome of the process set out in that document  
3 is narrated in the document at F/8, and this is the  
4 decision in which Inmarsat and Solaris are identified as  
5 eligible applicants. Others are not. Therefore,  
6 Inmarsat and Solaris, who are now EchoStar -- when you  
7 see references to "EchoStar", that is actually Solaris.  
8 This is where Inmarsat and Solaris are allocated or,  
9 rather, authorised to use frequencies.

10 As I say, the narrative as to what happened is set  
11 out in the recitals, which essentially shows you how  
12 other applicants fell away along the process. The only  
13 specific point that I wanted just to identify there is  
14 in recital 25, the specific reference which goes back to  
15 the previous references:

16 "The frequencies should be identified on the basis  
17 of objective, transparent, non-discriminatory and  
18 proportionate criteria."

19 It is simply observing that, patently stating the  
20 obvious, the general principles of EU law in this area  
21 apply. As time marches on, I am going to take the next  
22 few documents fairly swiftly.

23 F12 provides for the coordination of enforcement  
24 decisions by member states. We do not need to look at  
25 the concessions directive, I do not think.

1           14 and 15 are the background UK legislation within  
2           which Ofcom is operating, and include all the various  
3           general obligations which we have identified in  
4           grounds 2 and 3.

5           Then the UK provision, which brings into effect all  
6           that we have just seen, is that which is at tab 16,  
7           "The Authorisation of Frequency Use for the Provision of  
8           Mobile Satellite Services". What that provides for,  
9           therefore, under regulation 3 is that:

10           "Ofcom shall grant an authorisation to the selected  
11           applicants".

12           They are selected by means of the process, the  
13           EU process:

14           "For use in the UK for the frequency specified for  
15           that selected applicant in Article 3 of the permission  
16           decision, subject to the conditions set out therein".

17           Regulation 4, the common conditions are applied, and  
18           then that then leaves, jumping ahead to regulation 13 --

19           THE CHAIRMAN: Regulation?

20           MR. BOWSER: 13.

21           THE CHAIRMAN: Tab?

22           MR. BOWSER: Regulation 13 in tab 16.

23           THE CHAIRMAN: I am sorry, yes.

24           MR. BOWSER: "Ofcom shall carry out their functions under  
25           the Wireless Telegraphy Act 2006 so as to give effect to

1 the obligations under the EU decision and the permission  
2 decision insofar as those obligations have not been  
3 given effect by these regulations. Ofcom shall in  
4 particular, pursuant to their powers under that Act,  
5 grant a selected applicant, if requested, the  
6 authorisation necessary for the provision of  
7 complementary ground components of systems providing  
8 mobile satellite services subject to the common  
9 conditions specified in this regulation. "Common to  
10 complementary ground components" means ground-based  
11 stations at fixed locations in order to improve the  
12 availability of mobile satellite services in a  
13 geographical area covered by those services."

14 That is the provision which launches, obviously, the  
15 authorisation which we are challenging.

16 DR. ELPHICK: Can I just ask one point, Mr. Bowsher. So  
17 when Inmarsat were submitting their business plan, did  
18 they at that stage make clear that their intention was  
19 to have only one satellite, do we know?

20 MR. BOWSHER: Well, I am going to explain what we do and do  
21 not know in a moment about the Inmarsat application. We  
22 know that there was one satellite put forward and  
23 I think we know that from the recital. (Pause)

24 They must have passed milestone 2 in the document we  
25 were just looking at. That is at tab 7, page 13. We do

1 not know the technical details of what was put forward.  
2 I am about to give you some references which are the  
3 limit of what we do and do not know what was applied  
4 for. We know what was applied for is not what actually  
5 was going to be operated as part of this MSS.

6 DR. ELPHICK: It may be you are the wrong person to ask the  
7 question, but they had to have one satellite to be  
8 eligible.

9 MR. BOWSHER: Yes.

10 DR. ELPHICK: I wonder if they thought, "We are going to  
11 have five satellites". But at the moment, we cannot  
12 answer that question from you.

13 MR. WARD: Sir, I can confirm that the original application  
14 did indeed involve a satellite, just the one.

15 DR. ELPHICK: Just the one, right.

16 MR. BOWSHER: That will have been put forward as part of  
17 compliance with milestone 2 in the selection process we  
18 were just looking at, presumably.

19 DR. ELPHICK: Right.

20 MR. BOWSHER: Before we turn away from the UK regulations  
21 and regulation 13, this is as good a place as any to  
22 highlight an important distinction in regulation 13(3)  
23 between "availability" and "coverage" so:

24 "complementary ground component" means ground-based  
25 stations used at fixed locations in order to improve the

1           availability of mobile satellite services in  
2           geographical area covered by those services."

3           It is in regulation 13(3) and that will be important  
4           when we come on to our issue about compatibility with  
5           the coverage condition because what we say is that the  
6           MSS being provided falls very far short of the relevant  
7           condition. We will need to draw a distinction between  
8           "availability" and "coverage" when we come to look at  
9           that, in short just because the satellite in principle  
10          covers an area does not mean that it is actually  
11          available to service all the people in that area.

12         DR. ELPHICK: Do we know if in -- but one satellite alone  
13          could provide the 50 per cent, 60 per cent coverage that  
14          is required, could it?

15         MR. BOWSHER: Yes, indeed. There will be a beam which  
16          covers. It may not actually provide a service which is  
17          available to people, that is the problem.

18          I am jumping ahead. When we come on to ground ...  
19          if we look at the decision at tab 6, the requirement  
20          which we say they fall foul of in our appeal is that  
21          which is set out in Article 4(1)(c)(ii). You can see  
22          the contrast between (i) and (ii):

23                 "The mobile satellite system proposed shall cover  
24                 a service area."

25                 So you can have a satellite which covers 60 per cent



1 of the aggregate ground area of the EU states, but there  
2 is a difference between coverage and availability:

3 "The MSS shall be available to at least 50 per cent  
4 of the population and over at least 60 per cent of the  
5 aggregate land area."

6 So you could have a beam which covers, but that does  
7 not mean it is available to the population.

8 DR. ELPHICK: But one satellite would be enough to be  
9 available to 50 per cent of the population?

10 MR. BOWSHER: Well, EchoStar does it. Mr. Sharkey says,  
11 I believe, in his statement, I will have to find  
12 a reference, that the EchoStar satellite meets both the  
13 coverage and the availability requirement.

14 DR. ELPHICK: With one satellite?

15 MR. BOWSHER: With one satellite, I believe. Yes, I believe  
16 EchoStar -- but I will check the reference.

17 DR. ELPHICK: Thank you.

18 MR. BOWSHER: It is about -- I think you have the point. It  
19 is about the difference between coverage and service  
20 availability, is the distinction.

21 DR. ELPHICK: You are saying both of those can be met with  
22 one satellite.

23 MR. BOWSHER: That is our understanding, yes. But we just  
24 say that the Inmarsat one does not.

25 DR. ELPHICK: Yes.

1 MR. BOWSHER: I want briefly to -- so that was a canter  
2 through the legislation, and it is a canter through  
3 rather dense thickets of legislation.

4 What I want to do particularly is cover the relevant  
5 EU case law on change, which addresses changes, about  
6 what the relevant EU case law is that would address --  
7 that governs what someone may or may not do in departing  
8 from that which they have put forward in a bid process,  
9 and then to go through our specific heads -- to look at  
10 the authorisation and then look at the particular  
11 arguments that we make.

12 Perhaps this would be a convenient point for me just  
13 to go and look at what we know about the Inmarsat EAN  
14 and its history, and the limits of what we do and do not  
15 know.

16 I think nearly all of our knowledge is set out in  
17 Mr. Baldrige's witness statement, and certainly the  
18 intention of that witness statement is to set out either  
19 in narrative form or to exhibit the material from which  
20 one could draw our knowledge to what had happened. That  
21 is in D1 -- you can put F away for the moment. D1,  
22 paragraphs 28 to 45.

23 Before we look at the detail of that, let me answer  
24 directly what we do and do not know about the nature of  
25 the Inmarsat system and the context of what we know.

1           We asked the Commission for access to Inmarsat's  
2 original application from 2008/2009, and the Commission  
3 refused access to that, claiming it was confidential.  
4 One of the claims which we have brought, which you have  
5 some materials from in the bundle, is our appeal to the  
6 general court.

7           The court, as I understand it, in the course of  
8 those proceedings has ordered that those materials, the  
9 Inmarsat application, presumably, be produced to it, the  
10 court, for its evaluation. So the court has those  
11 materials, we do not. We currently do not have access  
12 to that which is held by the court for its evaluation.

13           What we do have is the following, specifically,  
14 E1/6.

15 THE CHAIRMAN: You say E1?

16 MR. BOWSHER: E1, yes, E1/6 which is an Inmarsat SEC filing  
17 by Inmarsat, announcing its contract with  
18 Thales Alenia Space. The third paragraph is probably  
19 the clearest statement. That is:

20           "An Authorisation to Proceed for the development of  
21 the "EuropaSat" satellite to provide mobile broadcast  
22 and two-way telecommunications services in the S-band  
23 throughout Europe. Thales Alenia Space will commence  
24 work on the satellite design ... and develop it through  
25 the Critical Design Review to support a fully compliant

1 application for an EC-wide S-band spectrum allocation."

2 We have no more technical information in that than  
3 what is there. Then we have some published details  
4 about that solution in the next tab.

5 This is the Thales, presumably, press release of the  
6 same time, 22 August 2008:

7 "Thales Alenia and Inmarsat signed today an  
8 Authorization to Proceed."

9 You will get the technical detail in the  
10 second paragraph. It starts out being much as we have  
11 seen before. The last sentence:

12 "The spacecraft will carry a payload at 2 GHz  
13 generating 9 [that is the key number] S-band user spot  
14 beams in 2 polarizations, using a large S-band  
15 Tx antenna of 12 meters diameter."

16 We know that is not what currently exists and the  
17 basis of the current operation of the EAN is a solution  
18 which we will come on to, which has three beams, not  
19 nine beams. Then it tells you:

20 "Designed with a lifetime of 15 years, the EuropaSat  
21 ..."

22 Then there are more details overleaf there, but I do  
23 not think we need to cover any of that.

24 Then E3/93 -- we can put E1 away. I think the only  
25 thing that this adds to our knowledge is the picture,

1           because the rest -- I mean, this is obviously a public  
2           domain blog, and I think we can infer that the author is  
3           relying on the same public domain information as us.

4       THE CHAIRMAN: Does the picture actually add anything  
5           useful?

6       MR. BOWSHER: Not as far as we are aware, but it is  
7           different from -- I mean, it confirms what is said  
8           elsewhere. But that is the limit of what we know. It  
9           is consistent with the nine-beam solution, which is what  
10          I have laboured already.

11       THE CHAIRMAN: Is the power figure significant,  
12           8.5 kilowatts payload power? That is, I think,  
13           an additional fact, is not it?

14       MR. BOWSHER: It is.

15       THE CHAIRMAN: Yes, the power of the transmission is  
16           relevant to something.

17       MR. BOWSHER: It is, because that, of course, is then power  
18           dedicated to those nine beams, whereas under what is now  
19           being put forward, what is now being used is three beams  
20           on the Hellas Sat satellite where the power of that  
21           satellite is being shared to the three beams for EAN and  
22           the other transponders on the satellite for other  
23           purposes. So, yes, there is less power.

24           I do not propose to read out the whole of  
25           Mr. Baldrige's witness statement. The passage -- it is

1 not been controverted, as far as I am aware, in any  
2 meaningful way, and certainly this history has not, and  
3 I would invite the Tribunal to take particular account  
4 of that history from, as I say, 28 to 45.

5 What one gets in summary from that is clearly  
6 a somewhat conservative approach taken by Viasat to its  
7 use of the authorisation. We have a reference in E1/11  
8 where they make the point, again this is a public domain  
9 blog:

10 "Although the European S-band spectrum allocation  
11 process is well underway, it's looking increasingly  
12 possible there might never be more than one satellite  
13 system actually built to use this spectrum, namely the  
14 Solaris payload to be launched on Eutelsat W2A later  
15 this year. Amongst the other three entrants to the  
16 spectrum allocation process, ICO and TerreStar's  
17 financial situation already makes it difficult to see  
18 them being able to fund construction."

19 Then, if you look at the next paragraph:

20 "On Inmarsat's results call today, the company was  
21 explicit about its intention not to "put its balance  
22 sheet at risk" to build its proposed EuropaSat S-band  
23 satellite, and when the CEO was asked about whether he  
24 would adopt a "build it and they will come" approach, he  
25 replied "absolutely not". Inmarsat instead plans to

1 seek external investors to fund the project, and  
2 ultimately to spin it off as a separate company. The  
3 contrast between Inmarsat's description of its Alphasat  
4 project as bringing more capacity in the EMEA region,  
5 more spectrum and more redundancy to support future  
6 growth, and EuropaSat as a "non-core" project, was  
7 particularly striking."

8 So although on the one hand it is being required by  
9 the regulator to meet quite a strict timetable, which we  
10 have seen in the milestone time on the 24-month  
11 timetable and so forth, what it is actually saying to  
12 investors is: this is not our core business, we are  
13 going to wait until we have got the money to do it. To  
14 put it colloquially, we are not going to adopt the  
15 "build it and they will come" approach.

16 What you get, and there is nothing to suggest --  
17 no one is suggesting we have got this wrong, in  
18 paragraph 32 of Mr. Baldrige's statement he notes that  
19 about half a year after being awarded the spectrum,  
20 Inmarsat put its plans on hold. It missed, paragraph 33  
21 of Baldrige, the original 2011 deadline which we have  
22 already seen referred to for commercial reasons, and not  
23 until five years after being selected through the  
24 process we have looked at did it publicly announce its  
25 plans to deploy an ATG network in the 2 GHz band. That

1 is paragraph 38.

2 So he then at paragraph 44 notes that -- reiterates  
3 the point we have already covered: that Inmarsat would  
4 have had nine beams under the original solution. Then  
5 in September 2015 we see at E1/37, as it were, the  
6 crucial commercial change in the solution. We are now  
7 in 2015, so many years after the original process:

8 "Deutsche Telekom and Inmarsat partner to deliver  
9 European aviation network".

10 Again, I am not going to read it all out, but the  
11 short point you get from the beginning of the press  
12 release, 21 September 2015:

13 "Deutsche Telekom and Inmarsat today announced  
14 a strategic partnership bringing unprecedented passenger  
15 connectivity ... a new innovative combined LTE-based  
16 ground network and satellite network delivers travellers  
17 in Europe the advantage of in flight high speed internet  
18 access."

19 Important point to note there is "LTE" is the  
20 language that operates between the complementary ground  
21 components and the ground-facing terminal in the EAN.  
22 LTE is not the language that speaks to the satellite.  
23 When you talk about a LTE-based system, you are talking  
24 about a system that is inherently the ground-based limb  
25 of the system. That is not surprising, because



1 I believe that LTE is, as it were, a Deutsche Telekom --  
2 if they did not actually write it, it is certainly the  
3 language their system is based in.

4 Yes, sorry, that is in the next paragraph. I knew  
5 I knew it from somewhere. It actually says it in the  
6 next paragraph, that:

7 "The LTE-based ground network developed and run by  
8 Deutsche Telekom ..."

9 We get a bit more from that, although I am not going  
10 to read it out because it is confidential. In E3/123 is  
11 the Inmarsat description of what is now to be EAN.

12 THE CHAIRMAN: Sorry, this is said to be confidential?

13 MR. BOWSHER: No, sorry, this is not. The next reference is  
14 confidential. I will show you in a moment.

15 This shows the picture of what is now to be the  
16 three beams, and on the second page it is perhaps useful  
17 as it illustrates. You will see this picture again and  
18 again. This is the three-beam system which EAN is now  
19 delivering from the Hellas 3 satellite. What we see  
20 under "Ground stations":

21 "Existing satellite ground stations will be used to  
22 expand the required infrastructure for the Inmarsat  
23 S-band network."

24 In fact, we can get a bit more from that, and that  
25 is the point that is confidential, and it is in B --

1 I will stop in a minute and we can finish this athletics  
2 with the bundles -- B/13. I will come to this after the  
3 break, but this is Inmarsat summarising the position on  
4 the use of MSS to COCOM.

5 If you go to page 11, you have the description of  
6 the development of the CGC network. The first paragraph  
7 on page 11 is useful. This is all confidential, so I am  
8 not going to read it. Well, some of it may be  
9 confidential, so I am not going to read it out, but  
10 those two paragraphs on page 11 under the heading  
11 "CGC network development" are important, particularly  
12 the second one. I am not sure that it adds much to what  
13 we just read, but I think from -- do you have that? It  
14 is page 11, file B/13.

15 THE CHAIRMAN: Yes.

16 MR. BOWSHER: Page 11, bottom of heading "CGC network  
17 development", description a bit more about what we have  
18 just seen of the CGC network. It is that last paragraph  
19 on page 11, and I believe there is a sensitivity about  
20 that content.

21 THE CHAIRMAN: I must say I find it hard to see why.

22 MR. BOWSHER: I will not comment.

23 THE CHAIRMAN: It is not your confidentiality.

24 MR. BOWSHER: There is -- what we do know in the UK, there  
25 is reference in public domain material to 300 or so

1 CGCs. As far as I can tell, the number in the UK is  
2 something which always is covered in confidential  
3 splodge, so I am not allowed to mention the number in  
4 the UK. I will show it to you later, but it is  
5 obviously a lot smaller than 300.

6 DR. ELPHICK: You have put quite a lot of emphasis on moving  
7 from nine beams to three beams, but in the diagram at  
8 tab 3 you took us to, it appears as though the  
9 three beams are nevertheless providing very broad  
10 coverage. It is covering the whole of Europe.

11 MR. BOWSHER: Yes.

12 DR. ELPHICK: So ...

13 MR. BOWSHER: The question then is what power can each beam  
14 deliver? We get into this when we get to the evidence  
15 of Dr. Webb and Mr. Sharkey. The power and capacity of  
16 each beam becomes relevant.

17 DR. ELPHICK: So you move on to an availability dilemma.

18 MR. BOWSHER: So that becomes relevant to availability  
19 because only a certain number of -- if we are talking --  
20 if I take planes as a measure --

21 DR. ELPHICK: Yes.

22 MR. BOWSHER: -- then there is a debate between Mr. Sharkey  
23 and Dr. Webb as to how many claims can these three beams  
24 service at any given time? The number that is put  
25 forward is either between 2 and 20.

1 DR. ELPHICK: Right, I remember now.

2 MR. BOWSHER: That comes from the number of beams and the  
3 power and the capacity of those beams.

4 DR. ELPHICK: Good. Thank you.

5 MR. BOWSHER: Now, the distinction, what Dr. Webb comes on  
6 to say is, well, look at the EchoStar beam pattern,  
7 which is attached, which he refers to. There is more  
8 than 100 beams there, 180-odd, and given the power  
9 there, that would enable you to service very many more  
10 planes. Indeed, given the numbers of planes that we  
11 hypothesised would be flying above Europe at any time  
12 basically, EchoStar can service those planes. Well,  
13 there may be occasional moments where they cannot, but  
14 basically, the EchoStar system, it is self-evident that  
15 if all you can service at any given time with these  
16 three beams is -- whether it is two planes or 20 planes  
17 frankly does not matter: you are falling spectacularly  
18 short of actually delivering a satellite service to  
19 anyone, aircraft or otherwise. You are simply providing  
20 a service which the satellite component is, as we have  
21 said, marginal, whatever. There are many words we have  
22 chosen. It is not primarily a satellite service.

23 What becomes important when we look at some of the  
24 pictures is the satellite -- much is made of by  
25 Mr. Sharkey but look, there are times when the plane

1 goes out of the beam. Well, you can see where those  
2 might be. We will see in due course after the break.  
3 If you are flying to the Canaries, you fly out of the  
4 beam. Well, we have got some numbers as to how many  
5 flights actually are within the beam or not within the  
6 beam, but the point I will come back to is when you look  
7 at this particular solution, it is not actually  
8 delivering a satellite service.

9 DR. ELPHICK: Thank you.

10 THE CHAIRMAN: Yes. Well, we will break there and we will  
11 resume at 2.05 pm.

12 (1.03 pm)

13 (The Luncheon Adjournment)

14 (2.05 pm)

15 THE CHAIRMAN: Yes.

16 MR. BOWSHER: Can I -- I addressed the question about what  
17 we have in the files about what Inmarsat originally  
18 intended to do, and we will give you a note of the  
19 references, but that is the limit of what we have.

20 THE CHAIRMAN: You have just taken us to all of the  
21 documents. If there is not a separate note, we can just  
22 use our own notes or the transcript. That is it?

23 MR. BOWSHER: That is the limit of it. That is it, there is  
24 nothing put forward by Inmarsat.

25 The only other thing we can say is we can infer, and

1 I have made this point but we can make it again, that  
2 they got through the first six milestones with their  
3 original proposal. I think we have to infer that,  
4 although we do not know how. We do not know the basis  
5 on which that was done.

6 Can I address the other question that was raised at  
7 the outset? The proceedings against -- our proceedings  
8 against the Commission against its decision not to act.

9 THE CHAIRMAN: That was not quite the question. The  
10 question was what did the Commission say?

11 MR. BOWSHER: Well ...

12 THE CHAIRMAN: Was it not? I think that was the question  
13 I meant to ask. I thought I had.

14 MR. BOWSHER: Yes. I can show you the references for the  
15 exchanges.

16 THE CHAIRMAN: Dr. Elphick will read out the precise thing  
17 of which we wanted particularisation. He has it marked.

18 DR. ELPHICK: This is the Inmarsat skeleton, and it is  
19 paragraph 12, last sentence:

20 "The Commission has rejected Viasat's complaints."

21 We were saying what were the complaints and what was  
22 the form of the rejection?

23 MR. BOWSHER: Can I give you all the references and I will  
24 point out the answer to that question, but put it in the  
25 context of other references. Can I do it at a clatter

1 slightly? They are all marked in yellow, I think  
2 largely because there is this issue about whether or not  
3 we should be disclosing general court documents in these  
4 proceedings, so a lot of these documents are marked  
5 yellow, lest we disturb the serenity of the proceedings  
6 of the general court. I will give you the references  
7 which I will refer to.

8 We wrote to the Commission on 2 August 2016, that is  
9 E1/49, asking for the Commission to prevent the NRA from  
10 authorising Inmarsat EAN in breach of EU law. Our  
11 request --

12 THE CHAIRMAN: Sorry, what page?

13 MR. BOWSHER: E1/49, page 18. I was not going to turn them  
14 all up now.

15 THE CHAIRMAN: If you just rattle through the story and give  
16 us the references, I think we will then decide whether  
17 we want to have a quick look at them now or later on.

18 MR. BOWSHER: Certainly.

19 We issued a formal notice for the Commission to act  
20 on 22 December 2016, which is E2/57.

21 THE CHAIRMAN: E2/57. Sorry, E2/57 is a notice of?

22 MR. BOWSHER: A formal notice to the Commission asking the  
23 Commission to act, asking them to take the action which  
24 we have previously asked them to take.

25 THE CHAIRMAN: Yes.

1 MR. BOWSHER: E2/58 is the Commission's rejection of that.  
2 So that, I think, is, technically speaking, the answer  
3 to your question. Sorry, E2/58 is the response of the  
4 Commission, so that is the answer to the question, and  
5 the first full paragraph on the second page is their  
6 formal answer to their position. So E2/58, second page  
7 bottom.

8 THE CHAIRMAN: Beginning, "In response to your request ..."

9 MR. BOWSHER: Yes.

10 THE CHAIRMAN: I see.

11 MR. BOWSHER: While you still have the file there, let me  
12 then take you to what happened next.

13 MR. HOLMES: Could you take them to 59, please.

14 THE CHAIRMAN: Did you say 59?

15 MR. BOWSHER: 59. You are quite right, 58 is the response  
16 to the first letter, 59 is the response to the formal  
17 notice.

18 THE CHAIRMAN: So we should be looking at 59, not 58.

19 MR. BOWSHER: You need to look at 58 for the substance. You  
20 get the substance in reponse at 58. 59 I think is the  
21 formal response to the formal letter, but the substance  
22 is in whatever I said first. (Pause)

23 THE CHAIRMAN: I am not sure that that paragraph you  
24 referred to makes much sense without looking at the  
25 prior documents. Not your fault. We can do that. You



1           have limited time for your opening and that is our  
2           request, so unless Dr. Elphick wants to go back and do  
3           them with you --

4       DR. ELPHICK: No, that is okay. At least we know where they  
5           are.

6       MR. BOWSHER: Can I finish the trail? E2/69 is then our  
7           application to the general court in that regard. If  
8           I can just direct you, it is a longish document, but if  
9           all you want is a summary of what we say in our  
10          application to the general court, that is at  
11          paragraphs 10 and 11 on pages 4 and 5 of that  
12          application at E2/69.

13       THE CHAIRMAN: Now, this application to the general court  
14          is --

15       MR. BOWSHER: Our application.

16       THE CHAIRMAN: -- in the nature of a pleading, is it?

17       MR. BOWSHER: Yes.

18       THE CHAIRMAN: So this is how you start proceedings in the  
19          general court. Could you explain to me why it is  
20          yellow, then? Why is this effectively not a public  
21          document or why cannot it be safely treated as a public  
22          document? As you will gather, Mr. Bowsher, and I have  
23          probably said before, I tend to be rather allergic to  
24          obsessive claims for confidentiality and I am itching  
25          slightly.

1 MR. BOWSHER: The issue here -- at the beginning of the  
2 confidentiality saga, one of the issues which was thrown  
3 up was a concern that we were misusing -- you may  
4 remember -- there is no reason why you should remember,  
5 and it is buried in the files that are underneath here  
6 because --

7 THE CHAIRMAN: Let's assume I do not.

8 MR. BOWSHER: But there was a letter which you did see some  
9 months ago in which one of the concerns was it was said  
10 that we were using information that we had derived from  
11 pleadings in the general court, and that this --

12 THE CHAIRMAN: I do remember, yes, it has come back to me.

13 MR. BOWSHER: -- was a concern. I think we may be being  
14 over scrupulous here, but as far as I am aware, there is  
15 nothing exactly confidential here, but our concern was  
16 to identify matters which are in the general court, lest  
17 it be said that we were improperly bringing into these  
18 proceedings matters from the general court. It may be  
19 that we have been over scrupulous here.

20 THE CHAIRMAN: But the document you have just referred to is  
21 not somebody else's document provided to the general  
22 court. It is your document.

23 MR. BOWSHER: It is our own document, exactly.

24 THE CHAIRMAN: It is your document provided to the general  
25 court.

1 MR. BOWSHER: Certainly the paragraphs I have referred to  
2 are not in any way confidential. I do not want to waste  
3 any more time on it.

4 THE CHAIRMAN: Well, we will have a look at these documents  
5 but anybody who cares about the confidentiality of the  
6 particular documents to which reference has just been  
7 made should be alerted to the fact that I shall -- we  
8 shall review very carefully whether those particular  
9 documents in those respects really do fall to be treated  
10 as confidential.

11 Mr. Ward?

12 MR. WARD: Sorry, Mr. Bowsher has kindly given me the  
13 opportunity to clarify. There is a reference that is  
14 wrong in our skeleton and as Dr. Elphick asked what we  
15 were saying in our skeleton, could I ask you to turn it  
16 up at page 5. The skeleton numbering is pages 10 and  
17 11, and it is paragraph 36 where we quote from some of  
18 the correspondence, which is how we say we make good the  
19 proposition that Dr. Elphick identified earlier on in  
20 the skeleton.

21 THE CHAIRMAN: Sorry, I should be in your skeleton.

22 MR. WARD: Which is hopefully green, and it is under tab 5.

23 THE CHAIRMAN: Right.

24 MR. WARD: We have conscientiously characterised the text as  
25 confidential.

1 THE CHAIRMAN: Sorry, I am not sure I am on the right page.

2 MR. WARD: Paragraph 36. The page numbering is in the  
3 bottom left-hand corner of the pages.

4 THE CHAIRMAN: Ah, yes, thank you.

5 MR. WARD: The documents we refer to there, the first one is  
6 correctly cited at the bottom as E1/49, which is one of  
7 the ones Mr. Bowsher drew your attention to.

8 THE CHAIRMAN: Yes.

9 MR. WARD: Then the letter of reply which is then quoted is  
10 E2/58, which he also showed you one paragraph of  
11 a moment ago. So that is on the next page. A quotation  
12 from two paragraphs they are quoting from the  
13 Commission, that is document E2/58 and the footnote is  
14 wrong. These two documents we would respectfully urge  
15 you to read -- they are both fairly short -- to see the  
16 tenor of the exchange between Viasat and the Commission.

17 THE CHAIRMAN: Thank you.

18 MR. BOWSHER: 10 and 11 I would ask you to go back and read  
19 because that summarises what we are saying in the  
20 general court proceedings. For completeness, E2/83 is  
21 our reply in those proceedings. What I wanted to do was  
22 to go through the authorisation and then go through our  
23 grounds of claim.

24 THE CHAIRMAN: Yes.

25 MR. BOWSHER: I am conscious that time is rattling against

1 me. So the authorisation is in file B. The version we  
2 are using is at tab 1. That is the most unredacted  
3 version currently in existence. It should not be  
4 necessary to look at the other versions, but they are  
5 only there because occasionally some confusion arises  
6 because different versions get referred to at different  
7 times, depending what has been available in the  
8 proceedings.

9 You will, I am sure, have studied this already and  
10 picked up. Much of the material here is copied from  
11 elsewhere, so I wanted to jump into the operative part  
12 of the authorisation. This is the -- as it says, it is  
13 the reasons for authorising ground stations of Inmarsat  
14 as CGCs. We say they are not properly regarded as  
15 complementary ground components. The question is: are  
16 they? This is the authorisation which is the subject of  
17 attack in this case.

18 I am not going to go through all of it now. There  
19 is, from section 3, a description of Inmarsat's EAN  
20 which starts with some of the history, although not  
21 a great deal, and tells you how the EAN works.

22 What it tells you on page 9 under the "Terrestrial"  
23 segment is:

24 "When it is completed the terrestrial segment of the  
25 EAN will comprise around 300 ground-based systems. As

1 at June, 41 have been completed."

2 Well, that statement I think has to be seen in the  
3 light of what we read just before the break as to what  
4 "completed" actually means. I do not think there is any  
5 suggestion -- I am not sure there is any suggestion that  
6 anything has actually been built from new, if I can put  
7 it that way.

8 So this is Deutsche Telekom using, as far as we can  
9 see, its network to build upon the existing network,  
10 presumably just to put some additional equipment on its  
11 existing network, to a considerable degree.

12 "Satellite segment" starts at 3.11 and you can see  
13 that there is a reference to its new satellite being  
14 launched. There is then a description of the  
15 two different terminals, Cobham and CSM, and  
16 essentially, you have a large terminal and a small  
17 terminal. The CSM is the smaller terminal, and there  
18 are parts here which I cannot read out.

19 The key point, the key summary is at 3.19:

20 "Inmarsat confirmed that the EAN network  
21 functionality can technically be provided without the  
22 satellite-facing EMAAS terminal installed on the  
23 aircraft."

24 You can see what it then says in the second  
25 sentence, which we say is important.

1           They then say, 3.20:

2           "The terrestrial segment carries more traffic than  
3           the satellite segment. While the satellite segment can  
4           provide full coverage, it has lower performance."

5           Well, we would agree with that as a matter of fact,  
6           but that is the way this system has been designed. It  
7           is a ground system. Technically speaking, the satellite  
8           covers geographically much, if not most, of the European  
9           area. It does not, however, perform so as to -- it has  
10          significantly lower performance, as it says,  
11          particularly in dense areas.

12         THE CHAIRMAN: Does that mean dense physically, closely  
13          impacted physical features, or does that mean dense in  
14          population?

15         MR. BOWSHER: I think in this case it must mean density in  
16          users, which will be a function of -- it is not clear.  
17          But from a functional point of view, density would  
18          relate to where aircraft are. It would be density of  
19          use, because if this is a service only going to  
20          passenger aircraft, it would be a function of where  
21          there are a lot of passenger aircraft. So density would  
22          be over a place where you will have a lot of people  
23          trying to watch Netflix at the same time in their  
24          aeroplane seat. It would have nothing to do with either  
25          urban -- not necessarily anything to do with urban

1 density or geographical features.

2 A key point is installation time, 3.22. I am not  
3 going to take all -- I think you will take it as read  
4 that this is always significant, but 3.22, Ofcom  
5 understands that where the satellite terminal is  
6 installed, it is likely to be installed after the  
7 ground-facing terminal, and this is all to do with  
8 installation times. So there will be a period in which  
9 planes have the ground-facing terminal and not the  
10 satellite-facing terminal. It is the expectation for  
11 this system. There is no indication as to how long that  
12 would be, but it is clear from 3.22 that even Ofcom  
13 thinks it could be up to a year. But we have no  
14 particular assurance one way or the other as to whether  
15 it is shorter or longer.

16 Then we have the key question: is the EAN offered  
17 and marketed as a hybrid system? This is, of course,  
18 Ofcom's -- this is the raft that Ofcom hang on to. It  
19 may be that the terrestrial segment is the strong part  
20 of the -- is the functioning part of the network, but  
21 they call it a hybrid because, they say, it is being  
22 offered to airlines without exception, so that you get  
23 both terminals. It is not considering offering  
24 a CGC-only EAN. Essentially, all of these points are  
25 saying: well, we are offering both to everyone. We are



1 not going to be selling just the CGC, what they call the  
2 CGC terminal.

3 They seem to rely on expectation, Ofcom does, (e),  
4 again, which I am not going to read out but this comes  
5 from some marketing material, there seems to be  
6 an expectation as to how Inmarsat's customers will  
7 operate, and on the basis of that expectation reflected  
8 in (e), that is somehow thought to be sufficient.

9 THE CHAIRMAN: It does not come from marketing material. It  
10 came from a letter which Inmarsat wrote.

11 MR. BOWSHER: Yes, which I think is itself ... yes, okay.  
12 You are right, sorry.

13 THE CHAIRMAN: I would not expect that to be in marketing  
14 material.

15 MR. BOWSHER: Sorry, not marketing material: survey  
16 material. There is a survey which is referred to.  
17 I misspoke. It is not marketing.

18 Then the next paragraph we can see that there is  
19 a reference to a large European carrier under  
20 "Negotiation". I do not think we need to be too  
21 secretive about that, because it is clear from other  
22 documents that the first customer is IAG.

23 What then follows I am not going to read out, but  
24 the key point there is 3.26:

25 "Inmarsat said the contracts do not deal with the

1           specifics, so there is no obligation to install the  
2           satellite."

3           3.27 ...

4           "However, Inmarsat informed Ofcom that customers  
5           will be obliged to install the integrated aircraft  
6           communications manager."

7           Then 3.27, again the highlighted part is important  
8           because while they have entered into a contract with  
9           their launch customer, the terms in which they ensure  
10          the CGC terminal -- sorry, the satellite terminal is  
11          installed is rather odd.

12          There is then 3.28, a reference, again, to what  
13          airlines wish for. What you can see from the  
14          highlighted passage on 3.28 is that, again, there is  
15          a particular note as to what airlines are looking for,  
16          which, again, I will not read out. But what is  
17          particularly important is perhaps what the second  
18          50 per cent are interested in at the top of page 14.

19          That feeds into the conclusion on the next page. We  
20          are talking here about the ground stations, and Ofcom's  
21          conclusion, which they say gets them home, the  
22          ground-based stations will be used at fixed locations.  
23          This is page 4.4 on page 15. Ground-based stations will  
24          be used at fixed locations. The EAN, this is (b), will  
25          use both satellite and terrestrial. The ground stations

1 will, therefore, be complements to the MSS.

2 We say no, that is not right in -- it is not right  
3 as a matter of language. It is not right as a matter of  
4 function. The ground stations are the system; they are  
5 not complementing the satellite segment. This is  
6 a ground-based service with a satellite supplement:

7 "(c) The ground-based will improve the service of  
8 MSS because the satellite segment alone would have  
9 a lower performance."

10 That is the way it has been designed. We will come  
11 on to this, but this is purely a question of the design  
12 of this particular system. But they say:

13 "The ground-based system has improved the  
14 availability because the satellite segment would have  
15 a lower performance, particularly in very dense areas,  
16 than an integrated system. Inmarsat's intention is,  
17 therefore, that the terrestrial segment will be used as  
18 complements to the MSS."

19 We say, again, this misstates the true nature of the  
20 system. The satellite segment has coverage. It has low  
21 performance, as we have already seen. That is the way  
22 it has been designed, that is the way in which it  
23 actually performs, but just by providing a low  
24 performance satellite you cannot then justify and say:  
25 well, having put in place all this ground segment to

1 supplement it, they do not become implements. On the  
2 contrary, what you have actually done is put in place  
3 a low performing satellite system, but it is not -- the  
4 actual end product is a ground system with a small  
5 measure of satellite supplement to cover those areas  
6 where there is no ground coverage.

7 Then they turn on to the common conditions, 4.6(a)  
8 to (d). They say, 4.6(b):

9 "The CGCs are an integral part of the EAN system  
10 controlled by the satellite resource and network  
11 mechanism ... use the same direction of transmission,  
12 same proportions of frequency bands."

13 We will come on to that in our claim. We say that  
14 is not the case:

15 "Inmarsat's satellite has been launched, is  
16 currently operational, no reason to suppose that the  
17 component will be unavailable for any period."

18 Well, that is a misuse of the word "unavailable",  
19 because yes, it may be that the satellite is there and  
20 it may be that it is providing coverage, but  
21 availability, as we said already, involves an ability to  
22 actually receive and use that service.

23 The satellite system is not available. The  
24 satellite component is only available to a very small  
25 number of aircraft. As we have seen from the decision,

1           it may not, in fact, be available. There may be  
2           a number of aircraft for whom it is actually  
3           functionally unavailable for some time because they will  
4           not have the satellite-facing terminal, so they will  
5           actually be incapable of receiving the satellite  
6           segment.

7           Even if they are capable of receiving the satellite  
8           segment, the satellite itself is only capable of meeting  
9           the demand of a small number of aircraft, depending how  
10          one looks at the evidence, between 2 and 20. That we  
11          will come on to in ground 1 of our claim in the  
12          definition of a complementary ground component.

13          The only reference in the decision to that last  
14          point is in paragraph 4.9 where they say:

15                 "Ofcom notes that the EAN service technically be  
16                 provided without the satellite terminal being installed,  
17                 and there may be incentives not to install those,  
18                 despite having purchased the integrated system."

19          But our key point there is we actually know from  
20          what one has seen in the authorisation that there will  
21          be a period where the satellite terminal is not in  
22          place.

23          MR. HOLMES: Can you read on?

24          MR. BOWSHER: "Being used as complementary components... and  
25          that use is being made of the MSS, including of the

1 Satellite Terminal, by aircraft which utilise Inmarsat's  
2 service, despite having purchased an integrated system  
3 from Inmarsat."

4 Or the next paragraph:

5 "Ofcom therefore intends to monitor carefully the  
6 deployment of the EAN in order to ensure that  
7 ground-based stations are indeed being used as  
8 complementary components of the EAN and that use is also  
9 being made of the MSS, including the satellite terminal  
10 by aircraft which utilise Inmarsat's service."

11 In our submission, to say: well, in the  
12 circumstances -- in the facts of this case just to say  
13 "we are going to monitor going forward" does not answer  
14 the case. It is plain when you look at the design  
15 parameters of this system, what we are able to deduce  
16 and what has been said about it, that this system is not  
17 going to operate as a mobile satellite system properly  
18 defined because it is, as I say, a ground system.

19 We put that two ways and we get on to that in  
20 ground 1 of our appeal.

21 THE CHAIRMAN: Just before you move on, can you just clarify  
22 one thing for me which I have lost sight of? This  
23 covers authorisation of CGCs only.

24 MR. BOWSHER: Yes.

25 THE CHAIRMAN: They also need an authorisation to operate

1           the satellite, the MSS, do they not?

2       MR. BOWSHER:   Yes.

3       THE CHAIRMAN:  Do they get that from the European

4           authorisation or is there a separate authorisation?

5       MR. BOWSHER:  No, there is a separate authorisation.

6       THE CHAIRMAN:  We have not seen that, have we?

7       MR. BOWSHER:  We have referred to it in our skeleton.

8       THE CHAIRMAN:  Just tell me what and where it is, just for

9           the sake of completeness.  I am pleased that the length

10          of time it has taken you to find it means that it is not

11          such a foolish question.

12       MR. HOLMES:   Tab 13 of E1.

13       MR. BOWSHER:  Thank you.  E1/13, there we are.

14       THE CHAIRMAN:  Thank you, and it is an authorisation by?

15       MR. BOWSHER:  Ofcom.

16       THE CHAIRMAN:  Ofcom.  Thank you very much.

17       MR. BOWSHER:  That is an authorisation from 2010.

18       THE CHAIRMAN:  Right.

19       MR. BOWSHER:  The error of thinking, in our submission, what

20          Ofcom has essentially done is having given that

21          authorisation for MSS, it has then moved on and looked

22          at the ground stations, and considered, "Well, how do we

23          deal with the application for ground stations?"  There

24          is a period of years in between.

25                 What they have not, in our submission, gone back and

1 done is considered, "Well, what now is the system to  
2 which these ground stations are said to be  
3 complementary?" In that time, there has been the change  
4 which we have already discussed before lunch.

5 So whether or not -- it is an idle question.  
6 Whether or not these ground stations would have been  
7 complementary to the originally proposed system we are  
8 not able to tell.

9 What we have -- the two points are, firstly, that  
10 they got their authorisation on one basis and there has  
11 been no reassessment as to whether there is simply  
12 an assumption that what is now being put forward is  
13 an appropriate satellite service, and you judge  
14 complementarity, as it were, by reference to what is now  
15 being put forward.

16 But that is a fundamental error if what, in fact, is  
17 a system which is not complying with the essential  
18 requirements of the legislation and if, in fact, the  
19 system as now designed is of such low performance that  
20 it is simply an abuse of language to call the function  
21 of the ground stations "complementary", because what you  
22 now have as a matter of design is a ground station  
23 system with some satellite supplement.

24 "The vast network", which is referred to, the vast  
25 network of 300 towers across Europe is the ground



1 network.

2 Now, in terms of the definition, in terms of our  
3 challenges, we attack this in a number of ways.  
4 Ground 1, we say, first, that the EAN is not a mobile  
5 satellite system, and we say that as a matter of  
6 definition.

7 Then we go on to say that the terrestrial segment is  
8 not a complementary ground component of a mobile  
9 satellite system. Of course, that is based on  
10 two points: firstly, it is not a mobile satellite  
11 system. It follows on from the first point. But,  
12 secondly, the nature of the system is such that the  
13 terrestrial segment, the ground stations, cannot be  
14 regarded as a complementary ground component.

15 The whole purpose of this legislation to establish  
16 and promote a satellite solution is completely  
17 undermined by the change of design and the change of  
18 solution under which Inmarsat puts forward  
19 a low-performing satellite which simply cannot meet the  
20 function which it is intending to market. It goes out  
21 to market and says, "Look, we have got this great new  
22 solution, a vast network of 300 towers". That is how,  
23 in fact, they are going to meet their commercial  
24 requirement, and the satellite, as I say, is  
25 a supplement on top.

1           If anything is complementary to anything, it is that  
2           the satellite is complementary to cover those small --  
3           that small portion of service where the ground network  
4           cannot function.

5       THE CHAIRMAN: Now, does this point turn on the use of the  
6           English word "complementary" or does it turn on the  
7           definition of CGC in the relevant documentation? There  
8           is a difference between those two and I am struggling to  
9           understand which is the point that you are making.

10       MR. BOWSHER: Both. Can I go through them in sequence,  
11           because there are three points in sequence. Is this  
12           a mobile satellite system? Is it complementary? Then  
13           a definitional question about complementary ground  
14           components.

15       DR. ELPHICK: Could I just ask another question for  
16           clarification. Are you making the case that the  
17           decision that Ofcom has taken here, are you arguing it  
18           is irrational or it is illegal or both?

19       MR. BOWSHER: We are saying that it is wrong in law because  
20           it fails to apply the EU legal requirements.

21       DR. ELPHICK: Yes. That is the phrase you choose?

22       MR. BOWSHER: It is wrong. It is as plain a judicial review  
23           ground as you can have: it is wrong in law, they have no  
24           jurisdiction to --

25       THE CHAIRMAN: It is virtually ultra vires, if you like.

1 MR. BOWSHER: Virtually, yes --

2 THE CHAIRMAN: The vires is given by the European  
3 legislation, and it is more than vires, it is  
4 a direction. But you say that this system falls outside  
5 of that which they are obliged and therefore entitled to  
6 do.

7 MR. BOWSHER: Yes.

8 THE CHAIRMAN: So it is pretty close to a vires point, is  
9 not it?

10 MR. BOWSHER: Yes.

11 So the first point is that the system that is now in  
12 play, or is now being marketed, does not qualify as  
13 a mobile satellite system, and this is a definitional  
14 issue. For this, you take the definitions of the  
15 relevant entities -- of the relevant concepts in the EU  
16 decision in file F. The definition, it is tab F/6,  
17 page 22A.

18 THE CHAIRMAN: Sorry, page?

19 MR. BOWSHER: Sorry, the second page, my fault. It is  
20 page 18, internal page 18.

21 THE CHAIRMAN: Yes.

22 MR. BOWSHER: 2(a), mobile satellite system:

23 "A mobile satellite system shall include at least  
24 one space station."

25 A space station -- I forgot to take us through this

1 before when we were going through the legislation. The  
2 definition of a space station comes from the ITU. It is  
3 at the very end. I will read it now and take you to it  
4 later:

5 "A space station is defined as a station located on  
6 an object which is beyond or is intended to go beyond or  
7 has been beyond the major portion of the earth's  
8 atmosphere."

9 In this term, it is a satellite.

10 MS. WALKER: May I ask a question?

11 THE CHAIRMAN: Yes, please.

12 MS. WALKER: This question about where the definition for  
13 a mobile earth station comes from is one that, as  
14 I understand it, is disputed.

15 MR. BOWSHER: Yes.

16 MS. WALKER: Is there anything in the EU framework which  
17 definitely points us to the ITU definition of mobile  
18 earth station?

19 MR. BOWSHER: There is nothing which specifically points us  
20 to that definition. There are the various references  
21 which I took time to go through this morning as to why  
22 you would refer to the activities of the ITU,  
23 specifically the provision about how in conducting this  
24 exercise one should have regard to the definitions of  
25 the ITU. I will get the reference for that earlier.

1           There is a general reference, not a specific reference,  
2           to that definition.

3       MS. WALKER: But it is having regard to rather than  
4           something which is definitional within the EU framework.

5       MR. BOWSHER: Exactly, it is not saying this definition from  
6           the ITU is to be applied here. Thus we say to  
7           constitute a mobile satellite system, there must be  
8           a satellite and a mobile earth station, which is  
9           essentially something on the earth that is moving, and  
10          a plane would count as one of those because it is within  
11          the atmosphere: a plane, a car, whatever. There must be  
12          a space station and a mobile earth station in each path.

13       THE CHAIRMAN: Sorry, it is not -- forgive me, it is not the  
14          plane or the car which is the mobile earth station, but  
15          the receiving apparatus. If you like, the analogy is  
16          the hand-held device.

17       MR. BOWSHER: The hand-held device. The receiver, the  
18          moving receiver, exactly.

19       THE CHAIRMAN: Right, and the moving receiving equipment.

20       MR. BOWSHER: Yes. Therefore, in instances where  
21          complementary ground components are deployed, those  
22          stations must be able to provide 2 GHz band  
23          radiocommunication services with mobile earth stations.  
24          Sorry, I was reading my own note.

25       THE CHAIRMAN: In that case, you are certainly reading

1 something I am not reading. Thank you.

2 MR. BOWSHER: The term "mobile earth station" is not  
3 defined, but in the absence of any other definition and  
4 given the context which we covered this morning, we  
5 would suggest that it must be right that primacy must be  
6 given to the ITU definitions.

7 I can just give you the references to where we take  
8 all of that from. The harmonisation decision in  
9 Article 1(3) says activities must take into account the  
10 work of international organisations. The framework  
11 directive says that Article 9(1) says that member states  
12 must act in accordance with ITU radio regulations. The  
13 EU decisions says that the use of the 2 GHz band is  
14 subject to ITU processes which apply to satellite  
15 systems providing mobile satellite services.

16 So we would suggest in that context, where this  
17 whole regulatory framework is within an ITU process and  
18 has been governed by it and is referred to therein, it  
19 would be perverse not to use the ITU definition.

20 One gets that definition from, again, file F18,  
21 1.68.

22 DR. ELPHICK: Sorry, which tab was that?

23 MR. BOWSHER: Tab 18. Definition 1.68:

24 "An earth station in the mobile-satellite service  
25 intended to be used while in motion or during halts at

1 unspecified points."

2 You have to accumulate fuller -- you can pull  
3 together more detail from that by pulling together what  
4 is a station, which is 1.61, what is an earth station,  
5 which is 1.63, and what is a mobile satellite service,  
6 which is 1.25, a couple of pages before. We have set  
7 this out in writing in our skeleton.

8 THE CHAIRMAN: Sorry, 1.25, you say?

9 MR. BOWSHER: 1.25.

10 THE CHAIRMAN: Yes.

11 MR. BOWSHER: We have set this out in our skeleton, but  
12 I re-state it here. If you pull together those  
13 different definitional components from this text, you  
14 identify the following features: that a mobile earth  
15 station must comprise one or more transmitters or  
16 receivers at the station including necessary accessory  
17 equipment. That you get from the definition of  
18 a station. Those transmitters or receivers must be  
19 necessary for carrying and must be intended to carry  
20 a radiocommunications service with one or more space  
21 stations, and you get that, again, from the definition  
22 of "station" in 1.61.

23 THE CHAIRMAN: This ITU document is dated 2016. Can we  
24 conclude that it is -- the same definition was in  
25 existence at the time of the decisions in question,

1           because that is significant?

2           MR. BOWSHER: Yes, we have checked that. I will  
3           double-check if necessary. I will see if I can find the  
4           then-prevailing document.

5           Sorry, so the transmitters must be necessary for  
6           carrying and must be intended to carry  
7           a radiocommunication service with one or more space  
8           stations. That comes from the --

9           THE CHAIRMAN: What paragraph of your skeleton do we see  
10          this? You have set it out in your skeleton. That is  
11          the easiest way to follow this through, I think. Nobody  
12          has challenged the knitting you have done in order to  
13          achieve it, so I think we can just safely work from  
14          that.

15          MR. BOWSHER: Paragraph 32.

16          THE CHAIRMAN: Thank you.

17          MR. BOWSHER: I have covered 33(a):

18                 "The mobile earth station must comprise one or more  
19                 transmitters at the station, including accessory  
20                 equipment. They must be necessary for carrying and must  
21                 be intended to carry a radiocommunication service with  
22                 one or more space stations and it must be intended to be  
23                 used while in motion."

24                 I should just add to that note that the station,  
25                 each station is classified by the service in which it



1 operates permanently or temporarily.

2 THE CHAIRMAN: I do not understand what that means.

3 MR. BOWSHER: I think it is a functional test, so you look  
4 to see what something is by what it is actually doing.

5 THE CHAIRMAN: How does that translate in this situation?

6 MR. BOWSHER: If what the ground-facing terminal is doing is  
7 talking to the ground, it is a ground-facing terminal.  
8 It is not a -- it is not functioning as a satellite --  
9 something that is communicating with the satellite. It  
10 is not, therefore, meeting the requirements of the  
11 mobile earth station because it is not carrying or  
12 intended to carry a radiocommunication service with one  
13 or more space stations.

14 THE CHAIRMAN: Right.

15 MR. BOWSHER: In this case, that really lies at the heart of  
16 the error. This was an important requirement. The  
17 harmonisation decision tells us that this whole regime  
18 is about earth to space, not terrestrial applications.  
19 For reasons which I have perhaps laboured before, it is  
20 necessary to ensure that there is a mobile earth station  
21 in every path to prevent what one might call "flags of  
22 convenience" we have seen referred to in the CEPT  
23 document, to impose a functional requirement for there  
24 to be an effective satellite system to further the  
25 goals.

1           What you have here, taking Ofcom's findings as to  
2           the operation of the EAN's estimate, is the satellite  
3           terminal equipment that is necessary for carrying out  
4           a radiocommunication service to or from the satellite.  
5           It is the satellite terminal that amounts to a mobile  
6           earth station.

7           The ground-facing terminal cannot be a mobile earth  
8           station. It cannot speak to the satellite. The primary  
9           reason why it cannot speak to the satellite is it does  
10          not speak the right language. It speaks LTE, not  
11          a language which can communicate with the satellite.

12          Now, there is an issue which may be debated by  
13          Dr. Webb and Mr. Sharkey and we will come back to where  
14          that goes. Where the common ground between those  
15          witnesses is now, it seems to be that yes, you can have  
16          a system in which one language is used to communicate  
17          both with the satellite and the ground. There exists  
18          such a language. It is called DVB-SH.

19          Now, this EAN system does not do that. It has one  
20          language going up and a different language going down.  
21          So simply as a matter of language, this ground-facing  
22          terminal is not intended and cannot function as  
23          something that speaks to the satellite. It cannot  
24          qualify as a mobile earth station, and if that is right,  
25          the service cannot qualify as -- the system cannot meet

1 the definition of a --

2 THE CHAIRMAN: What is the system for these purposes?

3 MR. BOWSHER: Mobile satellite system. The EAN cannot meet  
4 the definition of a mobile satellite system because it  
5 is not -- sorry, you need to go back to the definition  
6 of mobile satellite system, because a mobile satellite  
7 system involves communication between a mobile earth  
8 station and one or more complementary ground components.

9 Well, if the communication between the ground-facing  
10 terminal and -- sorry, if the ground-facing terminal is  
11 not a mobile earth station, its communication with  
12 a complementary ground component does not qualify within  
13 the definition of mobile earth station. We can take  
14 that again.

15 THE CHAIRMAN: Take it when I have turned up the definition  
16 again if you would, please. Right.

17 MR. BOWSHER: If you have the EU decision, Article 2(2),  
18 which I do not, but anyway --

19 THE CHAIRMAN: I see. So all the talking has to be done by  
20 either a satellite or a complementary ground station and  
21 a mobile earth station as defined, which means one which  
22 is capable of talking to a satellite.

23 MR. BOWSHER: Exactly.

24 THE CHAIRMAN: So you imagine a unit which is capable of  
25 talking to a satellite, but which pro tem is talking to

1           the ground for various reasons. It has to be the same  
2           box. You say the EAN has two boxes, one above the plane  
3           and one below the plane, and therefore, what the CGC is  
4           talking to is not a mobile earth station.

5       MR. BOWSHER: Exactly.

6       DR. ELPHICK: Are you saying an aeroplane can only have one  
7           mobile earth station on it?

8       MR. BOWSHER: Yes. Well, I suppose it could have two mobile  
9           earth stations, but what is talking to the ground must  
10          be capable of talking to the satellite.

11       DR. ELPHICK: It could have two, one of which talks to the  
12          satellite and one of which talks to the ground.

13       MR. BOWSHER: It could have two -- the reason why the  
14          ground-facing terminal absolutely cannot speak to the  
15          satellite is a question of language, not necessarily --  
16          there is -- I am not sure that there is a clarity as to  
17          whether or not the fact that one is above and one is  
18          below makes it impossible for the ground-facing terminal  
19          to speak to the satellite.

20                After all, you know, there will still be  
21          a communication. The plane is not so solid that there  
22          will be no possibility of communication. It may be  
23          better for the terminal to be on the top, but that is --  
24          the evidence does not go into that sort of technical  
25          detail.

1           The reason why a ground-facing terminal -- this  
2           ground-facing terminal in the EAN cannot be a mobile  
3           earth station is a question of language. You could have  
4           two mobile earth stations, one on top, one on bottom,  
5           both of which are capable of talking to a satellite, or  
6           if you are able to physically configure it, either  
7           because of the nature of the mobile earth station or the  
8           location within the plane, or you found a location in  
9           the plane where the mobile earth station would work,  
10          then you could have one mobile earth station as long as  
11          you satisfied yourself technically that it was able to  
12          speak to both satellite and ground.

13                 But the reason here why it does not work is the  
14          language problem.

15         THE CHAIRMAN: So if you could contrive a piece of equipment  
16          which had the aerial on top, the kit on top, so it had  
17          a line of sight to the satellite and it had a piece of  
18          string going round the aircraft, and no more than a  
19          piece of string, to an aerial, and a no more than  
20          an aerial underneath which connected back up to that  
21          same system and they were all talking the same language,  
22          that would be all right, would it not, on your analysis?  
23          You would have one box capable of talking to the  
24          satellite, also capable of talking to the ground where  
25          necessary, but crucially, capable of talking to the

1 satellite and only one box.

2 MR. BOWSHER: Leaving aside the piece of string.

3 THE CHAIRMAN: Yes, I informalise it deliberately.

4 MR. BOWSHER: Either you design the plane so that one or  
5 two stations will work, or whether -- it is not the case  
6 that the communications path, you know, cannot reach  
7 a terminal underneath the plane. There may be limits as  
8 to what can and cannot be done. But that is a question  
9 of aerodynamic design, but that is not the essential  
10 limit.

11 In any event, this limitation was put in place  
12 specifically and this legislation did not specifically  
13 require that it be used for this purpose. I mean, yes,  
14 it was one of the things which people had in mind, but  
15 what was required was that the mobile satellite system  
16 involved the use of a mobile earth station and it  
17 required that for a good policy reason; to demand  
18 effectively that this spectrum was used for satellite.  
19 It was not technology neutral. This is a non-technology  
20 neutral demand and you either meet it or you do not.

21 MS. WALKER: May I just ask one point?

22 THE CHAIRMAN: Yes, of course, please.

23 MS. WALKER: I may have misread the papers, but I had  
24 thought that the connection -- the key issues were not  
25 the satellite on the top of the aircraft and the

1 satellite below, but I think it is called a network  
2 connection manager between the two. That does not  
3 invalidate the point you are making about whether both  
4 do or do not speak the language, but technically,  
5 I thought the link was in the piece of equipment in the  
6 middle of the aircraft.

7 MR. BOWSHER: That is the next point I was just coming on  
8 to, which is also -- what Inmarsat say is the  
9 two terminals are connected by a string, more than  
10 a string, within the aircraft to an on board server, and  
11 they say that this, therefore, means the whole thing is  
12 a single terminal.

13 Now, we say by that leap of logic, all of the  
14 different pieces of communications equipment would  
15 somehow become a mobile earth station, and that is  
16 exactly the point which you picked me up on a moment  
17 ago. It is not the car. The earth station is the  
18 terminal itself, and one has to look at each terminal.

19 The key here is that the server is not necessary to  
20 provide radiocommunications, and this comes back to the  
21 ITU definitions we were just looking at. What is  
22 a terminal is defined by reference to what is necessary  
23 to make the satellite communication. The server is not  
24 that.

25 What the server does, as I understand it -- again,

1           you have got two terminals speaking different languages.  
2           They will take communications into a modem. Those  
3           two modems will then have to translate, if I can put  
4           that loosely. What they do is take those two paths and  
5           take it to the server and it is the server that dishes  
6           out the communications within the aircraft to the  
7           passenger who wants to watch Netflix.

8           The server is not an essential part of speaking to  
9           the space station. It is simply the means by which the  
10          product is delivered within the aircraft, and you can  
11          test that easily. The satellite terminal can provide  
12          radiocommunications with the satellite on its own, just  
13          as the ground-facing terminal can provide  
14          radiocommunications with the ground-based station on its  
15          own. That is what you need to make the communication.

16          So the server, Inmarsat's argument that you can  
17          somehow lump it all together and treat it as a -- and  
18          call it all a great big mobile earth station is just  
19          wrong. The plane is not the mobile earth station. The  
20          server's role is limited to providing a switching  
21          function, and that was consistent with what was said by  
22          Ofcom.

23          There is an error by Ofcom in not necessarily  
24          misunderstanding what the server was doing, but in  
25          failing to reach the correct legal analysis because what



1           they said was that there was a separate routing engine  
2           on the ground which determines whether services are  
3           provided by the ground-facing terminal or the satellite  
4           terminal, but that does not really take the matter  
5           further. The real point is that each satellite and  
6           ground-facing path are separate and work separately.

7           Even if the server were necessary -- and this is,  
8           again, the further level. Even if the server were  
9           necessary for conducting radiocommunications, which  
10          cannot be right for the reasons we have already said,  
11          that would not justify extending the scope of the mobile  
12          earth station definition to encompass the ground-facing  
13          terminal because, again, that terminal cannot  
14          communicate with the satellite. So there is  
15          a third level to it.

16          Even if you can include the server as part of the  
17          terminal, the ground-facing terminal still cannot  
18          communicate with the satellite. So the best you can say  
19          is: well, the mobile earth station is terminal plus  
20          server. You do not get the ground-facing terminal into  
21          the mobile earth station. If you do not get the  
22          ground-facing terminal within to the mobile earth  
23          station, you fail to comply with the essentials of the  
24          definition of a mobile satellite system.

25          Just to take a practical approach, it cannot be

1           sensibly argued that the ground-facing terminal amounts  
2           to a mobile earth station in instances where the  
3           satellite terminal has not even been fitted. As we have  
4           seen, it is clear that Ofcom knew and took into account  
5           that there would be a significant period of time where  
6           satellite terminals would not be fitted at all. There  
7           could not, therefore, be any satellite segment.

8           If the ground-facing terminal at that stage cannot  
9           be a mobile earth station -- so you have an airline  
10          flying backwards and forwards between London and  
11          Edinburgh for month after month after month using only  
12          the ground-facing terminal and then some maintenance  
13          period months and months in advance, they decide: now is  
14          the time we will put the CSM terminal on top, how is it  
15          that suddenly what has been a ground-facing terminal,  
16          which is plainly not a satellite service up until then,  
17          suddenly becomes -- this ground-facing terminal suddenly  
18          becomes a satellite terminal because something new has  
19          been stuck on the top? That, in our submission, makes  
20          no sense. Indeed, if the aircraft has been happily  
21          using the ground network all that time up until then, it  
22          seems surprising that its function will change at all.

23          So simply as a matter of fact, when you look at the  
24          way in which this service is going to be delivered, it  
25          seems highly unlikely that -- or, sorry, it seems very

1           likely that on many aircraft there will for a long  
2           period be terminals which could not on any view be  
3           a mobile earth station because there is nothing on the  
4           plane speaking to the satellite.

5           If that is right for any significant period, how can  
6           those ground-facing terminals suddenly become mobile  
7           earth stations overnight? That really is the first part  
8           of our argument, and that is sufficient for us. That is  
9           the end of the story if we are right on that point,  
10          because as a matter of definition, these ground stations  
11          could not meet the definition of complementary ground  
12          components because they are not part of mobile satellite  
13          system.

14        THE CHAIRMAN: Or because there is not a mobile satellite  
15          system.

16        MR. BOWSHER: Or because there is not one.

17        THE CHAIRMAN: Yes.

18        MR. BOWSHER: Then the second definitional attack we make is  
19          to go to the definition of complementary ground  
20          components in Article 2(2)(b) of the EU decision. I do  
21          not know if you have it in front of you still.

22        THE CHAIRMAN: Yes.

23        MR. BOWSHER: It is F6, page 18. Article 2(2)(b) defines  
24          ground-based stations, and I am picking words a little  
25          bit:

1            "To improve availability of mobile satellite  
2 services in geographical areas within the footprint of  
3 the satellite where communications with one or more  
4 space stations cannot be ensured with the required  
5 quality."

6            Then you need to go on to the common conditions in  
7 Article 8(3) of the same decision. Article 8(3) says,  
8 8(3) (b):

9            "Complementary ground components shall constitute an  
10 integral part of a mobile satellite system and shall be  
11 controlled by the satellite resource and network  
12 management system."

13           Well, that is the first stage. There is no mobile  
14 satellite system, it falls away. That is why we say the  
15 first part is the definitional question. The definition  
16 of complementary ground conditions is not complied with.

17           We then say but further, there is then the  
18 linguistic question that these ground stations cannot  
19 sensibly be regarded as complementary. So this is not  
20 looking at the words of the definition so much, but are  
21 they complementary?

22           There is, as we have seen, a legislative priority to  
23 the use of satellite in this system. That was  
24 intentional. The EAN does not meet that. It is almost  
25 a flagrant defiance of it. It does not make this

1 a satellite system to say there is some satellite here  
2 as the cherry on top of what is fundamentally  
3 a ground-based network.

4 Complementary means that something else is the  
5 primary or main purpose, and we say what the Tribunal  
6 must do in deciding whether or not these ground stations  
7 are truly complementary ground components is decide  
8 whether or not you can properly say that the plain  
9 purpose of the system of which they form part is  
10 something else, because if the main purpose of this  
11 system is a ground network, it is an abuse of language  
12 to call them, and therefore just wrong as a matter of  
13 definition, to call them complementary ground  
14 components. What was intended, what was the purpose,  
15 what is intended?

16 The actual purpose of the EAN, Ofcom recognised that  
17 it was necessary to consider whether the terrestrial  
18 segment is to be used as a complement, but seems to have  
19 considered that it followed from the fact that Inmarsat  
20 had stated that its EAN would make use of both satellite  
21 and terrestrial that the ground-based could be treated  
22 as a complement, but that cannot sensibly be right.

23 I am not going to expand all the factual detail,  
24 because we will get to that with the evidence of  
25 Dr. Webb and Mr. Sharkey. On either view, on the view

1 of the evidence you will see that the satellite in this  
2 system, as designed within the EAN, can never produce  
3 any more than some marginal improvement to the overall  
4 system. There are specific locations where the EAN  
5 depends on the satellite, essentially somewhere over the  
6 Bay of Biscay and somewhere between Spain and the  
7 Canaries.

8 THE CHAIRMAN: Also Serbia.

9 MR. BOWSHER: Also Serbia. Thank you.

10 THE CHAIRMAN: I am not quite sure what is so special about  
11 Serbia. I suppose Deutsche do not have any ground  
12 stations there.

13 MR. BOWSHER: So there is a geographical area where the only  
14 coverage is by satellite, but for everywhere else, what  
15 this network is, and what it is being promoted as being,  
16 is a vast network of 300 towers providing a service.

17 It is, as I say, an abuse of language to call  
18 that -- to call these ground conditions complementary in  
19 those circumstances.

20 It also is at the very least surprising, given that  
21 the whole approach taken by the Commission, the European  
22 Commission, when it was assessing operators and Inmarsat  
23 in particular was to look at their satellite expertise  
24 and the satellite performance of their product. We have  
25 seen that done and there is a limit to how far I can

1 take that from our knowledge.

2 THE CHAIRMAN: Would you choose a convenient moment for our  
3 break, Mr. Bowsher?

4 MR. BOWSHER: Yes, shall we break now? I am conscious I am  
5 running out of time.

6 THE CHAIRMAN: It is up to you when you do it. Do you want  
7 to do something else first?

8 MR. BOWSHER: No, that is fine.

9 THE CHAIRMAN: We will take five minutes.

10 (3.15 pm)

11 (A short break)

12 (3.26 pm)

13 THE CHAIRMAN: Mr. Bowsher, we are aware we have been a bit  
14 longer than five minutes. Your timetable is extended,  
15 if you need, until 3.50.

16 MR. BOWSHER: I am obliged. Can I pick up on those  
17 quantitative points. I am not going to go into the  
18 quantitative test now, but it is for the Tribunal to  
19 establish whether or not the system -- whether or not  
20 these can properly be regarded as complementary ground  
21 conditions. Are they complementary to a mobile  
22 satellite system?

23 When we look at the evidence, we will see that there  
24 are a number of ways of looking at that. One is to look  
25 at the power and capacity of the satellite being

1 provided, and that is covered by Mr. Sharkey and  
2 Dr. Webb in some detail. There will be exchanges about  
3 it.

4 In short, however you cut it between that exchange,  
5 the EAN is going to be able to meet the demand of  
6 between 2 and 20 aeroplanes. That is the long way short  
7 of this being a satellite service.

8 Another way of looking at it is how many aircraft  
9 are actually in the space where they need the satellite  
10 service? Mr. Dorman's evidence, Mr. Dorman's witness  
11 statement, covers that. He has done an analysis on some  
12 flight data which I will not explain now. You no doubt  
13 will look at that.

14 The key document is, in fact, the document at  
15 E3/114. I am not inviting you to get it out now, but at  
16 the end of that he has some statistics which he has  
17 drawn from this data which, depending how far from the  
18 coast you think the ground network will penetrate, to  
19 take it at one level, at the end of E3/114 he reached  
20 a conclusion that, for example, if you are dealing with  
21 UK origin flights and a ground network that can reach  
22 150 kilometres beyond the coast, then of all flights, UK  
23 origin flights, 6.7 per cent of the flight time will be  
24 in the satellite only region. It is referred to as the  
25 S-band-only region.



1           Now, there are a number of different statistics  
2           because we do not know a number of the variables. But  
3           on any of the statistics in E3/114, the short point is  
4           the predominant, the bulk of flights, whether they are  
5           EU flights or UK origin flights, are going to be within  
6           the ground network. One can speculate when one looks at  
7           the drawings, in fact many flights will be 100 per cent  
8           within the ground network, plainly.

9           So that is, as it were, the quantitative back-up to  
10          the general point we have made before. Can I make one  
11          other definitional point on complementary ground  
12          components, and for this one needs Article 8(3)(b). The  
13          short point is that an essential part of the  
14          complementary ground component is that it shall be  
15          composed -- 8(3)(b). F6, page 21, 8(3)(b). It is in  
16          the common conditions in 8(3)(b).

17        THE CHAIRMAN: Yes.

18        MR. BOWSHER: But it is part of the common conditions that  
19          a CGC shall be controlled by the satellite resource and  
20          network management mechanism. There is none as far as  
21          we are -- I mean, there is no reference to one. There  
22          is no evidence that there is such.

23        THE CHAIRMAN: Well, I thought that Mr. Sharkey, is it not,  
24          provides some information about that and says there is  
25          something, which is satellite resource and network

1 management system which switches from one to the other?

2 MR. BOWSHER: Yes, but the satellite is not controlling the  
3 ground, and we say that therefore it fails to fulfil  
4 that definition. Again, it is an essence of a satellite  
5 system that it is a --

6 MR. HOLMES: If it assists, Ofcom specifically found that  
7 there was such a mechanism as part of the network.

8 THE CHAIRMAN: I think this is a bit grammatical. It  
9 depends whether satellite controls the rest of the  
10 description, so it all has to come from the satellite,  
11 or whether it is a more composite description with  
12 satellite -- with two adjectives, one satellite and the  
13 other network, will provide the function of a network,  
14 or network management.

15 It is quite a difficult thing. I do not think you  
16 need take your time in opening, Mr. Bowsheer.

17 MR. BOWSHER: We have the point. We will deal with that in  
18 submission.

19 THE CHAIRMAN: Yes.

20 MR. BOWSHER: The third element of the complementary ground  
21 component definitional issue is related to the rest of  
22 the argument, but it is the short point that  
23 a complementary ground component must improve the  
24 availability of MSS. Again, that is part of the  
25 definition of CGCs in Article 2(2)(b).

1 THE CHAIRMAN: Yes.

2 MR. BOWSHER: Improve the availability, skipping a couple of  
3 words:

4 "Where communications with one or more space  
5 stations cannot be ensured with the required quality."

6 So what you would expect in order to meet that is  
7 that there would be a system saying: oh, gosh, for some  
8 reason I cannot -- you are looking at the satellite. To  
9 make logical sense of that, you are using a satellite  
10 system which is looking for the satellite signal, which  
11 is using the satellite signal. That is its primary  
12 direction. For some reason, Mont Blanc gets in the way  
13 and it says: I will look for a ground terminal. It is a  
14 satellite system. Only when the space station --  
15 communication with the space station cannot be ensured at  
16 the required quality does it flip. It is looking  
17 towards the satellite and looking towards the operation  
18 of the satellite, and it is improving the availability  
19 because of the lack of availability of a satellite  
20 signal.

21 That is not what the EAN is doing. Indeed, it is  
22 positively designed to do the opposite. There is  
23 something, we say, and we have said in our skeleton,  
24 something oddly perverse about the arguments by Inmarsat  
25 and Ofcom that by purposefully procuring

1 an under-performing satellite that falls well short of  
2 apparently what they originally submitted to the  
3 EU Commission, let alone what, for example, EchoStar is  
4 producing, Inmarsat now argue: well, we need the  
5 terrestrial segment to provide a viable service.

6 We say it really compounds the problem. It becomes  
7 not just a purely legal problem. It becomes a serious  
8 economic and commercial issue because what they are  
9 doing is procuring a purposefully under-designed  
10 satellite so that they can -- and presumably for  
11 commercial advantage, and are therefore able to put in  
12 place what, in fact, is a ground network. That is  
13 exactly the vice which this whole system was designed to  
14 prevent.

15 THE CHAIRMAN: Putting your point simply, you say the  
16 satellite communications with this space station can  
17 never be ensured with the desired quality. It always  
18 has to be supplemented.

19 MR. BOWSHER: Certainly on any given flight, yes. I mean,  
20 yes, if you are flying around and around in circles in  
21 the Bay of Biscay.

22 THE CHAIRMAN: In practical terms, if you have the number of  
23 aircraft in the sky you expect to have, you can never --  
24 the ground station should always be kicking in because  
25 there is never enough bandwidth, putting it shortly.

1 MR. BOWSHER: Yes, and I have not taken you to all the  
2 marketing material, and that is how it is sold. This is  
3 sold as a wonderful new innovative network speaking LTE  
4 using the existing Deutsche Telekom network. Is it not  
5 a great thing? We can throw the word "satellite" in  
6 here and there because it sounds good and also ticks a  
7 regulatory box.

8 THE CHAIRMAN: We have the point.

9 MR. BOWSHER: Those are our various definitional points in  
10 various forms around mobile satellite systems and so  
11 forth. Can I put -- go very quickly through our other  
12 heads.

13 We say that Ofcom by authorising these ground  
14 stations as complementary ground components is acting in  
15 breach of the general legal principles of transparency  
16 and equal treatment which it is subject to by virtue of  
17 being a UK public body, by acting as a UK regulatory  
18 body. These are principles, general principles of EU  
19 law, and they are applicable to any circumstance where  
20 there is some state or publicly-run opportunity to  
21 compete for a business right, a commercial right.

22 The case law is in file G. I am not going to take  
23 you to it in great detail, but I was going to take you  
24 for the moment just to the decision in *Costa*,  
25 which is G16.

1           This is all to do with the licensing of betting and  
2 gaming in Italy. It is not specifically a public  
3 procurement situation. It is the imposition of  
4 a licensing requirement on an economic activity. If you  
5 turn to page 11, paragraph 70, you get the key  
6 proposition:

7           "It is common ground that national legislation, such  
8 as that at issue in the cases before the referring  
9 court, which makes the exercise of an economic activity  
10 subject to a licensing requirement and which specifies  
11 situations in which the licence is to be withdrawn  
12 constitutes an obstacle to the freedoms thus guaranteed  
13 by Articles 43 EC and 49 EC."

14           It then says there can be special restrictions to  
15 that. Then 72:

16           "It follows that when licences are awarded the  
17 licensing authority has an obligation of transparency  
18 consisting inter alia of ensuring for the benefit of any  
19 potential tenderer a degree of advertising sufficient to  
20 enable the licences to be opened up to competition ...  
21 [and so forth]."

22           Then paragraph 73:

23           "In that context, the purpose underlying the  
24 principle of transparency, which is a corollary of the  
25 principle of equality, is essentially to ensure that any

1 interested operator may take the decision to tender for  
2 contracts on the basis of all the relevant information  
3 and to preclude any risk of favouritism or arbitrariness  
4 on the part of the licensing authority. It implies that  
5 all the conditions and detailed rules of the award  
6 procedure must be drawn up in a clear, precise and  
7 unequivocal manner, to make it possible for all  
8 reasonably informed tenderers exercising ordinary care  
9 to understand their exact significance and interpret  
10 them in the same way [and so forth]."

11 That is a general proposition which applies  
12 regardless of whether we are talking about the  
13 procurement of a public contract for services or any  
14 other state grant of a right to conduct economic  
15 activity. It is not simply a question of public  
16 procurement law. It is a question of general EU law  
17 regarding the grant of licences and rights.

18 The *Belgacom* decision which is also in  
19 here at tab 17 reinforces the same point. If I can just  
20 give you the references, the references would be  
21 paragraphs 15, 25 -- *Belgacom* is at  
22 tab 17. We will develop this all in submission,  
23 obviously, but the starting point is not whether this  
24 grant would or would not be a public procurement  
25 contract either then or now. It is maybe an interesting

1 question which we touch on in our skeleton. That is not  
2 the point. The point is that we are dealing with the  
3 grant of a right to conduct economic activity,  
4 an exclusive right, and that is governed by general  
5 principles.

6 THE CHAIRMAN: Well, what you have just read us governs the  
7 circumstances in which people tender to make sure they  
8 tender on an equal footing. That has all happened.  
9 That all happened nine years ago.

10 MR. BOWSHER: Next case.

11 THE CHAIRMAN: Next case, right. You are going to apply  
12 that to the subsequent moving of goalposts.

13 MR. BOWSHER: Subsequent moving of goalposts. We were  
14 talking about the principles of transparency and equal  
15 treatment. Those principles apply -- tab 15 case called  
16 *Wall*. It is a public procurement case.

17 THE CHAIRMAN: Tab which?

18 MR. BOWSHER: 15, sorry. It is a case which would now be  
19 covered by public procurement legislation. It was not  
20 then. It was outside the public procurement regime. It  
21 was, therefore, only governed by general principles. It  
22 was actually a case all about the procurement of  
23 toilets, public toilets in Frankfurt. The foundational  
24 point is made from paragraph 32 at page 2872, and it  
25 makes the point that there is no legislative -- 33, 34



1 tells you that there is no legislative regime applying.

2 Then 37:

3 "In order to ensure transparency [and so forth] they  
4 require the award of a concession of contract if they  
5 are materially different ..."

6 Sorry, I am making a bodge of this.

7 "In order to ensure transparency of procedures and  
8 equal treatment of tenderers, substantial amendments to  
9 essential provisions of a service concession contract  
10 could in certain cases require the award of a new  
11 concession contract, if they are materially different in  
12 character from the original contract and are therefore  
13 such as to demonstrate the intention of the parties to  
14 renegotiate the essential terms of that contract."

15 So what that is saying is once you have a contract,  
16 if you then amend it, that in itself is a breach of  
17 those principles.

18 Now, in this case, the amendment was actually the  
19 change in identity of a subcontractor. Mr. Wall was  
20 identified as the subcontractor, Mr. Wall was dropped.  
21 Mr. Wall brought the challenge and it was said: well,  
22 you cannot, by changing the subcontractor, Mr. Wall, you  
23 have changed the terms on which you were awarded that  
24 contract.

25 The conditions in which it is not permissible to

1           continue with the contract without a retendering are  
2           then set out in 38. 39 it says, again:

3           "A change of contractor, even the possibility of  
4           a contractor, may in exceptional cases constitute such  
5           an amendment to one of the essential provisions of  
6           a concession contract."

7           Again, 43 sums it up:

8           "Where amendments to the provisions of a concession  
9           contract are materially different in character from  
10          those on the basis of which the original concession  
11          contract was awarded, and are therefore such as to  
12          demonstrate the intention of the parties to renegotiate  
13          the essential terms of the contract, all necessary  
14          measures must be taken to restore the transparency of  
15          the procedure, which may extend to a new award  
16          procedure. If need be, a new award procedure should be  
17          conducted."

18          Now, in this case, we say that the changes that have  
19          occurred are such that Ofcom should not be granting  
20          an authorisation which enables those changes to, as it  
21          were, be brought to fruition. That by rubber-stamping  
22          the original MSS authorisation and authorising the  
23          ground stations in the way that it does, it has itself  
24          breached transparency and equal treatment by allowing  
25          those changes to be brought into an operating system.

1           It is not a case of renegotiating the contract, but they  
2           should take such steps as they are able to take to not  
3           to continue and embed the infringement of EU principles.  
4           They should certainly not just grant the authorisation,  
5           which involves itself a separate breach of those  
6           principles.

7       THE CHAIRMAN: This point arises if you lose on all your  
8           construction points and if, technically, Inmarsat are  
9           within the decision back in 2000 and whenever it was.  
10          2009?

11       MR. BOWSER: We say there might be circumstances in which  
12           we are wrong on the construction points we have made,  
13           but all the same, the changes are so significant, and we  
14           have seen the satellite changes. There is the change in  
15           operator. That is in itself plainly a material change.

16       THE CHAIRMAN: You have gone back to the Commission and you  
17           have tried to convince them something funny is going on  
18           and they have said no. So you have another go in front  
19           of Ofcom, is that right?

20       MR. BOWSER: What the Commission choose to do is a matter  
21           for them. Ofcom must itself decide whether or not it is  
22           acting in breach of law or not. They are not governed  
23           by the Commission and the Commission's decision as to  
24           what they should or should not do in response to our  
25           correspondence does not govern what Ofcom does. They

1 are governed by legislation, but not by decisions taken  
2 in litigation by the Commission.

3 Ofcom should not have granted an authorisation which  
4 enables the EAN to be put in place if the EAN itself  
5 involves a breach of transparency and equal treatment  
6 because to do so would -- particularly having regard to  
7 the change in satellite and the change in the nature of  
8 the network and the change in ground operator. Ofcom is  
9 then itself in breach, and one cannot tell -- but  
10 Mr. Baldrige addresses this in his statement: if, to  
11 put it one way, one had known that this was going to be  
12 an opportunity to put in place a primarily ground-based  
13 network in this spectrum, not just Viasat but no doubt  
14 many others would have looked very differently at this  
15 opportunity.

16 That really is, in its simplest, the case we make on  
17 what is ground 1(b). It is obviously related to but  
18 not -- but slightly broader than the construction points  
19 that we make.

20 Then there is the third --

21 THE CHAIRMAN: It only arises if your construction points  
22 fail.

23 MR. BOWSHER: Yes. We could be right as well, but yes, it  
24 is only material if it supports our construction points,  
25 not least because it shows why they are not just

1 construction points. They are construction points with  
2 real consequences.

3 Then the next point, quickly rattling through, but  
4 we have laid the ground for this, it is simply not the  
5 case that Ofcom could authorise Inmarsat to treat its  
6 ground components as complementary ground components  
7 where it was not able to meet the coverage requirements  
8 which we have now looked at on more than one occasion.  
9 It is Article 4(1)(c)(ii), tab 6 of file F.

10 I think we probably have this because I have already  
11 covered it, but we should tick this box now,  
12 ground (c)(4)(i)(c). (1)(c)(i) is the coverage  
13 requirement. That we are not complaining about, but it  
14 is important to note because it highlights the  
15 distinction I have already drawn between coverage and  
16 availability. We are not saying we cannot cover the  
17 land area. What we say is MSS is not available to at  
18 least 50 per cent of the population. Something is not  
19 available to the population simply because it is in the  
20 space around them. It has to be available in the sense  
21 that receivers are available.

22 There is a means by which they can be actual users,  
23 and that is simply not complied with. The best that one  
24 can say with EAN is that that proportion of the  
25 population that at any given time is in the air in

1 a plane that receives the EAN has this MSS available to  
2 it. Those are the only people to which the MSS is  
3 available. That does not fulfil this availability  
4 requirement.

5 So Ofcom was simply wrong, in our submission, to  
6 allow the licensing to go forward in that way.

7 THE CHAIRMAN: So this is not a compliance point, this is  
8 a pre-application condition. It is an admissibility  
9 requirement.

10 MR. BOWSHER: Yes, it is a admissibility requirement.

11 THE CHAIRMAN: So it is as bad as if, for example, Inmarsat  
12 were not established in the community. To take the  
13 first one, that is what you say.

14 MR. BOWSHER: Yes. Then can I just highlight the nature of  
15 our ground 2 -- I will leave ground 3 for submission --  
16 but ground 2 we say even if the --

17 THE CHAIRMAN: Sorry, this is the admissibility for the  
18 original application and not Ofcom.

19 MR. BOWSHER: Yes. Yes, sorry, but they have to comply with  
20 those conditions under the Article 7 authorisation  
21 conditions because the rights are subject to the  
22 conditions in Article 7(2) that they honour the  
23 commitments that they gave.

24 THE CHAIRMAN: Sorry, let's start again. I want to  
25 understand this. It is an admissibility requirement for

1           the original application that they show this degree of  
2           coverage and availability.

3       MR. BOWSHER: Presumably they did.

4       THE CHAIRMAN: Let's assume they did.

5       MR. BOWSHER: Yes.

6       THE CHAIRMAN: Right, then that carries on, and how did that  
7           get translated into some objection in Ofcom?

8       MR. BOWSHER: Our objection to it is that the EAN as it is  
9           does not meet that requirement.

10      THE CHAIRMAN: So what?

11      MR. BOWSHER: It cannot -- having said that this would be  
12           a -- that they would be able to achieve that as part of  
13           their original admissibility application. They are now  
14           unable to fulfil that and to an extent, that either  
15           supports our position that this is another change. It  
16           supports our point that there has been a change and that  
17           they are not a change which Ofcom should not endorse by  
18           allowing these CGCs to be authorised because they are  
19           not able to meet the MSS condition that was  
20           an admissibility requirement.

21           They were not able to meet it. When they made the  
22           application, they obviously were not able to meet it  
23           then. The point is that this was an obligation that  
24           they said they would be able to meet when they put in  
25           place their service. They said that at the time when

1           they applied for admissibility to the original  
2           competition.

3       THE CHAIRMAN:  So this is not really an original  
4           admissibility requirement.  This is an obligation which  
5           they undertook which you say means, what, they come --  
6           you can attack this under Article 7(2)(c)?

7       MR. BOWSHER:  Yes.

8       THE CHAIRMAN:  But 7(2)(c) deals with the rights covered by  
9           paragraph 1, that is the rights that they get.  It is  
10          not a prerequisite of the application.

11      MR. BOWSHER:  Well, it is, because Article 1(3) says:

12                 "The selected operators of mobile satellite systems  
13                 shall be authorised by member states in accordance with  
14                 Title III."

15      THE CHAIRMAN:  Just a minute.  Article 1(3).

16      MR. BOWSHER:  Sorry, I jumped over a couple of links.

17      THE CHAIRMAN:  Right.  So "we have chosen these people, they  
18           will be authorised".

19      MR. BOWSHER:  Yes.

20      THE CHAIRMAN:  They are authorised then in accordance with  
21           3.  So they apply and the member state shall ensure that  
22           they get what they want.  That is what -- that is  
23           putting it shortly.

24      MR. BOWSHER:  Yes.

25      THE CHAIRMAN:  That is what 7(1) says.



1 MR. HOLMES: Sir, I hesitate to interrupt, but I am  
2 concerned that there is a slight confusion here. There  
3 are two separate provisions dealing with authorisation.  
4 Article 7 deals with the authorisation to provide mobile  
5 satellite systems.

6 THE CHAIRMAN: Oh, yes, quite right.

7 MR. HOLMES: It specifies particular conditions which must  
8 apply and among those is a condition that national  
9 authorities require operators to respect the commitments  
10 that they have given during the prior Commission  
11 selection process.

12 THE CHAIRMAN: We are in the wrong Article. You are quite  
13 right, I can see we are in the wrong Article.

14 MR. HOLMES: It is Article 8, of course, which governs  
15 complementary ground components. There are a separate  
16 set of conditions which apply there which do not include  
17 any requirement to honour the commitments given during  
18 the original process.

19 THE CHAIRMAN: Yes, we are barking up the wrong tree. So we  
20 are really in Article 8, not Article 7. That is the  
21 point.

22 MR. BOWSHER: We are in Article 8 in this process. That is  
23 why we have it all as part of ground 1 because this --  
24 it goes to whether or not this can properly be treated  
25 as a mobile satellite system and then it goes to whether

1 or not these can properly be regarded as ground  
2 components, because if they have obtained  
3 an authorisation in 2010 for a MSS, mobile satellite  
4 system, they have obtained that authorisation on the  
5 basis of an admissibility requirement and commitments  
6 which they have made to continue to meet that. The EAN  
7 as it comes to be post-2014 when Deutsche Telekom gets  
8 on board and they change the satellite and so forth,  
9 does something different.

10 In our submission, this can no longer be  
11 a complementary ground component of the mobile satellite  
12 system which has been authorised and which they  
13 committed to producing. You only get into the system  
14 under Article 8 if these are complementary ground  
15 components of mobile satellite systems. In our  
16 submission, if this is simply failing to meet that  
17 coverage requirement, this cannot be regarded as  
18 an operating mobile satellite system.

19 Alternatively, the very fact that they are not able  
20 to meet that coverage requirement and are not able to  
21 honour the commitment that they make is the plainest  
22 possible indicator that there has been a fundamental  
23 breach of the principles in transparency and equal  
24 treatment in this process because the basis upon which  
25 this original selection was made has been completely --

1 has been eliminated. This was a fundamental  
2 admissibility requirement and it would be a breach --  
3 any state entity, Ofcom included, that endorses the  
4 breach of transparency or equal treatment and allows  
5 an entity to as it were, check, to bring forward the MSS  
6 authorisation and say, "Look, here's a MSS which we have  
7 had previously authorised, we want a CGC authorisation  
8 now", it is a breach of transparency to allow that to  
9 happen, for Ofcom to participate in that, if what in  
10 fact they are doing is rubber-stamping a CGC to a system  
11 which is no longer the MSS that was originally the  
12 subject of the original authorisation system and is no  
13 longer able to meet the fundamental ongoing requirements  
14 of that mobile satellite system.

15 THE CHAIRMAN: Is it not -- do you need to invoke  
16 transparency to take this point? Article 8 says that:

17 "Member states shall ensure their competent  
18 authorities grant the applicant [and so on and so forth]  
19 to people and those who are authorised to use the  
20 spectrum pursuant to Article 7. The authorisation is  
21 necessary for the provision of CGS of mobile satellite  
22 systems on their territories."

23 The assumption behind that is that you will have  
24 authorised mobile satellite systems and then you will be  
25 asked to authorise some CGSs in respect of what you have

1 previously authorised.

2 What you say, presumably, is that what they  
3 previously authorised is now not what they are asking  
4 for the CGS. It is nothing to do with transparency. It  
5 is just a complete mismatch between what they are asking  
6 for now and what they have had before. You do not need  
7 to invoke the slightly waffly notions of transparency.  
8 Is that not what your point is?

9 MR. BOWSHER: Yes, change is our fundamental point. It is,  
10 exactly.

11 THE CHAIRMAN: For which purpose, you would need to  
12 demonstrate what it was that they got authorisation for  
13 back in 2010 and how they got it, as to which I assume  
14 we have got no documents.

15 MR. BOWSHER: We do not have any -- we are entitled -- in  
16 the absence of any evidence from anyone else, we are  
17 entitled to infer that they were able to meet that  
18 requirement at the time that they applied to the  
19 Commission, because there is a Commission decision which  
20 says as much.

21 Now, we do not know what led to that -- we are now  
22 getting back to where we started -- but we are entitled  
23 to infer, and the Tribunal is entitled to infer,  
24 Inmarsat have not come back on ground 1(c) and said,  
25 "This is a bad point, we never did comply." They must

1           be presumed to have complied and we say now clearly  
2           cannot with what they are now putting forward.

3       MS. WALKER: Just one thing I would like to ask you. There  
4           was an auction, bids for applications, and Inmarsat was  
5           successful, in fact, on certain conditions.

6           Given it was successful, as I understand it, the law  
7           then says that Ofcom shall authorise, as long as certain  
8           conditions are met, but as I understand what you are  
9           saying, if -- you are saying that if there was  
10          a material change between what was agreed initially and  
11          the authorisation that took place in 2010, the  
12          authorisation, then there should have been a sufficient  
13          transparency to open that up, transparency because that  
14          is what a regulator has to do. Sorry, I am not sure  
15          I am making my point clear.

16       MR. BOWSHER: You are making yourself very clear. No, that  
17          is right, but it does not stop there, and this is where  
18          the point goes two ways. To take the simpler point  
19          about the analysis, just relying on Article 8, there is  
20          not a basis for relying on the Article 8 power on the  
21          analysis we have just been through because the system  
22          has changed, not up to 2010, but actually after 2010.  
23          The changes have been happening around about -- well, as  
24          far as we can tell, around about 2013/2014.

25          To go to the waffly bit, if you were to look at that

1 from a transparency angle, what you would say is that  
2 the obligation to comply with transparency and equal  
3 treatment continues to be an obligation on the public  
4 sector body, on the public body.

5 When the matter comes before Ofcom again, they are  
6 still under an obligation to have regard to that. If  
7 they are called upon to do something, namely to  
8 implement the authorisation which you have just referred  
9 to regarding CGCs, if that would be to endorse  
10 a material change, that itself would be a breach of  
11 transparency and equal treatment.

12 So you get there either by means of hard-edged  
13 legislative analysis under Article 8 or by means of more  
14 generally stated principles.

15 What Ofcom cannot do is say -- and it highlights  
16 very neatly the point. What Ofcom cannot do is say, "It  
17 was all right in 2010. It was MSS in 2010. We do not  
18 need to look at that again. They come to us now with  
19 what they call a satellite service. We are not going to  
20 check whether that satellite segment was the satellite  
21 service we previously authorised. If they say they need  
22 CGCs for that service, we will give them the  
23 authorisation and we will test the compatibility of CGCs  
24 only by reference to this new system, not by reference  
25 to what was originally put forward."

1           Sorry, my junior is quite right. We cannot just  
2           infer; it is a matter of record. F8, recital 5. It is  
3           a matter of record that they complied with the relevant  
4           requirements, the admissibility requirements.

5           I should sit down. Ground 3 I was not going to  
6           cover. I was only going to say something about  
7           ground 2. It is an important ground. We can come back  
8           to it.

9           The short point, as we develop in ground 2, is that  
10          the approach taken by Ofcom has material effects in  
11          giving an unfair advantage to Inmarsat. It picks up  
12          a point which we have just made: this was not an auction  
13          in exchange for certain requirements. Inmarsat got this  
14          for free, which is really spectacular.

15         MS. WALKER: I understand that, yes. I understand that  
16          point.

17         MR. BOWSHER: We will come back to many of these points.

18          Can I assist for the moment on any of that?

19         THE CHAIRMAN: No. Thank you.

20          Mr. Holmes.

21                     Opening submissions by MR. HOLMES

22         MR. HOLMES: Thank you. Good afternoon, sir.

23          At the risk of taking myself out of turn, I cannot  
24          resist attempting in the time remaining this afternoon  
25          to try to deal with the point which has been made about

1 a material change of circumstance and the alleged  
2 failure to meet conditions and commitments relating to  
3 the authorisation, the selection of Inmarsat to provide  
4 a mobile satellite system.

5 We will need to go back tomorrow carefully and  
6 systematically through the legislation because there are  
7 a number of points I want to make by reference to that,  
8 but if I could ask you, sir, to take up the EU decision  
9 at tab 6, and the point I want to make is this: there  
10 are effectively four separate stages identified in this  
11 EU decision in connection with the selection and  
12 authorisation of operators to use mobile satellite  
13 systems and CGCs.

14 The first stage is the Commission selection  
15 procedure and, as one sees from Article 3, that  
16 selection procedure is directed at the selection of  
17 operators, and I attach significance to that. It is  
18 operators and not particular mobile satellite systems.

19 In the course of that selection process it was  
20 envisaged that those applying to become an operator of  
21 a mobile satellite system would offer certain  
22 commitments about, for example, the speed with which  
23 they would launch a service and the coverage that the  
24 service would achieve by particular dates, and one sees  
25 that from Article 4(1)(c), which requires certain



1 minimum commitments to be offered but it is open to  
2 operators to exceed those commitments. So one sees from  
3 4(1)(c)(ii) that:

4 "MSS [that's mobile satellite services] shall be  
5 available in all Member States and to at least  
6 50 per cent of the population and over at least  
7 60 per cent of the aggregate land area ... by the time  
8 stipulated by the applicant but in any event no later  
9 than seven years ..."

10 That is an example of a commitment that could be  
11 made going beyond the minimum commitment that they would  
12 achieve those targets by some earlier date.

13 Had this selection process reached the second  
14 selection phase, which is described in Article 6, one  
15 could envisage that further commitments might emerge  
16 from what the operator was proposing to do as part of  
17 its rolling out of a mobile satellite system. So that  
18 is the first stage.

19 When operators have been selected in accordance with  
20 that procedure, the show then moves on to the member  
21 states, and under Article 7 the second stage is for the  
22 member states to ensure that the selected applicants  
23 have the right to use the specific radio frequency  
24 identified in the Commission decision adopted pursuant  
25 to Articles 5(2) or 6(3), that is to say the selection,

1 and the right to operate a mobile satellite system, and  
2 we place emphasis on that word "a": it is not any  
3 specific satellite system for any specific purpose in  
4 connection with the selection of the operator; it is  
5 a mobile satellite system within the definition set out  
6 in Article 2(2)(a).

7 The way in which operators are held to their  
8 commitments, the way in which the commitments are  
9 crystallised, if you like, that emerge from the  
10 selection process, is as a result of Article 7(2)(c),  
11 which requires member states to authorise, to attach to  
12 the authorisation to operate a mobile satellite system,  
13 a condition that the selected applicants shall honour  
14 any commitments they give in their applications or  
15 during the comparative selection procedure. So that is  
16 the link between the selection and the authorisation  
17 process.

18 As you apprehended, sir, this authorisation of  
19 a mobile satellite system was done by separate decision  
20 some years back by Ofcom and was subject, among other  
21 conditions, to this particular condition, and I can show  
22 you it if it would assist. But back in 2010, I believe  
23 it was, one can see it at tab 13 of bundle E1,  
24 an authorisation was given which required Inmarsat to  
25 meet its commitments.

1           The third stage is then the authorisation of  
2           complementary ground components, and that is the stage  
3           that we are currently involved in. At that stage member  
4           states are, again, required to ensure that their  
5           competent authorities grant to the applicants selected  
6           in accordance with Title II by the Commission and  
7           authorised to use the spectrum pursuant to Article 7,  
8           the authorisations necessary for the provision of  
9           complementary ground components of mobile satellite  
10          systems on their territories. Again, an authorisation  
11          in general terms, not relating to any particular use of  
12          complementary ground components that might have been  
13          proposed as part of an original application to the  
14          Commission for selection.

15          A separate set of conditions is specified in  
16          Article 8, and under a separate ground, as we know,  
17          there is an allegation that at least one of those  
18          conditions is not met.

19          But the point that Mr. Bowsher has just been  
20          discussing with you is whether conditions in a separate  
21          authorisation for mobile satellite systems have been  
22          met, and in my submission had the legislator intended to  
23          make compliance with the commitments relating to the  
24          authorisation to provide a mobile satellite system  
25          a condition for authorisation of complementary ground

1 components, it could and would have specified  
2 a condition to that effect in Article 8(3) of the EU  
3 decision.

4 The final stage of the process is monitoring and  
5 enforcement, and one sees in Article 9(1) that operators  
6 are responsible for compliance with any conditions  
7 attaching to their authorisations and for paying of the  
8 applicable fees. So as a result of that there is  
9 a requirement to meet the conditions specified in  
10 Article 7(2) upon the operator, and Article 9(2) then  
11 specifies that:

12 "Member States shall ensure that rules on  
13 enforcement, including rules on penalties applicable in  
14 the event of breaches of the common conditions provided  
15 for in Article 7(2), are in accordance with Community  
16 law, in particular Article 10 of [the authorisation  
17 directive, and that] Penalties must be effective,  
18 proportionate, and dissuasive."

19 Provision is made for monitoring of compliance and  
20 the Commission is empowered to examine alleged specific  
21 breaches of the common conditions and, indeed, that is  
22 what Viasat has invited the Commission to do.

23 Article 9(3) also provides that:

24 "The measures defining any appropriate modalities  
25 for coordinated application of the rules on enforcement

1           ..."

2           ... may be brought forward by the Commission and, as  
3 we will see, the Commission has brought forward rules  
4 providing for coordinated enforcement of the conditions  
5 in Article 7(2).

6           In our submission the correct route for dealing with  
7 failures to meet the commitments given in Article 7 that  
8 are enshrined as conditions of the mobile satellite  
9 system authorisation by virtue of Article 7(2)(c) is  
10 through this enforcement process.

11           So pulling the threads together, I say on the basis  
12 of that submission firstly that the selection process  
13 was not about selecting a particular system which  
14 Inmarsat was then bound to implement. The process,  
15 rather, was about selecting operators, and we shall see  
16 that the focus was on two things, really: one was the  
17 credibility of the operator to get a satellite up into  
18 the sky, given the scale of that endeavour; and the  
19 second focus was upon testing the speed with which that  
20 could be done and the coverage that could be achieved.

21           So these are all generic considerations relating to  
22 any mobile satellite system. I will make this good --  
23 I think tomorrow, sir, realistically now -- by showing  
24 you in more detail how the selection process was  
25 intended to unroll.

1           The operator having been appointed, the task of the  
2 national authorities was then without discretion to  
3 authorise both MSS and CGCs subject to specified  
4 conditions. The MSS conditions were then to be enforced  
5 through a common enforcement procedure, and there is no  
6 secret that Inmarsat failed to meet commitments. If you  
7 see the minimum commitments set out in Article 4(1)(c),  
8 they expected particular things to be done by particular  
9 dates including, for example, 4(1)(c)(ii), to have  
10 achieved something within seven years from the date of  
11 the publication of the Commission's selection decision.

12           Now, the selection decision was on 13 May 2009.  
13 Seven years on from then was 13 May 2016. It is clear  
14 that by that date Inmarsat did not have a satellite up in  
15 the sky, and we can infer that the developments in  
16 international financial markets may have intervened in  
17 relation to the projects of both of the satellite  
18 operators that were selected.

19           But an enforcement process was set in train which  
20 involved the Commission and it involved the member  
21 states and it involved discussion in COCOM, and that is  
22 ongoing and positions have been taken in that process.  
23 None of that, in my submission, has anything to do with  
24 the authorisation of complementary ground components  
25 pursuant to the categorical obligation set out in

1 Article 8 of the EU decision.

2 THE CHAIRMAN: So if you are right, Mr. Holmes, let us  
3 suppose a starker case than you say the present is, in  
4 which Inmarsat come along to Ofcom and say: here we are,  
5 we are Inmarsat and that is all you need to know,  
6 we want you to authorise complementary ground systems,  
7 or complementary ground components, for this scheme here  
8 that we are proposing, and supposing that the scheme  
9 shows that it is a million miles away from the  
10 definition of mobile satellite systems. Does that mean  
11 that Ofcom simply to say: all right, rubber stamp,  
12 we will get you on enforcement.

13 MR. HOLMES: Absolutely not, sir. I fully accept that  
14 Ofcom's decision must correctly apply the definitions  
15 set out in Article 2(2), and insofar as it authorises  
16 something which is not a CGC within that definition, or  
17 it authorises a CGC in relation to something that is not  
18 a mobile satellite system, it is outwith the scope of  
19 Article 2(2)(b), and it would lack the vires to issue --

20 THE CHAIRMAN: So the point you have just been making for  
21 the last quarter of an hour is designed to see off those  
22 bits of Mr. Bowsher's submissions which relate to  
23 non-compliance with original specific conditions?

24 MR. HOLMES: Sir, my intention was precisely that: to clear  
25 away the brushwork.

1 THE CHAIRMAN: Brushwood.

2 MR. HOLMES: Brushwood, indeed, sir.

3 In my submission, the heart of this case lies partly  
4 in those questions of statutory construction.

5 THE CHAIRMAN: Yes.

6 MR. HOLMES: And partly in the evidential questions which  
7 have been raised about the nature of the contribution  
8 which the satellite is capable of making, which ...

9 THE CHAIRMAN: Which comes down to construction again.

10 MR. HOLMES: It is a slightly broader construction point,  
11 though. It is not like the -- and I think that this is  
12 where, if I understood correctly, you arrived at with  
13 Mr. Bowsher in discussion this morning. On the one hand  
14 there are some quite hard-edged questions of statutory  
15 construction, for example the mobile earth station  
16 point. Either it means what Mr. Bowsher says or it does  
17 not, and if it does mean what Mr. Bowsher says, then the  
18 system that Inmarsat is proposing does not comprise  
19 a mobile earth station capable of connecting with the  
20 satellite and, therefore, the ground terminals are not  
21 part of a mobile satellite system. I accept that that  
22 is a hard-edged question.

23 There are other, more evaluative questions about in  
24 particular whether the CGCs can be said to improve the  
25 availability of MSS in geographic areas within the



1 footprint of the system satellite where communications  
2 with one or more space stations cannot be ensured with  
3 the required quality.

4 Now, sir, I have begun at the tail-end of the case,  
5 so to speak. Where I propose to go tomorrow, to give  
6 you a road map of my submissions, is to begin with the  
7 tribunal's jurisdiction and the standard of review  
8 applicable in this case. This is, as the tribunal will  
9 have noted, the first case to be determined under new  
10 statutory provisions concerning the standard of review,  
11 and it is therefore appropriate to spend a moment  
12 clarifying or considering what that standard is for the  
13 purposes of this case.

14 THE CHAIRMAN: If the issues are as you have just described  
15 them, it is hard to see that the new position makes any  
16 difference over the old one: it is either ultra vires or  
17 it is not. I am using "ultra vires" slightly loosely  
18 but you know what I mean.

19 MR. HOLMES: I fully accept that in relation to the  
20 hard-edged points of statutory construction. There is,  
21 in my submission, an evaluative element as well in  
22 relation to the application of the more open-textured  
23 aspects of the definition, if I might call them that.

24 THE CHAIRMAN: But that comes down to a question of  
25 definition as well, does it not, of what is meant by

1 "available" and what is meant by the other adjective?

2 MR. HOLMES: In my submission there are also questions of  
3 assessment and evaluation of a technical kind in  
4 relation to whether this particular satellite -- sorry,  
5 the particular components comprising the system --

6 THE CHAIRMAN: Oh, I see what you mean.

7 MR. HOLMES: -- properly improve the availability.

8 THE CHAIRMAN: I see. First of all, before you can start  
9 construing what "available" and the other word means,  
10 you have to work out what this system does.

11 MR. HOLMES: Indeed, sir.

12 THE CHAIRMAN: I understand.

13 MR. HOLMES: That is certainly an aspect of it.

14 THE CHAIRMAN: I still do not see why there would be any  
15 difference between the previous jurisdiction and this,  
16 but I wait to be entertained tomorrow, Mr. Holmes.

17 MR. HOLMES: My submission will not be --

18 THE CHAIRMAN: Entertaining?

19 MR. HOLMES: Well, sir, I always do my best, but rather like  
20 Commission documents I have been known to disappoint in  
21 the past.

22 The submission will be that there is quite well  
23 developed, defined and settled case law about the  
24 standard of review which applies in Article 4-compliant  
25 judicial reviews because there have, in the past, been

1           judicial reviews in the High Court that are within the  
2           scope of Article 4, and that is because there are some  
3           decisions by Ofcom which fall within the scope of the  
4           framework directive and the common regulatory framework  
5           and are therefore required to take due account of the  
6           merits but which are not within the statutory  
7           jurisdiction of this tribunal to entertain appeals from  
8           Ofcom.

9           So those types of decision go by way of judicial  
10          review under the court's inherent jurisdiction, and the  
11          Court of Appeal has opined as to how the principles of  
12          judicial review should be applied so as to achieve  
13          conformity with the requirement to take due account of  
14          the merits, and in my submission that is the case law to  
15          which the tribunal should fasten itself.

16        THE CHAIRMAN: Right. Well, we will look forward to that  
17          tomorrow.

18          When you have both had your openings, we then move  
19          on to witnesses. Can you remind me which witnesses  
20          we are likely to hear from tomorrow, so I can re-read  
21          probably just those for the moment?

22        MR. HOLMES: Subject to time, sir, the first witness in the  
23          box will be Viasat's Dr. Webb, and we do not envisage  
24          that there will be time to hear any other witnesses,  
25          I think, during the course of tomorrow. The time

1 estimate for Dr. Webb is two to four hours, so he may  
2 very well spill into Thursday morning. Then the  
3 remaining witnesses for Thursday are Ofcom's  
4 Dr. Harrison and Inmarsat's Mr. Sharkey.

5 THE CHAIRMAN: The other witnesses not being cross-examined;  
6 is that correct? Or their witness statements are not  
7 being used at all? It is simply not being  
8 cross-examined.

9 MR. BOWSHER: Not being cross-examined. So Mr. Baldrige  
10 and Mr. Dorman, our other witnesses, are in evidence but  
11 not cross-examined.

12 MR. WARD: And the same is true of Mr. Pearce.

13 MR. BOWSHER: Just, if I may, to remind the tribunal that  
14 when Mr. Sharkey put in his second statement, the terms  
15 of our not objecting to his statement going in was that  
16 we would sweep up matters arising on Sharkey 2 in-chief  
17 with Dr. Webb. So it might be sensible to have a look  
18 at Sharkey 2 because I am going to try to deal with it  
19 fairly swiftly in-chief, and it will arise out of  
20 Sharkey. My examination-in-chief will relate to  
21 Sharkey 2 and it will make more sense if you have read  
22 that as well.

23 THE CHAIRMAN: Thank you very much. Very well, in that case  
24 we will adjourn until 10.30 tomorrow morning.

25 (4.28 pm)

(The hearing adjourned until 10.30 am on  
Wednesday, 27 June 2018)

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

INDEX

PAGE

Housekeeping .....1

Opening submissions by MR. BOWSHER .....8

Opening submissions by MR. HOLMES .....149

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25