

This Transcript has not been proof read or corrected. It is a working tool for the Tribunal for use in preparing its judgment. It will be placed on the Tribunal Website for readers to see how matters were conducted at the public hearing of these proceedings and is not to be relied on or cited in the context of any other proceedings. The Tribunal's judgment in this matter will be the final and definitive record.

IN THE COMPETITION
APPEAL TRIBUNAL

Case No: 1284/5/7/18
1290/5/7/18

Salisbury Square House
8 Salisbury Square
London EC4Y 8AP

Wednesday 25 May 2022

Before:
The Honourable Mr Justice Michael Green
Derek Ridyard
Sir Iain McMillan CBE FRSE DL
(Sitting as a Tribunal in England and Wales)

BETWEEN:

Royal Mail Group Limited
BT Group PLC and Others v DAF Trucks Limited and Others **Claimants**

v

DAF Trucks Limited and Others **Defendants**

A P P E A R A N C E S

Tim Ward QC, Ben Lask and Clíodhna Kelleher (On behalf of RM/BT)
Daniel Beard QC, James Bourke and Daisy Mackersie (On behalf of DAF)

Wednesday, 25 May 2022

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

(10.35 am)

THE CHAIRMAN: Good morning.

MR BEARD: Good morning.

THE CHAIRMAN: So Mr Ward and Ms Kelleher are attending
remotely.

MR BEARD: I understand that is the case. Although we
cannot see them on screen, I am told that it has been
tested.

THE CHAIRMAN: No, I think they are not turning their
cameras on, and that is fine. I hope they are okay.
Sorry to hear about their predicament, but we can
continue.

Just as a matter of logistics, obviously the
discussion will be led by us, in particular Mr Ridyard,
but when we have the clarificatory cross-examination, is
that going to be done by you, Mr Lask, or Mr Ward?

MR LASK: It is envisaged that Mr Ward will ask any
clarificatory questions.

THE CHAIRMAN: Okay. All right.

Mr Ward, perhaps you could just confirm that we can
hear you and you can hear us. There you are. Okay.

MR WARD: Yes.

THE CHAIRMAN: You can hear us?

MR WARD: Yes, thank you very much.

1 THE CHAIRMAN: We can just about hear you. Okay, great.
2 You do not need to leave your camera on, but good to
3 know you are there.

4 MR WARD: Thank you.

5 THE CHAIRMAN: Right, okay.

6 MR BEARD: I think the idea is that at the end of each of
7 the sections, there will just be merely clarificatory
8 questions, and then further cross-examination will be
9 left until Friday I think is the plan.

10 THE CHAIRMAN: Exactly so. Right, and everyone is okay with
11 the adjusted timetable?

12 MR BEARD: Yes, I think we have done what we could to try
13 and accommodate as best possible and I am sure I speak
14 for Royal Mail and BT that we are most grateful to the
15 tribunal for the flexibility to try and accommodate
16 these things.

17 MR LASK: Yes, absolutely. Yes, sir.

18 THE CHAIRMAN: So we are going to try to squeeze in
19 complements in the last week of evidence?

20 MR BEARD: Yes, obviously there are bits of that last week
21 of evidence where we are hoping things may go short in
22 any event.

23 THE CHAIRMAN: Yes, okay.

24 MR BEARD: So people are working in the background on things
25 like tax to see whether or not we can compress what is

1 required on those issues. So that is --

2 THE CHAIRMAN: We can certainly start earlier and finish
3 late in that week to make sure we do actually complete
4 it all by the Wednesday.

5 MR BEARD: Yes. I am most grateful. What we have done is
6 we have put the complements in before the tax because it
7 is the same experts that are dealing with the two --

8 THE CHAIRMAN: Loss of volume.

9 MR BEARD: Exactly, and complements, so that it would just
10 be effectively two sessions continuously. That is the
11 overall intention.

12 THE CHAIRMAN: All right. That makes sense.

13 Right. So I think we will just swear in --

14 MR BEARD: I will leave Mr Lask to swear in Mr Harvey and
15 then I will swear in Professor Neven.

16 THE CHAIRMAN: Right. Okay.

17 MR JAMES HARVEY (affirmed)

18 PROFESSOR DAMIEN NEVEN (affirmed)

19 THE CHAIRMAN: Thank you very much. I hope you have got
20 enough space there and -- you are quite close together,
21 but I think that is the idea of a hot tub!

22 MR HARVEY: That is okay. It is certainly warm.

23 THE CHAIRMAN: Nice and cosy, yes.

24 MR BEARD: Sir, the only issue is do you want the witnesses
25 to be shown the relevant reports? Because, of course,

1 we are dealing with different reports from the ones that
2 we were dealing with the other day. I understand that
3 Professor Neven has a couple of corrections, just on
4 labelling for tables, so I do not know whether or not it
5 is sensible to deal with those formalities, as it were.

6 THE CHAIRMAN: It is probably a good idea to get it out of
7 the way. I do not think we need formally for them to
8 confirm --

9 MR BEARD: The three reports.

10 THE CHAIRMAN: -- yes, the three reports, but those ...

11 Mr Harvey, you do not have any corrections to make
12 to those?

13 MR HARVEY: No.

14 THE CHAIRMAN: No.

15 Why do you not just run through those corrections
16 then?

17 MR BEARD: Yes.

18 Could we have bundle {E/35}, which is
19 Professor Neven's second report in relation to
20 overcharge. If we could just go to page 17 {E/35/17}.

21 I think, Professor Neven, you just wanted to clarify
22 one matter in relation to the labelling at the bottom of
23 the table; is that correct?

24 PROFESSOR NEVEN: Yes, I want to clarify that the exchange
25 rate that is used in that table is not pounds per euro,

1 but euro per pound.

2 MR BEARD: It is down in the notes I think -- yes, that is
3 very helpfully -- it is essentially -- it says "MLO
4 cost, DAF UK sales ..." and it says pounds to euros.
5 I think, although it is probably obvious from the table
6 itself, it should be euros to pounds.

7 THE CHAIRMAN: Okay.

8 MR BEARD: If we could go to page 83 {E/35/83}.

9 I think, Professor Neven, that you have highlighted
10 the same issue arises in relation to the labelling of
11 this table?

12 PROFESSOR NEVEN: Exactly. The exchange rate is defined in
13 this table as euros per pound.

14 MR BEARD: If we could just go over the page to page 84
15 {E/35/84}.

16 This is a section where you are dealing with the
17 before-during analysis, you can see that at A.19, but
18 I think you have indicated, if we go to table 19 itself,
19 which is the next page {E/35/85}, I think there is
20 a correction in relation to the title of that table?

21 PROFESSOR NEVEN: That is right. The title of the table
22 should be "Results using the before-during model".

23 MR BEARD: I think it is set out in the text, but the
24 labelling of that table. As I understand it, is that
25 the case for the next two tables as well?

1 PROFESSOR NEVEN: Yes. It is also the case with respect to
2 table 20 and table 21 {E/35/86-87}.

3 THE CHAIRMAN: I think that would probably be obvious by the
4 reference to AS/400.

5 MR BEARD: Yes, we think all of this is completely obvious,
6 but we thought since Professor Neven brought it up, we
7 should just deal with it.

8 THE CHAIRMAN: Yes. Fine.

9 MR BEARD: None of these would come as a surprise if you
10 were actually reading the report, but it is one of those
11 things that, as people are reviewing things, they
12 notice, effectively, typographical matters.

13 I think, Professor Neven, those were all of the
14 corrections.

15 PROFESSOR NEVEN: Yes.

16 MR BEARD: Thank you.

17 THE CHAIRMAN: Good.

18 MR BEARD: Thank you very much.

19 THE CHAIRMAN: So you have got your reports there in hard
20 copy? Have you, Mr Harvey, as well?

21 MR HARVEY: Yes.

22 THE CHAIRMAN: You have got yours. Okay.

23 As you know, Mr Ridyard is going to lead the
24 discussion on behalf of the tribunal so I will hand over
25 to him.

1 Questions by THE TRIBUNAL

2 MR RIDYARD: Okay, thank you.

3 Just a couple of brief words before we start. The
4 purpose of this session is obviously to try and achieve
5 further synthesis of the views of the two experts, so
6 hopefully to take things on from where you already got
7 to on the joint reports, because there is a lot to
8 digest for the tribunal there, so anything you can do to
9 help us will be very useful.

10 You know already and you have got in your reports
11 that it is -- your primary duty is to assist
12 the tribunal. I just wanted to emphasise, that is not
13 just a mantra or form of words; it is a real thing.
14 I am sure you appreciate that, but please do appreciate
15 that the extent to which you help the tribunal will be
16 one of the factors that we take into account when we
17 assess the evidence at the end of the procedures.

18 Thirdly, your audience -- I am going to be asking
19 most of the questions, introducing most of the
20 questions, but your audience for the answers is the
21 whole tribunal and I cannot emphasise enough that all
22 the tribunal, I am sure, will be engaged in the
23 discussion that we have on the points, so just bear that
24 in mind.

25 Then lastly, in the questions that we have put

1 together, we have tried to cover the key topics. It is
2 possible that we will have missed out things which you
3 consider to be very important, and if those points come
4 up during the course of the questioning or do not come
5 up, then by all means bring that to our attention just
6 in case we have missed something that is very important
7 to help us to understand the case but which, for some
8 reason, we have not managed to properly capture in the
9 questions.

10 The plan for today is to go through the topics as
11 far as we can, or today and tomorrow, go through the
12 topics which you have already seen and the questions you
13 have already seen. I am going to give both experts the
14 opportunity to respond to all of these points, but
15 I will more or less take it in turns as to who goes
16 first. There is no particular method to who goes first,
17 but I will make sure that both experts get an
18 opportunity at least to comment on all of the topics and
19 all of the questions.

20 I think that is pretty much all I have to say by way
21 of introduction.

22 Let us move into the first topic, which is the
23 general approach to the econometric analysis which
24 underpins both of your results on overcharge.

25 The first question, perhaps Mr Harvey could go first

1 on this one, is a rather general one which is, we would
2 just like to understand from you what steps did you take
3 to address the confirmation bias concerns? We are
4 conscious that each one of you has produced a result
5 which favours the particular client that you are working
6 for, we are not shocked or surprised by that fact, but
7 it does raise in our minds the possibility of some sort
8 of confirmation bias in the analysis that you have done
9 and we want to know what have you done to address that
10 in the work that you have done. How can you assure us
11 that you have addressed that concern in the results that
12 you put forward?

13 Mr Harvey?

14 MR HARVEY: Yes, so two opening observations and then I will
15 go to the steps. The first observation is that I did
16 not have a prior as to what the figure would be, I had
17 not seen the data beforehand, and so I did not enter
18 into the analysis with a particular sum in mind.
19 Mr Ridyard, you referred to the Oxera study last week.
20 That is a study that contains a sort of literature
21 review of the typical overcharges that emerge from
22 competition law infringements. It is true that that
23 study says that a typical overcharge would be between
24 10 and 20%, but the study also shows that there is
25 a very wide range indeed, so I was open-minded when

1 I approached the data. That is the first opening
2 observation.

3 The second one is, this process encourages a lot of
4 "Am I right?" questions along the way. The expectation
5 or possibility that we will end up where we are, indeed
6 the criticism that we receive from the other experts
7 does sort of naturally encourage you to take a step back
8 and ask yourself, "Have I looked at this in the right
9 way?" and that is something that I did throughout.

10 So they are two sort of opening remarks that I guess
11 condition the practical things that I did with the
12 support of my team.

13 Then, in terms of the steps, the first thing is that
14 all of the modelling that I undertook, I tried to link
15 those decisions to what I knew from the facts and from
16 the witness statements and set them out plainly in the
17 report. Obviously, part of that is to explain why
18 I have done what I have done, but, in doing that, it
19 sort of forces you to think quite carefully about the
20 link between those facts and your modelling decisions,
21 so it encourages more of those "Am I right?" questions.

22 The second thing is that we are -- it is a difficult
23 task. There is some science in what we are doing, there
24 is obviously a lot of statistical analysis, but there
25 are decisions that require reasoning and judgment.

1 Where those decisions were difficult, I looked in to
2 preparing some sensitivity analyses to examine the
3 effect of those decisions. Sometimes I found that the
4 overcharge figures were considerably higher than the
5 central ones that I have presented, and they have been
6 included. Other times, I have found that they are lower
7 and they have been included.

8 But where I was uncertain whether there was
9 a justification to include a larger number, one
10 example -- we will go on to talk about in the AS/400
11 data, whether to include the emissions premia, I sort of
12 thought about that quite carefully and did not jump to
13 grab the figure that emerged from the data and present
14 that as my central case.

15 So those are sort of some of the steps, practically,
16 that I went through. Of course, as I think
17 Professor Neven said last week, you also need a team
18 that is prepared to ask you "Are you right?" and
19 exercise some independent thought to challenge me along
20 the way, and that is what I have. So hopefully that
21 gives you a bit of a flavour of the sorts of
22 considerations that were in my mind when I prepared the
23 report.

24 MR RIDYARD: Professor Neven, you have already given us
25 a partial answer to this question last week, but do you

1 want to add anything to what you said in the evidence
2 last week?

3 PROFESSOR NEVEN: Yes, I may want to add something which is
4 specific to the econometric analysis because what
5 I emphasised last week is the fact that in order to
6 avoid confirmation bias in this cognitive capture, it is
7 important to have different teams, it is important to
8 have people who challenge.

9 I would add that, in this particular instance,
10 I also sought the advice of a professor of econometrics
11 at the university, and quite characteristically, I put
12 forward questions to him without ever suggesting the
13 answer. So, I mean, whenever I wanted to have clarity
14 and certainty on the technical issue, I asked him, I put
15 forward the question without a suggested answer to see
16 whether my understanding was confirmed by his own
17 understanding. So that is with respect to the team
18 aspect and the importance to have challenge on the
19 approach.

20 Now, with respect to the econometrics itself,
21 I think that in order to avoid cognitive capture in this
22 confirmation bias, it is important to always go back to
23 first principle and to always try to understand why you
24 have a result that may not correspond to your prior.
25 I mean, for instance, if you get an overcharge estimate

1 in a particular specification that is negative, I mean,
2 rather than saying "Look, that does not make sense
3 because overcharges should be positive", I think that
4 what is important is to try to understand why you are
5 getting that number. I mean, why is it that the
6 particular specification that you have adopted involves
7 an identification strategy that actually does not enable
8 you to properly estimate the infringement? Why is it
9 that the infringement may be confounded with something
10 else?

11 I think the really important discipline in
12 econometric analysis to avoid this problem of
13 confirmation bias is to always go back to first
14 principle, always understand, you know, why is it that
15 you are getting this number? Why is it that, you know,
16 by changing the specification, you are actually
17 implementing an identification strategy that is
18 different, that might explain this number? What is the
19 type of variation in the data that leads to this
20 particular result?

21 Because if you do not do this, you know, if you sort
22 of reject estimates that do not correspond to your prior
23 without fundamentally understanding why you are getting
24 this result, then there is a real risk of confirmation
25 bias. You are just rejecting what does not correspond

1 to your prior and then it is becoming circular. So
2 I think that is just important to understand.

3 MR RIDYARD: Did either of you concede any points -- after
4 the first reports, my impression is that you -- you both
5 produced second and third reports, and then really the
6 very long joint report, but did either of you concede
7 that you were wrong on things and -- in the light of the
8 interaction with the other expert?

9 Mr Harvey?

10 MR HARVEY: There is one area that I reconsidered and that
11 relates to exchange rates, so we will talk about that in
12 some detail. But this point about pass-through being
13 immediate or there being a lag, I did investigate
14 sensitivity analysis that examined that because I could
15 see that the possibility of exchange rate pass-through
16 from one day to another day may be extreme. So
17 I reconsidered that. I think that is the main one.

18 MR RIDYARD: Professor Neven?

19 PROFESSOR NEVEN: I think that we have been learning in the
20 process and I think that the reports, in particular the
21 first report -- well, both reports actually that were
22 put forward by Mr Harvey, have sort of pushed me and the
23 team in clarifying some issues and in sort of better
24 understand why I was getting some results. I think, at
25 the end, having a better understanding of the underlying

1 identification problem in this exercise and have I think
2 more confidence in the result.

3 MR RIDYARD: So there is nothing specific that was -- that
4 you thought, "Oh, actually, he is right on that and
5 I have --"

6 PROFESSOR NEVEN: I think he raised important questions,
7 okay, and he pushed me to addressing these questions.
8 Whether with respect to the exchange rate and with
9 respect to the financial crisis, which are probably the
10 main issues that we are going to discuss in the next
11 couple of days, whether it sort of led me to change my
12 perspective, I would not think so.

13 MR RIDYARD: Before we leave this topic, Professor Neven,
14 your position is perhaps slightly different from
15 Mr Harvey's in that we had the whole discussion last
16 week about plausibility. One way of looking at it would
17 be to say that you went into the econometrics with
18 a prior belief that the answer was zero. Do you
19 think -- is that a fair or unfair characterisation, and
20 in either case, does that affect, do you think -- does
21 that give you more -- more onus on you to address
22 confirmation bias than Mr Harvey, who says he went in
23 with an open mind?

24 PROFESSOR NEVEN: Yes, I mean, you are asking a question
25 about introspection here. I mean, did I -- is it that

1 I was affected by the analysis that I undertook with
2 respect to plausibility? I think I did everything in
3 order to avoid that bias. As I said last week, I mean,
4 these two analyses were carried out in parallel, so
5 there was no sort of clear timing. I mean, maybe
6 I started with plausibility a little earlier, but I mean
7 they were essentially carried out in parallel. So -- or
8 what I say -- what I can say is that, you know, I do not
9 think that I was, you know, looking for a result that
10 would be consistent with my plausibility analysis.

11 THE CHAIRMAN: So are you saying that you developed your
12 plausibility theory in tandem with the econometrics?

13 PROFESSOR NEVEN: Yes, that is right. That is right.

14 THE CHAIRMAN: One -- each confirmed the other?

15 PROFESSOR NEVEN: Yes, and they confirmed one another, that
16 is right.

17 THE CHAIRMAN: Right.

18 MR RIDYARD: Okay. So the second question we have on our
19 list here relates specifically to the two data sets. We
20 know that the AS/400 data is not of the same quality as
21 the MI data that was available later on in the analysis
22 period.

23 So, Professor Neven, the question for you: given the
24 problems that clearly exist with the granularity of the
25 AS/400 data, is it really of any use in this whole

1 exercise?

2 PROFESSOR NEVEN: Yes, I mean, as you pointed out, the main
3 issue with the AS/400 data is the fact that it does not
4 have granular cost, I mean, it only has average cost
5 from different sources than the source that we have for
6 the prices. I mean, it has sort of other draw-backs,
7 some of the variables are not observed in the same
8 positions, there are more missing values and there are
9 sort of variables that are not observed as regularly.
10 We do not have the budget rate. I mean, we have to
11 impute the budget rate within the logic that we see for
12 the determination of the budget rate in the second part
13 of the sample. So, I mean, there are sort of various
14 issues with the AS/400, but I agree with you that the
15 main issue is the fact that we do not have detailed cost
16 data.

17 Now, I would just like to emphasise the fact that
18 having detailed cost data is actually very important for
19 identification. As I have explained in my report, the
20 cross-section in the cost data, the fact that, I mean,
21 you have cost that varies across trucks with different
22 specifications at any given point in time is something
23 that is essential for the identification, and, in
24 particular, in order to be able to disentangle the
25 effect of the exchange rate from the effect of the

1 infringement. So this is something that is really
2 essential.

3 Now, the other dimension in which the cross-section
4 is important is with respect to the truck mix. This is
5 an issue that I have emphasised. What happens is that
6 because the data that is available for the earlier
7 period, the AS/400, is only average cost for
8 a particular series typically, and typically at the
9 monthly level. We do not observe -- I mean, whole cost
10 will vary with respect to characteristics that may be
11 important. I took the example, I think in my third
12 report, with respect to bodies. I mean, we do not
13 actually observe whether the trucks had bodies or not,
14 and we have an average cost data and some trucks will
15 have bodies and other trucks will not have bodies.

16 So if, after the beginning of the infringement, all
17 of a sudden you have in the data a truck that has a body
18 but I cannot control for it, I mean, this is going to
19 show up potentially as being attributed to the
20 infringement.

21 So the cross-section dimension for cost is essential
22 in order to control for the truck mix. It is essential
23 in order to disentangle the effect of the exchange rate
24 from the effect of the infringement. So I just want to
25 emphasise this.

1 Now, you are asking me, is it that this data is
2 completely useless? No, I do not think it is completely
3 useless. I think that it is useful to use this AS/400
4 data as a complement to the MI data, and this is the
5 approach that I am using because, as you have seen, in
6 my report, I am doing an estimate which is using both
7 the AS/400 and the MI data in the context of
8 a before/during/after estimation. I think that we
9 probably have the opportunity to discuss that in detail,
10 but what I want to say is that using that data from my
11 perspective is useful as a complement to the MI data,
12 also to confirm some of the findings that I have with
13 the MI data.

14 MR RIDYARD: Mr Harvey, your perspective on that one?

15 MR HARVEY: Well, obviously I have prepared a before/during
16 model using the AS/400 data so I do think it is useful.
17 In terms of my thought process, I think there is quite
18 a high hurdle to disregard that data. It covers the
19 first half of the infringement period and includes
20 a significant proportion of the claimants' VOC. In
21 addition, in a sense, it gives us an extra point of
22 comparison, ie the period prior to the cartel, to
23 investigate. So I think -- the start point is that
24 I think a curious economist would look at it and should.
25 It is correct that the data is not as good as the MI

1 period in some respects, and the truck level versus the
2 average level cost is one of them. I will talk about
3 that briefly in a moment.

4 But there are other characteristics of this data set
5 that, relative to what we could have got, is quite good
6 in that we do have relatively complete data on the
7 prices that were paid, the truck sales that were made in
8 that period, the characteristics of the trucks that were
9 purchased, who bought them, that type of thing.

10 So when we look at that, it is important to see
11 I think the full context of that data set, not just the
12 one feature of it.

13 It is obviously the case that in the econometric
14 analysis, the cost variables are important. What
15 I sought to do was to examine, using the during/after
16 period, the extent to which using a less granular cost
17 measure would affect the overcharge estimate, so kind of
18 test this proposition as to whether using the inferior,
19 as it were, cost data would matter, in the best way that
20 I could. So imperfect, but eminently usable and very
21 sensible to look at, is my take.

22 SIR IAIN MCMILLAN: I was just going to ask, I think that
23 you did recognise that there were risks in the AS/400
24 data in was it annex 1?

25 MR HARVEY: Yes.

1 SIR IAIN MCMILLAN: Are you satisfied that there was enough
2 other material or treatment of the data that you were
3 able to mitigate these risks to a satisfactory degree?

4 MR HARVEY: Yes. I think two things. Firstly, we could
5 have been in a situation where there was no cost data at
6 all, and that is not the situation that we are in. We
7 could have been in a situation where we had just average
8 cost for everything over time. That is not the
9 situation we are in either. We have an average cost
10 measure for the larger trucks, CF and XF trucks, and we
11 have cost measures for four of the LF truck series. So
12 that is the first one. There is an alternative that we
13 can use, albeit not as good, but which I accept as
14 having the truck level data.

15 The second part of that is that the concern is that
16 there is a mix effect that is caught up in those average
17 cost variables, but we do have the characteristics of
18 the trucks that were sold and so can control for some of
19 those differences in the analysis.

20 Then, finally, as I said earlier, I was able to do
21 a little bit of an experiment, as it were, with the
22 during/after data to see whether swapping out the
23 granular data and putting in the less granular data,
24 what effect that would have, so --

25 THE CHAIRMAN: What did that come out as? Did that -- that

1 came out as pretty much the same?

2 MR HARVEY: Yes.

3 THE CHAIRMAN: Right, so the case is that that was
4 the only -- that is the best evidence available?

5 MR HARVEY: Yes.

6 THE CHAIRMAN: For testing the before/during period?

7 MR HARVEY: Yes, I think it is the best that we are able
8 to -- it is the data that we have.

9 THE CHAIRMAN: Yes. I mean, you have got to go with
10 whatever data is provided to you.

11 MR HARVEY: Yes, but I suppose what I am trying to emphasise
12 is that it is right to take the pause and say, "What do
13 we have instead? Can we deal with some of the issues
14 that a lack of granularity creates? Can we test the
15 effect it has on the overcharge in the period where we
16 have both?" So I am certainly not trying to advocate
17 that it is, you know, it is all we have so we had best
18 just use it. That is not the case at all. I am trying
19 to say that you do need to go through these steps quite
20 carefully, but where my, I would say, my hurdle for
21 disregarding it is relatively high because this data
22 covers half of the infringement period and a lot of the
23 sales and allows us another point of comparison, which
24 is the pre-cartel period.

25 THE CHAIRMAN: Yes.

1 MR HARVEY: That is how I thought about it.

2 THE CHAIRMAN: Did you want to say something?

3 PROFESSOR NEVEN: Yes, I want to say something. Of course,
4 I agree with Mr Harvey that the benefit of using the
5 AS/400 data is that you have an additional
6 counterfactual in the data. I mean, you have the prices
7 before the infringement starts; that is obviously
8 a benefit from using that data.

9 Now, I just want to highlight the fact that there is
10 a disagreement between Mr Harvey and myself that maybe
11 we will discuss sort of later in detail, about the
12 extent to which the combination between the use of the
13 average cost data plus the truck characteristics is
14 actually as good as using truck-level data. So I think
15 that is an issue that we will have to discuss and I have
16 concern about the use of the average cost data together
17 with the truck characteristics. So I do not think that,
18 you know, the combination between these two, I mean the
19 average cost data plus the truck characteristics, do as
20 good a job as the truck level cost data.

21 Now, I mean, clearly I agree with Mr Harvey that we
22 do not want to disregard this data all together, and
23 I do not. I mean, I am using this data also in the
24 context of the before/during analysis, but I think that,
25 to go back to what I was saying earlier, when you use

1 that data, you want to be really careful about how the
2 identification of the infringement is actually
3 undertaken, given the shortcomings of that data. So,
4 yes, that is the best we have. I mean, I am also using
5 that data, but, really, care has to be exercised with
6 respect to, you know, how we identify the infringement
7 there.

8 MR RIDYARD: What I understood Mr Harvey to say just now was
9 that, as a cross-check, he deliberately sort of
10 downgraded the quality of the data in the second period
11 to see if downgrading it in that way and having run it
12 on the good data, running it on the poorer data produced
13 a similar result. Do you disagree with his results --

14 PROFESSOR NEVEN: No, I disagree.

15 MR RIDYARD: Maybe it is worth just exploring that a little
16 bit now then. Why?

17 PROFESSOR NEVEN: No, I disagree with it because I think
18 that the reason as to why he obtains these results -- so
19 essentially what he does is that he is using the MI
20 period and in the MI period I see there I can choose.
21 Okay? I can either choose the truck level cost data or
22 I can use the average cost data together with the truck
23 characteristics. He says that whether he does one or
24 the other actually leads to similar infringement
25 estimates. Now, third observation is that when I do the

1 same exercise with my identification strategy, that is
2 to say when I estimate the model in pounds and when I do
3 not include the dummies for the financial crisis, I get
4 completely opposite result. That is to say that, if, in
5 my estimate, I used the truck level cost data, and then
6 progressively aggregate the data, so I do the exercise
7 by stage, I say, okay, I mean, instead of using the
8 truck level cost data, I aggregate them by series, then
9 I aggregate them by family, then I see that my results
10 are becoming very different. So --

11 THE CHAIRMAN: Those are different points, are they not?

12 PROFESSOR NEVEN: I do not think so. It is just that, in
13 his estimate, if he is using -- if he is comparing,
14 sorry, estimates with the truck level cost data with
15 estimates with the average cost data together with
16 characteristics, he says he obtains similar result. If
17 I do the same exercise in my model, I do not get the
18 same; okay?

19 So, essentially, we are getting sort of divergent
20 results here, and I think that --

21 THE CHAIRMAN: So you have done the same process --

22 PROFESSOR NEVEN: Yes, exactly.

23 THE CHAIRMAN: -- as him on your model --

24 PROFESSOR NEVEN: Exactly.

25 THE CHAIRMAN: -- and it comes out differently --

1 PROFESSOR NEVEN: Completely the opposite.

2 THE CHAIRMAN: Right.

3 PROFESSOR NEVEN: I mean, completely the opposite in the
4 sense that when I aggregate the data, when I use average
5 cost data with truck characteristics, I get very
6 negative infringement, so we need to understand why, you
7 know, he has this result and I have the opposite result.

8 I think the reason as to why he gets this result is
9 essentially because of the specification of this model,
10 in particular with respect to the way in which he models
11 the financial crisis. I mean, I think that because he
12 is using these dummies for the financial crisis, he is
13 imposing a completely different identification in this
14 model, which we will discuss at length, but I think that
15 the cost variable in this model and the cost variable in
16 my model actually play a very different role and that is
17 why he is obtaining these results.

18 But I think that I -- as I said, I mean, I obtain
19 different results and I also, as we are going to discuss
20 in the next couple of days, I understand why he is
21 obtaining the results that he is getting. It is
22 essentially because he is getting all the variation of
23 the data out with the financial crisis.

24 THE CHAIRMAN: Sorry, just to be clear, when you are testing
25 Mr Harvey's analysis, which attempted to sort of convert

1 the truck level data into average --

2 PROFESSOR NEVEN: Yes.

3 THE CHAIRMAN: Yes? Average truck prices, then that did not
4 work. It came out differently to when you were using
5 just the MI --

6 PROFESSOR NEVEN: That is right. That is correct.

7 I think I understand why Mr Harvey is getting
8 a different result from me. I think the reason why he
9 is getting this different result is because of the
10 specification of his model in the MI period, which
11 includes the dummies for the financial crisis.

12 Actually, I ran the estimate of Mr Harvey without
13 the financial crisis and see whether the cost data
14 matters, and it matters.

15 MR RIDYARD: Mr Harvey, a response from you on that point?

16 MR HARVEY: Well, two thoughts. The first is that the tests
17 that Professor Neven has described on his own analysis,
18 I think the result is that when you move to a more
19 aggregate cost measure, the overcharge in his analysis
20 goes lower.

21 PROFESSOR NEVEN: Negative.

22 MR HARVEY: So I think he is saying it does matter switching
23 the data out. I do not think there is any evidence to
24 suggest that using those aggregate measures leads to an
25 upward bias in my overcharge estimate.

1 Secondly, in relation to how good the combination of
2 characteristics and average cost measures are, I did
3 examine how well those characteristics explain truck
4 level MLO costs, so again, using the during/after
5 period, what you can do is to say, how much of the
6 variation in truck level MLO costs can be explained by
7 the characteristics of the trucks? A very high
8 proportion of that variation can be explained by those
9 variables, which gives me some reassurance they are
10 doing a good job.

11 Professor Neven is correct though, and we will find
12 this I think throughout this conversation, that some of
13 our findings with respect to different issues will be
14 affected by some of our decisions in relation to
15 different issues as well. So I accept that in his model
16 he might find something different, but I am satisfied
17 with the tests that I have done within the context of my
18 model, and outside of my model, that those truck
19 characteristics explain a high proportion of the MLO
20 costs which gives me some reassurance. So that would be
21 my response.

22 MR RIDYARD: Okay.

23 MR BEARD: Sir, if you were going to move on, there was
24 one -- I know that normally we would leave clarification
25 to the end, but there was a term that was used that

1 I just wonder whether it is worth clarifying for the
2 members of the tribunal, who may be familiar with it,
3 but there was a reference to cross-sectional nature of
4 data. I know that is a term that will be well-known to
5 you, but I do not know whether it is a term that the
6 other members of the tribunal are so familiar with, and
7 I just wondered whether -- it is a matter for both
8 experts to talk about it, but I wondered whether it was
9 worth picking up as a clarification now before we went
10 on? Sorry to intrude.

11 MR RIDYARD: Okay. Briefly.

12 PROFESSOR NEVEN: By the cross-section, we essentially mean
13 the variation that is occurring in a variable across
14 different trucks at a given period of time. So if you
15 think about the cost, for instance, I mean, you can look
16 at how the cost varies over time and you can look at how
17 the cost varies at any given point in time between
18 different types of trucks. I mean, what is the cost of
19 an LF truck? What is the cost of a CF truck? What is
20 the cost of an XF? How does the cost vary according to
21 the characteristics of the truck? So when we talk about
22 the cross-section variation, we talk about the variation
23 in the cost of a truck across different trucks with
24 different specifications at a given point in time.

25 We are going to go back to this a lot, because the

1 issue of identification in this exercise here really
2 hinges on that dimension. How can we use the fact that
3 you have data on different trucks with different
4 characteristics at a given point in time to identify the
5 effect of the infringement and the effect of the
6 exchange rate relative to using simply the truck series.

7 MR RIDYARD: Okay.

8 Mr Harvey, the -- a moment -- or a while ago now,
9 Professor Neven mentioned the possibility of mix
10 effects, which is sort what we were just talking about
11 just now, you could have some trucks with bodies and
12 some trucks without bodies, and if there was a change in
13 the mix between one period and another that could really
14 effect prices without it being picked up in some other
15 aspects. Is there any reason to think there are
16 different mixes in the data sets? Looking at the two
17 data sets, the AS data and the MI data, that would lead
18 to some systematic difference in the type of trucks that
19 are in the data in the two data sets, that would cause
20 us concern? Or is it just uncertainty in general?

21 MR HARVEY: The mix of trucks will obviously change over
22 time, new models and so forth are being introduced
23 throughout the time period. I suppose the relevant mix
24 effects that matter, I think, are those that you cannot
25 see, so those that you cannot control for in the

1 analysis. So --

2 MR RIDYARD: Sorry, I should have made clear in my question
3 that obviously the focus here is on the AS/400 period in
4 particular because that is when we do not have as good
5 a handle on -- we have these broad categories rather
6 than more granular approach.

7 MR HARVEY: Yes. Well, what we do have in that period
8 though is we do have data on the characteristics of the
9 trucks, the series of the trucks which sort of define
10 how big they are and many axles and their horsepower and
11 so forth. I am not aware of anything that would
12 systematically move over time that is not captured by
13 those transaction controls that we do have.

14 MR RIDYARD: So it is noisier, but you have got no
15 particular reason to think it is noisy in one direction
16 or the other?

17 MR HARVEY: I do not think so, no.

18 MR RIDYARD: Yes.

19 Professor Neven, do you?

20 PROFESSOR NEVEN: No, I think I disagree with this.

21 I explained it in my third report. In my third report,
22 I show that there is a change in the significance of
23 options in the AS/400 period, respectively before the
24 beginning of the infringement and after the beginning of
25 the infringement. I see that the share option is

1 actually increasing.

2 But why does that matter? It matters because indeed
3 we have changes in the truck mix, so at any point in
4 time you have a different truck mix, different types of
5 trucks are being sold, and as Mr Harvey has explained,
6 what matters is whether you can control for it; okay?

7 Now, in the AS/400 period, we do not have cost data
8 that would reflect the options on the truck because we
9 have average costs; okay? Now, it means that we are
10 trying to explain the truck -- the price, sorry, of
11 a truck that may have a lot of options in terms of an
12 average cost of trucks that will include options as well
13 few options. You know, this can potentially lead to
14 a problem with respect to the identification of the
15 infringement, if the significance of the options, the
16 distribution of the options around this average is
17 changing between the period before the infringement and
18 after.

19 If, I mean, indeed the significance of the option is
20 increasing after the beginning of the infringement,
21 there will be an issue in the sense that there are sort
22 of -- it is more likely that you will have truck with
23 lots of options that have high prices that cannot be
24 explained by the average cost, and this could lead to
25 a -- potentially, again, a bias in the infringement

1 because you see that there are high prices with trucks
2 that have lots of options that cannot be explained by
3 the average price.

4 So, yes, I think that there is a reason to be
5 concerned about this.

6 SIR IAIN MCMILLAN: That is very helpful, Professor.

7 I think that the issue of the product mix effects
8 and the average truck, I think, am I right, Mr Harvey,
9 that you explore that and address that issue again in
10 annex 1, about how you overcame the potential
11 unreliability of that?

12 MR HARVEY: What we tried to do was to add in some more
13 controls for the characteristics. Is that the ...?
14 Maybe we will go to annex 1. I think that might be the
15 sensitivity that you are referring to.

16 SIR IAIN MCMILLAN: Yes, I think there was a point somewhere
17 in annex 1, and I do apologise for not being able to
18 take you straight to it, I am doing this from memory,
19 where you justified your approach to addressing the
20 problems that Professor Neven has explained.

21 I think I have written down here 2.31 figure, that
22 is perhaps where I saw that.

23 THE CHAIRMAN: Do you have a reference for --

24 MR HARVEY: I have found it. It is {E/IC2/31}.

25 THE CHAIRMAN: Thank you. Which page?

1 MR HARVEY: 31.

2 THE CHAIRMAN: 31.

3 MR HARVEY: So there are two observations. We did two
4 things. The first is, we attempted to include more
5 truck characteristic controls in the earlier period.
6 What this chart is trying to do is to understand the
7 relationship between the average MLO cost and invoice
8 prices to see whether they are sort of correlated with
9 one another, and this is the chart showing that they
10 are. Now, obviously it is also showing that there is
11 some variation around this line, but this gave me some
12 reassurance that there was a link between these MLO
13 costs and the average invoice prices. I think, although
14 Professor Neven is correct to say that there is
15 a possibly, of course, that trucks with more options
16 might be provided at a higher price, we also need to
17 believe that those trucks have been provided at a higher
18 price and there would not be an increase in the average
19 MLO costs associated -- well, actually, the costs would
20 not go up in the way that would be associated those
21 extra options too. So it is almost that those -- there
22 needs to be some greater disconnect, as it were, between
23 costs in the price of those options --

24 MR RIDYARD: Higher margins for more highly specified
25 trucks?

1 MR HARVEY: Potentially, yes. That type of thing. So that
2 is something that we cannot investigate here. But this
3 analysis was included to give some reassurance that
4 there is this sort of broad relationship between the
5 costs and the prices.

6 MR RIDYARD: Professor Neven?

7 PROFESSOR NEVEN: I do not think that this particular figure
8 is revealing actually, because this particular figure is
9 looking at the MLO cost on the horizontal axis and the
10 price on the vertical axis --

11 MR RIDYARD: Your point was, over time, the mix changed.

12 Yes. I understand the point, yes.

13 PROFESSOR NEVEN: Exactly, so I do not think this figure is
14 revealing. I think that there is common ground between
15 Mr Harvey and myself that the share -- I mean, the cost
16 that is associated with the share of option, you just do
17 not observe it. I mean, and we know that the share of
18 option is changing over time.

19 So I appreciate that he has tried to control for
20 this shortcoming of the data by introducing some
21 characteristics, but there are some key characteristics
22 we simply do not observe.

23 MR RIDYARD: Thank you.

24 Let us move to the broader subject of
25 before/during/after modelling.

1 First of all, Professor Neven, are there any
2 a priori reasons for expecting that a before/during
3 estimate is likely to differ from a during/after
4 estimate, assuming one had comparable data in the two
5 periods?

6 PROFESSOR NEVEN: There is a very significant challenge with
7 any before/during analysis and the big challenge is the
8 very sharp appreciation of the sterling, of the pound,
9 at the beginning of the infringement.

10 What is happening is that the infringement starts at
11 the same time the pound sterling is appreciating. Now,
12 you have to think about what are the consequences of
13 that with respect to prices? It means that the pound is
14 appreciating, it means that DAF, which is incurring cost
15 in euros mostly, all of a sudden is going to become more
16 competitive because it has cost in euro, the pound is
17 appreciating. So you would expect that, you know, DAF
18 becoming more competitive, I mean, might adjust the
19 prices in pounds. So there is going to be -- one of the
20 key factors which will determine the prices that DAF is
21 going to charge in pounds, which is determined by the
22 exchange rate which is affected by the exchange rate.

23 MR RIDYARD: You say more competitive, but I mean everyone
24 is having costs in euros.

25 PROFESSOR NEVEN: Okay. Two observations with respect to

1 that is that DAF is becoming more competitive in
2 relation to demand; okay? I will address the point of
3 the competitors in a second, but DAF is becoming -- if
4 you think about DAF's position in relation to customers'
5 willingness to pay, customers' willingness to pay is
6 determined by their situation in the UK market and is
7 determined in pounds. All of a sudden, DAF is becoming
8 a lower cost producer, and so, when you are becoming
9 a lower cost producer, you expect that prices are going
10 to be affected.

11 Now, what is interesting, as you pointed out, is
12 that, of course, all the other truck manufacturers have
13 the same issue because they also are incurring their
14 cost in euros or possibly in Swedish krone, but the
15 Swedish krone, I think it is likely that the truck --
16 I do not know, but it is likely that the trucks that are
17 produced by Scania also has a very high euro content.
18 So indeed all of them are affected.

19 But, I mean, we know that even when all competitors
20 are affected by the change in cost which is a common
21 change in cost, they do not sort of pass on this change
22 in cost completely. This is a very strong result, is
23 that, you know, even though everyone, all the
24 manufacturers, were in that position, so even though all
25 the manufacturers all of a sudden had lower costs in

1 relation to the UK demand, you would not expect that
2 this change in cost would necessarily lead to a one to
3 one change in the price in my example to a one to one
4 reduction in the price. I think that their empirical
5 evidence and economic theory is quite clear, actually
6 there was a BP report in 2009, which is a very useful
7 report, that sort of shows quite clearly that it is only
8 with extreme conditions, where you have perfect
9 competition, where all the products are very, very close
10 substitute, that you will get a pass on the disclosed
11 amount.

12 MR RIDYARD: I do not want to go into the whole exchange
13 rate topic because we will give that good airtime later
14 on in the process.

15 Is there anything more broadly about -- just taking
16 it away from the facts of this case, but just more
17 broadly about whether you might expect a before/during
18 effect to differ from a during/after effect?

19 PROFESSOR NEVEN: Okay, fine. I looked at it from an
20 empirical perspective and indeed I highlighted the
21 problem of the exchange rate. I should also highlight
22 at the same time the fact that we do not have the proper
23 data to identify it because we do not have data that
24 varies across trucks, so that is on the exchange rate
25 side.

1 Now, do we expect in terms of the evidence in the
2 case that the infringement effect is different during
3 the first part of the infringement and the second part
4 of the infringement?

5 Well, what is the file telling us? The file is
6 telling us that during the first part of the
7 infringement, the contacts between the manufacturers
8 were taking place at the headquarter level. After
9 2003/2004, which corresponds to the MI data, I mean,
10 most of the infringement is actually in terms of the
11 exchange that was taking place in Germany.

12 Is it that these two different types of conducts, as
13 described by the European Commission, would expect you
14 to have a different infringement effect? Honestly, I do
15 not know. I mean, that is possibly something that you
16 want to test empirically, and indeed, in the context of
17 what I am doing, of having on the one hand
18 a before/during analysis, but also -- sorry,
19 a during/after analysis, but also a before/during/after
20 analysis, I can also test that, but Mr Harvey can also
21 test it in his approach.

22 So I do not have a strong prior as to why the
23 infringement effect should be very different in the
24 first part of the infringement from the second part of
25 the infringement.

1 One argument might be that, because in the second
2 part of the infringement the exchange of information was
3 more organised, I mean it was more regular, maybe it
4 should have a stronger effect, but at the same time, it
5 is relevant for the UK, it is much less clear. So,
6 honestly --

7 THE CHAIRMAN: Correct me if I am wrong, but I think the
8 question is more related to whether there is some
9 particular reason about -- that differs -- that makes it
10 better to look at a before/during analysis as opposed to
11 a during/after analysis.

12 PROFESSOR NEVEN: Okay, so --

13 THE CHAIRMAN: Not about the particular way the infringement
14 operated or anything like that. Whether it is better to
15 look at a before/during or a during/after.

16 PROFESSOR NEVEN: I try to -- so what I meant to say with
17 respect to my explanation is that, you know, it is
18 useful to look at the before/during precisely in order
19 to test this idea that maybe the infringement was
20 different; okay? So that was the sense of my answer.

21 Even though, as I was trying to explain, it is not
22 clear a priori that you would expect the effects to be
23 different, but, you know, testing whether the effects
24 are different in the first part of the infringement and
25 the second part of the infringement is potentially

1 something that is useful. As Mr Harvey has said, using
2 the counterfactual before is also something that is
3 potentially useful.

4 MR RIDYARD: Mr Harvey?

5 MR HARVEY: I did not have a prior expectation of the true
6 infringement effect differing over the time period,
7 although it is possible I suppose. One of the reasons
8 that is typically cited for -- in a perfect data world,
9 favouring a before/during comparison rather than
10 a during/after comparison is the possibility that the
11 effect of the infringement does not unravel immediately,
12 so probably labelled as an "overhang". I mention that
13 as a possibility in my report. I do not put it too high
14 because I do not know the mechanics of exactly how the
15 infringement operated. But what I did observe is that
16 the cooperation involved obviously the discussions
17 surrounding the euro standards, some of which were sold
18 after the infringement period.

19 The second sort of looser argument that is given is,
20 once a market has operated in a particular way for
21 a long time, it may take time for a new competitive norm
22 to be established. So that is a sort of in principle
23 reason as to why, extracting from the data that we have
24 in this case, one might attach more weight to
25 a before/during comparison than during/after comparison.

1 It is a possibility that I have mentioned in my report.

2 MR RIDYARD: But for both of you, the econometric model is
3 just a dummy variable, which is a 1 or 0, and so the
4 working assumption of both of your econometric analyses
5 was that the cartel was either on or off and that is it.

6 MR HARVEY: Correct, yes.

7 MR RIDYARD: I mean is there any -- given you have made this
8 point, Mr Harvey, is there anything in your evidence --
9 did you put anything in from empirical literature or
10 elsewhere in your references that would support the idea
11 that the cartel effect might hang on even after a cartel
12 infringement has finished, or is that purely
13 a fact-specific --

14 MR HARVEY: No, I did not include. It seems to me that this
15 is something that is inevitably quite idiosyncratic. It
16 is something that would depend on the market and the
17 nature of the infringement I think, so I did not, no.

18 MR RIDYARD: Thank you.

19 THE CHAIRMAN: Does the analysis show that it takes a few
20 years after the end of the infringement for the
21 overcharge to be eliminated?

22 MR HARVEY: No, I have not investigated that. What the
23 analysis does show, it is sort of another example of the
24 interactions that I spoke about earlier, there is
25 a Euro 5 emissions premia, which we will talk about in

1 detail. That is estimated using both the infringement
2 period, but also there were some Euro 5 trucks sold
3 after the infringement period too, so it sort of takes
4 account of both. So I suppose, I did not interpret it
5 in this way for the purposes of calculating the harm,
6 but one interpretation of that is that is the element
7 of, if you like, overcharge that carries on. As I say,
8 I did not put it too high, but I suppose that is the
9 closest I think to getting at the type of analysis that
10 you have suggested.

11 MR RIDYARD: Professor Neven?

12 PROFESSOR NEVEN: Just two short observations. The first
13 one is that the two counterfactuals that we have in the
14 data are not equally good in terms of data, because the
15 first counterfactual, as you know, which is the period
16 1995/1997, was very short. I mean, it is a period for
17 which we do not have good cost data so we are not in
18 a position to really have a good estimate -- well, we do
19 not have the cost that is necessary; and, importantly,
20 the trucks that are sold in this initial period are very
21 specific. They are Euro 1 trucks, and it is only --
22 Euro 2 trucks are introduced only sort of a few months
23 before the beginning of the infringement. So it is not
24 a very good counterfactual. It is not comparable to the
25 counterfactual that we have after the end of the

1 infringement.

2 The second observation, but I do not want to
3 anticipate on the discussion that we will surely have
4 tomorrow, is that I do have a specification in which
5 I can test for this overhang effect, in the sense that
6 I allow the infringement to affect all Euro 5 trucks.
7 That is to say, all trucks, Euro 5 specifications, that
8 are sold, even after 2011. So I said, okay, I assume
9 that all Euro 5 trucks are affected by infringement and
10 my estimate does not change.

11 MR RIDYARD: We will come back to that on the emissions
12 topic, as you suggest. Yes, okay. Thanks.

13 Professor Neven, maybe it would be useful for you to
14 lead on this next one which is, looking at this whole
15 before/during/after approach that you have adopted, one
16 way -- it may be an oversimplification for
17 characterising what you have done is, you have obviously
18 recognised the weaknesses of the old data set and you
19 have, in doing a before/during/after model, what you
20 have tried to do in some sense is marry the old data set
21 up with the newer data set, which is better, in order to
22 use what can be used from the old data set and to link
23 it in with the MI data set.

24 Is that -- can you comment on -- you can give
25 a better explanation of what you have done than what

1 I just did, I am sure, and can you comment on what you
2 see as the merits of that? Because clearly you think
3 that there is a merit in marrying up the two data sets
4 and using them both in one regression run across the
5 whole period, but can you comment a bit more on why you
6 think that is the appropriate approach and what the pros
7 and cons of that approach are?

8 PROFESSOR NEVEN: Yes, I think that the benefit of using the
9 MI -- the AS/400 data, sorry, the old data together with
10 the new data is that you can actually mitigate by doing
11 that the shortcomings of the old data. That is
12 essentially because of how you measure cost in this next
13 model. So in this model in which you are using both the
14 AS/400 data, which is not truck specific cost, with the
15 cost specific data, is that the model of course will
16 have some good truck specific cost data for part of the
17 estimation and some less reliable one. How does an
18 econometric model work? An econometric model works by
19 always giving the priority to the higher quality data,
20 and that is because an econometric model is
21 systematically minimising the difference between the
22 data and what the model will predict.

23 So if, in a model for part of the sample, you have
24 good data and for part of the sample you have less good
25 data, the econometric model will always give more weight

1 to the better data. So -- and that is because when you
2 are giving more weight to the good data, that is where
3 there is scope for reducing errors, because you have
4 good cost data that can explain the prices better. So
5 there is a technical reason as to why, by mixing the
6 AS/400 data, which is average cost data, with the better
7 MI data, which has cost level data. Why? You mitigate
8 the shortcoming of the AS/400 data.

9 Actually, we see it quite clearly in the coefficient
10 of the cost variable in this before/during/after model.
11 I mean, of course, you see that of course this
12 coefficient is not as high as the coefficient that
13 I have in the during/after, because in the during/after,
14 I am only using good data and I have a very good
15 estimate of the extent to which the costs explains the
16 price. If I put the two data sets together, I have
17 a coefficient for the cost estimate that, of course, is
18 going down, but is not going down to such an extent. So
19 this is a clear indication that by putting the two data
20 sets together, I make the best out of the cost data.

21 THE CHAIRMAN: Could you just explain for my benefit what
22 you mean by the coefficient?

23 PROFESSOR NEVEN: Okay. So when you are estimating
24 a regression model, essentially you are asking the model
25 to explain the prices, the invoice prices, and you

1 explain it in terms of all sorts of control variable,
2 including the infringement. So, for instance, here we
3 were referring to the cost; okay? So the model is going
4 to estimate to what extent the invoice prices are
5 explained by the costs of the trucks, and the
6 relationship between the cost of the truck and the price
7 of the truck, as revealed by the data, is captured by
8 this coefficient. This coefficient is technically
9 a number, if you want, that will express by how much,
10 according to the data, the change in costs leads to
11 a change in price. Typically, in my estimates, this
12 coefficient is around 0.8. What does it mean? It means
13 that an increase in point by 1% is going to lead to an
14 increase in the invoice price of the truck by 0.8 of
15 a per cent. That is what I get in my MI estimation.

16 If I use the AS/400 together with the MI, this
17 coefficient goes down. Why? Because the data is less
18 precise. So inevitably the cost data is going to be
19 less good at explaining the prices, but this coefficient
20 is not reducing, is not going down all that much, it is
21 going down from around 0.8 to around 0.7.

22 MR RIDYARD: To what, sorry?

23 PROFESSOR NEVEN: 0.7.

24 MR RIDYARD: Mr Harvey, your thoughts on that?

25 MR HARVEY: The way I thought about this was to go back to

1 the problem that we are seeking to solve. So the
2 problem is a lack of granular cost data in the
3 before/during period. Putting the two data sets
4 together does not solve that problem. You do not create
5 any new information by splicing them together.
6 Obviously you do not, because you still do not have the
7 underlying cost data. The arguments that
8 Professor Neven has just been through seem to me to be
9 not so much an argument for the before/during/after
10 model, but an argument for why one might, in this case,
11 attach more weight to a during/after model. So that is
12 how I see this.

13 Is there a -- so, in a sense, you end up with sort
14 of an average of the two data sets.

15 Then the question becomes in this case: is there
16 a downside? Because, in a sense, you might say, no harm
17 done by linking the two together, boosting the size of
18 your sample, that type of thing. I think in this case
19 there is a downside and the downside is that it becomes
20 difficult to interpret the emission standard premia. So
21 I mentioned earlier that -- we have discussed I think in
22 a previous part of this hearing that one of the things
23 that we both find is a margin attracted to the later
24 emission standards. I have interpreted that as a cartel
25 effect. I am only able to do that because of having

1 granular cost data. If I do not have granular cost
2 data, I run the risk that I misinterpret that effect,
3 not as a margin effect, but actually as just an
4 elevation in cost that I have not been able to control
5 for. So I see a benefit of keeping these data sets
6 separate, because it allows me to investigate that
7 effect in the during/after period, whereas when you
8 bring them together you lose that ability.

9 So I think that is how I feel. I do not feel that
10 bringing together the two data sets solves the
11 underlying problem. It also means that that model
12 cannot be used for one of the things that we are using
13 during/after data for.

14 MR RIDYARD: Just going specifically on the costs -- the
15 cost explainer for prices, from memory, I think that in
16 your before/during model, you have got a cost
17 coefficient of something like 0.35 or something? 0.3
18 something?

19 MR HARVEY: Yes, that is right.

20 MR RIDYARD: Whereas in the during/after you get a much
21 higher cost coefficient, and Professor Neven has drawn
22 attention to the gap between those two costs -- the
23 apparent impact of costs on prices in the two models.

24 He described how, by marrying the two data sets up,
25 he believed that he could narrow that gap and that gave

1 him some reassurance. So can you just comment on that
2 specific point?

3 MR HARVEY: Well, I think the issue is whether the lower
4 cost coefficient would give rise to -- could give rise
5 to a bias in the overcharge estimate.

6 MR RIDYARD: Yes.

7 MR HARVEY: It is not surprising to me that the two cost
8 coefficients are different because, obviously, we have
9 different data and measuring different things. We are
10 measuring, in one, the impact of changes in average
11 costs on prices at the truck level, and in the other
12 data set, we are measuring the impact of truck cost
13 changes on individual trucks. So it is not surprising
14 to me that we get a difference. It does not follow from
15 that that one estimate of the overcharge is biased.
16 That depends on whether we have adequately controlled
17 for costs over time, some of the discussion we have
18 already had.

19 I recognise that when you blend the data together,
20 you unsurprisingly get a number that is somewhere
21 between the lower number and the higher number, but I do
22 not think that of itself is -- means that it is a better
23 regression for the purpose that we have got here. That
24 is my reaction to that.

25 THE CHAIRMAN: Is that coefficient then as an average over

1 the whole period?

2 MR HARVEY: So this -- yes, sorry, I have bundled two
3 concepts in there. So the coefficient that is
4 estimated, this relationship between costs and prices,
5 is an average, so it takes all of the data over the
6 whole period and indeed between trucks, and says, on
7 average, how do prices change when costs change. That
8 is true, irrespective of the granularity of data that
9 you are using.

10 In the before/during regression, the results of that
11 say -- that cost coefficient, say the responsiveness of
12 truck level prices, individual truck prices to costs is
13 lower. What I am saying is, why is that? The reason
14 that happens is because we are modelling something
15 a little bit different. We are modelling the
16 responsiveness of individual truck prices to average
17 truck costs, whereas in the later period we are looking
18 at the responsiveness of individual truck prices to
19 individual truck costs. So, understandably, you get a,
20 kind of a stronger, as it were, relationship between the
21 variables in the different data periods. Is that ...?

22 THE CHAIRMAN: Yes.

23 So where, Professor Neven, you took the whole period
24 of infringement and come out with a coefficient of 0.7
25 I think you said, whereas it was 0.8 for the --

1 during -- for the later period?

2 PROFESSOR NEVEN: Exactly. That is right.

3 THE CHAIRMAN: Okay. So, well, obviously, if you are
4 averaging out for the whole period, it is going to be
5 higher than just the before/during figures?

6 PROFESSOR NEVEN: Yes. Of course. Of course, the
7 coefficient I get by using only the during/after would
8 be higher, but I was drawing attention to the fact that
9 when I use the AS/400 data together with the truck level
10 data for the MI period, this coefficient does not come
11 down so much.

12 So the cost is still playing an important role in
13 explaining the prices in the entire sample.

14 I think that I just want to comment on what
15 Mr Harvey said here, because getting a good estimate of
16 how costs affect prices is crucial for identification
17 here. I mean, to make the best use as possible of the
18 truck level cost data that we have for the MI period and
19 using it also to pool, so to speak, the information that
20 we have in the AS/400 data is important.

21 MR RIDYARD: Does it really do that? I mean, as Mr Harvey
22 said, the data for the earlier period is just not very
23 good and that is true whether you put it in with some
24 good data which happens to apply to a later on period.
25 Does the goodness of the later period, does it

1 somehow --

2 PROFESSOR NEVEN: Yes, it does.

3 MR RIDYARD: -- cross-infect in a positive way the badness
4 of the data in the earlier period?

5 PROFESSOR NEVEN: It does actually because when you estimate
6 the model on the two data sets together, you end up with
7 a coefficient which leads to an explanation of the
8 prices by the costs, and this has an impact on how well
9 you can estimate the infringement. So the fact that
10 this cost coefficient is higher has an impact on the
11 identification of the infringement.

12 THE CHAIRMAN: Can I ask, did you do a before/during
13 analysis?

14 PROFESSOR NEVEN: Yes, this is one of the -- indeed, this
15 morning, when we were referring to the tables for which
16 I changed the label, the table 19/20/21 in my second
17 report, I did experiment with the before/during
18 analysis.

19 THE CHAIRMAN: Did it come out with a very different figure,
20 the before/during/after?

21 PROFESSOR NEVEN: Yes.

22 THE CHAIRMAN: It did?

23 PROFESSOR NEVEN: It does, yes.

24 THE CHAIRMAN: It showed an overcharge?

25 PROFESSOR NEVEN: It really depends on the way in which we

1 deal with the exchange rate.

2 THE CHAIRMAN: Okay.

3 PROFESSOR NEVEN: What I show is that the problem with this
4 before/during analysis is what I referred to earlier, is
5 this massive appreciation of the pound at the beginning
6 of the infringement.

7 THE CHAIRMAN: Yes.

8 PROFESSOR NEVEN: If -- using my approach to identify this
9 effect of the -- okay, using an alternative approach to
10 try to identify the effect of this appreciation of the
11 pound, I get a slightly negative overcharge.

12 THE CHAIRMAN: The effects of the strengthening of the pound
13 at the start of the infringement period, I think is what
14 you are saying.

15 PROFESSOR NEVEN: Yes.

16 THE CHAIRMAN: Are you saying that that is mitigated by
17 using a before/during/after approach, rather than just
18 a before/during?

19 PROFESSOR NEVEN: Yes. Indeed. Indeed. I am better able
20 to control for the exchange rate by using a larger
21 sample, because indeed -- I mean, the basic problem of
22 controlling for the exchange rate is this sort of sharp
23 appreciation at the beginning, and, of course, if you
24 are using a longer sample, you have sort of different
25 changes in the exchange rate. If you are thinking

1 about, you know, the behaviour of the pound during the
2 period, you have the sharp appreciation at the
3 beginning, and then I mean you have another sharp change
4 in the exchange rate of the pound, which is in 2008, at
5 the beginning of the financial crisis, you have a sharp
6 depreciation of the pound. Then the pound is sort of
7 staying low, I mean, for the end of the infringement and
8 starts to rise after the infringement, so you get much
9 more variation in the baseline.

10 THE CHAIRMAN: So it smooths out over time?

11 PROFESSOR NEVEN: The important point is that you get much
12 more variation in the data because you do not have only
13 this massive change. You also have other changes and
14 this enables you to better isolate the effect of the
15 exchange rate from the infringement in particular.

16 Can I just say one more observation on what
17 Mr Harvey said earlier? I did not follow his argument
18 with respect to the emission standards, because he seems
19 to say that when I estimate a before/during/after model,
20 there is a problem with respect to the identification of
21 the emission standards. I do not follow that, because
22 I agree with him that, with respect to Euro 2 in
23 particular, this is a period for which we do not have
24 good cost data so it is hard to tell whether the premium
25 that we estimate for Euro 2 trucks is due to cost or is

1 due to something else; okay?

2 Similarly, for the -- well, essentially for Euro 2.

3 But the fact that it is hard to interpret what is
4 happening with respect to Euro 2 has no pollution effect
5 on how we can estimate and potentially interpret what is
6 happening with respect to the emission standard dummy
7 for Euro 4, Euro 5 or Euro 6. The fact that it is
8 difficult to interpret a premium with respect to the
9 trucks that were introduced, in an environment in which
10 we do not have good cost data, is there, whether you do
11 your before/during, or whether you do
12 a before/during/after.

13 THE CHAIRMAN: We need to have our ten-minute break so we
14 will do that now. We will come back in ten minutes.

15 (11.57 am)

16 (A short break)

17 (12.10 pm)

18 MR RIDYARD: I think I have one final question on this topic
19 of the before/during/after, which is actually picking up
20 a point that Professor Neven made before the break,
21 which was about the number of observations that are
22 available.

23 In a way, this goes back to the prior questions
24 about whether the before period is analysable or useful
25 at all.

1 I think, Professor Neven, in your evidence, you say
2 there is only about 50,000 observations of Euro 2 trucks
3 that are sold before the cartel period, so everything is
4 resting on those observations; is that right? If so,
5 can you comment on how many observations you think you
6 would be comfortable with? How many usable observations
7 you would be comfortable to make useful use of the
8 before/during period?

9 PROFESSOR NEVEN: No, there is no magic number of
10 observation that is sufficient in order to perform
11 a reliable estimation. What matters is the variability
12 that you have in the data. I mean, you can have a lot
13 of data, but if there is no variability in that data in
14 order to identify particular effects, it is of no use.

15 MR RIDYARD: Sorry to interrupt, it was 5,000, not 50,000
16 observations.

17 PROFESSOR NEVEN: Yes, exactly. I was going to correct that
18 because indeed, all together in the AS/400 data, you
19 have about 90,000 observations, and then you have, I do
20 not remember exactly the number of observations that you
21 have before, but I think it is around 20,000 all
22 together, Euro 1 as well as Euro 2. Okay?

23 When you introduce emission standard fixed effects,
24 that is something we will discuss later, but when you
25 introduce emission standard fixed effects, the

1 infringement is estimated only on Euro 2 trucks; okay?

2 MR RIDYARD: Yes.

3 PROFESSOR NEVEN: So it is basically estimated by comparing
4 the prices of Euro 2 trucks before and after. If you
5 look at that, I mean, all together it is 5,000 trucks.
6 So you basically have 5,000 usable trucks in the before
7 period in order to estimate the effect of the
8 infringement, and you do that in an environment in which
9 your cost is not very good.

10 MR RIDYARD: Yes. Mr Har -- oh sorry. I was just going to
11 get Mr Harvey's take on that same topic.

12 MR HARVEY: Yes, I think I agree. There is not really a --
13 although it would be fantastic if there was --
14 a threshold at which you could say you have too little
15 or too few observations. So from my perspective, what
16 you need to do is you have to conduct the analysis, look
17 at the statistical properties of the model that you have
18 produced and satisfy yourself that it is robust, that
19 you do have, as Professor Neven has indicated, sort of
20 sufficient information variation in the data, and
21 obviously I have explained why I think we do. In an
22 alternative, would it be better to have even more?
23 Maybe, but I do not think that thousands of observations
24 of itself means that data is unreliable and cannot be
25 used for comparison.

1 THE CHAIRMAN: Can I just ask a question, Professor Neven.

2 You have a number of times now separated out the
3 infringement period to the early part, where it was
4 conducted through the headquarters, and then you suggest
5 that the later period was just within the German
6 subsidiaries. I am not sure of the factual basis for
7 that assertion, but given that that seems to be the way
8 that you are approaching it, does that affect whether it
9 is appropriate to conduct a before/during or, as you
10 did, a before/during/after effect, given that there were
11 those separations, in your mind at least, within the
12 infringement period?

13 PROFESSOR NEVEN: Okay. No, as I said, I just observed that
14 there was a difference and I have no particular prior as
15 to whether the effect should be stronger in the second
16 part of the infringement relative to the first one, and
17 I think Mr Harvey agrees with that. I think that he
18 said the same. So we do not have a clear prior.

19 At the same time, having an additional
20 counterfactual is useful in order to test that, and both
21 Mr Harvey and I can test it. I mean, he can test it
22 because he is using a before/during and he can estimate
23 a specific effect that is associated with the beginning
24 of the infringement, and then he is estimating a during
25 after and he is estimating the effect of the

1 infringement from its termination. So he can estimate
2 two specific effects, and indeed he gets very different
3 effects; okay? I mean, he gets sort of 14% in the
4 other -- not 14, but 12% in the first period, and he
5 gets a much lower effect in the second period.

6 Now, I can also do it because what happens is that
7 I can compare the effect of the infringement that
8 I estimate if I am using the during/after only with the
9 effect that I am estimating if I am using both
10 counterfactuals. So if indeed it was the case that the
11 effect of the infringement at the beginning was very
12 different from the effect of the infringement at its
13 termination, then the coefficient of the infringement,
14 the effect of the infringement that I estimate from the
15 during/after model would be very different from what
16 I estimate from the before/during/after model. So we
17 can both see whether there was a difference between the
18 effect at the beginning and the effect at the
19 termination. He does it directly, because he estimates
20 two different coefficients, and I can infer it by
21 looking at the difference in my estimates.

22 THE CHAIRMAN: Do you want to say anything else, Mr Harvey?

23 MR HARVEY: No, I do not.

24 MR RIDYARD: I think that is probably all we wanted to ask
25 you about on this topic, topic 1. Are there any

1 clarification questions from the counsel?

2 MR BEARD: I have two that might be -- I am so sorry.

3 THE CHAIRMAN: Mr Ward, is that you trying to say something?

4 MR WARD: Only to confirm I do not have any questions.

5 Thank you.

6 THE CHAIRMAN: Thank you, Mr Ward.

7 MR BEARD: I only have two that may or may not be of

8 assistance.

9 The first was actually going back to a point about
10 the variability of exchange rates and why that is
11 significant, because the chairman referred to overtime
12 things smoothing out. But, without in any way wanting
13 to lead, I think the position of both experts is that it
14 is actually variability in data that can be important in
15 relation to econometric analysis. I just wondered
16 whether it was worth just both experts spelling that
17 out, why the variability matters for what you are doing
18 with the econometric tools that you are using? It is an
19 open question for both of you.

20 It may be something we come back to on exchange
21 rates, but I think it may be instructive, just given
22 some of the questions that were raised.

23 I do not know if Mr Harvey wanted to start?

24 MR HARVEY: Yes, so the reason -- putting to one side

25 exchange rates -- the reason why variability matters in

1 an econometric analysis and in a sense why it is, as it
2 were, sort of prioritised over a number of data points
3 that you have is, it is that that is providing
4 information about the relationship between prices and
5 other factors. So when you have more variability, you
6 can observe -- you are better able, rather, to work out
7 what happens to prices when, say, costs change and that
8 is -- it is where the information is in the data.

9 PROFESSOR NEVEN: There is no disagreement. The more
10 variation in the data, the better position you are in in
11 order to identify the effect of the variable. I mean,
12 if you have, say, a variable -- if cost was never
13 changing, you would never estimate the impact on cost on
14 prices, so you need to have variation in costs in order
15 to see how costs affect prices.

16 Similarly, with respect to the exchange rate,
17 I mean, if you just had one event, it is not great
18 because you just had one change in the exchange rate,
19 which is essentially what you have in the AS/400 period
20 with the appreciation of the pound. If you are looking
21 at the entire sample, you have variations.

22 MR BEARD: Thank you.

23 The other questions that I had were really --
24 because this is not a memory test, if we go to
25 {E/62/29}, because there has been lots of reference to

1 coefficients, E/62, page 29. It is table 1 in
2 Professor Neven's supplemental report, but it is
3 actually an extension of a table that Mr Harvey had in
4 one of his reports. I just wanted to check --
5 supplemental, page 29, I think it is up on the screen.

6 There are various references to coefficients and
7 I think these are the coefficients that have been
8 referred to in the discussions earlier, and I just
9 wanted to confirm that, because it may be useful for the
10 tribunal to have that as a point of just reference.

11 I am not talking about whether or not they are right or
12 wrong at this point, but I think that is correct.

13 Is that correct, Professor Neven?

14 PROFESSOR NEVEN: Well, table 1 here refers to an estimation
15 of Mr Harvey's report, so it is not the estimation that
16 I was referring to in my own model, which is in an annex
17 of my first report. But this is indeed a reference to
18 the estimation of the cost coefficient, is Mr Harvey's
19 specifications with different assumptions about
20 the technical characteristics and the type of cost data.

21 MR BEARD: Yes.

22 I think, Mr Harvey, you recognise that.

23 MR HARVEY: Yes, these are -- these are ... correct.

24 MR BEARD: If we turn three pages back to page 26 {E/62/26}.

25 Again, I do not think there is any dispute about this,

1 but there was reference early on in the transcript to
2 options and the options composition.

3 This is, again, in your report, Professor Neven.

4 Can you just explain what this figure is showing?

5 PROFESSOR NEVEN: Okay. What you have on the horizontal
6 axis is time. What you have on the vertical axis is the
7 share of the list price which is accounted for by the
8 options. You see that this share is actually changing
9 markedly before and after the beginning of the
10 infringement. So you see that before the infringement,
11 it is quite small. You see that after the beginning of
12 the infringement, it changes markedly. This is
13 indicating that there may be indeed an issue with
14 respect to truck mix that cannot be controlled for,
15 because you see that after the beginning of the
16 infringement, I mean, trucks have on average more
17 options, and so the issue of the average cost not being
18 a good reflection of the specific truck cost is going to
19 be more of an issue.

20 MR RIDYARD: This is just based on a count of the number of
21 options?

22 PROFESSOR NEVEN: No, this is from the list prices. So
23 I have list prices for the truck and I can find out what
24 is the share of the list prices that is actually
25 accounted for by the options.

1 MR RIDYARD: I see.

2 MR BEARD: Yes. In fact, I think, if you look at the note,
3 it may be a data set that Mr Harvey may have (inaudible)
4 in relation to these matters, that has been analysed.

5 THE CHAIRMAN: What is "DLP"?

6 PROFESSOR NEVEN: Dealer list price.

7 THE CHAIRMAN: Dealer list price?

8 PROFESSOR NEVEN: Yes.

9 MR BEARD: It is just finding a ratio. I just thought,
10 since there had been a discussion of these matters,
11 rather than leaving it abstract, it was worth having the
12 tribunal see that.

13 THE CHAIRMAN: Yes. Fine.

14 MR BEARD: Thank you. Those are ...

15 THE CHAIRMAN: Thank you.

16 MR RIDYARD: Okay, let us move on to the next topic which is
17 looking at the -- actually something which is not really
18 in either of your reports, but something which we
19 thought was nevertheless interesting, and that is the
20 fact that both of you, in your work, you have relied on
21 looking at the average price of DAF Trucks across the
22 piece, whereas what interested us in this particular
23 section was whether that -- there was average prices and
24 average price effects, which may or may not exist with
25 the cartel period, whether they are representative of

1 what happened to the prices paid by the two claimants in
2 this particular case.

3 The first question I will ask, which is not actually
4 on the list really, that is can you both comment on why
5 you decided to focus on the average price of all trucks,
6 rather than specifically the trucks that were bought by
7 the claimants?

8 Professor Neven?

9 PROFESSOR NEVEN: Yes. Just to clarify one thing, I am not
10 looking at average prices; I am looking at individual
11 transaction prices.

12 MR RIDYARD: Understood.

13 PROFESSOR NEVEN: So every data point --

14 MR RIDYARD: Yes, understood.

15 PROFESSOR NEVEN: (Overspeaking - inaudible) transaction
16 price.

17 MR RIDYARD: Yes.

18 PROFESSOR NEVEN: But nonetheless, as you point out, I am
19 not looking specifically at the transaction prices for
20 the trucks that were purchased by Royal Mail and BT.
21 The reason is that there is simply not enough
22 variability in that data. I mean, this data set, it is
23 not that it is too small, as we discussed earlier, it is
24 not a matter of observation -- it is not the number of
25 observations that matters, but what matters is

1 variability.

2 One of the particular issues, I think the decisive
3 issue probably, for not using that data set is that we
4 do not have observations every year. So there is
5 discontinuity in that data set, because Royal Mail and
6 BT have not brought trucks every year. So it is
7 important to have variability, I mean, such that you
8 have observations throughout the period every year. So
9 that is the main reason why I do not think that using
10 that data set is appropriate.

11 MR RIDYARD: Mr Harvey?

12 MR HARVEY: That is one of the reasons that I had too. The
13 other reason is that I did not have a reason to believe
14 that the overcharge to Royal Mail/BT would be
15 systematically higher or lower to everyone else for some
16 of the reasons I think that we discussed last week.

17 What I did investigate by way of a sensitivity test
18 was to examine whether the infringement effect in the
19 data would be higher or lower if I tried to estimate
20 a Royal Mail or BT specific uplift. So I am happy to
21 discuss the results of those.

22 But, fundamentally, the start point was very
23 similar, that the broader UK market-wide data set
24 contains information that is useful for identifying the
25 effect and no reason to believe that the effect would be

1 different for Royal Mail or BT.

2 MR RIDYARD: When you did the specific BT/Royal Mail
3 effects, you presumably did not get a significant result
4 because you did not have enough data to do it?

5 MR HARVEY: No, perhaps we -- shall I take you to it?

6 MR RIDYARD: Yes, please.

7 MR HARVEY: They are in {E/IC2}, it is the annex to the
8 first report. Okay. The first page to look at is the
9 {E/IC2/78}. Yes, table 10, so perhaps we can show
10 a little bit more of -- yes. It is the last columns,
11 two columns of that table.

12 So, broadly speaking, the first -- let me take you
13 through this. The first column that is entitled
14 "Before-during", you will see that it has an implied
15 overcharge of 11.6%, which is, if you like, the starting
16 model. Then, if you read across to the last two
17 columns, you will see that the implied overcharges are
18 larger. Above those figures, Mr Ridyard, are the
19 coefficient estimates and the statistical significance
20 of them. So what that is saying is that, in the
21 before/during model, the uplift that is associated with
22 trucks sold to Royal Mail during the infringement period
23 is larger for Royal Mail and for BT than it is on
24 average in the sample. So it is saying that there is
25 a larger uplift. I will come back to explain the

1 interpretation of these in a moment and why I did not
2 include them in the -- as a central estimate.

3 That is the before/during model.

4 In the during/after model, the equivalent -- yes,
5 the equivalent table is table 13, which is on
6 {E/IC2/83}. So, again, the first column with figures in
7 it shows, if you like, the standard overcharge, the 6.7.
8 Then, the next two columns after that show the
9 Royal Mail and BT specific overcharge. You will see
10 there that the differences between the figures and the
11 standard figure are smaller than in the before/during
12 model, but they are lower. They go from 6.7 to 5.8, and
13 from 6.7 to 4.6 in the case of BT. So the answer --
14 sorry, the answer to your question is they are
15 statistically significant in this model, but you can see
16 that the effect goes one way in the before/during and it
17 goes the other way in the during/after. I was left with
18 a sort of interpretation question and a "How much weight
19 to attach question?", the "Am I right?" questions that
20 I spoke about earlier in some respects. On this
21 occasion, I went back to the prior: is there good reason
22 to believe that Royal Mail or BT would have an
23 underlying higher or lower overcharge estimate? I do
24 not know, but I could not point to one.

25 Also, as Professor Neven has indicated, I felt that

1 it was best to attach weight to the pool of data that we
2 had across the country as a whole because you benefit
3 from the variation. So, on this occasion, I decided not
4 to include these as my central estimates, but that is
5 what the data shows.

6 MR RIDYARD: Professor Neven, can you comment on these?

7 PROFESSOR NEVEN: Yes, I would just like to make two
8 comments. The first one is a general warning about
9 giving too much attention to these coefficients in
10 specifications which, as we are going to discuss in the
11 next couple of days, are subject to significant
12 identification issues. So I think that looking at the
13 difference between the infringement in general and the
14 infringement with respect to BT and Royal Mail in
15 a model in which I think the infringement is not
16 properly identified, I think is a dangerous exercise, so
17 I think we should be very careful about this.

18 The second observation has to do with the prior.
19 I have been sort of wondering about this prior for some
20 time, and the question really is: do you expect that
21 this infringement would have affected large customers,
22 like Royal Mail and BT, more or less than other
23 customers? I think that the intuition of most
24 economists is that, you know, the infringement probably
25 would have affected Royal Mail and BT less, and the

1 intuition is -- I think the common intuition is that
2 these customers have more bargaining power and possibly
3 the fact that there was this infringement did not really
4 significantly change the extent to which they could
5 exercise this bargaining power.

6 Now, it is a soft intuition, and I was not
7 personally very comfortable with that intuition.

8 I think that there is, however, in terms of theory, some
9 support for the idea that large customers that have
10 bargaining power are less affected. It took me some
11 time to convince myself of this, but I think that it is
12 useful to think about a situation in which
13 a bargaining -- sorry, a customer has a lot of
14 bargaining power as a situation in which the customer is
15 in the position to make a take it or leave it offer, and
16 a situation in which a manufacturer has a lot of
17 bargaining power is a situation in which the
18 manufacturer is essentially making the take it or leave
19 it offer. So that the bargaining power is measured by,
20 you know, the frequency or the probability that it is
21 really the customer that is in the position to make
22 a take it or leave it offer. If you think about
23 bargaining power in those terms, then, if indeed we are
24 in the situation in which Royal Mail and BT were in
25 the position to really make the take it or leave it

1 offer, then actually coordination does not matter,
2 because they make it take it or leave it offer, I mean,
3 always at a level that would be close to the competitive
4 level.

5 So all what I want to say here is that, even though
6 this is a delicate argument, I think that there are
7 different ways of thinking about it in theoretical
8 terms. I think that one can build an argument that
9 customers with a lot of bargaining power are less
10 affected by the infringement.

11 THE CHAIRMAN: Do you deal with this in your reports?

12 PROFESSOR NEVEN: I do not, no. I have not discussed that
13 in the report, because I have not focused the report on
14 this aspect.

15 THE CHAIRMAN: But you obviously included the Royal Mail and
16 BT contracts in your overall analysis --

17 PROFESSOR NEVEN: Yes.

18 THE CHAIRMAN: -- as part of the analysis of the UK-wide
19 data.

20 PROFESSOR NEVEN: Yes, indeed, but what I am explaining now
21 is economic reasoning that I am putting forward in order
22 to try to inform my prior, okay? Mr Harvey is
23 suggesting that he has no prior. I think that I might
24 have a prior suggesting that Royal Mail and BT are less
25 affected but, empirically, for the same reason as

1 Mr Harvey, I do not think that you can estimate this.

2 I do not think the data allows us to estimate whether
3 there is a BT or Royal Mail specific effect.

4 THE CHAIRMAN: So from an economic point of view, you think
5 it is sensible to look at the UK-wide data for the
6 purposes of estimating an overcharge if it exists?

7 PROFESSOR NEVEN: Yes, that is right.

8 THE CHAIRMAN: So therefore, whatever that comes out at, you
9 would say it is sensible to say that that would have
10 applied to Royal Mail and BT as well?

11 PROFESSOR NEVEN: The thing is that I cannot do anything
12 else because the data does not allow me to do anything
13 else. So there is a separate question of what I can do
14 empirically and, empirically, I do not think I can do
15 anything else. The data does not allow me to do
16 anything else.

17 Whether you are asking me as an economist, do
18 I think that I have a prior that Royal Mail and BT may
19 be less affected, what I am saying is that, yes, there
20 may be reasons but there is no way I can validate or
21 invalidate these reasons in the context of this data.

22 MR RIDYARD: Mr Harvey.

23 MR HARVEY: Just briefly. The reason I do not have a prior
24 is I think it is relatively straightforward to make the
25 opposite claim, that, you know, imagine you have one

1 customer that is not good at buying and does not avail
2 themselves of competition, then you might expect for
3 that type of customer that the effect of the
4 infringement might be more limited than for the type of
5 customer that tries really hard to avail themselves of
6 competition and then their opportunity to do that is
7 reduced by the cartel.

8 So I think that is why I am a bit more ambivalent as
9 to what the prior would be than perhaps Professor Neven
10 is, because I can see the argument the other way around.
11 Where I think we are in the same place is the utility of
12 using the UK data; so perhaps for the purposes of this
13 discussion it does not matter too much, but to clarify.

14 MR RIDYARD: Okay. It is useful nonetheless just to have
15 explored that.

16 If you look to see what you have actually done in
17 the analysis, Mr Harvey, you do at least have a control
18 in your regressions for which channel the sales went
19 through and, if I read that right, it shows that the
20 prices were something like just under 20% higher for the
21 direct sales channel than for the dealer channel. Is
22 that right and can you just comment on that particular
23 feature of the data?

24 MR HARVEY: Yes. So I think -- I recall that the estimated
25 effect for the dealer channel varies in the

1 before/during and the during/after, for clarification.

2 In terms of the figure being higher, what I think we are
3 measuring is the price that the dealer pays to --
4 obviously the dealer pays to DAF, and so the way that
5 I thought about that or one way of rationalising what we
6 observe is, in a sense, when DAF makes a direct sale, it
7 is undertaking perhaps some of the functions that the
8 dealer would otherwise undertake. So although I can see
9 sort of intuitively you might think, well, a direct sale
10 might drive a harder bargain, as it were, I think part
11 of what is going on is that, yes, it is kind of you have
12 got the different parties in the supply chain
13 undertaking different activities.

14 One further point of clarification that I think is
15 important for the interpretation of that data is that in
16 the data we see direct sales identified and dealer sales
17 identified. Within the dealer sales, there are fleet
18 sales but we cannot see them in the data and so you
19 might think that some of the fleet sales have perhaps
20 something more in common with the direct sales, so that
21 is just important in terms of the interpretation of
22 those figures.

23 PROFESSOR NEVEN: Can I just comment on this? I think
24 indeed what we observed in Mr Harvey's estimate is that
25 there is a positive premium for direct sales only in the

1 AS/400 period, but not in the MI period. So in the MI
2 period, we have the opposite actually. We have that the
3 direct sales are of a negative premium, they tend to be
4 lower. Then you start wondering, you know, can that
5 shift in the coefficient possibly be associated with
6 something else? I think that what comes to mind is,
7 again, the possibility of truck mix in the AS/400 data.

8 I mean, to the extent that the direct sales were
9 associated, for instance, with trucks that were better
10 endowed with more options, with different
11 characteristics that cannot be controlled by the truck
12 characteristics or the average cost data, it could
13 explain why you get this positive coefficient for direct
14 sales in the AS/400 data and you do not have it in the
15 MI data, because in the MI data you have a better cost
16 measure. You can control for these characteristics of
17 the truck and you get what is possibly a more intuitive
18 coefficient. That means you have lower prices for
19 direct sales. So I think that one should not attach too
20 much significance to this. I think this is something
21 which is likely to be driven by the quality of the data.

22 One thing that comes to mind, for instance, is the
23 body. I mean, we do not have data on the bodies for the
24 AS/400 data. If customers like Morrison -- not
25 necessarily Morrison -- but if customers buy direct with

1 more bodies, this will be reflected in a higher
2 coefficient in direct sales.

3 So I would not sort of pay too much attention to
4 that. But at the end of the day, it does not matter all
5 that much because, at least in my estimates, the
6 coefficient of the infringement does not affect the
7 identification. It does not seem to affect the
8 identification in a significant way. So ...

9 MR RIDYARD: How about the evidence on margins over time?

10 Is there anything in the margins over time -- so once
11 you strip the cost factor out -- that is informative
12 about the distinction between direct sales and dealer
13 sales? Mr Harvey.

14 MR HARVEY: That is not something I have examined.

15 MR RIDYARD: Okay.

16 The next question really is just to understand which
17 price data you are using for the Royal Mail and BT
18 contracts within the wider analysis that you have done,
19 because we have seen that we have these kind of large
20 contracts in most of the truck purchases by the two
21 claimants. So how do they feature in the price data?

22 MR HARVEY: In terms of the fixed price and large contracts,
23 how they would show up? So what we observe in the data
24 is the price that was paid at the time of the order and
25 so, if a contract was set in, say for the sake of

1 argument, 1997 and that contract was in place for the
2 next three years and the price did not change over those
3 three years, what we would see in the data is when an
4 order is made under that contract, it would appear in
5 the data at that price at whatever time the order was
6 made.

7 Then, in terms of the other -- if you like, the
8 other bits of the data that matter, that price would
9 then be paired up with the cost of that truck but at the
10 point in time the order was made rather than the point
11 in time the contract was agreed.

12 PROFESSOR NEVEN: Now, if I may comment on this, I think

13 that I agree with Mr Harvey's point on the data.

14 I mean, the data that we have are the invoice price at
15 the time at which the purchase was made and we of course
16 have the truck that corresponds -- sorry, the cost that
17 corresponds to that particular truck.

18 Now, I guess what might be a concern, if you think
19 that we are mixing prices that vary transaction by
20 transaction with brackets of prices that are essentially
21 fixed because they are part of a contract -- I think
22 that is the question.

23 MR RIDYARD: Yes, that is what is behind the question.

24 PROFESSOR NEVEN: Exactly, that is what is behind your
25 question.

1 Now, I think the answer to that is that, first,
2 there is an answer in terms of the observation that we
3 have because, in the context of Royal Mail purchases,
4 not all trucks are the same. I mean, they may be part
5 of the contract but if you have seen this contract,
6 there are menus. So actually, when they actually make
7 the purchase, they will change different
8 characteristics, different options. There may be
9 different bodies. So actually you do not have exactly,
10 you know, the same price for different trucks as part of
11 the same contract. There is still some variability in
12 the price that is useful and, of course, there is
13 a variability in the cost because these trucks will have
14 different characteristics so there will be variation.

15 But, I mean, Mr Ridyard is right that there is
16 a common element because there was a common element in
17 terms of the negotiations. Even though these prices
18 still vary, which is good for identification, there is
19 still a common element.

20 Now, unfortunately again, there is not much we can
21 do about this. What, however, is I think an important
22 observation is that these sales under contracts account
23 for a very small share of the observations. I mean, we
24 know that the dealer sales account for about 92.5% of
25 the observations. I mean, these contracts is a share of

1 that. I do not know what exactly is the percentage but
2 it is less than 7% and there are only five customers
3 that have contracts. I mean, it is BT, it is
4 Royal Mail, it is Morrison, it is Her Majesty's Prison
5 Services and it is the defence department. So, you
6 know, it is a small problem. I mean, I do not think
7 this is likely to affect the identification of the
8 infringement.

9 MR HARVEY: I think I am broadly in the same place. I think
10 I can develop a couple of points. One is potential
11 concern that fixed price contracts are just on average
12 cheaper or more expensive and there are more or less of
13 them that appear in the infringement period than before
14 or after it. We do not know. We do not know. Perhaps
15 the dealer control that I spoke about earlier would
16 account for some of that potentially.

17 The second point about the sort of matching up of
18 the data, I thought a little bit about that which was
19 that in a sense what we have is a contract price,
20 a contract price set at an earlier point in time, and
21 then what we have to do is pair up the price of that
22 truck with a cost after the point at which the truck
23 price has been set, and we have to do that with other
24 variables as well, things like the demand variable. We
25 have to say, well, the demand variable at the time of

1 selling the truck is the relevant variable to pair the
2 truck price with.

3 There is an argument that ideally what you would do
4 is almost pair up the price of the truck with sort of
5 the expectation of what the cost of that truck would be
6 in the future or what the market condition would be in
7 the future. Of course we cannot do that because we
8 could not possibly know.

9 Intuitively, I think the consequence of that would
10 be that those factors that vary over time, the
11 relationship between those factors and price would
12 probably be dampened somewhat. So put another way, what
13 I have got is a price that is flat and I am pairing that
14 with a cost that varies so I do not see a relationship
15 between the two, whereas actually what is underlying the
16 data is an expectation that, when I set that contract
17 price, I am taking account of the future cost that I am
18 likely to incur and that would potentially provide
19 a stronger relationship between those variables.

20 So my intuition is, although I agree with
21 Professor Neven on materiality, my intuition is that
22 perhaps the measurement of those variables would be --
23 the effect of those variables, demand and cost, would be
24 dampened slightly as a consequence of this. But
25 I cannot think of anything that we could do to address

1 that in this data without making rather heroic
2 assumptions about what was going through the minds of
3 the people in the negotiation at the time of agreeing
4 the price.

5 MR RIDYARD: As you say, it is probably not really worth the
6 effort given where these transactions fall relative to
7 the --

8 MR HARVEY: I think so.

9 MR RIDYARD: There is a later question in the exchange rate
10 section about the fact that these -- the exchange rate
11 risk was hedged, as we understand it, on these long-term
12 contracts but I guess that would just feed into --
13 really the answer you just gave would apply equally to
14 that particular issue?

15 MR HARVEY: Yes, I think it would depend on your expectation
16 of the future --

17 MR RIDYARD: Yes, okay.

18 Before we leave this topic on the difference between
19 the generality of DAF's truck prices in the UK and the
20 specifics of the prices that were paid by the claimants,
21 is there anything else -- are there any other sort of
22 mix effects or composition effects that we ought to be
23 aware of or thinking about before we just happily take
24 the UK-wide overcharge estimate as being an estimate of
25 what impact it had on the claimants?

1 I can see neither of you is that keen to go first on
2 this but, Professor Neven, any thoughts?

3 PROFESSOR NEVEN: No, I -- you know, Mr Harvey is also using
4 a customer size fixed effect. Again, it does not seem
5 to matter for the identification and I am worried about
6 using that variable because I do not think it is
7 measured properly. Actually, in my own sensitivities,
8 I have used the variable that has been created by
9 Mr Harvey. I have not used -- I have not created my own
10 variable and that is because, I mean, in order to
11 measure customer size, you need to be able to identify
12 the customer. But, of course, what we have for 92% of
13 the observations are dealer sales so we do not
14 necessarily know who is the final customer. Sometimes
15 it is in the data, sometimes it is not. Sometimes it is
16 misspelt. I mean, sometimes actually you have
17 a different name for what may turn out to be the same
18 customer, or the other way around.

19 So I think that this data is potentially quite noisy
20 and, you know, I have done a sensitivity in which
21 I include this customer size variable which has been
22 created by Mr Harvey. It does not seem to matter but
23 I would be concerned about relying too much on this
24 information.

25 MR RIDYARD: Thank you.

1 MR HARVEY: I cannot think of any other mix effects. On the
2 point about -- are you referring to -- sorry, are you
3 referring to order size?

4 PROFESSOR NEVEN: Order size.

5 MR HARVEY: Order size, yes, so I think the motivation there
6 was to include something that would potentially be
7 a proxy for the scale of the -- it is true -- the scale
8 of the customer or significance of the customer. We
9 cannot do that because of difficulties that
10 Professor Neven has outlined.

11 So instead, the way we thought about it was, well,
12 what we do observe is, if you like, the size of the
13 transaction at a point in time and we have included that
14 to control for that element of customer mix. So I think
15 I would agree it is imperfect but it seems to me it is
16 quite a sensible measure to use under the circumstances.
17 But I cannot think of any other mix effects that would
18 concern me at this point.

19 MR RIDYARD: Just to be clear, neither this order size nor
20 the sales channel thing was a big feature of your
21 results, Mr Harvey, in the end?

22 MR HARVEY: In the end there are other factors that appear
23 to be much more important, like what the truck is.

24 MR RIDYARD: Yes.

25 MR HARVEY: So, yes, in this case it did not matter too

1 much.

2 MR RIDYARD: Right. I think that has killed off that topic.

3 THE CHAIRMAN: Is there any clarification?

4 MR BEARD: Not from our end.

5 THE CHAIRMAN: Mr Ward, do you have any questions?

6 MR WARD: No, I have not. Thank you very much.

7 THE CHAIRMAN: Thank you. All right. Well, that is
8 conveniently timed. I think we should probably break
9 for lunch now. Of course you are both under oath. I do
10 not know whether that means you can speak to each other.

11 MR BEARD: We take the view that they could.

12 THE CHAIRMAN: You can go out for lunch together if you like
13 but make sure you do not speak to your teams.

14 MR HARVEY: We had a pleasant chat but not about the case.

15 THE CHAIRMAN: All right. We will resume at 2 o'clock.

16 Thank you.

17 (12.56 pm)

18 (The short adjournment)

19 (2.00 pm)

20 THE CHAIRMAN: Good afternoon.

21 MR RIDYARD: So we are now moving on to the topic of
22 exchange rate effects and how that is dealt with in the
23 overcharge estimates.

24 Our first question, it would just be useful if the
25 experts could just summarise why the exchange rate

1 effects matters for the overcharge estimates.

2 Mr Harvey, maybe you could just go first on that.

3 MR HARVEY: During the infringement period, the pound was
4 strong relative to what it was before and after it, so
5 that means that for every pound of sales, other things
6 equal, DAF would receive greater income in euros. So
7 the question becomes to what extent would it cut its
8 price to give a lower pounds price.

9 If we overestimate how much that price would be cut,
10 we would overestimate the overcharge, and equally, if we
11 did it the other way around, we would underestimate it.

12 That is why it matters.

13 MR RIDYARD: Professor Neven?

14 PROFESSOR NEVEN: Yes, I think it is important to control
15 for the exchange rate because you would expect indeed
16 the exchange rate to affect the prices in pounds.
17 I mean, as I explained earlier this morning, if there is
18 an appreciation of the pound, it means that the euro
19 depreciates, it means that DAF is becoming more
20 competitive, so you would expect that DAF would actually
21 reduce its prices in pounds. I mean, and the other way
22 around, if there is a depreciation of the pound, it
23 means that the euro appreciates, DAF is becoming less
24 competitive, so you would expect again to have an
25 adjustment in the price in pounds in the opposite

1 direction; the price in pounds should be increased.

2 So when these changes in the exchange rate, which
3 affect the prices in pounds, are happening more or less
4 at the same time as the infringement, and, as we have
5 explained earlier, for the beginning of the infringement
6 we have at the same time a sharp appreciation of the
7 pound and we have the beginning of the infringement. So
8 we know that the infringement -- that is what we are
9 trying to capture, the extent to which the infringement
10 leads to an increase in prices in pounds. We understand
11 that an appreciation of the pound taking place at the
12 same time would also affect the price in pounds. So we
13 need to control for it.

14 Now, there is something which is important to
15 realise, is that if you do not control for it at all,
16 actually the appreciation of the pound will lead to
17 a bias of the estimate of the infringement which is
18 downwards. Because what happens is, when the pound
19 appreciates, it means that you would expect, because DAF
20 is becoming more competitive, you would expect the
21 prices in pounds to go down, which means that if you are
22 not controlling for the appreciation of the pound --
23 I mean, you are going to measure the effect of the
24 infringement by comparing the observed prices with the
25 prices before, without taking into account the fact that

1 if there had not been the appreciation of the pound, the
2 prices would be higher; okay?

3 THE CHAIRMAN: Yes.

4 PROFESSOR NEVEN: So if you do not take into account the
5 effect of the exchange rate, you would actually have
6 a bias of the estimate of the infringement which is
7 downwards.

8 This is important to keep this in mind to
9 understand, I mean, the discussion we are going to have
10 later about why an estimation in euros actually leads to
11 the opposite bias, which is the -- an upward bias in the
12 estimate of the infringement.

13 MR RIDYARD: But behind that statement there is an
14 assumption that you are looking at the whole thing in
15 pounds.

16 PROFESSOR NEVEN: Of course. That is right. I think it
17 is -- indeed, you can see it as an assumption, but
18 I think that is the question that is being asked, yes.

19 THE CHAIRMAN: Is there also an assumption that DAF did
20 actually increase or decrease its prices dependent on
21 the exchange rate?

22 PROFESSOR NEVEN: This is for the data to say. I mean,
23 I think that you would expect firms that are faced with
24 a change in the exchange rate to change their prices.
25 The question is by how much and that is for the data to

1 say.

2 THE CHAIRMAN: It would be the same for all the truck
3 manufacturers because they all have a similar problem?

4 PROFESSOR NEVEN: Yes. They all have a similar problem. Of
5 course, they do not have exactly the same problem,
6 because, you know, DAF, unlike others, is producing some
7 of its trucks in the UK with a higher UK content, and
8 this particular feature of DAF, as we are going to
9 discuss this afternoon, is actually useful in order to
10 identify the effect of the exchange rate.

11 THE CHAIRMAN: Because some of the costs --

12 PROFESSOR NEVEN: Is in pounds.

13 THE CHAIRMAN: -- were actually in pounds?

14 PROFESSOR NEVEN: Exactly, yes.

15 MR RIDYARD: Can you just remind us what the pound cost --
16 how important the pound costs are in relation to total
17 costs of --

18 PROFESSOR NEVEN: Of course, it varies across the different
19 types of trucks. These are -- the LF trucks are being
20 produced in the UK, and some of the CF trucks -- over
21 time actually proposed to exchange -- some of the CF
22 trucks have also been produced in the UK. Of course, if
23 you are producing in the UK, you have a higher content
24 of pound cost, pound incurred in cost, like labour cost
25 and so forth. This variation across trucks in the pound

1 component of cost is going to be important for our
2 identification strategy. But to answer your question,
3 the pound share on average is around 25%.

4 MR RIDYARD: Of total costs?

5 PROFESSOR NEVEN: Of the MLO.

6 MR RIDYARD: The MLO, yes.

7 PROFESSOR NEVEN: Yes.

8 MR HARVEY: That is in the MI period, is it not?

9 PROFESSOR NEVEN: Yes.

10 MR HARVEY: Yes.

11 PROFESSOR NEVEN: That is right. In the MI period, you are
12 right. Because before, we do not know; okay? That is
13 one of the problems with the AS/400, is that we do not
14 know what is the split between the pound cost and the --
15 the cost incurred in pounds, sorry, and the cost
16 incurred in euros.

17 MR RIDYARD: Sorry, Mr Harvey, I will return to you in a
18 moment.

19 But that 25% is across all truck types?

20 PROFESSOR NEVEN: Yes.

21 MR RIDYARD: So how does it differ as between the --

22 PROFESSOR NEVEN: Actually there is a difference because, as
23 I say, the LF are produced in the UK and there is
24 a higher proportion for the LF trucks. Out of memory,
25 but -- you know, here I should not be quoting out of

1 memory, but I think for LF trucks it can go to 40%.

2 But, I mean, still for the LF trucks, there is still
3 an important share that is incurred in euro because of
4 imported components that are imported from the
5 continent.

6 MR RIDYARD: Mr Harvey, any observations or comments on
7 that?

8 MR HARVEY: No.

9 MR RIDYARD: Okay.

10 A question for Mr Harvey really. When we come to
11 your analysis, we know that you have done your analysis
12 in euros, so converting to euros, and this is sort of
13 jumping straight in, in a way, but let us try to do
14 that. Why is it not feasible to have an exchange rate
15 term in your equation, given your approach to the
16 analysis? Why is it not feasible to have an exchange
17 rate term in there to measure whether there is something
18 going on with exchange rates independently of what is
19 going on with prices?

20 MR HARVEY: Yes, so the first thing, in terms of the
21 exchange rate term, the exchange rate does feature in my
22 analysis through the cost variable, but I think, if
23 I have understood the question correctly, you are
24 referring to the possibility of including a separate
25 exchange rate control.

1 MR RIDYARD: Yes, and in answering this question, feel free
2 to step back and give us some context on how you set
3 your model up as regards exchange rates.

4 MR HARVEY: So in terms of feasibility, really during this
5 period, so, when I say this period, 1997 through to the
6 post-cartel period, there were sort of what you might
7 describe as step changes in the exchange rate.

8 So there is a relatively low exchange rate that is
9 in the period 1994 through to 199 -- just before the
10 start of 1997.

11 THE CHAIRMAN: When you say "low" --

12 MR HARVEY: I mean the pound was relatively weak, yes.

13 Then you see the pound strengthening quite
14 considerably, really right until the global financial
15 crisis when it weakened again. Then there is a period
16 after the cartel where, relatively speaking, the pound
17 is weaker.

18 So the problem that that creates for the econometric
19 modelling is that essentially the step changes in the
20 exchange rate coincide, broadly speaking, with the
21 infringement starting and the infringement stopping, and
22 so that is why it is difficult to disentangle the effect
23 of the exchange rate moving and the infringement
24 starting and stopping. So that is the sort of challenge
25 that we face. We will have a discussion, I think, about

1 the relevance of that challenge to each of our analyses.
2 My view is that it is a feature of the data and the
3 facts that the exchange rate appreciated in that step
4 change way and it affects both of the analyses that we
5 do in a similar way.

6 I can carry on to talk about my modelling, but I am
7 conscious that comes later so ...

8 SIR IAIN MCMILLAN: Could I just ask one question, if I may,
9 please, and that is that we heard in evidence to the
10 panel earlier that, on long-term contracts, DAF locked
11 in the exchange rate by hedging through the purchase of
12 forward contracts, both, you know, to derisk the
13 internal transfer pricing, but also to derisk the agreed
14 purchase price. I wonder to what extent you took that
15 into account in the work that you have done?

16 MR HARVEY: So there are not any sort of controls or
17 anything like that for whether a contract is hedged or
18 not. I believe, but I have forgotten the reference, we
19 were actually I think told in one of the -- I think it
20 may have been annex 1 to a disclosure statement,
21 I think, that actually hedging did not affect their
22 pricing decisions. This is from DAF.

23 Now, from a --

24 THE CHAIRMAN: I wonder whether ...

25 MR HARVEY: Sorry, I do not have the reference before me.

1 MR LASK: Sorry, sir, if it is a reference that is needed,
2 it is {H/IC56}.

3 MR HARVEY: But I suppose two observations in respect of
4 what is written down there. From an economics
5 perspective, there is obviously a risk associated with
6 the exchange rate, the exchange rate movement, and the
7 firm has decisions as to whether it, in a sense, bears
8 that risk, and you would expect it to want to
9 essentially price that indirectly, or whether it incurs
10 effectively a cost of hedging and sort of price it in
11 that way.

12 So it is not immediately obvious to me that that
13 would have a direct bearing actually on the pricing --
14 the pricing analysis itself. So I think it is more an
15 issue that the risk exists rather than how it is managed
16 for our analysis.

17 PROFESSOR NEVEN: Can I just comment on this? My
18 understanding is slightly different from yours, and
19 maybe it is a matter of going to the record here,
20 because my understanding is that they did not hedge.
21 That is to say that when they had forward, sort of,
22 contracts, they did not actually buy options. All that
23 they did is that whenever they recorded a sale, they
24 actually sold the pound forward. That is to say they
25 had a forward contract, a forward sale if you want.

1 So if, I mean, they record a sale in pound today and
2 this sale is actually going to lead to a cash flow of
3 pounds in six months, then they sell the pound forward;
4 okay? So they basically have a contract such that they
5 sell the pound forward to have, in euro, the amount that
6 they can compute today.

7 SIR IAIN MCMILLAN: That is my understanding and the basis
8 of my question. Did that have any impact on the work
9 that you carried out?

10 PROFESSOR NEVEN: No, but that is not hedging actually.
11 I mean, this is simply buying a forward contract in
12 order to protect yourself against the change in the
13 value of the currency between the time at which you make
14 the sale, which is not the time of the contract, which
15 is the time at which you make the sale, and the time at
16 which you receive the cash.

17 SIR IAIN MCMILLAN: Yes.

18 PROFESSOR NEVEN: This is simply selling forward. This is
19 not really buying an option, which they could have done
20 and they apparently did not do.

21 THE CHAIRMAN: So it is just locking in the --

22 PROFESSOR NEVEN: It is just locking in, that is it. They
23 could have done something much more sophisticated which
24 would have been, when they entered into a contract with,
25 say, Royal Mail, they could have said, here, there is

1 a price that is committed for a certain period of time,
2 typically one year, in some circumstances two years, so
3 I know that if Royal Mail is buying trucks from me, I am
4 committed to a price and I want to buy protection
5 against that, but this they did not do.

6 SIR IAIN MCMILLAN: No, I understand that. It did appear in
7 the written evidence and one of our witnesses -- it is
8 in the transcript. The question for you is: did you
9 take that into account? But there may have been no need
10 for you to take it into account and I think that is what
11 you are --

12 PROFESSOR NEVEN: That is what I am trying to say. That is
13 what I am trying to say, is that there is no need to
14 take it into account because all what they were doing is
15 just, you know, to protect themselves against the change
16 in the exchange rate between the time of the sales and
17 the time of receiving the cash.

18 SIR IAIN MCMILLAN: Thank you. Thank you.

19 MR RIDYARD: I am conscious that we have slightly skated
20 over a question about the inclusion of an independent
21 term -- exchange rate term in the equations, but I think
22 it would make more sense to bring that in actually in
23 a couple of questions' time when we talk more generally
24 about your framework, Mr Harvey.

25 Before we get there, can we just go back to the

1 question of comparing DAF's position with that of other
2 truck suppliers and actually comparing DAF's position on
3 the LF trucks and CF trucks, which is obviously of
4 interest too, given that the position is asymmetric
5 between the two.

6 First of all, as between DAF and the other suppliers
7 of trucks, would we expect any different approach to how
8 they approached the sales in the UK market from one to
9 the other and how does that affect our understanding of
10 how exchange rate effects might get passed through to
11 prices in pounds for UK sales?

12 Mr Harvey, maybe you can go first on that.

13 MR HARVEY: The way I thought about this was that from -- so
14 DAF obviously is competing with other euro exporters,
15 but it is different in the sense that it has this local
16 part of production. So when the pound gets stronger,
17 DAF's costs rise, whereas its rivals do not. Then the
18 question is: what happens next? So that would give --
19 the way I thought about this was, that would give DAF an
20 incentive to increase its prices. Now, in making that
21 decision, it will obviously trade off the fact that it
22 is facing a cost disadvantage that its rivals is not,
23 but other things equal, I would expect its prices to go
24 up.

25 Then there is a question about how the rivals will

1 react to that cost increase. My intuition is that they
2 would also therefore face an incentive to increase their
3 prices by an amount. Where I would expect the net
4 outcome of that would be prices would, in pounds, go up,
5 and DAF's margins, because it faces -- has a competitive
6 disadvantage during that period, would come under
7 downward pressure, would probably fall, other things
8 equal.

9 So I think DAF's different position has a bearing on
10 the impact of an exchange rate change for it, but also
11 has a bearing on the impact of an exchange rate change
12 for others in the market, even if they do not have local
13 costs through those mechanisms.

14 PROFESSOR NEVEN: Yes, I think that what this discussion
15 highlights is that the share of the cost that is
16 incurred in pounds, which we can actually measure at the
17 truck level, in the MI period, is something that is very
18 important to understand the impact of the exchange rate.
19 Because, of course, I mean, the larger is this share of
20 cost incurred in pounds at the truck level, the less you
21 are affected by the exchange rate, but also, of course,
22 the greater the potential asymmetry with the other
23 manufacturers. Because if you have a lot of your cost
24 base that is incurred in pounds and all the other
25 manufacturers do not, if there is a change in the

1 exchange rate, you are going to be in an asymmetric
2 position. So that, I think, really reinforces the
3 justification for having a model of the effect of the
4 exchange rate on prices that takes into account the
5 share of the cost incurred in pounds. There are two
6 reasons, and of course, the first reason is that, of
7 course, the larger is the share in pounds, the more you
8 are protected but the less effect there is from the
9 exchange rate on your prices, but with an additional
10 element that comes from the asymmetry with the other
11 manufacturers.

12 Now, in my model, as we are going to discuss later
13 this afternoon, the share of cost incurred in euro in
14 pound, is really the important component of the
15 identification strategy. Now, you may be asking me
16 a second order question which is: is it that in the
17 context of my model I could see whether, in addition to
18 the significance of the cost base incurred in pounds,
19 I could see an effect which is stronger or weaker,
20 depending on whether my competitors -- DAF's competitors
21 are incurring -- are in an asymmetric position.

22 So you would expect that, indeed, the effect on
23 LF trucks might actually be different from the effect on
24 XF and CF, because a larger share of the cost of the
25 LF trucks is incurred in pounds. In the context of one

1 of the estimation -- one of the sensitivities that
2 I have performed, indeed it is not reported in my report
3 because it is an additional sensitivity that I have
4 performed, indeed I can detect that there was
5 a different effect on LF trucks that goes into the -- in
6 this direction, in the direction that there is a greater
7 asymmetry between DAF and its competitor with respect to
8 LF trucks than with respect to the CF and the XF trucks.

9 MR RIDYARD: How can you measure an asymmetry between DAF
10 and its competitors when you have not looked at
11 competitor prices?

12 PROFESSOR NEVEN: All I know is that I have assumed that the
13 asymmetry exists with respect to LF trucks, because they
14 are produced in Leyland, and I also know that none of
15 the competitors is producing in the UK. So I know that
16 the asymmetry is strong for the LF trucks.

17 THE CHAIRMAN: Am I understanding this correctly, that what
18 you are saying was because DAF incurred a substantial
19 proportion of its costs in pounds, therefore if the
20 pound strengthens, that exchange rate change would
21 actually have less impact on DAF than other -- than its
22 competitors.

23 PROFESSOR NEVEN: This is one of the factors, one of the
24 effects that is behind what I measure, which is the
25 effect of the exchange rate on the share of the cost

1 that is actually affected by the exchange rate at the
2 truck level.

3 THE CHAIRMAN: So we are to a certain extent assisted by the
4 fact that DAF does incur some of its costs in pounds,
5 because the exchange rate effect is less?

6 PROFESSOR NEVEN: It is essential. For my identification
7 strategy, it is essential.

8 THE CHAIRMAN: But if it is less -- I think the question was
9 about whether you needed some sort of separate control
10 variable in the model for exchange rate.

11 PROFESSOR NEVEN: Yes, in my model, what happens is that
12 I control for the exchange rate through the cost, and
13 I know what is the share of the cost that is incurred in
14 pounds and the share of the cost that is incurred in
15 euro. So I model the effect of the exchange rate
16 through the share of the cost which is actually affected
17 by the exchange rate, which is the share of the cost
18 incurred in euro.

19 THE CHAIRMAN: But all the costs are affected by the
20 exchange rate in one way or another, are they not?

21 PROFESSOR NEVEN: No.

22 THE CHAIRMAN: It is just the pounds --

23 PROFESSOR NEVEN: I mean, if you --

24 THE CHAIRMAN: -- work one way, and the euro costs, the
25 other?

1 PROFESSOR NEVEN: If you are look -- trying to understand
2 what is the effect of the exchange rate on your prices,
3 I mean, if you have costs that are incurred in pounds,
4 you know, they are independent of the exchange rate;
5 okay? I mean, it is not going to change your pricing
6 strategy. I mean, you have costs in pounds, you have
7 prices in pounds.

8 If you have costs that are incurred in euro, that is
9 a different thing, because when expressing these costs
10 incurred in euros into pounds, it has an effect. If the
11 pound is depreciating, expressed in pounds, the costs
12 incurred in euros will be bigger, I mean -- the other
13 way around.

14 MR RIDYARD: So, if I am -- just to go through a thought
15 experiment. If I am sitting in the euro zone making
16 a product, the pound strengthens against the euro, so
17 suddenly the UK is looking a more attractive market for
18 me to sell at a given pound level. But if my
19 competitors are also sitting in the euro zone with me,
20 they are all going to say, "Oh, suddenly our euro
21 profits are much higher when we are selling this product
22 for £10, so why do we not sell it for £9.50 instead in
23 order to gain share off one another?" So you would
24 expect some -- if the costs are all being incurred in
25 euros and the pound has appreciated against the euro,

1 the UK, other things equal, is a more attractive place
2 to sell stuff, so you try harder to sell and that brings
3 the pound price down?

4 PROFESSOR NEVEN: Down, exactly. This is what is happening.
5 Of course, I mean, if all the competitors are affected
6 in the same way, I mean, you will expect that they will
7 all, I mean, try to reduce the price in pounds.

8 But as I was sort of mentioning earlier, you do not
9 expect that the full amount in your example of the
10 appreciation of the pound is going to be passed through
11 into reduction of prices in pounds, and that is the
12 empirical issue. You need to estimate how much, given
13 the competitive environment, when the pound is
14 appreciating, the prices in pounds actually fall.

15 MR RIDYARD: Yes. Thank you.

16 But just to kind of continue with my thought
17 experiment, you have got one producer who is unfortunate
18 enough to be making at least, let us say, some of its
19 products in the UK in pounds; it does not get its
20 benefit, so it still wants to carry on charging £10, if
21 it can, for this product.

22 PROFESSOR NEVEN: That is right.

23 MR RIDYARD: But it may be, as Mr Harvey just said, it may
24 be forced to reduce its price because of the pressure
25 from the guys who are incurring costs in euros --

1 PROFESSOR NEVEN: Yes, as I said --

2 MR RIDYARD: So if we then -- so that is why the LF/CF truck
3 comparison is particularly interesting, because it
4 allows you to follow through this thought experiment in
5 real life, as it were, because the LF trucks, other
6 things equal, are not getting the same benefit from the
7 pound's appreciation as the CF trucks are.

8 PROFESSOR NEVEN: That is right.

9 MR RIDYARD: So you would expect the downward pressure in
10 pound prices for the CF trucks to be swifter and greater
11 than the downward pressure in pounds on the LF trucks?

12 PROFESSOR NEVEN: That is correct. I mean, you accept an
13 asymmetry, which is driven by the fact that, indeed, for
14 LF trucks, there is a greater part of the cost that is
15 not affected by the exchange rate.

16 As I said, my model is at the truck level and
17 I control for the share of the cost that is incurred in
18 pounds at the truck level.

19 Now, I think that, however, if I was you know going
20 to -- really trying to estimate empirically what you are
21 suggesting, I would need to have a model in which there
22 is an additional non-linearity in which I would have in
23 my model not only the share of costs incurred in pounds,
24 but I would have a non-linear effect that captures what
25 you are describing, the fact that the competitors --

1 that the higher the share of the cost incurred in pound,
2 the higher is the asymmetry of the competitor, so there
3 would be, you know, a second order effect, an additional
4 marginal effect on the extent to which there was a price
5 adjustment. That is something I have tried to do, I can
6 explain later when we are looking at my estimates, and
7 it seems to be borne out by the data, but it is
8 difficult to estimate, of course, because, you know, it
9 is a second order effect.

10 MR RIDYARD: Yes.

11 THE CHAIRMAN: Did you want to say something?

12 MR HARVEY: Yes, can I -- I just wanted to, on the thought
13 experiment that you have just been through, it is sort
14 of the motivation for my model. So it sort of starts
15 from a company that is exporting trucks to the UK and is
16 concerned with euro margins, so that is almost like the
17 starting point for my model.

18 Then, in the economic literature, there are sort of
19 two reasons why that type of company would not pass on
20 a full movement in the exchange rate. The first reason
21 is a sort of competitive disadvantage reason, which is,
22 you know, it is possible that in some markets you have
23 euro exporters and you have also a local supplier and
24 your -- moving the exchange rate is putting you at
25 a competitive advantage or disadvantage.

1 Here, we do not have that. We have a slightly
2 different situation where it is the costs that DAF
3 incurs, DAF incurs some local costs. That is the first
4 reason.

5 The second reason is what we have just been through
6 which is that, as an exporter into the UK, if some of my
7 costs are locally incurred, when I am looking at those
8 costs in euros after an exchange rate appreciation,
9 I have become more costly, and that would also reduce
10 the rate of exchange rate pass-through, because, put
11 another way, really I ought to raise my euro price and
12 that means that my pound price goes up by a bit and
13 a bit more than the full drop, as it were, as if it had
14 not had that cost, that cost difference.

15 What I have tried to do in my model is reflect those
16 two paths. So -- and that explains why my model is not
17 suggesting that there is a full exchange rate
18 pass-through because it takes account of that second
19 path, the fact that there is upward pressure on euro
20 prices as a consequence of the exchange rate getting
21 stronger, and that puts less downward pressure on pound
22 prices. So that sort of thought experiment, almost you
23 might say the euro pricing model perspective, is I think
24 what sort of shaped my approach to the analysis; whereas
25 I think the way that Professor Neven has described his

1 model, it sort of starts from sort of more a UK pricing
2 perspective where there is a cost increase seen in terms
3 of pounds rising.

4 MR RIDYARD: But there is no cost increase then, is there?

5 Because if you take a pound perspective on this whole
6 thing, the pound has not appreciated against the pound;
7 it is just the pound.

8 MR HARVEY: Well, it is the euro part of it.

9 MR RIDYARD: The euro has depreciated.

10 MR HARVEY: Yes, which would put downward pressure on the
11 pound price.

12 MR RIDYARD: Downward.

13 THE CHAIRMAN: Are you saying that is the way you would have
14 expected DAF to have looked at it because they were --

15 MR HARVEY: Yes, I am saying that, from the perspective
16 of -- when I looked at the evidence, it seemed to me
17 that DAF was concerned with its profitability in euros
18 and that it obviously had mechanisms to achieve euro
19 levels of profitability. So that was sort of -- it is
20 not the only consideration in shaping the way you design
21 these econometric models, but it was one of them.

22 MR RIDYARD: We will develop this, but the other perspective
23 on this might be that if you are a customer in the UK,
24 you know, you are buying trucks to do deliveries or
25 whatever you are doing with them, you know that the

1 pound -- you might know the pound has appreciated
2 against the euro, but that is not necessarily affecting
3 the prices of the things you are delivering to customers
4 and so there might be -- obviously, if the truck
5 manufacturers are then happy to reduce their prices to
6 you, you are delighted about that. But there could be
7 some stickiness before that kind of process follows
8 through because there is not -- there is nothing
9 screaming at you as a customer that you ought to be
10 getting lower prices for your trucks and there is
11 nothing in your downstream business necessarily which is
12 being affected by this exchange rate change. So
13 would you not expect a bit of sleepiness or stickiness
14 in the way in which the market looks at this whole thing
15 and follows these things through?

16 MR HARVEY: The way I thought about that was I think there
17 are two related but sort of separable issues. One is,
18 ultimately, the extent to which over time the exchange
19 rate would feed through into prices, the levels issue.
20 Then there is something about how quickly we would
21 expect that to take place.

22 I did reflect on the criticism from Professor Neven
23 in relation to the point about speed. I can see that,
24 with contracts in place and so forth, that could well be
25 delayed, and there may well be market factors that mean

1 I do not move straightaway and that is why I prepared
2 that sensitivity.

3 On the point about the level and also speed, here,
4 we are concerned with something that is sustained. So
5 the difference between the two models is that in my
6 model I am sort of detecting this sort of margin
7 elevation, as it were, in euros that lasts really quite
8 a long time. It is not just that it is there for a day
9 or two or a month or two while prices readjust; it is
10 something that is persistent in the data. So, although
11 I do accept that these things may not happen overnight,
12 ie rivalry will not just kick in and immediately force
13 prices to return, as it were, to the exchange rate
14 neutral level, I do not think that is the circumstance
15 that we are dealing with here. We are dealing with
16 a circumstance where, if you believe that story, it has
17 really taken a very long time for those adjustments to
18 take place.

19 MR RIDYARD: Professor Neven?

20 PROFESSOR NEVEN: But you are still using the current weekly
21 exchange rate though in order to express prices into
22 pounds into euros, so that is an immediate effect.
23 But -- so it seems that there, you are imposing
24 something that is immediate.

25 But I think that what is really important in terms

1 of the differences in specification is that by
2 expressing all the prices in euros -- or the pound
3 prices, transaction prices, I mean, that were in pounds,
4 negotiated in pounds, by expressing them in euros at the
5 weekly exchange rate, essentially what Mr Harvey does is
6 to impose a one to one relationship between the prices
7 in pounds and the prices in euros. I think that is the
8 key difference between what he does and what I am doing,
9 is that he actually imposes this relationship.

10 You can see that I think particularly clearly in the
11 third report of Mr Harvey in which he is doing further
12 sensitivity and he is looking at a model in pounds, so
13 prices are in pounds, and he is relating these prices in
14 pounds to costs in euros and to pass-through effects of
15 the exchange rate, as he calls them.

16 What you see in this estimation is that his
17 estimation in euros is exactly the same as an estimation
18 in pounds in which you impose that the coefficient on
19 the exchange rate is equal to 1. If you think about it
20 for a second, it is sort of obvious, because the price
21 in euros and the price in pounds is related by the
22 exchange rate. If you take the logarithm of the
23 exchange rate, I mean, the logarithm or ratio is equal
24 to the difference in logarithm, so essentially what
25 Mr Harvey does, and I think he will not contest it, is

1 that when you are estimating a model in euros, it is the
2 same as estimating a model in which the prices are in
3 pounds and in which the effect of the exchange rate is
4 imposed to be 1.

5 That is the big difference between, you know, what
6 I am doing and he is doing: he is imposing something.

7 Now, let me link this to the discussion that we had
8 earlier about the problem of identification of his
9 model. So what Mr Harvey does, as I have just
10 explained, is that in the period, for instance, of the
11 appreciation of the pound, I mean, you expect that when
12 the pound appreciates, the prices in pounds will go
13 down. As I said, you might not go down by the full
14 amount of the appreciation of the pound, it could be
15 intermediate. So the pound -- and actually this is what
16 happened in that time, the pound appreciated by about
17 8%, in a very short period of time. Now, the prices in
18 pound could go down possibly by 4%. What Mr Harvey
19 imposes is that they should go down by 8%, which means
20 that if the prices do not actually go down by the amount
21 that he imposes, this is going to be picked up by the
22 infringement.

23 I mean, if indeed the prices do not go down by the
24 full extent, that is to say they stay higher, they stay
25 higher than what he imposes, since this is the beginning

1 of the infringement, this is going to be picked up by
2 the infringement. This is why --

3 MR RIDYARD: That is really useful. Can I just stop you
4 there and ask Mr Harvey immediately to respond?

5 Is that correct?

6 MR HARVEY: No.

7 MR RIDYARD: Why not?

8 MR HARVEY: So the statement is that by converting prices
9 into euros I impose 100% exchange rate pass-through into
10 pound prices.

11 That is wrong, and the reason it is wrong is
12 this: that --

13 PROFESSOR NEVEN: Sorry, I did not say that. I think that
14 I did not say that, but --

15 MR HARVEY: I will explain what my model does do. It may be
16 that we have a semantic difference between us.

17 PROFESSOR NEVEN: It is not semantic.

18 MR HARVEY: The way my model works, so we have prices in
19 euros, we have costs in euros within the model. Part of
20 those costs in euros are the pound part of costs
21 converted into euros, and so when the pound gets
22 stronger, the euro cost rises, and then, because there
23 is a relationship between prices in euros and prices
24 in -- sorry, costs in euros, that puts upward pressure
25 on the euro price. That is how the model works.

1 That upward pressure means that -- looking at that
2 price back in pounds means that the price has not fallen
3 by as much as it would need to fall in order to claim
4 that there is a 100% exchange rate pass-through in my
5 model. That is the mechanism by which it works. So
6 I do not know whether there is a labelling issue that is
7 going on here or what, but you do not -- econometric
8 models do not work by -- the fact that an adjustment has
9 been made to one of the variables does not drive the
10 result. It depends what you do with everything else in
11 the model. That is the mechanism that -- that is how my
12 model works.

13 So I do disagree with this idea that it has imposed
14 100% exchange rate, exchange rate pass-through --

15 THE CHAIRMAN: I mean, Professor Neven, you said a one to
16 one relationship.

17 PROFESSOR NEVEN: Exactly.

18 THE CHAIRMAN: Is that the same as 100% pass-through? Is
19 that --

20 PROFESSOR NEVEN: No, that is exactly -- you are pointing
21 your finger on exactly the distinction.

22 THE CHAIRMAN: Right.

23 PROFESSOR NEVEN: I am not saying that he is actually
24 imposing a one to one pass-through of the exchange rate.
25 I think what I am saying is that, if you look at this

1 model in euros and look at what this means into a model
2 in which you are looking at the pass-through of the
3 prices in pounds, which is the question that we are
4 interested in, this is a model in which he has prices in
5 pounds, but he has the exchange rate as an explanatory
6 variable with a coefficient that is imposed to be 1.

7 I think he will not disagree with that.

8 Now, in addition --

9 THE CHAIRMAN: I am not sure I understand it, but --

10 PROFESSOR NEVEN: Okay. It is just a logarithm. You know,
11 if you have -- mathematically, if you have the logarithm
12 of a price, let us say, in euro, this is equal to the
13 logarithm of the price in pounds divided by the exchange
14 rate. I mean, the logarithm of the ratio is equal to
15 the difference in logarithm, which means that when you
16 are estimating a model with prices in euros, it is the
17 same as estimating a model with prices in pounds, but
18 then having minus the log of the exchange rate that you
19 bring on the other side of the equation.

20 So you impose the -- and actually you can look at
21 the -- okay, you actually impose this one to one
22 relationship.

23 THE CHAIRMAN: Sorry, are you saying it does not matter
24 whether you go one way or the other? Euros to pounds or
25 pounds to euros?

1 PROFESSOR NEVEN: No, no. I think it matters a great deal,
2 because estimating a model in euros is equivalent to
3 estimating a model in pounds in which you have imposed
4 this effect of the exchange rate.

5 Now, where Mr Harvey is right is that in his model
6 he is not only doing that, not only imposing that
7 coefficient, he is also putting in the right-hand side
8 the logarithm of the cost incurred in pounds but
9 expressed in euros. So there is indeed an effect of the
10 exchange rate in his equation. So that the two
11 statements having a one to one relationship between
12 prices in pounds and prices in euro is not equivalent to
13 having a full pass-through of the exchange rate. These
14 are not two equivalent statements precisely for the
15 reasons that Mr Harvey has explained.

16 MR BEARD: Sir, can I -- I recognise that it is not --
17 I think there may be a confusion about prices and costs
18 here. Mr Harvey started off his statement, it says:

19 "The way my model works, so we have prices in
20 euros ... [as read]"

21 I wonder whether the question is, how does Mr Harvey
22 get to the prices in euros?

23 THE CHAIRMAN: Well, I certainly understood him to have said
24 that we have got to the prices in euros because you
25 applied the exchange rate.

1 PROFESSOR NEVEN: Exactly -- the current exchange rate.

2 THE CHAIRMAN: Current exchange rate.

3 PROFESSOR NEVEN: The weekly exchange rate.

4 MR HARVEY: But what I am --

5 PROFESSOR NEVEN: Can I just finish my explanation?

6 MR HARVEY: Of course. Carry on.

7 PROFESSOR NEVEN: So that I think essentially what he does

8 is to impose this coefficient on the exchange rate and
9 then introduce indeed a variable that is affected by the
10 exchange rate because it is the cost incurred in pounds,
11 as he expressed, but expressed in euro at the current
12 exchange rate. So there is also another effect of the
13 exchange rate in his equation.

14 But it is a very odd effect of the exchange rate.
15 Why? Because we are trying to explain prices in pounds,
16 and, you know, costs incurred in pounds should not be
17 affected by the exchange rate -- should not affect the
18 prices in pounds. So he is actually introducing this
19 variable, which is the cost incurred in pounds, which
20 should not affect the prices in pounds, and he is
21 introducing that variable, expressing this cost in
22 pounds, which should not have an effect, into euros.

23 So he is right, technically. Empirically, he is
24 right, that he is introducing this additional variable,
25 which is the cost incurred in pounds expressed in euro,

1 but, I mean, it is a very counter intuitive way of
2 estimating such a model. That is the point --
3 the first -- second point, knowing that the first point
4 is that he imposes something. From an econometric point
5 of view, this is the important thing, is that he is
6 imposing that the prices in pounds are affected as a one
7 to one relationship with the exchange rate.

8 In terms of my explanation for why his model leads
9 to a problem of identification and overestimates the
10 effects of the infringement is that because he has this
11 one to one relationship, he imposes that prices in
12 pounds, at the time of this appreciation of the pound,
13 should decrease by the full amount. If they do not, of
14 course, the difference is going to be picked up by the
15 exchange rate -- by the infringement.

16 THE CHAIRMAN: So is this complaint about using the current
17 exchange rate, is that the problem with --

18 PROFESSOR NEVEN: No, I think the problem is really the
19 imposition of this one to one relationship --

20 MR RIDYARD: That probably does stem from using the current
21 exchange rate --

22 THE CHAIRMAN: Yes.

23 MR RIDYARD: -- to convert pound sales prices into euros.

24 PROFESSOR NEVEN: I mean, if he were to use a different
25 exchange rate, in particular a lagged exchange rate or

1 combination of past lagged exchange rates, then the
2 problem will be alleviated, yes.

3 THE CHAIRMAN: Well, that is what you do, as I understand
4 it?

5 PROFESSOR NEVEN: No, because I estimate the model in
6 pounds --

7 THE CHAIRMAN: Yes, I know, it is the other way.

8 PROFESSOR NEVEN: -- and I do not impose anything; okay?

9 I do not impose a coefficient. I do not impose
10 a particular relationship. I let the model determine
11 the impact of the exchange rate on the cost incurred in
12 euro expressed in pounds, into prices in pounds, which
13 is the economically sensible, you know, mechanism.

14 The mechanism is that you incur costs in euro, the
15 pound appreciates. In pound terms, your cost is going
16 down. The question is: how much do you pass that on?
17 That is what my model captures.

18 MR RIDYARD: Mr Harvey, time for you to have a turn I think.

19 MR HARVEY: So in terms of the idea that the model is
20 counter intuitive in terms of the way it is set up,
21 obviously I am going to disagree with that. The way the
22 model is designed is to say, well, okay, I have got some
23 exporters providing their product into the UK. There is
24 an exchange rate change that affects them all. I am
25 asking myself the question: why, in this market, would

1 that allow one exporter to enjoy a larger margin on its
2 sales into the UK? There is nothing changed in terms of
3 competitive conditions between them. That is the start
4 point and maybe that is the part of my model that deals
5 with the one to one. That is the start point.

6 Then, the second part of the model, the cost part of
7 the model is saying, ah, well, the story is not quite as
8 simple as that, is it? Because those exporters, one of
9 them at least, DAF, is facing an increase in its costs,
10 and I would expect that to put upward pressure on its
11 euro prices and therefore reduce the amount of exchange
12 rate pass-through.

13 MR RIDYARD: When you say an increase in its costs, it is an
14 increase in its euro-denominated costs.

15 MR HARVEY: Correct.

16 MR RIDYARD: The pounds are still the pounds --

17 MR HARVEY: Yes --

18 MR RIDYARD: -- but the pounds are more expensive.

19 MR HARVEY: (Overspeaking - inaudible) responsibility, which
20 is what DAF is concerned with.

21 So, to me, modelling it in this way is entirely
22 intuitive and reflects the way that -- the commercial
23 reality of the way this market worked.

24 So those are the two components of my model.

25 Going to the idea of I have not estimated something,

1 it is just hard wired in. Well, for the same reason
2 that is not true either, because my model is allowing
3 the effect of changes in the exchange rate to think
4 through to how much prices in pounds ultimately changed.
5 So I do not think it is sort of as acute as
6 Professor Neven has suggested.

7 Turning to Professor Neven's model, the motivation
8 seems to be to look at it obviously from a UK pricing
9 perspective, and the idea that the UK costs would change
10 and that would feed through to UK pricing decisions,
11 I presume linked to the idea that the UK was looking at
12 the IKP cost measure and so forth. I do not think that
13 reflects the way that DAF NV was actually making pricing
14 decisions, or its influence, rather, over DAF UK's
15 pricing, where they were looking at the margin position
16 in euros.

17 So I think -- then turning to sort of restrictions
18 and the extent of them, there is a restriction in
19 Professor Neven's model too, which we have not spoken
20 about yet. The restriction is that, in a world where
21 all of DAF's costs were in euros, the maximum rate of
22 exchange rate pass-through, implied by Professor Neven's
23 model, is the rate of the cost coefficient, which we
24 spoke about earlier, so it is around 80%. That is the
25 restriction in the model.

1 What I am saying is, I do not think that that
2 restriction, in view of the fact that we have DAF
3 competing with other euro exporters who would all
4 benefit to a similar degree to that change in exchange
5 rate movements, is valid either.

6 MR RIDYARD: I will give Professor Neven a chance to say why
7 he does not agree with that, because I can see he does
8 not, but why are you characterising Professor Neven's
9 model like that? Is that because 80% is the cost
10 element and 20% is the margin element?

11 MR HARVEY: Actually because it is the coefficient on the
12 cost.

13 MR RIDYARD: The coefficient on the cost. Sorry. Right,
14 okay. Yes.

15 MR HARVEY: Approximately. Approximately. So the
16 consequence of that is that, when the exchange rate
17 moves and when the pound strengthens, in effect DAF
18 enjoys an elevation in its margins that is sustained.
19 I find it quite difficult to square that with
20 competition in this market, where all of the rivals will
21 have benefited from -- or not -- from the exchange rate
22 movement to a similar degree. It is another way of
23 saying, if there is a competitive margin prior to the
24 exchange rate movement, why would the exchange rate
25 movement give rise to a different competitive margin but

1 for the cost route that I described earlier that I have
2 captured in my model?

3 MR RIDYARD: So just to strip it back to the beginning of
4 that description. We were talking about a situation
5 where the pound appreciates against the euro, let us
6 take out the -- just to keep things more simple, strip
7 out any notion of UK production here. So let us say we
8 are talking about everyone making their products in the
9 euro zone. They all -- they were making a particular
10 profit margin before this exchange rate change happened
11 and they were all -- there was an equilibrium they were
12 all happy with. So suddenly the pound appreciates
13 against the euro, suddenly those constant pound prices
14 look a lot more attractive to these European -- people
15 exporting from the euro zone into the UK. Your
16 proposition is that that would all increase their profit
17 margins so that they would very quickly reduce their
18 pound prices to get back to the same equilibrium that
19 they were in before the exchange rate change happened?

20 MR HARVEY: Yes, that is it, and --

21 MR RIDYARD: Are you saying that would be instantaneous?

22 I know nothing is instantaneous, but I mean as good as?

23 MR HARVEY: So I am saying that I think it would be quick.

24 I do understand that, of course, that it is not like it
25 would happen on the second day or something like that --

1 MR RIDYARD: Yes.

2 MR HARVEY: -- but that is not really the -- as I said
3 earlier, that is not really the circumstances we are
4 dealing with here. We are dealing with a sort of
5 sustained difference --

6 MR RIDYARD: So you are saying there is no reason why there
7 would be a sustainable, you know, increase in the
8 profitability of these exporters from --

9 MR HARVEY: No.

10 MR RIDYARD: -- the euro zone to the UK just because the
11 exchange rate change had --

12 MR HARVEY: That is right. Essentially, what I am saying is
13 that what determines those margins is the strength of
14 competition.

15 MR RIDYARD: Yes, I understand that.

16 MR HARVEY: I do not see why that would have changed.

17 I would reach a different view if we were looking at
18 a different market where I am a euro supplier and I am
19 competing with a local company. Of course, that would
20 be different, but that is not the situation here.

21 MR RIDYARD: Professor Neven?

22 PROFESSOR NEVEN: Yes, I just want to disspell the notion
23 that when the pound appreciates, the prices in my model
24 do not go down. They do. I mean, there is this effect
25 that you are all describing. I mean, what happens is

1 that when the pound appreciates it means that of course
2 DAF and all of its competitors in relation to UK -- the
3 UK markets are becoming more competitive; the cost
4 expressed in pounds goes down; and, in my model, the
5 prices do go down, so this effect is there.

6 Now, so I just want to make that clear. I mean, the
7 prices do go down. The question is really: by how much
8 the prices go down and how you estimate that? That is
9 really the question. What I am saying is that Mr Harvey
10 is imposing a straitjacket on the data; okay? I am
11 letting the data determine the amount of its
12 pass-through, and Mr Harvey is correct in pointing that,
13 in my estimation, at least the main estimation that
14 I have put forward, the only channel through which the
15 exchange rate has an effect on pound prices is the cost,
16 and, of course, the cost -- the share of the cost that
17 is incurred in euro. He is right to say that the effect
18 of the exchange rate, say there was an appreciation of
19 the pound, the cost in euro leads to lower costs
20 expressed in pounds, I mean, because of the appreciation
21 of the pound. This will feed into the price through
22 this cost coefficient, what you referred to as being
23 0.8. That is, I mean, the main estimation I am putting
24 forward.

25 However, I am also doing some sensitivity analysis,

1 in which, in addition to that channel, and just to be
2 clear, the exchange rate that I am using is the budget
3 rate, but I will talk about that in a second, so in
4 addition to that channel, I also introduce the exchange
5 rate as a stand-alone variable to see whether there is
6 an additional impact; okay? What my model shows is
7 that, if I do that, I still obtain a very sensible
8 estimate and I think an estimate that can identify the
9 effect of the exchange rate; okay? So it is not correct
10 to say that in my model the only mechanism -- the only
11 way in which the exchange rate affects pound prices is
12 through the cost incurred in euro, but expressed in
13 pounds. When I introduced the exchange rate as
14 a stand-alone variable, there was an additional effect.

15 Now --

16 MR RIDYARD: Sorry, can you explain that a bit more? When
17 you introduce the exchange rate variable what happens?

18 PROFESSOR NEVEN: I will explain that and I think I want to
19 explain that in relation to what I observe in DAF; okay?
20 Because that is important to interpret that equation.

21 What I observed in DAF is that the OMS system is
22 giving you the costs. I mean, it is not MLO; it is
23 essentially the -- you know, a version that has an
24 additional margin. It is IKP. But what they see when
25 setting the prices is the IKP at the budget rate.

1 So it is essentially the cost expressed at
2 a conventional exchange rate which is by and large last
3 year's exchange rate.

4 THE CHAIRMAN: When who sees that? Mr Ashworth?

5 PROFESSOR NEVEN: Mr Ashworth, all of the executives at DAF
6 who are setting prices in negotiation with dealers. We
7 have seen that in the evidence of Mr Ashworth.

8 You remember that in the evidence of Mr Ashworth,
9 you have a screenshot from OMS. In that screenshot, you
10 see IKP, which is the cost measure, and it is a cost
11 measure in OMS when they are negotiating the prices with
12 the dealer, which is expressed at the budget rate.

13 THE CHAIRMAN: Although I am not sure that was his evidence,
14 was it?

15 PROFESSOR NEVEN: Yes. It was.

16 THE CHAIRMAN: I thought it was(?) fixed ...

17 MR RIDYARD: No --

18 THE CHAIRMAN: Okay.

19 PROFESSOR NEVEN: Okay, we had that discussion about, you
20 know, when I look at the data, there is only one thing,
21 which is the budget rate. Okay?

22 So, in that, in the OMS, what you see is the IKP at
23 the budget rate. This is the cost they see when they
24 are negotiating the prices with the dealers.

25 That is what my model represents, because my model

1 says that you will set the prices in light of your cost
2 estimate in pounds, which is what you see in the OMS,
3 which is your cost expressed in pounds at the budget
4 rate. So this part -- you know, my modelling strategy
5 really captured this, is that when they are setting
6 prices, the cost they see and so the cost they should
7 take into account is the cost at the budget rate.

8 Now, I also agree with Mr Harvey that when there are
9 big changes in the exchange rate, okay, there will be
10 a big discrepancy between the budget rate and the
11 current rate. So they might be concerned. I mean,
12 DAF NV might be concerned about what pricing on the
13 basis of the budget rate might actually mean in terms of
14 the margins in euros. I mean, let us think about, for
15 instance, because maybe it is clearer, a big
16 depreciation of the pound, something like something that
17 happens in 2008; okay? There was a big depreciation of
18 the pound, which means that Mr Ashworth and his team
19 will see, in 2008, a cost in pounds which does not
20 reflect the depreciation. I mean, it is the cost in
21 pounds of the previous year. So, I mean, they will tend
22 to set prices on the basis of, you know, the costs
23 expressed at last year's exchange rate, which does not
24 take into account the fact that the pound has
25 depreciated, so that actually in current pounds, the

1 cost would be higher.

2 So you would expect in those circumstances, like
3 2008, that DAF NV might be concerned about this, about
4 the fact that they are still setting prices on the basis
5 of cost expressed in pounds at an exchange rate that
6 does not reflect the current exchange rate. So they
7 would be concerned about the consequences for that in
8 terms of euro margins. So you might expect that in some
9 circumstances, in some deal, they will impose a further
10 adjustment. They will actually, for instance, in the
11 case of 2008, instead of letting Mr Ashworth and his
12 team set prices on the basis of the cost expressed in
13 last year's exchange rate, they will want to have higher
14 prices, because, you know, they will want not only to
15 take into account last year's exchange rate, but also
16 the current change.

17 This is exactly what the stand-alone exchange rate
18 variable in my model does. So I introduce the budget
19 rate through the cost as one channel in which the
20 exchange rate is affecting prices in pounds. In the
21 estimates that I present in the appendix of my first
22 report, I also introduce the current exchange rate, not
23 the budget rate, the current exchange rate as an
24 additional stand-alone variable, and what I see is that,
25 yes, it is significant. It is not very -- the sign is

1 not very strong, but it is significant and it leads to
2 an effect that is exactly the effect that I predicted.
3 That is to say that the adjustment to the exchange rate
4 is actually a bit faster than the exchange rate that
5 only would go through the budget rate. So there is an
6 additional effect of the current exchange rate which,
7 for instance, in 2008, will actually capture this idea
8 that DAF NV will not be happy with Mr Ashworth and his
9 team setting prices on the basis of cost expressed in
10 last year's exchange rate but they should take into
11 account the fact that the pound has depreciated so that
12 the costs incurred in Europe, expressed in pounds at the
13 current rate, are actually higher.

14 MR RIDYARD: So it is measuring a kind of catching up effect
15 or --

16 PROFESSOR NEVEN: Yes, exactly.

17 MR RIDYARD: -- where the euro zone operation is saying wait
18 a minute, we do not want to be (overspeaking -
19 inaudible) --

20 PROFESSOR NEVEN: Exactly. That is exactly --

21 MR RIDYARD: -- on the basis of false signals here.

22 THE CHAIRMAN: Do we know that that is what DAF actually did
23 when they approached it?

24 PROFESSOR NEVEN: Yes, what we see, certainly in what

25 Mr Ashworth has been describing, is that through the

1 operation of the mandate system, if the prices set by
2 Mr Ashworth, you know, are becoming a bit too low, then
3 they will be reviewed by DAF and DAF might impose some
4 further constraints.

5 I think that what I am describing in terms of my
6 econometric result, what my model does and what I am
7 describing in terms of results is fully consistent with
8 what we hear in terms of what Mr Ashworth and his team
9 is seeing when setting the prices and with the impact
10 that you would expect DAF NV to have on prices because
11 of the oversight that they have.

12 THE CHAIRMAN: Can I just ask a question about your models?

13 So if you were to ignore the fact that DAF incurred some
14 of its costs in pounds and assumed it was like the other
15 manufacturers and incurred their costs purely in euros,
16 would that have changed the way you did the modelling?

17 PROFESSOR NEVEN: I mean, it would not have changed the way

18 I did the modelling, but my estimates would be
19 different, of course --

20 THE CHAIRMAN: Yes.

21 PROFESSOR NEVEN: -- because a larger share of the costs at

22 the truck level would have been incurred in a currency
23 different from the currency from which they were setting
24 the prices.

25 THE CHAIRMAN: Yes, but you would have adopted the same

1 controls --

2 PROFESSOR NEVEN: Yes, but I am so lucky that part of the
3 cost is incurred in pounds, because this is really what
4 allows me to undertake the identification.

5 THE CHAIRMAN: Right. Would you be the same, Mr Harvey?

6 MR HARVEY: Yes, I would.

7 THE CHAIRMAN: Yes.

8 MR HARVEY: Yes.

9 MR RIDYARD: If we just turn to your model, Mr Harvey, you
10 do -- just on this issue of introducing a separate
11 variable in the -- independent variable of the exchange
12 rate. You do experiment with that and then you abandon
13 that. Can you explain why that took place?

14 MR HARVEY: Yes, so perhaps we look at the result.

15 MR RIDYARD: Yes.

16 MR HARVEY: I think you are referring to the third report.

17 I can give you a qualitative ... so by two sort of broad
18 sensitivities in the analysis.

19 One was actually responsive to Professor Neven,
20 where I included an exchange rate control, but all I --
21 I did not really learn a huge amount from that because
22 it just recreated the problem that we spoke about
23 earlier, which is you have the step change in the
24 infringement -- sorry, the step change in the exchange
25 rate, and so essentially the model is not able to sort

1 of tease out the effect of the infringement from the
2 effect of the exchange rate.

3 The sensitivity I did look at, which was the one
4 I mentioned earlier, was to change the use of the market
5 rate, so the current exchange rate, to do the
6 conversions, and instead include -- do the conversion
7 using the budget rate, so effectively to reflect this
8 idea of a slowing-down of the rate at which these
9 effects would take place. I find that my results are
10 robust to that change.

11 So my --

12 MR RIDYARD: What does that mean?

13 MR HARVEY: It means the overcharge estimates did not change
14 very much.

15 So I took from that that actually the primary issue
16 here is not so much the question of speed, how quickly
17 these things take place, but rather the fundamental
18 decisions that we have made around whether we model in
19 euros or model in pounds. That was my interpretation of
20 the sensitivities.

21 MR RIDYARD: Okay.

22 I think this may be a good moment to take a break
23 and we will take stock. We have not finished this
24 topic, but let us take a quick break I think.

25 THE CHAIRMAN: Yes, we will have a ten-minute break.

1 (3.12 pm)

2 (A short break)

3 (3.27 pm)

4 THE CHAIRMAN: I think we have actually covered quite a lot
5 of the ground, but I just wanted to ask a couple of
6 questions about the general -- the reasons why,
7 Mr Harvey, you converted into euros and,
8 Professor Neven, you converted the other way, and
9 whether, if you had done the reverse in your model, you
10 would have come up with adopting the same other
11 characteristics of your models, whether a different
12 result would have come about.

13 So, Mr Harvey, if you had decided to go the other
14 way and convert everything into pounds, how would that
15 have affected things?

16 MR HARVEY: If I had converted the --

17 THE CHAIRMAN: The cost.

18 MR HARVEY: Yes, the costs into pounds, you would end up
19 with the model of Professor Neven, other things equal.

20 THE CHAIRMAN: So which way you go affects materially the
21 result?

22 MR HARVEY: Yes, it does.

23 THE CHAIRMAN: Why is that?

24 MR HARVEY: It is related to the underlying restrictions and
25 assumptions that are implied by a model that is prepared

1 in pounds versus a model that is prepared in euros. So
2 I will try.

3 So, in my model, the model in euros, in effect what
4 it says is, put to one side the pound element of costs
5 for a moment, it says that an exchange rate change would
6 not be expected to affect the margins that DAF earns and
7 the reason for that is that all the exporters into the
8 UK are faced with the same change. Their competitive
9 position has not altered. The strength of rivalry is
10 the same.

11 So that is essentially the underlying assumption
12 when you prepare a model in pounds -- sorry, in euros.

13 In Professor Neven's model --

14 THE CHAIRMAN: Sorry. That is because they calculated their
15 margins in euros and they would be ...

16 MR HARVEY: Well, the underlying intuition is that they are
17 competing with other companies that experience exactly
18 the same advantage or disadvantage as a consequence of
19 the exchange rate moving, and so that the exchange rate
20 has moved does not make -- does not give me reason to
21 believe that their overall profitability would change.

22 Obviously, there are other elements of my model, but
23 in terms of sort of trying to isolate the differences
24 between the two, that is what is inherent in the euro
25 model.

1 Then, in the pounds model, margins are allowed to
2 change, and that is because the way that model works is
3 that pounds prices are affected by cost changes and
4 pounds prices move less than one for one with pounds
5 costs in Professor Neven's model. What that means is
6 that when there is a cost reduction, the underlying --
7 the underlying -- well, the outcome is that DAF enjoys
8 a higher margin.

9 MR RIDYARD: The evidence between you and Professor Neven is
10 on the exchange rate that is used for this conversion
11 too. So if we did the pound-based analysis, but with
12 instantaneous -- with current exchange rates, you would
13 still get this effect that you just described, or this
14 difference you just described?

15 MR HARVEY: Yes. Yes. So in the euros model, where rather
16 than using the current exchange rate, I instead use the
17 budget rate --

18 MR RIDYARD: Sorry, let us put the budget rate to one side.
19 What I want to know now is the difference between doing
20 it in euros, as you have done, and pounds as you could
21 have done, but using the current exchange rate.

22 MR HARVEY: Sorry, I think --

23 SIR IAIN MCMILLAN: As a stand-alone variable you mean,
24 I think.

25 MR HARVEY: Sorry, Mr Ridyard, can you repeat the question?

1 MR RIDYARD: Instead of doing your model in euros, you do
2 your model, exactly the same model, in pounds, but you
3 convert the costs, the euro costs into pounds using the
4 current exchange rate rather than the budget exchange
5 rate, as Professor Neven has done?

6 MR HARVEY: That is right.

7 MR RIDYARD: Right. So the answer you just gave about you
8 getting different results because of the relationship
9 between costs and prices, that would still hold true.
10 What you said in your original answer to the chairman's
11 question applies even if you use the current exchange
12 rates?

13 MR HARVEY: Yes.

14 MR RIDYARD: Yes.

15 MR HARVEY: Yes. Sorry.

16 THE CHAIRMAN: Right.

17 Do you want to say something, Professor Neven?

18 PROFESSOR NEVEN: Yes. The first thing I would like to say
19 is that I am not exchanging anything. The prices that
20 I have are in pounds, the costs that I have are in
21 pounds, so --

22 THE CHAIRMAN: Some of them.

23 PROFESSOR NEVEN: No. I mean, the costs that you see in OMS
24 are costs that are in pounds using the budget rate.
25 That is to say, in order to obtain these estimates in

1 pounds, what OMS does is to take the pound share, you
2 just keep it in pounds, you take the euro share, you
3 express it in pounds at the budget rate. So I am not
4 sort of applying any exchange rate. I am using the
5 invoice prices that are in pounds. I am using the costs
6 that Mr Ashworth and his team see that are in pounds,
7 but they are constructed from pound cost, of course,
8 just pounds, plus the euro cost expressed in pounds at
9 the budget rate.

10 MR RIDYARD: But you are accepting the use of that exchange
11 rate --

12 PROFESSOR NEVEN: I am accepting -- I see this is what they
13 use and, you know, I use that as an estimate of the
14 costs in pounds that they would use for the sake of
15 pricing and this is what I introduce in my model; okay?
16 So I am not exchanging anything. I am basically using
17 what they saw, and then, as I said, I do some
18 sensitivity in which I see whether the prices might sort
19 of react differently if I also -- or actually I see
20 whether I have an additional impact on the price, an
21 additional effect, which is associated with the current
22 exchange rate, which corresponds to what I described
23 earlier as the influence that DAF NV may have had in
24 trying to lead to a faster adjustment or slower
25 adjustment, as the case may be, but lead to a faster

1 adjustment, this is what the data shows, relative to
2 simply using the cost expressed at the budget rate that
3 they saw.

4 Now, I still want to, if I may, comment on the issue
5 that we face here in terms of identification, which
6 I think is quite different for the earlier period and
7 for the later period, because what we see in the earlier
8 period is the sharp appreciation of the pound, and we
9 understand that if we adopt the methodology of
10 Mr Harvey, imposing, you know, full pass-through into
11 the price, any deviation in actual pricing by DAF from
12 this full pricing is going to be absorbed by the
13 infringement, I mean. So it is going to be absorbed by
14 the estimation of the infringement which is why I think
15 that there was an identification problem.

16 Now, the fact that when Mr Harvey introduces the
17 exchange rate in his equation, instead of having an
18 overcharge of 12%, he gets an overcharge of 1% or 1.2,
19 basically shows that there is an identification problem.

20 If there was no identification problem, introducing
21 this exchange rate as a stand-alone variable should not
22 affect the infringement point.

23 So you have to deal with it in a way or another with
24 this issue, and I think that it is extremely difficult
25 in the before/during period to actually deal

1 satisfactorily with this concomitant change in the
2 exchange rate and the beginning of the infringement.
3 I think it is very difficult.

4 You know, in the later period, we are fortunate that
5 we have a better identification strategy because we have
6 costs that are separately estimated at the truck level
7 in pounds and in euros, so we can use this difference
8 across trucks in order to capture the effect of the
9 exchange rate because, you know, this difference is
10 going to allow me to say, okay, this is due to the
11 exchange rate because these trucks have a big share of
12 the cost that is incurred in euros, so I should expect
13 a bigger effect, and that is what the model is going to
14 pick up. But this is not an identification strategy for
15 the exchange rate that I could use for the earlier
16 period.

17 That is why I think that it is a very severe problem
18 that you have originally and that is why I think, as
19 I explained this morning, that doing the before/during
20 model is very difficult because you have this
21 concomitant change in the exchange rate at the beginning
22 of the infringement and you need to do an identification
23 of the infringement purely from the time series, I mean,
24 from the fact that the prices are changing over time and
25 that the exchange rate is changing over time, and the

1 infringement is also changing over time. There is not
2 much help from the cross-section of trucks.

3 Maybe I stop here because I think that the problem
4 with respect to the second part and the identification
5 of the exchange rate in the second part of the
6 infringement, when we have this big depreciation of the
7 pound in 2008, is a problem that needs to be discussed
8 also in relation to the financial crisis, because there
9 the problem is actually that the depreciation of the
10 pound in 2008 is occurring at the same time as the
11 beginning of the financial crisis, and there, there is
12 an additional identification problem.

13 THE CHAIRMAN: I understand the difficulties if you have got
14 a big appreciation in the pound at the beginning of the
15 infringement period, but I do not know whether it
16 continued to strengthen or whether it sort of stayed at
17 that sort of level for the next few years.

18 PROFESSOR NEVEN: That is the problem, is that the pound
19 appreciates and then does not vary much --

20 THE CHAIRMAN: Yes.

21 PROFESSOR NEVEN: -- until the end of the AS/400.

22 THE CHAIRMAN: Yes.

23 PROFESSOR NEVEN: That is why putting the AS/400 together
24 with the MI data is also useful to identify the effect
25 of the exchange rate, because you do not only have this

1 big appreciation of the pound at the beginning, you also
2 have, you know, a period of stability, then you have the
3 big increase, the pound is falling, the pound is
4 depreciating with the financial crisis, it stays below,
5 then it goes up a bit. Then with Brexit, boom, goes
6 down, and then recovery from Brexit news, and it goes up
7 again.

8 All of these variations are actually useful in order
9 to identify the effect of the exchange rate.

10 THE CHAIRMAN: I can see how it would distort your ability
11 to identify the infringement effects at the beginning
12 when there is a sharp appreciation, but once things sort
13 of stabilise, are you not able better to see whether the
14 exchange rate is having an effect or not?

15 PROFESSOR NEVEN: Yes, I think that I can, in the context of
16 my model in pounds. Yes, I can, because I use this
17 cross-section which, I mean, is essential in order to
18 identify the effect of the exchange rate. But the
19 challenge that I have, using this variation, is indeed
20 to disentangle the main shock of the exchange rate
21 through the later period is the 2008 depreciation of the
22 pound. That is again 10%. You know that is happening
23 within a few months.

24 In order to really measure the impact of that, you
25 need to disentangle it from the effect of demand which

1 is happening at the same time. I think that my model in
2 pounds, with the approach that I use for the financial
3 crisis, actually can capture this effect, yes.

4 THE CHAIRMAN: Mr Harvey, do you accept that the sharp
5 appreciation at the beginning of the infringement period
6 causes a problem for identifying what are the exchange
7 rate effects and what are the infringement effects?

8 MR HARVEY: Yes, I do. The issue that we are both presented
9 with is: in view of that challenge, how do we best model
10 pricing to try and winkle out the overcharge? So I do
11 not think there is any disagreement between us in terms
12 of the existence of the problem because that is just
13 what happened. It is more how best to deal with it.

14 The question you raised around, you know, when you
15 enter into a more stable period, does that help? The
16 answer is, counter intuitively, not a great deal, which
17 is because really what we are interested to understand
18 is, when you do move from a period where the exchange
19 rate is rather low to a period where the exchange rate
20 is rather high, what effect did that have on pricing?
21 So in a sense there may -- when you are into a more
22 stable period, you have almost like less information in
23 a sense to understand the relationship --

24 THE CHAIRMAN: Which is like the variability.

25 MR HARVEY: It is the variability issue.

1 THE CHAIRMAN: Yes.

2 MR HARVEY: So, in a way, it is really unfortunate the
3 infringement started and ended when it did, because if
4 it did not, we would have the variability we needed.

5 THE CHAIRMAN: Yes. All right.

6 MR RIDYARD: Okay. I would just like to turn to ask some
7 questions about the various sensitivities and checks
8 that you have both done to try to get underneath this
9 exchange rate problem, to see how we should interpret
10 those results, because I think it is fair to say that
11 you disagree with one another on how one interprets
12 those different results, and what they are, what they
13 are telling us.

14 So perhaps, Professor Neven, you would go first.
15 You have already touched on some of this already, but if
16 you could give us a sort of summary of what further
17 sensitivity checks that you have done to see whether
18 they can shed light on why your chosen approach to the
19 exchange rate effect is the appropriate one and what
20 lessons they tell us about your approach and
21 Mr Harvey's.

22 PROFESSOR NEVEN: Yes. I have touched on this before.

23 I think that my default specification is one in which
24 I used the cost that is in OMS. I mean, this is the
25 cost in pounds using the budget rate, and I see with

1 that strategy how I can identify the effect of the
2 exchange rate.

3 Then, instead of solely using the budget rate, which
4 is essentially used in order to produce that cost data,
5 I also add as a stand-alone variable the current
6 exchange rate. This is to try to replicate this thought
7 experiment that you had sort of DAF NV up there, which
8 was also insisting on carrying out to the margins in
9 euro. If I do that, I obtain an estimate which is
10 remarkably robust, in the sense that I have a sensible
11 coefficient estimate for the stand-alone current
12 exchange rate; my estimate of the cost pass-through
13 remain sensible; my estimate of the demand variable
14 remain sensible; and this is true both in the MI period
15 and in the earlier period -- in the model that uses,
16 I mean, the before, during and after.

17 Now, I also report alternative ways of trying to
18 identify the exchange rate in the infringement which,
19 instead of using the budget rate for the cost in
20 combination with the current rate as a stand-alone
21 variable, I used alternative combinations; okay? What
22 I see is that whenever I use the current rate in order
23 to express the cost, I mean, there, my identification
24 strategy does not operate anymore. So --

25 MR RIDYARD: Can you explain that a bit, please?

1 PROFESSOR NEVEN: So I explain that, yes, because when,
2 instead of expressing the costs in pounds, but instead
3 of using the budget rate, which is what they see, using
4 the current rate. So I construct a cost measure in
5 pounds, which has the cost element in pounds, which
6 I keep in pounds, plus the euro element, but instead of
7 using the budget rate, I use the current rate, to see,
8 you know, maybe they react actually to the immediate
9 changes in the exchange rate as replicated, as sort of
10 expressed in the cost.

11 When I do that, I see that there is an
12 identification problem. What I see is that, in
13 particular, I can no longer well identify the effect of
14 the exchange rate from the effect of demand. That is
15 particularly the case in the second period, in the MI
16 period, which is an issue that we will return to when we
17 discuss the modelling of the financial crisis.

18 But what this tells me is that my model in which
19 I have the costs expressed as the budget rate really
20 reflects how they are setting the prices for trucks.
21 When I try to -- an alternative identification, in which
22 I tell them, look, the cost that is relevant for your
23 pricing is not the cost at the budget rate, but the cost
24 at the current rate, then my model is no longer able to
25 disentangle the effect of the exchange rate from the

1 effect of the demand.

2 So I think that, indeed, this idea that you are
3 using the cost at the budget rate, which corresponds to
4 the way in which DAF was setting the prices and the way
5 in which Mr Ashworth and his team were setting the
6 prices, is an important component of the identification
7 strategy.

8 MR RIDYARD: But you say this reflects the way that DAF UK
9 set its prices, you know, looking at the signals from
10 the IKP, but you also said that when you put in the
11 exchange rate as a separate variable, it picked up
12 something -- it picked up this kind of possible head
13 office oversight or correction.

14 PROFESSOR NEVEN: Yes.

15 MR RIDYARD: So does that not in itself imply that, by some
16 means or another, DAF's UK pricing was not following the
17 signals from the budget rate and it was --

18 PROFESSOR NEVEN: That is correct. I mean, they are not
19 only following the signal from the budget rate, but
20 I think that what this sensitivity analysis shows is
21 that the primary signal is the signal from the budget
22 rate and there is some adjustment with respect to that,
23 and this is the best way to model the way in which
24 prices are set. I think this is what this is saying.

25 MR RIDYARD: Mr Harvey, can you comment on that before we

1 talk about the things that you have done?

2 MR HARVEY: I think, in terms of the inclusion of other
3 control -- the exchange rate control variable in those
4 models, really what you need to do to have a sort of
5 fully flexible model that accounts for exchange rate
6 movements is to include a control for the current and
7 potentially lagged budget rates.

8 But the other thing that you need to do is to sort
9 of set free the adjustments that you made to the costs
10 as well, and that is not what these restrict -- sorry,
11 these sensitivities do.

12 So still within the sensitivities, there is still
13 this restriction that the primary cost -- the cost route
14 is driving the pricing decision. What we are not fully
15 reflecting is, if you like, the leaning of DAF NV on
16 DAF UK in terms of the pricing decisions it made. So
17 really what you need to do is to have a model that sort
18 of removes all of that inflexibility, and that is not
19 what these restrictions -- sorry, that is not what
20 these -- sorry, add to that flexibility, and that is not
21 what these restrictions -- sorry, what these changes do.

22 PROFESSOR NEVEN: I think I disagree with that. I think
23 that in the table in -- I think it is table 9 or table
24 10 in the table in the appendix, I have both the budget
25 rate for the cost and I have the stand-alone exchange

1 rate, current exchange rate, which reflects, you know,
2 DAF NV's input, and DAF NV's, which says, no, you should
3 go faster than what is dictated by the cost expressed in
4 the budget rate.

5 So there is flexibility, I mean, and, of course, by
6 adding the stand-alone exchange rate in that
7 sensitivity, I allow for the flexibility.

8 MR HARVEY: But if you are not right that the way to look at
9 the costs is via the budget rate, then that result is
10 already hard-wired in. You have not introduced the
11 flexibility.

12 PROFESSOR NEVEN: But I introduced the flexibility by having
13 a stand-alone variable, I mean, and this is what
14 introduces the flexibility.

15 What I also see is that, if, instead of using the
16 budget rate for the cost, I use the current rate, then
17 I mean, I have an identification problem and the
18 identification is with respect to demand.

19 That -- you know -- so there is flexibility in that
20 formulation, and I also understand, you know, why there
21 is the alternative assumption I have an identification
22 problem.

23 It is because of what is happening in 2008. In
24 2008, the current -- whenever I introduce the current
25 exchange rate which, boom, happens at the same time as

1 the financial crisis, there is a problem. So it is not
2 as if I have a model which is not robust and I do not
3 understand why. I mean, I have a model which works,
4 which corresponds to the way in which DAF is setting
5 prices. When I tried an alternative, I also understand
6 why my identification strategy no longer works.

7 MR HARVEY: But another way of saying my identification
8 strategy does not work is to say the results that I get
9 when I allow for the flexibility that we are talking
10 about here, the model does not work.

11 PROFESSOR NEVEN: No, I disagree with this because
12 flexibility, I introduce it by allowing for the current
13 exchange rate as a stand-alone variable. That is the
14 flexibility and this flexibility works.

15 MR HARVEY: But in addition, what you would need to do to
16 create the full flexibility is to include in that model
17 not just the exchange rate for the current exchange
18 rate, you would also need the budget rate to be
19 included.

20 PROFESSOR NEVEN: No, I do not want to include the budget
21 rate together with the current exchange rate stand-alone
22 variable in particular when I have already introduced
23 the budget rate as the variable that is used in order to
24 express the cost. I mean, that would be introducing
25 immediately a problematical irregularity, so that would

1 not be a sensible test.

2 MR HARVEY: No, but that is what you would need in order to
3 fully understand the effect of exchange rate
4 pass-through on your model.

5 PROFESSOR NEVEN: No, I disagree with that. I think that
6 I understand the effect of the exchange rate
7 pass-through in my model. It is robust to the
8 introduction of a stand-alone variable for the exchange
9 rate, and I also understand why alternative
10 specifications or alternative attempt to try to identify
11 the effect of the exchange rate and the infringement do
12 not work.

13 Sorry to say, but I am not imposing anything, I am
14 just estimating everything, and an estimation in euro,
15 as we have established, is one in which the prices in
16 pounds have a one to one relationship with the exchange
17 rate, and that is imposed. That is imposed in the
18 estimation. I am not imposing anything. I have an
19 identification strategy and I test the limits of that
20 identification strategy.

21 MR RIDYARD: Okay. Is there anything else that you did on
22 the sensitivities -- on the exchange rates that you
23 would like to raise or discuss at this point?

24 PROFESSOR NEVEN: I have done -- okay, let me think ...
25 whether there was anything that I want to explain.

1 Yes, I mean, of course, we have to observe that in
2 Mr Harvey's specification for the before/during, if you
3 introduce the exchange rate as a stand-alone variable,
4 then the infringement goes to 1%, so there is really an
5 identification problem. So I am not commenting in
6 particular on the estimate of the infringement, but
7 there is clearly a problem.

8 Similarly, if you are using his during/after model
9 and if you introduce a stand-alone exchange rate in his
10 during/after model, then you get an estimate of the
11 infringement, which is minus 5.5. Again, I am not
12 commenting on the number, but clearly there was
13 a problem in terms of identification if you introduce
14 the sort of flexibility that he recommends, okay, the
15 sort of -- if you introduce the exchange rate as
16 a stand-alone variable. As I explained before and as we
17 are going to discuss further when we discuss the
18 financial crisis, I think this is induced by the effect
19 that he has these dummies for the financial crisis. So
20 he is basically killing any estimate of the effect of
21 the exchange rate in 2008 when it is taking place.

22 MR RIDYARD: We will come on to that in due course.

23 Mr Harvey, do you want to tell us about the checks
24 and sensitivities that you have done to test out your
25 approach to exchange rates?

1 MR HARVEY: Yes. As an opening -- sort of opening remark,
2 I think because I view the problem as it is almost --
3 the challenge as sort of fundamental and to do with
4 data, and as we have already established that
5 introducing an exchange rate as an independent control,
6 which we spoke about earlier, would be challenging,
7 there are, I think, some sort of limits to what sort of
8 sensitivity analyses you can do without recreating the
9 very problem that we have tried to navigate our way
10 around using our different modelling strategies.

11 But I did look at various sensitivity analyses, some
12 of which I have already touched on. The first was in
13 relation to the during/after model. So in that
14 sensitivity, what I did was to replace the conversion of
15 the prices using the current exchange rate, replace that
16 with the budget rate to reflect this idea of delay.
17 That change did not affect the overcharge estimates that
18 I calculated.

19 The other sensitivities that I did was partly
20 motivated by the point we were discussing earlier about
21 the particularly strong swing in exchange rates in the
22 before/during period. So there what I did was to knock
23 back the rate of exchange rate pass-through to a level
24 that is more consistent with the level that was implied
25 by the during/after model, so I reduced it to see what

1 effect it would have. Unsurprisingly, it does reduce
2 the overcharge estimates, but it does not eliminate
3 them. So they were the two main sensitivities that
4 I did in my analysis.

5 There was a third one, where I introduced the budget
6 rate as a control in my model, so added in separately,
7 and again, that did not seem to affect the overcharge
8 estimates greatly. But I think that is linked to what
9 I was talking about earlier, which is really, to have
10 a fully flexible model, you would need to include the
11 exchange rate control as an independent variable.

12 Ideally, that would be the same exchange rate control
13 that you use to convert costs and prices, but we cannot
14 do that because of the identification problem. But my
15 models were robust to the inclusion of those -- the
16 budget rate in it.

17 PROFESSOR NEVEN: Can I comment on this --

18 MR RIDYARD: Yes, you can.

19 PROFESSOR NEVEN: Because I think that they are not actually
20 real sensitivities.

21 The first one in which Mr Harvey is using the budget
22 rate in order to express the prices, this specification
23 does not address the main problem, which is the fact
24 that he is imposing a coefficient. So instead of
25 imposing a coefficient of minus 1 with respect to the

1 current exchange rate, he is imposing a coefficient of
2 minus 1 with respect to the budget rate. But still, the
3 problem is there that he is imposing something.

4 With respect to the second sensitivity, what he is
5 sort of referring to as knocking back the exchange rate
6 effect in his model, instead of having minus 1, he says,
7 "Okay, I am going to try minus 88"; okay? He says,
8 "Okay, if I do minus 0.88, I am having a result which is
9 not too different". Now, if, instead of imposing
10 anything, he had let the model decide, he would have
11 obtained an estimate of 0.33; okay?

12 MR HARVEY: I am sorry to interrupt, but we cannot let the
13 model decide in this period because of the
14 identification problem. If we could let the model
15 decide, then we would not be having this discussion. So
16 what I have done is to use the information that you
17 glean from the during/after period to adjust the
18 before/during period. There is nothing -- it is not
19 sensible to think about making -- letting the model
20 decide, because if we could both do that, then neither
21 of us would have needed to adopt the strategy that we
22 did.

23 PROFESSOR NEVEN: I am not trying to identify something
24 which cannot be identified. I think that what all of
25 this is showing is that you are trying to identify

1 something in the before/during which is really extremely
2 difficult to identify.

3 Of course, if you let this coefficient be free in
4 this estimation that you are referring to, yes, you end
5 up with an estimate of, you know, 0.33, and, you know,
6 this is indeed something which reflects again the fact
7 that there is an identification problem.

8 Now, there is one thing you could have done though.
9 I mean, if you are really concerned about this issue of
10 identifying the exchange rate from the infringement, is
11 to estimate the effect of the exchange rate on data
12 which is not affected by the infringement. I mean, just
13 take data during the infringement period, estimate
14 a model in which you can estimate the effect of the
15 exchange rate. You get an estimate, then you impose it
16 on the -- that is something you could do. You could
17 have done an estimate of the effect of the exchange rate
18 on prices, which is not polluted by this problem of
19 identification, and then impose it; and I did it in your
20 model, and if you do that in your model, you end up,
21 again, with an estimate of the infringement that is very
22 similar to the one I had --

23 THE CHAIRMAN: What data are you referring to? What sort of
24 data?

25 PROFESSOR NEVEN: Using the same data, but so -- because

1 what Mr Harvey is describing in this sensitivity is
2 basically a model in which you have prices in pounds, in
3 which you have costs in euro, and then he is imposing
4 coefficients with respect to a stand-alone variable
5 in -- which is the exchange rate; okay? He is
6 imposing -- instead of having minus 1, he has minus
7 0.88.

8 He says, you know, this 0.88 is informed by what
9 I observed later, but I think that it is still imposing
10 something, so -- and imposing something which is
11 arbitrary.

12 I think that what he could have done, if he was
13 really trying to disentangle the effect of the exchange
14 rate from the effect of the infringement, is estimate
15 the effect of the exchange rate on data that is
16 unpolluted, on data that is unpolluted by the
17 exchange -- by the infringement, then take an estimate
18 in his euro model, use that estimate, and possibly then
19 impose it on his model, estimated on the period that
20 includes the infringement.

21 THE CHAIRMAN: Sorry, what is the data that is unpolluted by
22 the infringement?

23 PROFESSOR NEVEN: Data that is unpolluted by the
24 infringement is data which does not have a variation in
25 price level in use by either the beginning or the

1 termination of the infringement. So what he could have
2 done, for instance, is use data from, say, 1998 to
3 2,000 -- if you use it, both AS/400 and the MI, from
4 1998, say, to 2007, because you do not get into the
5 financial crisis, that creates a problem. Then you
6 estimate the effect of the exchange rate on that data.
7 Then you could impose it on the full sample that
8 includes the beginning of the infringement.

9 Again, I would not do it personally, because I think
10 that my alternative modelling strategy is vastly
11 preferable. But I think if Mr Harvey really wants to
12 have an estimate of the effect of the exchange rate,
13 which is not affected by this problem of concurrent
14 change in the exchange rate and the infringement, it is
15 something he could have done.

16 MR HARVEY: Can I comment on that?

17 MR RIDYARD: Yes.

18 MR HARVEY: So, firstly, we discussed earlier that the
19 degree of the variation in the exchange rates during the
20 period is more limited, and so I think the -- I think we
21 agreed on that, the ability to actually use that
22 information to carry out the exercise that
23 Professor Neven has just said would be quite challenging
24 I think.

25 The second observation is, I do think it is relevant

1 to consider that there is -- I think there is
2 potentially a difference in the way that pricing
3 decisions are taken when you get very large swings in
4 exchange rates versus small changes. So I think we have
5 touched on earlier, it would appear that there was
6 perhaps a heavier hand from DAF NV, for example, when
7 the exchange rate was moving in the later period. So
8 I think there is some evidence to suggest that sort of
9 large changes might attract a different type of pricing
10 decision to smaller ones. So I am always open-minded to
11 new ideas, but I think in this case I am not sure that
12 would have been an alternative, and I think the
13 conversation -- sorry, the suggestion was motivated by
14 the idea of avoiding a restriction that I made based on
15 what I learned from the during/after model. It seems --
16 it does not really seem to avoid that really, because
17 I think you would criticise me potentially for making
18 some other restriction with the data.

19 PROFESSOR NEVEN: I mean, you would still make
20 a restriction, but it would be --

21 MR RIDYARD: You have made that point. Yes, I understand
22 that point. Yes. That is fine. That is a helpful
23 exchange, thank you.

24 I just wanted to broaden the discussion out, because
25 this issue of how prices respond to exchange rate

1 changes is not unique to trucks or to this particular
2 case. So is there anything more broadly in the
3 economics literature, the empirical literature, about
4 how we expect prices to adjust to exchange rate changes
5 that could and should have been used in each of your
6 analyses?

7 Maybe, Mr Harvey, could you go first on that?

8 MR HARVEY: Yes. I confess that I did not find the
9 literature terribly instructive so ... What it does
10 helpfully, I think, set out from a sort of theoretical
11 perspective are the sort of reasons why or the
12 circumstances under which exchange rate pass-through
13 might be incomplete, the types of factors that influence
14 the rate of exchange rate pass-through.

15 So -- and, unsurprisingly, it cites some of the
16 factors that we were speaking about earlier, relating to
17 location of the rivals, the extent to which costs are
18 incurred locally and so forth. I think it is sort of
19 helpful in that respect.

20 Quite a lot of the studies, macro economic studies,
21 they look at exchange rate pass-through sort of at
22 a more aggregate level. I am not sure that really helps
23 us here because we are concerned with a particular
24 industry.

25 There are industry-level studies and what they show

1 is that there is quite a wide range of exchange rate
2 pass-through so, you know, down to very low figures and
3 up to full and complete. Most of us have tried to
4 explain that with respect to some of those factors that
5 I spoke about earlier.

6 So although it sort of gives some sort of indication
7 of the things that matter, it was not at all plain to me
8 how I could really sort of incorporate that into the
9 empirical analysis.

10 PROFESSOR NEVEN: Yes, I agree with Mr Harvey that this
11 literature is useful in terms of highlighting the
12 economic principles. I mean, this literature is
13 explaining that, indeed when you are pricing in
14 a foreign market, you incur costs in your own currency
15 and you want to think strategically. Are you going to
16 pass on the change in the exchange rate immediately?
17 Are you going to do it over time? Are you going to do
18 it fully? This literature not only highlights the
19 underlying economic principles, I think that in
20 particular those that are -- these studies that are
21 performed on particular industries, they also show that,
22 you know, the factors that we have been discussing, they
23 do matter. I mean, the share of the cost that is
24 incurred in a foreign currency, the competitive setting
25 in foreign markets, all of these things, when they can

1 be controlled for, they matter.

2 I think that in terms of the empirical results,
3 I think that there is no disagreement that most of these
4 studies find that there is less than complete immediate
5 pass-through and that indeed -- and that makes a lot of
6 sense in terms of if you think about what managers are
7 doing. I mean, managers have -- all of a sudden there
8 is an increase in cost. You wonder, "Do I pass it on
9 immediately or do I wait?"

10 You also see that in the witness statement of
11 Mr Ashworth, in which he basically says, "Maybe we wait
12 for a year, maybe we do not actually pass on the change
13 in the exchange rate immediately because it can revert".
14 So these are the sort of considerations that you find in
15 the witness statement that you also find in these
16 studies.

17 Now, in terms of the empirical approach, however,
18 what I want to highlight is that all of these studies,
19 without exception, always introduce the stand-alone
20 exchange rate as a control variable when they do not
21 have cost. So what Mr Harvey is doing here, which is
22 converting everything into euros and then not using the
23 exchange rate as a stand-alone variable, is something
24 that is -- not something that you encounter in the
25 literature.

1 THE CHAIRMAN: So they all introduce a stand-alone exchange
2 rate?

3 PROFESSOR NEVEN: If they do not have cost.

4 THE CHAIRMAN: When they do not have cost where?

5 PROFESSOR NEVEN: When they do not have cost. Some of these
6 studies also have costs, like what we have here. So
7 then they will look at the effect of the exchange rate
8 through cost, some of them. But when they do not have
9 cost, they always introduce the exchange rate as
10 an exponential variable.

11 MR RIDYARD: They do this analysis in the currency of the
12 importing country?

13 PROFESSOR NEVEN: That is right.

14 MR RIDYARD: Do you agree with that, Mr Harvey?

15 PROFESSOR NEVEN: Actually, just to correct this, some of
16 these studies, they do it in the currency of the
17 exporting country, it happens. You have some studies
18 which do that. But they do it in an environment
19 typically in which they do not know exactly what is the
20 cost share that is incurred in the local country,
21 typically the environment in which the entire cost base
22 is incurred in the exporting country and then there is
23 less of an issue but there is always an exchange rate
24 variable.

25 MR HARVEY: I am sorry, I am reluctant to make sort of

1 sweeping statements about exactly, on balance, what the
2 empirical literature exchange rate pass-through says.
3 You do see models that include separate control
4 variables but I have already said that, in the ideal,
5 that is what we would want to do here, to flexibly
6 control for exchange rates, but we have quite
7 a particular problem which is that we are interested in
8 the effect of the infringement which coincided with
9 those changes. So I am not sure a huge amount can be
10 inferred from that.

11 It is true that, as I said earlier, the empirical
12 literature does show a range of rates of exchange rate
13 pass-through. Some of them say it is very high, some of
14 them say it is lower. Just to re-emphasise, my model is
15 not saying that there is 100% exchange rate
16 pass-through. I do not know whether Professor Neven
17 intended to sort of hint that, but just to clarify.

18 MR RIDYARD: Okay.

19 THE CHAIRMAN: Right. So that is the end of that topic
20 which is probably a convenient moment to break for the
21 day.

22 Is there any cross-examination, any clarification?

23 MR BEARD: I only have one actually. If I may, go back to
24 page [122] on the transcript, it was just a
25 filling-in-the-blanks follow-up, if I may. So it is

1 a question from Mr Ridyard at line [16]:

2 "Your proposition [I think this was initially
3 directed to you, Mr Harvey] is that that would all
4 increase their profit margins so that they would very
5 quickly reduce their pound prices to get back to the
6 same equilibrium that they were in before the exchange
7 rate change happened?

8 Then Mr Ridyard asked:

9 "Are you saying that would be instantaneous? I know
10 nothing is instantaneous, but I mean as good as?

11 "Mr Harvey: I am saying that I think it would be
12 quick. I do understand that, of course, that it is not
13 like it would happen on the second day ..."

14 But you are saying it would be quick. Did you
15 actually carry out any empirical analysis of whether
16 prices did change in the face of exchange rate shifts?

17 MR HARVEY: It is a difficult thing to do because of the
18 discussion that we have just had. So the answer is no,
19 but in a sense what you need to be looking at is prices
20 can be affected by all sorts of things. So the purpose
21 of doing the regression model in the first place is to
22 try and account for those other things. So a sort of
23 simple, if you like, pair-wise comparison between one
24 price at one point in time and another does not really
25 tell you about the exchange rate movement.

1 MR RIDYARD: You need the same truck sold to the same
2 customer in the same circumstances before and after the
3 exchange rate, which would not really be available
4 to us.

5 MR HARVEY: Correct.

6 PROFESSOR NEVEN: Well, we had one such example.

7 MR BEARD: I think we do have that example.

8 PROFESSOR NEVEN: That is right. We have one such example.

9 MR RIDYARD: Okay. Well done.

10 PROFESSOR NEVEN: We have an example of exactly the same
11 truck with exactly the same MLO.

12 MR HARVEY: I do not doubt that we can find those examples
13 in the data.

14 MR BEARD: This is Professor Neven's supplementary report,
15 it is E/IC66/30 I think.

16 PROFESSOR NEVEN: That is right. You see there is a change
17 in the exchange rate. I think you will remember it is
18 5% between the two dates and these trucks are sold --
19 they have the same MLO, they have sold at the same price
20 in pounds.

21 MR BEARD: I am so sorry, it is {E/62/30}. My fault.

22 PROFESSOR NEVEN: But it is not the same customer, but they
23 are both small customers.

24 THE CHAIRMAN: So you are saying there was no exchange rate
25 pass-through at all, it was at the same price --

1 MR HARVEY: Can we see it? It is not on the screen.

2 MR BEARD: Yes, absolutely. I am really sorry, I gave the
3 wrong reference, Mr Harvey. It is my fault. It is
4 {E/62/30}. Does that make sense? There we go. Thank
5 you very much.

6 PROFESSOR NEVEN: So same truck, same MLO, same price in
7 pounds, change in the exchange rate in between, no
8 adjustment.

9 MR HARVEY: Different customer.

10 PROFESSOR NEVEN: Different customers but similar size.

11 MR HARVEY: The difficulty with this type of analysis is
12 that we have got a large enough data set to find
13 exceptions or examples and I am sure that I could find
14 some alternative. This does not work anyway because it
15 is a different customer.

16 MR BEARD: I see. I just wanted to check whether you had
17 looked at that at all, but the answer is no. Thank you.

18 MR HARVEY: I gave you a reason why I did not, Mr Beard.

19 MR BEARD: Yes. Thank you, Mr Harvey.

20 THE CHAIRMAN: Anything else?

21 MR BEARD: No.

22 THE CHAIRMAN: Mr Ward, do you have any questions for
23 clarification?

24 MR WARD: No, I do not.

25 THE CHAIRMAN: All right. Pleased to see you are still

1 there!

2 Very good. So we will resume tomorrow. I do not
3 know whether we will take all day tomorrow on the
4 remaining topics but probably quite a large part of
5 tomorrow, and I think in any event we will not start
6 cross-examination until Friday to give people a bit of
7 a break and also maybe you want -- because they will be
8 coming out of --

9 MR BEARD: Yes, I think that would be preferable all round
10 and given the reasons why we have shifted things, that
11 is probably sensible on all counts.

12 THE CHAIRMAN: All right.

13 Overnight I am afraid you remain in the hot tub, you
14 cannot get out.

15 We will see you tomorrow at 10.30.

16 (4.19 pm)

17 (The hearing adjourned until
18 Thursday, 26 May 2022 at 10.30 am)

19

20

21

22

23

24

25

INDEX

1

2 MR JAMES HARVEY (affirmed)3

3 PROFESSOR DAMIEN NEVEN (affirmed)3

4 Questions by THE TRIBUNAL7

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25