

This Transcript has not been proof read or corrected. It is a working tool for the Tribunal for use in preparing its judgment. It will be placed on the Tribunal Website for readers to see how matters were conducted at the public hearing of these proceedings and is not to be relied on or cited in the context of any other proceedings. The Tribunal's judgment in this matter will be the final and definitive record.

IN THE COMPETITION
APPEAL TRIBUNAL

Case No: 1284/5/7/18
1290/5/7/18

Salisbury Square House
8 Salisbury Square
London EC4Y 8AP

Thursday 26 May 2022

Before:
The Honourable Mr Justice Michael Green
Derek Ridyard
Sir Iain McMillan CBE FRSE DL
(Sitting as a Tribunal in England and Wales)

BETWEEN:

Royal Mail Group Limited
BT Group PLC and Others v DAF Trucks Limited and Others **Claimants**

v

DAF Trucks Limited and Others **Defendants**

A P P E A R A N C E S

Tim Ward QC, Ben Lask and Clíodhna Kelleher (On behalf of RM/BT)
Daniel Beard QC, James Bourke and Daisy Mackersie (On behalf of DAF)

Thursday, 26 May 2022

(10.30 am)

THE CHAIRMAN: Good morning, everyone.

So we resume today. I assume Mr Ward is still connected to us and can hear us.

MR WARD: Yes, thank you.

THE CHAIRMAN: Good morning.

All right. So we are on to global financial crisis.

MR JAMES HARVEY (continued)

PROFESSOR DAMIEN NEVEN (continued)

Questions by THE TRIBUNAL (continued)

MR RIDYARD: Okay. Well, we will start off with a gentle warming-up exercise. The first question -- perhaps, Professor Neven, you could start on this one -- just standing back, we are talking here about the demand effects of the global financial crisis, the GFC, how in principle could the GFC distort or affect the attempts to measure the effects of the infringement?

PROFESSOR NEVEN: It is important to control for the global financial crisis because, if you do not, there may be a bias in the estimate of the infringement and, in order to see this, I think it is useful to distinguish the effect of the global financial crisis that may be taking place during the infringement and those lingering effects of the global financial crisis that are taking

1 place after the end of the infringement.

2 So let us first think about what is happening during
3 the infringement. If you do not control for the
4 financial crisis properly, you will not actually take
5 into account the fact that the prices were low because
6 of the financial crisis, which basically is to say that,
7 I mean, if you fail to control for this, you are going
8 to fail to control for the fact that the prices would
9 have been higher in the absence of the financial crisis,
10 which means that you are going to underestimate the
11 effect of the infringement.

12 The opposite effect is taking place if you are
13 failing to control for the financial crisis or the
14 lingering effects of the financial crisis that are
15 taking place after the end of the infringement. So if
16 you fail to control for this effect, you will have the
17 opposite bias.

18 MR RIDYARD: So what are the lingering effects, the
19 after-effects?

20 PROFESSOR NEVEN: You have to look at the profile of the
21 fall in demand. What you see is that the demand is
22 really falling in 2008 and it stays low in 2008/2009, it
23 is starting to pick up in 2010 and then it is sort of
24 picking up again in 2011, but it takes a long time to
25 recover. So it is important to control for the fact

1 that not only the demand actually fell sharply in
2 2008/2009 but also to take into account the fact that it
3 took a long time to recover, so that what we observe in
4 2011/2012, which are important periods for the
5 identification of the infringement, are also affected by
6 a particular state of the demand -- also affected by the
7 fact that the demand is low during that period.

8 MR RIDYARD: Mr Harvey, do you have anything to add to that?

9 MR HARVEY: No, I agree with what Professor Neven has said.

10 I think the empirical complication -- one way of
11 thinking about it is that ideally, he says, what we
12 would like is two financial crises, one with the cartel
13 and one without the cartel, and be in a position to
14 compare how pricing would be between them. Thank
15 goodness we do not have that, but that is the ideal. So
16 some of the complications we are about to discuss arise
17 because we do not have that sort of perfect benchmark
18 for the pricing during the financial crisis, but the
19 effects that Professor Neven has described and the
20 relevance of it for the overcharge analysis I agree
21 with.

22 MR RIDYARD: Okay, thank you.

23 The next series of questions, I think it makes sense
24 to start with Mr Harvey and get Professor Neven's view
25 afterwards. Obviously it is about how in the estimates

1 you control for the effects on demand of the GFC. In
2 general -- we talk about experimental variation and
3 hypothesis testing and, in general, what you want, if
4 you are trying to do an experiment, is a bit of -- is
5 a good bit of variation in your independent variables.
6 So everyone agrees that demand is a factor that
7 influences price and here we have a nice chunky effect
8 on demand so, scientifically, as it were, in first
9 principles you would be happy about having a big
10 variation in this independent variable because it would
11 help you to isolate the impacts on price. So what is
12 wrong with your -- just using the standard demand
13 variable to measure the effect of the GFC on prices?

14 MR HARVEY: Yes, can I show a chart?

15 MR RIDYARD: Yes, please.

16 MR HARVEY: So it is in that folder. It is in my first
17 report.

18 MR LASK: {E/IC1} for the Opus.

19 MR HARVEY: Sorry, bear with me, it is, yes, {E/IC1/81},
20 figure 2. This is some context for the discussion we
21 are about to have. So this is showing the annual sales
22 volumes recorded in the MI data, which includes the
23 crisis period and the red circle shows the volume of
24 sales in that period. You can see that there is
25 a pronounced drop in that period relative to the prior

1 few years and indeed the years that follow. So this is
2 the chart that I saw early on in the process.

3 THE CHAIRMAN: Quite a spike before the start.

4 MR HARVEY: Yes. It is true there is variation throughout
5 the period. In terms of how I approached the problem --
6 I think you had a question about what did I do first,
7 and the first approach was indeed to include the whole
8 period, include a control for demand. Having observed
9 this, we also looked at what happened if we removed that
10 period from the estimation. What we found was that,
11 indeed, the overcharge that was estimated, having
12 removed that period, was significantly higher. So that
13 raised several questions in my mind: what could explain
14 that? I think there are three sort of broad candidates.

15 One is that the overcharge fell -- it varied over
16 time and it fell significantly in the 2008/2009/2010
17 period. In fact it would need to be negative to offset,
18 as it were, the higher overcharge in the earlier period.
19 That is one possibility.

20 The second possibility is that the model that
21 includes the full-time period with the volume driver is
22 not properly reflecting how pricing decisions were
23 actually made in the global financial crisis period.
24 Put another way, if you like, the average effect of
25 volume changes across the entire data set on prices is

1 not properly reflecting the effect of the very sharp and
2 steep decline on prices during the global financial
3 crisis period. That is the second possibility.

4 The third possibility is that that data is --
5 I think this goes to the question, Mr Ridyard, that data
6 is sort of instrumental and informative for
7 understanding how pricing works across the period as
8 a whole. The variation in volume is crucial to unpick
9 the underlying sort of pricing model that DAF used
10 across the whole period.

11 So they are the three possibilities. As we
12 discussed yesterday, on the first possibility I did not
13 have a strong prior that the overcharge should vary over
14 this time period and indeed the infringement continued.

15 On the second possibility, we had quite a lot of
16 evidence from the witness evidence suggesting that there
17 was indeed something special about this period, so it
18 referred to this being an unprecedented period of demand
19 reduction, and indeed it was. We also learned that,
20 during this period, the approach to pricing was somewhat
21 different with I think all orders being elevated to
22 DAF NV for sign-off in and around this time.

23 Then the third thing that varied was that
24 I understand there was increasing concern with regards
25 to visibility, which is the idea that how many --

1 basically how many truck orders do you need to get in
2 for your factories to be operational and to be fully
3 utilised, because I think kind of the concern is you
4 have got labour there waiting to do their job and, if
5 you do not have enough trucks, they are idle.

6 Then the fourth thing we heard about was
7 cancellations. I did not fully appreciate, I think, the
8 consequences of that from the witness evidence, but one
9 consequence is the possibility that production has
10 already started for trucks and then the order gets
11 cancelled and you are left with a truck that has not
12 a home. We do not see that from the witness evidence
13 but I think Mr Ashworth referred to that.

14 So the combination of those things led me to believe
15 that there was a difference in, if you like, the
16 underlying approach to pricing during this period and
17 that it was not just like normal changes in demand and
18 the effect that that would have on average across the
19 period as a whole. It was those considerations that led
20 me to treat this period differently to the rest. In
21 effect, I have got a concern that the average effect of
22 volume changes, demand changes, rather, on prices, would
23 potentially understate the effect that occurred during
24 this period in view of those considerations.

25 Sorry, that was a bit longer than I hoped it would

1 be.

2 MR RIDYARD: No, there is certainly a lot there to chew
3 over.

4 Just starting from the beginning, though, what you
5 did first of all is run your regression model with your
6 existing demand controls and --

7 MR HARVEY: Yes.

8 MR RIDYARD: -- when you did that, presumably you found you
9 got a zero or very low cartel effect?

10 MR HARVEY: It was low. I think it was of the order of 1%
11 or 2%.

12 MR RIDYARD: Okay. Then you looked into this and decided
13 that it was appropriate to --

14 THE CHAIRMAN: Just so I understand, that is from the
15 period -- this is the during/after period, so it is 2004
16 to beyond?

17 MR HARVEY: That is correct, yes.

18 THE CHAIRMAN: So that without your control variables --

19 MR HARVEY: That is right.

20 THE CHAIRMAN: -- it showed limited overcharge over that
21 whole period?

22 MR HARVEY: Yes.

23 THE CHAIRMAN: Okay.

24 MR RIDYARD: Then one of the possibilities you then referred
25 to was that what was happening here was there was an

1 overcharge, you know, before the GFC and then there was,
2 if you like, an undercharge during these few years and
3 they were cancelling out, but you said you had no priors
4 as to whether that was the case. Is there any way in
5 the econometrics in which you can look at the effects of
6 the cartel year by year?

7 MR HARVEY: I think it is quite -- it would be quite
8 challenging to do that, I think, because if you believe
9 it is varying, you also need to believe that you have
10 got adequate controls for everything else, so that, for
11 example, an annual cartel effect is not picking up the
12 effect of those other things changing. So I think in
13 practical terms that would be quite challenging.

14 MR RIDYARD: Yes, and all the econometric approaches of both
15 of you -- you have both just tried to do a 1/0, on, off
16 comparison --

17 MR HARVEY: Well, underlying both of our approaches I think
18 is the idea that it is at least stable and the same
19 within the two periods.

20 MR RIDYARD: Yes. Then as regards the effect on pricing
21 conduct, there were various points you mentioned there.
22 I mean, one of them seemed to be that -- if I can sort
23 of paraphrase maybe slightly what you said, DAF suddenly
24 started looking at costs, just looking at avoidable
25 costs, instead of looking at total costs or -- would

1 that be a way of characterising, you think, the change
2 in their mindset?

3 MR HARVEY: I think that could be an indication of what
4 I have said in the sense that they are now -- we have
5 our cost measure, the MLO cost measure, which we are
6 treating for the purpose of the econometric as a cost,
7 which I think makes sense when you are full in
8 a factory. I think when you are not, presumably there
9 is some labour and overheads that -- they are costs that
10 you are incurring whether you manufacture or not. So
11 although that is not what the witness evidence says
12 explicitly, so I am not at all trying to say that is the
13 case, but what it is saying is that they are paying
14 regard to the fact that the factories are not full and
15 they want to fill them.

16 I think Mr Ashworth indicated or said something to
17 the extent of sort of, you know, labour is there ready
18 to run, and so I think it is plausible that the
19 relevant -- the cost that became relevant from making
20 pricing decisions during this period is perhaps
21 different to the cost that is relevant from making
22 pricing decisions when the demand is more buoyant.

23 MR RIDYARD: But the MLO cost -- I know you both examine the
24 extent to which the MLO costs include some sort of
25 overhead costs and I think you get an estimate of that

1 and it is 20% or something of cost, something of that
2 order, I think -- 20% of MLO cost is considered to be
3 overhead cost, is it?

4 MR HARVEY: It is something of that order. I am afraid
5 I cannot remember the figure.

6 MR RIDYARD: When you are talking there about labour, the
7 implication, they seem to be saying that, "Well, we are
8 stuck with employing these people come what may", so in
9 that sense labour would be an overhead cost in that --

10 MR HARVEY: In the scenario I am giving -- well, with all
11 cost measures there is obviously a -- really one of
12 these awful things you always encounter is sort of the
13 extent to which a cost is truly variable, and from the
14 description I heard the other day, it sounded like some
15 labour would not be truly variable, which makes some
16 intuitive sense to me. So there is some portion of that
17 MLO cost that, during this period, could rationally be
18 treated as an overhead.

19 So, yes, I think that is the sort of way I am
20 thinking about it.

21 MR RIDYARD: Okay.

22 THE CHAIRMAN: So are you saying that there are effectively
23 wasted costs because they are not manufacturing as many
24 trucks as they thought they would?

25 MR HARVEY: I think that is one way of putting it, sir.

1 I am sort of putting myself into the position of
2 somebody making a pricing choice during that time and
3 I am looking around me and my factory is not full
4 anymore and yet I have people sitting there, waiting to
5 build a truck, something I would dearly like to see at
6 some point, so I am deciding whether I take the order
7 and make a contribution to those costs or not.

8 Now, again, the reason I am being slightly careful
9 with this is that, obviously, over time, DAF could make
10 decisions about how many people it hires at any point in
11 time and so forth. Yes, that is what I am trying to --

12 THE CHAIRMAN: What, so the pricing decision is affected by
13 that?

14 MR HARVEY: Yes.

15 THE CHAIRMAN: They would, what, reduce their prices because
16 demand is so low and they just need the business?

17 MR HARVEY: They want to make a contribution to what they
18 might view as a fixed cost at that time. So another way
19 of putting it is, yes, they are carrying spare capacity
20 and it makes economic sense to utilise it.

21 THE CHAIRMAN: That is not countered by the ordinary demand
22 control?

23 MR HARVEY: No, because in a sense it is a separate route
24 because, in our cost variable, in the econometric model,
25 that cost variable is the full MLO cost, so the model is

1 predicated in a sense on the pricing decision being
2 reflective of the MLO cost, whereas, in fact, in this
3 period it may not have been.

4 MR RIDYARD: But why does -- just let us go out of the
5 financial crisis period and talk about in general when
6 there is just more regular fluctuations in demand. Why
7 does pricing depend on demand?

8 MR HARVEY: Well, out of normal pricing -- sorry, in normal
9 economic conditions one reason why pricing might depend
10 on demand is when the factory gets -- well, factory
11 utilisation goes up, the resources that you need go up
12 to meet that additional demand, and that puts upward
13 pressure on both the -- potentially the input costs for
14 manufacturing trucks. That is one mechanism and it
15 could go the other way round as well, but these things
16 are sort of matters of degree. It depends a lot on your
17 ability to expand production to meet the additional
18 demand and the costs of doing that.

19 But, for me, it is quite possible that actually in
20 periods of more stable or rising demand, you may not see
21 as big effect on prices as you would do in a period
22 where demand falls sharply and where you are left with
23 some "spare capacity" to -- that you can use to
24 manufacture trucks.

25 MR RIDYARD: Okay.

1 MR HARVEY: Is that...?

2 MR RIDYARD: Yes, I can understand the answer, yes.

3 SIR IAIN MCMILLAN: If I can ask, does that mean that, in
4 your view, when demand dropped, it was important to
5 bring in business at a much lower price and that would
6 contribute to covering the cost of the overheads?

7 MR HARVEY: Yes. That is what is underlying this. It is
8 a changing pricing model in a sense during that period.

9 SIR IAIN MCMILLAN: Thank you.

10 MR RIDYARD: Professor Neven, there is an awful lot there
11 for you to comment on.

12 PROFESSOR NEVEN: Yes.

13 MR RIDYARD: I will give you good opportunity to make
14 points, but how would you like to organise your thoughts
15 on that?

16 PROFESSOR NEVEN: I mean, I follow instructions from you or
17 questions from you, but can I just make a comment on
18 this sort of last discussion which is about pricing in
19 periods where demand is going down sharply? Pricing
20 depends on variable cost, so you will, in principle,
21 accept an order as long as the price is in excess of the
22 marginal cost or in excess of MLO essentially.

23 So, I mean, the reasoning that is put forward here,
24 that the contribution to fixed cost is something that
25 matters, I think is a formulation that is a bit

1 misleading. I think that in all circumstances you will
2 want to sell trucks in principle as long as the price is
3 above the variable cost because that makes
4 a contribution to the fixed cost, so there will always
5 be a contribution as soon as the price is above the
6 variable cost.

7 Now, what is happening in a period of reduced demand
8 is that the willingness to pay of customers is going
9 down so the extent to which customers are going to be
10 willing to pay for your trucks is coming down. So,
11 I mean, in a period in which demand is going down, DAF
12 may be facing customers asking for low price that it
13 would not have accepted in a period of high demand
14 because it had an alternative; I mean, to sell the truck
15 to a customer that had a higher willingness to pay.

16 So what is happening in a period in which the demand
17 is going down is that the willingness to pay of the
18 customers is going down and so, indeed, DAF may be led
19 to accept offers from customers or prices from customers
20 at the level that is lower than what it would have done
21 in the absence of this reduction in the willingness to
22 pay. I think this is the correct way of looking at it.

23 I mean, you always sell a truck, in principle, as
24 long as the price is in excess of the variable cost,
25 but, you know, what matters is the alternative. Is it

1 that, you know, you can potentially sell the truck to
2 a customer that is very demanding in terms of price?
3 Can you afford to tell him, "No, okay, because I will
4 have another customer"?

5 MR RIDYARD: So you are putting it all on the demand curve
6 and not on the supply conditions --

7 PROFESSOR NEVEN: Yes.

8 MR RIDYARD: -- but can you separate them out quite that
9 neatly because the opportunity costs to DAF of giving in
10 to a low price request, it sort of depends on what else
11 DAF could do with that factory and those people.

12 PROFESSOR NEVEN: That is exactly my point, that, you know,
13 the opportunities -- the alternative opportunities for
14 DAF are determined by the way in which demand is
15 changing. I mean, when the demand is changing, DAF may
16 have sort of worse opportunities in order to try to sell
17 this truck to someone else, so I --

18 MR RIDYARD: But Mr Harvey's sort of rationalisation of that
19 was more in terms of cost, but it was saying -- was it
20 not saying that the opportunity costs change --

21 PROFESSOR NEVEN: Exactly, so maybe my comment is a bit
22 pedantic, I am sorry.

23 MR RIDYARD: No, it is useful and interesting.

24 PROFESSOR NEVEN: Because I think really the demand -- the
25 effect is a demand effect and I think that the

1 formulation is important to understand what is happening
2 here. So if there is indeed -- if you accept that there
3 was a demand effect, a willingness to pay off customers
4 in going down, DAF has fewer alternatives. I think it
5 is -- you know, you anticipate that DAF indeed is going
6 to accept prices at, you know, a lower level, and that
7 is the normal effect in which the demand affects the
8 pricing of trucks.

9 You know, when the demand is very high, the
10 willingness to pay of customers is very high, DAF has
11 lots of opportunities to sell trucks at high prices.
12 I think that what we are observing here in the financial
13 crisis is really a reduction in customers' willingness
14 to pay, but this is the way in which demand works
15 normally so there is nothing special about this. So
16 this is really, truly, a demand effect.

17 SIR IAIN MCMILLAN: In the United Kingdom, during the global
18 financial crisis, the levels of unemployment did not go
19 up to anywhere near the levels that were expected. My
20 question is: was that a factor in the DAF situation as
21 well? Did they keep themselves over-resourced in terms
22 of the MLO which had an impact on their pricing policy?

23 PROFESSOR NEVEN: I have not looked into what has been DAF's
24 policy in terms of the retention of workers during that
25 period. I remember -- so I do not have precise

1 information. I remember some conversations suggesting
2 that DAF's general commitment to its workforce in
3 particular in the Netherlands -- because I remember this
4 comment about the Netherlands in particular -- was such
5 that they actually kept most of the workers.

6 SIR IAIN MCMILLAN: Okay. Mr Harvey, do you have anything
7 to ...?

8 MR HARVEY: I do not. The only brief observation I make is
9 that that they started to look at visibility,
10 "visibility" being I think -- I may get the definition
11 of this slightly wrong, I think, but it is -- how long
12 your factory can continue running at the agreed build
13 rate with no more orders --

14 SIR IAIN MCMILLAN: Right, yes.

15 MR HARVEY: -- is sort of suggestive that, at least over
16 some period, the fact they cared about that suggests
17 they had some -- there is some stickiness in the amount
18 of labour that they had, but I do not know whether they
19 reduced their demands.

20 SIR IAIN MCMILLAN: Thank you.

21 MR RIDYARD: But, essentially, Professor Neven, I think your
22 view on this is quite straightforward. In a sense you
23 are saying that that is why demand affects price and
24 this was a change in demand so let us just look to see
25 how it affects price. You do not think there is a kind

1 of paradigm shift or qualitative change in the way in
2 which pricing was done. It was a bigger change than had
3 been seen before but it was just a change and it was
4 affecting through the same mechanism as when you would
5 try and analyse the impact of demand on price in any
6 case.

7 PROFESSOR NEVEN: I am of course open to the argument that
8 there may have been something specific, but I have not
9 seen any evidence suggesting that there was something
10 taking place that was different from simply the normal
11 operation of a large reduction in demand. Of course we
12 see during that period that the mandate structure
13 operates more actively, but it is, you know, what you
14 would expect because many customers have a lower
15 willingness to pay for the trucks. I mean, they are
16 asking for lower prices. These prices might lead to
17 margins -- target margins -- sorry, margins that -- over
18 IKP that are below the target margins and then the deals
19 are being escalated to DAF NV and potentially to PACCAR.

20 So, you know, the increase that you see in the
21 activation of the mandate structure I think is
22 completely consistent with the idea that, yes, customers
23 would -- you know, were asking for lower prices and
24 there were fewer alternatives.

25 THE CHAIRMAN: So what you are saying, I think, is that the

1 global financial crisis did not have any other effects,
2 other than to affect demand.

3 PROFESSOR NEVEN: Yes. As I said, I am open to thinking
4 about alternatives, but I think that, first and
5 foremost, it is an effect on demand and I have not seen
6 any evidence suggesting that there was something else.

7 THE CHAIRMAN: What about increased cancellations of orders?
8 Is that just an effect of demand?

9 PROFESSOR NEVEN: That is a reduction in demand. It means
10 that your alternatives are getting poorer.

11 MR RIDYARD: You mentioned a couple of times this
12 willingness to pay thing and we are going to come on to
13 that later on in today's session when we talk about
14 emissions, but I am just curious to understand how
15 this -- how you see this working. In a competitive
16 market each of the suppliers is looking at its own MLO
17 and it knows how much it costs to make trucks, so would
18 willingness to pay really be a big driver of the price
19 level other than in the very short term because, if
20 people are suddenly very willing to pay high prices for
21 trucks, then you might expect that -- truck suppliers to
22 pile in and sell more trucks to them until you got back
23 to a price that equated with the costs rather than with
24 demand conditions.

25 PROFESSOR NEVEN: Yes, but I think that you have to take

1 into account the fact that -- I mean, there is a lot of
2 product differentiation here, I mean, so that DAF is
3 facing customers, I mean, that of course are going to
4 consider alternatives, but the prices are not going to
5 fall to marginal cost, are not going to fall to the MLO,
6 because there is this element of product
7 differentiation.

8 So when we talk about a reduction in customers'
9 willingness to pay, what we are thinking about really is
10 that customers' willingness to pay for DAF Trucks is
11 going down. So I do not think you can think about, you
12 know, what is happening in terms of the financial
13 crisis, I mean, simply as a shift in demand in which,
14 you know, there is an aggregate demand for all trucks
15 and this sort of aggregate demand for all trucks from
16 all manufacturers is going to, say, shift inwards and is
17 then going to lead to a new position in which the price
18 is equal to marginal cost. I think that, you know, it
19 is much more complicated than that and it is affected by
20 a degree of product differentiation among the trucks, so
21 this paradigm is a bit misleading.

22 MR RIDYARD: Understood.

23 Whilst we have still on my screen -- on the screen
24 is this chart showing the variation in demand year on
25 year. Can I just, Professor Neven, take you back to

1 what you have said upfront about, you know, the
2 lingering effects of the GFC. I mean, just kind of
3 eyeballing the chart, you could say pre-GFC a normal
4 rate of demand looks like it was about 11,000 or 12,000
5 and you got to 12,000 more or less in 2000 --

6 PROFESSOR NEVEN: 2013/2014.

7 THE CHAIRMAN: 2011.

8 MR RIDYARD: 2011.

9 PROFESSOR NEVEN: 2011, that is right. Sorry. It goes back
10 to 11,000 in 2011 and then you see that it stays at
11 a fairly low level and goes down to 9,000 in 2014.

12 This is of course one way of capturing demand, which
13 is looking at the sales. I think that of course this is
14 a bit endogenous -- okay? -- because these are the
15 actual sales, so this is not really an exogenous
16 estimate of demand. So I think that in order to look at
17 the pattern of demand, maybe you want to look at one of
18 the charts that I have in my own reports, in which
19 I look, for instance, at tonne-kilometres or if -- also
20 I also look at other sort of internal measures of demand
21 by DAF, and they show that, you know, this lingering
22 effect is more pronounced. But if you want, I can bring
23 you to that chart, but --

24 MR RIDYARD: Yes, I think it might be useful to take a look
25 at that. Thank you.

1 PROFESSOR NEVEN: So this would be, I suppose, in my first
2 report.

3 MR RIDYARD: Do you have the reference to Professor Neven's
4 first report?

5 MR BEARD: I do not know which table he may be going to, but
6 I wonder if it is page 37, figure 5.

7 PROFESSOR NEVEN: Of which one?

8 MR BEARD: Your first report, Professor Neven.

9 THE CHAIRMAN: The reference for which is ...?

10 MR BEARD: It will be {E/IC11/37}. I think it is the same
11 page reference in the hard copy. Page 38, I am sorry,
12 {E/IC11/38}.

13 PROFESSOR NEVEN: What you have in this actually -- in this
14 diagram is the evolution of --

15 THE CHAIRMAN: Let us just make sure we are all on the right
16 one. This is figure 5, is it?

17 PROFESSOR NEVEN: Yes. This is a graph that indeed shows
18 the evolution of demand for the entire period, so it is
19 from 1995 onwards until 2018. You have the three
20 measures, the measures I am using to control for demand.
21 You have the order board which is in blue, you have the
22 average delivery lag which is in red and you have
23 tonne-kilometres. As I was mentioning earlier, the fact
24 that the demand did not recover is particularly apparent
25 in measures of demand that are exogenous. This is

1 tonne-kilometre that you have in yellow.

2 MR RIDYARD: Can you explain what "tonne-kilometres" means?

3 PROFESSOR NEVEN: Okay. Tonne-kilometre is a measure of the

4 demand for trucks because it is the measure of the

5 amount of activity in the transport industry, so it is

6 a multiplication of the volume by the distance over

7 which this volume has been transported.

8 MR RIDYARD: So it is not about new trucks, it is about the

9 total of stuff being carried?

10 PROFESSOR NEVEN: That is right.

11 What you see there is that, you know, there is

12 indeed a fall at the time of the financial crisis and

13 this exogenous measure is actually staying low for

14 a longer period of time.

15 MR RIDYARD: Yes.

16 PROFESSOR NEVEN: That is why I think that there is

17 a lingering effect and it is important to control for

18 it.

19 THE CHAIRMAN: It is staying low, it seems to sort of spike

20 at --

21 PROFESSOR NEVEN: You see the yellow one. The yellow one,

22 it sort of spikes before the financial crisis and then

23 it goes down sharply at the time of the financial

24 crisis --

25 THE CHAIRMAN: Oh, okay. Yes.

1 PROFESSOR NEVEN: -- and then it stays low.

2 MR RIDYARD: It does not really recover until 2015/2016.

3 THE CHAIRMAN: Well, there is another big drop in 2014.

4 PROFESSOR NEVEN: Then there is another big drop there, that
5 is right. There is another big drop in 2015.

6 THE CHAIRMAN: What is that due to?

7 PROFESSOR NEVEN: It is a macro-economic shock. Again you
8 see it is short-lived. You know, we have been trying to
9 enquire about this and I think the best explanation we
10 can come up with is a reduction which is actually due to
11 the expectation of increase in the prices of natural
12 resources. I mean, that is the sort of explanation that
13 you have for the fall in demand at the time, so -- okay,
14 that is the best explanation that we could come up with.
15 It is also, you know, just pre ... That is the
16 macro-economic shock.

17 MR RIDYARD: It is useful to see the two charts there.

18 Mr Harvey, do you have any comments on this before
19 we move on to the next topic?

20 MR HARVEY: The only observation, reflecting on the
21 discussion around willingness to pay, I think, you know,
22 there may well be some upward and downward pressure on
23 prices that is driven by sort of, if you like, the
24 demand side in and of itself. But my sort of intuition
25 would be that margins in this market would be driven by

1 the strength of rivalry between the competitors.
2 I think the way the pricing decisions would be affected
3 principally on the supply side, that is to say the
4 extent to which the reduction in demand gives rise to
5 the types of effects that I was speaking about earlier
6 in terms of the way the factories are utilised.

7 MR RIDYARD: Okay, thanks.

8 Okay. Let us just move on then to the actual -- the
9 use of the dummy variables that, Mr Harvey, you have
10 adopted. You have decided in your econometrics to apply
11 a dummy variable to 2008, 2009, 2010. Why did you
12 choose that particular approach and why did you not try
13 harder, as it were, to understand what was happening to
14 demand and to use a more varied demand measure rather
15 than the sort of 1/0 measure of a dummy variable?

16 MR HARVEY: So there are various options for controlling for
17 sharp changes in demand. One of them is the dummy
18 variable approach. Another approach would be to
19 essentially augment the whole model so essentially split
20 the model into two parts: one part that has the
21 relationship between prices, cost, demand and the other
22 co-variants and another part of the model -- sorry, in
23 normal times, the other part of the model tries to do
24 the same thing in the global financial crisis period.
25 So, put another way, one example would be to allow the

1 strength of the reaction of prices to demand to differ
2 during the financial crisis period compared to outside
3 of it.

4 I did not do that. Partly it is -- to have that
5 degree of flexibility within the model is demanding,
6 but, also, you run into a sort of similar problem, which
7 is: how do I inform how to make those adjustments and
8 changes to the model? I have some information from the
9 witness evidence regarding how they approach pricing
10 decisions but I do not have full information regarding
11 how they viewed costs and so forth to allow me to do
12 that. So that is another approach and that is the
13 reason I discounted it.

14 The third approach is the suggestion by
15 Professor Neven, which is to almost split the demand
16 variation, look at demand over time and say, "Well,
17 there are some particularly high periods of demand and
18 some particularly low periods of demand". I had not
19 thought about doing it in that way, honestly, at the
20 time of writing the report. I did reflect quite hard on
21 the suggestion that was made. The difficulty that I run
22 into with that approach is that I then need to specify
23 some other benchmark for what a high or low period of
24 demand is. So it seemed to me that it did not really
25 tackle -- the underlying concern almost was that the

1 application of the dummy variables was somewhat
2 arbitrary. I disagree with that because I based it on
3 the evidence that I had, but instead what you do is
4 supplant it with some other arbitrary threshold that
5 I need to decide how to apply.

6 The second thing that happens with that type of
7 approach is that, depending on where you set those
8 thresholds, you bring in other periods outside of the
9 financial crisis that you call "high demand" or "low
10 demand", and since the whole enterprise was motivated by
11 the witness evidence telling me that something during
12 the financial crisis, that is the thing that matters,
13 I did not think that was a particularly good way of
14 going about the analysis. But these are the sort of
15 options that I considered.

16 MR RIDYARD: Professor Neven.

17 PROFESSOR NEVEN: Yes, there was a lot. Now, maybe what --
18 if you would allow me to structure the discussion in
19 that way, without interfering with the sequence of
20 questions that you had anticipated further, shall
21 I explain what are the consequences of using a dummy
22 approach, you know, and why this is a particularly --

23 MR RIDYARD: Yes, okay. That is fair. Yes, please.

24 PROFESSOR NEVEN: Then I would look at the alternatives that
25 Mr Harvey has mentioned.

1 MR RIDYARD: Yes.

2 PROFESSOR NEVEN: Now, I think that it is -- the first thing
3 to realise is that the introduction of dummy variable
4 has two important consequences. I mean, one that
5 I think we will discuss further later, which is that by
6 introducing a dummy variable for 2008, 2009 and 2010,
7 Mr Harvey is reducing the population of trucks over
8 which he undertakes identification. Identification of
9 the infringement dummy in this approach here is
10 undertaken by comparing the prices of Euro 5 and Euro 5
11 EEV trucks during the period of the infringement and
12 after the period of the infringement; okay? But the
13 important point is he is only directly using Euro 5 and
14 Euro 5 EEV trucks for the sake of the identification of
15 the infringement.

16 When he is introducing a dummy for 2008, 2009 and
17 2010, all the Euro 5 and the Euro 5 EEV trucks that are
18 sold in these three years are no longer used for the
19 sake of identification.

20 MR RIDYARD: This is because those are the only trucks that
21 are common to the during and after period?

22 PROFESSOR NEVEN: Exactly, yes. That is right. That is
23 important and of course this will fundamentally affect
24 the identification.

25 Now, then you need to think about the other

1 consequence of introducing these dummies.

2 THE CHAIRMAN: Sorry, in terms of reducing the population,
3 I think Mr Harvey's evidence was that it was by
4 about 10%; is that right?

5 PROFESSOR NEVEN: No, it is 10% over the entire population
6 of trucks --

7 THE CHAIRMAN: Okay.

8 PROFESSOR NEVEN: -- and it is about 50% of the trucks that
9 are used for the sake of identification in the before
10 period --

11 THE CHAIRMAN: Thank you.

12 PROFESSOR NEVEN: -- so it is a very substantial reduction
13 in the population of trucks that are used for
14 identification.

15 Now, let us look at the other consequence of
16 introducing these dummy variables. The consequence of
17 introducing these dummy variables is that the normal
18 demand controls will not play the same role in
19 explaining the level of prices as they would do in the
20 absence of these dummies. This has important
21 consequences for identification and leads to an upward
22 bias in the estimate of the infringement. Maybe the
23 best is to walk you through that reasoning and maybe the
24 best is to go through my second report, table 4 of my
25 second report, which I think is tab 8 in my bundle. It

1 is {E/35}.

2 THE CHAIRMAN: Is it IC35?

3 MR BEARD: You do not need the IC, it is just {E/35} because
4 none of it is confidential so it is just in there.

5 PROFESSOR NEVEN: Sorry, it is not table 4, it is table 3.

6 MR RIDYARD: Page number?

7 PROFESSOR NEVEN: In my own pagination it is 24. I think in
8 the court's pagination it is 26.

9 MR BEARD: {E/35/26}.

10 PROFESSOR NEVEN: So what you have in that table is in the
11 first column the estimation which is performed by
12 Mr Harvey, so it is in euro and it has the dummies for
13 2008, 2009, 2010. What is important is to look at the
14 coefficient of the demand variable, the normal demand
15 variable used by Mr Harvey, which is DAF UK sales
16 volume. You see that in that estimation the coefficient
17 is equal to 0.004, so it is a very small magnitude.

18 You then consider the second column and you look at
19 the results of the estimation. We can, for instance,
20 look at the estimated overcharge and you see that the
21 estimated overcharge barely changes. So if you remove
22 this demand variable in Mr Harvey's estimate, it really
23 does not affect the outcome.

24 What is even more telling is what is happening to
25 this demand variable if you remove the dummies

1 introduced by Mr Harvey. As Mr Harvey has explained
2 earlier, if you remove the dummies, you have an
3 estimated overcharge which is 1.3%, so it is very small.
4 But what I want to emphasise here is the fact that the
5 sign of -- not only the sign but the magnitude of the
6 coefficient of the demand variable increases by a factor
7 of 10. So the main insight from this table is that if
8 you introduce the dummy variables, this mutes -- this
9 reduces the impact that the demand variable can have in
10 explaining the prices.

11 Let us look at the consequences of that for the sake
12 of identification. We know that before the financial
13 crisis, so before 2008, there is a period of high
14 prices. If you do not have demand variables that
15 explain the high prices, these high prices will not be
16 controlled for by demand to the same way. Mechanically
17 it means that you are going to increase the extent of
18 the estimated overcharge because the price before the
19 financial crisis will be less well explained by demand
20 so they will be explained by the only variable that can
21 pick up a difference in price before and after, which is
22 the infringement. So the fact that you reduce the
23 impact of the demand variable, you do not allow the
24 demand variable to play a role, has the consequence that
25 the high prices before the financial crisis are no

1 longer explained by demand, they would have to be
2 explained by the infringement dummy.

3 The same holds with respect to what is happening
4 after the end of the infringement because we know that,
5 as we explained before, as we saw before in the graph,
6 after the end of the infringement we have a period of
7 relatively low demand. Now, if these prices in the
8 period of relatively low demand are not explained by --
9 the fact that they are low is not explained by the fact
10 that the demand is low, again, mechanically, this will
11 attribute the low prices to the difference -- to the
12 infringement -- the only factor that changes before and
13 during [sic], which is the infringement.

14 So this is why I think that, for these two reasons,
15 using a dummy variable is a serious concern. I mean,
16 using the dummy variable has two effects: it reduces the
17 population of trucks used for the identification of the
18 infringement by 50% in the before period and, second, it
19 leads to this mechanical bias in the infringement which
20 is due to the fact that you control less well the prices
21 before the financial crisis and you control less well
22 the low prices after the end of the infringement.

23 MR RIDYARD: Okay. Let us give Mr Harvey a chance to
24 comment on that.

25 MR BEARD: Just before he does, I just notice on the

1 transcript -- I do not think that there is any doubt
2 about this, given the period we are talking about -- we
3 are not talking about before and during and I think
4 Professor Neven, referred to "before and during".

5 THE CHAIRMAN: I understood that.

6 MR BEARD: I do not think it is a controversial issue but
7 just a note for the transcript.

8 MR HARVEY: So Professor Neven raised two points. One was
9 in relation to the Euro 5 issue and the second one
10 relates to the interpretation of figures in this table.
11 On the Euro 5 issue, that is something that I looked
12 into. There are still I think in the region of 12,000
13 Euro 5 trucks in the infringement period which I can
14 look at.

15 The other thing that I did was to conduct
16 a sensitivity analysis where I look at the overcharge
17 only considering Euro 5 trucks, so a smaller sample.
18 The results of that sensitivity analysis show a similar
19 and statistically significant overcharge.

20 MR RIDYARD: So you agree that your approach knocks out 50%
21 of the observations but you are saying there is still
22 plenty left to --

23 MR HARVEY: It controls them out but there is plenty left to
24 do a comparison with.

25 MR RIDYARD: Yes.

1 MR HARVEY: I think it is a legitimate prior concern but in
2 practice it does not affect the answer.

3 On the interpretation of these tables, your
4 attention has been drawn to two things. The first is
5 the low responsiveness of prices to demand in the first
6 column of that table and then the large increase in the
7 third. I interpret this somewhat differently to
8 Professor Neven. There is variability in demand outside
9 of the financial crisis period and I have shown you that
10 chart, so I interpret this as saying when you add in 10%
11 of observations during the financial crisis period, it
12 has a very large and significant effect on the estimated
13 parameter.

14 Another way of putting that, another interpretation
15 of that, is that indeed during that period
16 responsiveness of prices to demand is very high, and
17 that is completely consistent with what I have just
18 discussed, which is there is a period in which prices
19 and demand responsiveness -- sorry, the responsiveness
20 to demand -- sorry, prices to demand is lower and during
21 that financial crisis period it gets stronger. So I do
22 not think there is any -- this table does not show us
23 that there is something wrong with the modelling that
24 I have done. In fact I read this table and it suggests
25 that it is completely consistent with the idea that the

1 effects of demand are particularly pronounced during
2 that period.

3 Then, finally, the last related -- sort of the last
4 related point is really what you are being told is the
5 10% of observations during the financial crisis period
6 are informative, so the pricing that took place outside
7 of it, and more than that, it is critical for estimating
8 this model.

9 THE CHAIRMAN: I think, if I understood your evidence
10 earlier, these figures essentially led you to wonder why
11 they were so dramatically different when you included
12 the dummies and when you did not include the dummies, so
13 that was the reasons then that you went on to give for
14 why you adopted the dummies.

15 MR HARVEY: Yes, so it is right -- what I am saying is the
16 interpretation of this table does not imply that the
17 model in column 1 is incorrect. What it is showing is
18 that there is a strong demand response -- sorry,
19 a strong price response to demand during the financial
20 crisis period and that potentially it is different from
21 a price response outside of it, so that is wholly
22 consistent with the approach that I have adopted.

23 THE CHAIRMAN: Thank you.

24 PROFESSOR NEVEN: Would it be a good time for me to respond
25 to this?

1 MR RIDYARD: Yes.

2 PROFESSOR NEVEN: The first observation I want to make is
3 that the sensitivity that has been mentioned by
4 Mr Harvey just right now about he estimating his model
5 on Euro 5 trucks only is not a sensitivity because we
6 know that the infringement dummy is estimated by Euro 5
7 trucks so the infringement dummy is estimated on that
8 population of trucks, so it is hardly a surprise that,
9 if you just look at that population of trucks, the
10 results are not going to be fundamentally changed. So
11 I do not think this is a very informative sensitivity.

12 But, more importantly, with respect to the issue
13 that he is raising here, what he is saying is that,
14 looking at this coefficient, it sort of tells him that
15 the responsiveness of price to demand outside the
16 financial crisis and during the financial crisis might
17 be different and he is saying this because, essentially,
18 in the first column, the coefficient of the demand
19 variable is not estimated using the demand variation
20 during the financial crisis because this is completely
21 absorbed by the dummies and he is saying that, "Okay, if
22 I estimate demand in that period, this is what I get".

23 Now, the first observation with respect to that is
24 that: how do you estimate the effect of demand on prices
25 when demand changes? So from that perspective, the

1 financial crisis is an important event in order to
2 estimate the impact of demand on prices. I mean, this
3 is an instance, this is a period, in which you have lots
4 of variability in the data and, as we discussed
5 yesterday already, what is essential to estimate the
6 impact of a variable on another one is to have
7 variability.

8 Now, Mr Harvey is also saying, "Well, maybe there is
9 something specific about the response to prices, the
10 response to changes in demand -- the response to prices
11 from changes in demand in the financial crisis", but if,
12 you know, that is his maintained hypothesis, he has to
13 estimate non-linearities. He has to estimate to what
14 extent changes in demand may have non-linear effect on
15 prices but still allowing the demand variables to play
16 a role.

17 So to completely remove the period of the financial
18 crisis, which is a period during the demand that is
19 mostly changing, he is really preventing the model from
20 properly estimating the effect of demand and, as I said,
21 I mean, if he thinks that it is really something
22 non-linear, something specific, that during the
23 financial crisis the reduction in demand had a bigger
24 effect on prices, you know, a comparable reduction in
25 demand in another period, then he has to estimate

1 a non-linear effect.

2 MR RIDYARD: I understand entirely what you are saying, but
3 it is fine to say he should do it some other way, but
4 I understood him to say that he did try to think about
5 that but he could not figure out a way of finding the
6 right non-linear -- saying "non-linear" kind of covers
7 a whole range of possible options, does it not?

8 PROFESSOR NEVEN: Yes, and I proposed one because the
9 estimation -- maybe we can turn to that table actually.
10 That is I think table 4, which is again the estimation
11 of Mr Harvey. It is in the same report. It is on
12 page 32 in the court's numbering, {E/35/32}, page 30 in
13 my report.

14 This is table 4. What I have done there, in
15 response to Mr Harvey's concern about the financial
16 crisis, is essentially to implement a demand control
17 that is non-linear, so I basically allow the demand to
18 have an effect on prices that is different when demand
19 is very low and demand is very high. It is essentially
20 allowing for a non-linear effect. So I have -- instead
21 of defining dummies for particular years, I have defined
22 dummies for periods in which demand was particularly low
23 and dummies for periods in which demand was particularly
24 high. These are what you see in that table as "p25",
25 "p75". For instance, if you look at "Order Board",

1 which is one of the measures of demand that I am using,
2 p25 refers to the state of demand is in the bottom 25%
3 of the observation -- so that is, you know, the lowest
4 state of standard -- and p75 identifies results,
5 situations, in which the order board is in the top 25%
6 of the distribution of the variable, and that is
7 essentially, you know, an attempt to control for demand
8 in a non-linear way.

9 What you see is that, if you do that -- let us for
10 instance consider the third regression -- you see that
11 instead of using the dummy variables that, again, were
12 produced in column 1 and column 2, if you go to the
13 bottom of that table that you do not see in the graph
14 here, you will also have an estimate of the
15 infringement -- but you see that, as we have seen
16 before, that is right. For the first and second one the
17 infringement is about 7%.

18 So you see that if you are using this non-linear
19 control for demand, the signs are exactly what you would
20 expect. There is a strong negative effect from the low
21 states of demand and a strong positive effect from the
22 high states of demand. You see that this more flexible
23 way of controlling for demand also leads to very low
24 overcharge.

25 MR RIDYARD: Just for clarification, when you say

1 "non-linear", I understand what "non-linear" means, but
2 what specifically --

3 THE CHAIRMAN: I am not sure I do.

4 MR RIDYARD: It just means that -- well, explain what
5 "non-linear" means and exactly how you have done it with
6 these 25.

7 PROFESSOR NEVEN: I mean that I allow the particularly low
8 states of demand, which is the p25 in this variable, to
9 have a specific effect. So it is essentially the
10 concern of Mr Harvey. He is saying, okay, when demand
11 is very low, there is something specific. You are going
12 to have -- response prices are going to respond
13 differently to demand in these very low states of
14 demand.

15 MR RIDYARD: So when it is linear, it just means that if
16 there is a 5% fall in demand, it will lead to an x% and
17 if it is 10%, it will be 2x%?

18 PROFESSOR NEVEN: Yes.

19 THE CHAIRMAN: Is this something specific you are doing in
20 relation to that bottom 25%?

21 PROFESSOR NEVEN: I am basically allowing the model to tell
22 me whether episodes of particularly low states of demand
23 have a specific effect on prices.

24 MR RIDYARD: Have a disproportionate effect which is not
25 captured by the regular approach?

1 PROFESSOR NEVEN: That is right.

2 MR RIDYARD: You are just allowing that to tell us what it
3 tells us?

4 PROFESSOR NEVEN: Just allowing the model to tell me whether
5 this is the case.

6 You see that when -- I am allowing for this
7 non-linear effect that Mr Harvey is worried about. You
8 know, I have a low overcharge which tends to confirm
9 what I was telling earlier, that simply removing all the
10 data is really a problem in terms of identification.
11 I mean, removing 40% of the data that is used for
12 identification is a problem and this sort of mechanical
13 effect that I was referring to earlier of being less
14 well able to control for high prices before and low
15 prices after is a concern.

16 Now, Mr Harvey, in his -- I think in his report --

17 MR RIDYARD: Professor Neven, maybe it would just be good to
18 get Mr Harvey's take on that particular point.

19 PROFESSOR NEVEN: One final observation.

20 MR RIDYARD: Okay. Go ahead.

21 PROFESSOR NEVEN: Mr Harvey had raised a concern with
22 respect to this, which was to say, "Well, it is
23 arbitrary to define low states of demand as being in the
24 bottom 25% of observations and high states of demand as
25 being in the top 25% of the observations". The only

1 response to that is sensitivity. I mean, you basically
2 try out with alternative definition what is a high state
3 of demand and a low state of demand, which is something
4 that I have done in response to the latest set of
5 comments from Mr Harvey, and my results are robust for
6 these alternative definitions.

7 MR RIDYARD: Mr Harvey.

8 MR HARVEY: Two points. One is at the start of that
9 Professor Neven said that the global financial crisis is
10 an important event for identification. Only if it is
11 informative as to pricing more generally during this
12 period, so I just want to re-emphasise that. So my
13 analysis is raising the question as to whether that
14 period is truly informative as to the pricing that took
15 place and the period as a whole.

16 On the sensitivity analysis, I would like to show
17 you a chart, which is in {E/IC52/81}. This chart
18 I cannot take credit for, it is from Professor Neven's
19 report, but it is a nice chart. So the blue dots on the
20 chart -- so this shows the order board. This is
21 a demand measure. The blue dots on the chart are
22 showing the 75th -- those periods of demand fall into
23 the 75th percentile, the higher states of demand, and
24 the red dots are showing those periods of demand that
25 fall into the 25th percentile, the low states of demand.

1 There are a few things. You can see there are
2 several periods that fall outside of the financial
3 crisis that qualify as a low state of demand and what
4 I am saying is that is inconsistent with the witness
5 evidence that I referred to earlier, that focuses on the
6 financial crisis period as being the unprecedented
7 period.

8 The second observation is in terms of the high state
9 of demand. You can see on this order board variable --
10 if you look up from 2008, month 1, you can see it takes
11 time for the order board to fall, but the price effects,
12 the changes in price, happen faster, and I think kind of
13 what is going on here is that there is some anticipation
14 of a future lower level of demand and so there is a bit
15 of a lag that is created here too that could affect the
16 results.

17 So I am just showing you this picture. It goes back
18 to some of my concerns with this type of approach that
19 I outlined earlier and why I think it does not actually
20 pick out the features of pricing that I was seeking to
21 pick out using the financial crisis dummies. So
22 although it is correct that it is sort of a non-linear
23 approach, it is not picking out the period that I think
24 is particularly important.

25 Further, you know, how do you change that? Well,

1 I could change the thresholds and find another period
2 that would coincide with the financial crisis, but then
3 of course I am reinstating what I already did.

4 PROFESSOR NEVEN: Not quite. Sorry. I mean, because
5 indeed, as I suggested, if one is concerned about the
6 fact that these thresholds are not necessarily
7 informative and not necessarily correct, in order to
8 capture what you would like to capture, you can change
9 them. I mean, as I mentioned earlier, I have
10 experimented other thresholds in response to these
11 observations from Mr Harvey and I obtained the same
12 result, so I have -- in response to his comment, I have
13 used lower thresholds.

14 Now, but -- and I want to emphasise the fact that
15 doing that is not returning to what he is doing because
16 there is a fundamental difference between the impact of
17 this approach on identification and the impact of
18 Mr Harvey's approach on identification because when
19 Mr Harvey is introducing dummies for particular years,
20 he is actually taking all of these observations out for
21 the sake of identification. Here, I mean, when you are
22 using that approach, you are not taking the observations
23 out because what you see is that you have periods of low
24 demand and periods of high demand both during the
25 infringement period and after the infringement period,

1 so you can actually use these observations in order to
2 estimate the effect of infringement. So there is
3 a fundamental difference there. You cannot say that you
4 go back.

5 Now, finally I would like to draw your attention to,
6 you know, one potential alarm bell, I would say, about
7 Mr Harvey's approach, which is that the results that he
8 gets are also very sensitive to whether he introduces
9 a dummy for the full year of 2010 or not.

10 MR RIDYARD: Yes. I was exactly going to ask that question,
11 so please.

12 PROFESSOR NEVEN: That is something that I have explained
13 also in my own report, I think it is reply report, and
14 if I remember correctly, it is table 5. So which report
15 is that?

16 MR BEARD: {E/35/34}, I think.

17 PROFESSOR NEVEN: It is table 6. Page 33 of my report.

18 MR BEARD: Yes, 35 on the electronic, {E/35/35}.

19 PROFESSOR NEVEN: This is estimated in the context of my
20 model, so I basically -- this is table 6. So that is an
21 experiment that I ran in the context of my model, and so
22 you see that in the first column you have a demand
23 variable which is order board, you have the dummies. So
24 2008, 2009, 2010, you have an estimate on overcharge.
25 In the context of my model that would be 2.8%.

1 You see that in the second column I have not allowed
2 for a dummy for the second half of the 2010 and you see
3 that there is a line that says "First half of 2010",
4 which is then the dummy for the first half of 2010. You
5 see that if I just do this minor change -- I mean, there
6 is a change in the overcharge from 2.8 to .6% which
7 suggests that introducing these dummies really affects
8 the identification of the overcharge.

9 MR RIDYARD: Mr Harvey, how do you respond to that? You
10 have used dummies that cover three whole calendar years
11 so that does, on the face of it, look like quite an
12 arbitrary choice.

13 MR HARVEY: So in response to this sensitivity I looked at,
14 in the context of my model, whether there was evidence
15 that there was still a suppression -- a large
16 suppression in demand in the second half of 2010, so
17 split the period into two and I indeed found that. So
18 within the context of my model there is evidence that
19 the second half of 2010 is important to include, as
20 important as the first half. In relation to --

21 MR RIDYARD: Sorry, why did you choose a January to December
22 period for the dummies because there is no obvious
23 reason why it should correspond to a calendar year and
24 yet it does.

25 MR HARVEY: Yes, it goes back to some of the evidence, the

1 witness evidence, referring to the period as a whole and
2 also the chart that I saw which showed that -- which
3 I showed you earlier, which has that particularly low
4 level of demand throughout the whole -- throughout 2010
5 as a whole.

6 MR RIDYARD: Does it do that. Because it just has an
7 average for each year, does it not --

8 MR HARVEY: Yes.

9 MR RIDYARD: -- so it does not allow us to know whether that
10 stopped in November or October 31 or May 7.

11 MR HARVEY: No, that is true.

12 Can we look at the chart that we had earlier with
13 the dots, the blue and red dots? So this shows the
14 order board measure of demand on a monthly basis. My
15 reading of that chart is that the state of demand is low
16 for that whole of 2010.

17 MR RIDYARD: Okay.

18 THE CHAIRMAN: So why, Professor Neven, did you take the
19 half year? Was there anything in the demand figures
20 that led you to that?

21 PROFESSOR NEVEN: Yes, indeed, because you see that in the
22 second part of 2010 demand should be picking up.

23 THE CHAIRMAN: It is picking up, yes.

24 PROFESSOR NEVEN: It is really picking up and so you want to
25 include that, you want to dummy that out. What I show

1 is if you do not dummy that out, you get very different
2 results.

3 I also would like to mention that the test which has
4 been mentioned by Mr Harvey with respect to his own
5 model, I do not think it is a very informative test
6 because what he does is, instead of using one dummy for
7 the whole of 2010, he is using one dummy for the first
8 six months and another dummy for the second six months.
9 But the real test is to remove it. I mean, the real
10 test is not to introduce two different dummies.

11 Of course, if you introduce a specific -- again,
12 a specific dummy for the second part of 2010, you know,
13 it is going to be significant. But the question is not
14 where it is significant again, the question is how
15 sensitive is the identification to the approach that one
16 is using with respect to the dummies.

17 THE CHAIRMAN: As I understand what you are saying,
18 Mr Harvey, it is that during the financial crisis there
19 were some different responses in respect of pricing than
20 demand -- than purely demand.

21 MR HARVEY: There is reason to think that, yes.

22 THE CHAIRMAN: So you are unable therefore to identify the
23 effect of the infringement during that period?

24 MR HARVEY: So the data during that period does not --

25 I cannot identify, if you like, a separate figure for

1 the overcharge during that period and so the assumption
2 that is made is that the overcharge is the same
3 throughout the entirety of the period. That is
4 basically the identification assumption that is made
5 because you cannot disentangle the -- disentangle the
6 combination of the infringement and the particularly low
7 level of demand in 2008, 2009 and 2010. I would say
8 that Professor Neven is saying there is an
9 identification problem created by this. Yes, what I am
10 sort of saying is only if you think that period is
11 informative to pricing in the round during the entirety
12 of the period, otherwise it is actually a complication
13 to identification rather than assistance.

14 THE CHAIRMAN: All right. We will have our ten-minute
15 break.

16 MR BEARD: I obviously have not intervened in any of this.
17 There is a real concern here that a number of points are
18 being stated by Mr Harvey that are factual assertions
19 about pricing matters and references to pricing
20 techniques where, as far as we are aware -- and we will
21 go back and check the transcript -- no questions were
22 asked of the witnesses about these pricing processes
23 even though we had the relevant pricing people here.

24 We will come back to it, but it is a real concern.

25 This is obviously expert testimony but there is an awful

1 lot of factual assertion being put forward here.

2 THE CHAIRMAN: Well, you will no doubt make those points in
3 closing submissions and also you will have the
4 opportunity to cross-examine Mr Harvey on what he has
5 been saying.

6 Right. Ten minutes.

7 (11.56 am)

8 (A short break)

9 (12.07 am)

10 MR RIDYARD: Just taking stock of where we go next. Can we
11 just ask, on the dummy variables which Mr Harvey has
12 used, about the interpretation of what this means for
13 the overcharge estimates. Professor Neven, what in
14 broad terms does it mean -- what impact does it have by
15 having these dummy variables in the overcharge
16 regressions? How do we interpret the -- what use is
17 being made of the observations in those years?

18 PROFESSOR NEVEN: Yes, when you introduce dummy variables,
19 say for 2008, 2009, 2010, you are essentially excluding
20 the observation for the sake of the identification of
21 the infringement. This is what I mentioned earlier,
22 that by introducing these dummies, the population of
23 trucks before the infringement -- sorry, the population
24 of trucks during the infringement, before the end of the
25 infringement, which is used for the identification of

1 the infringement, this population of trucks is reduced
2 by half, and that is, I mean, essentially because if you
3 introduce a dummy for a particular year, the only way to
4 identify an infringement would be for the observations
5 for which this particular dummy takes the value of 1 --
6 would be to have trucks during and after the
7 infringement, but we do not have any. You know, in 2008
8 all trucks are during the infringement period, in 2009
9 all trucks are during the infringement period and in
10 2010 as well.

11 So by introducing these dummies which basically
12 impose a premium or a discount, if you want, on all
13 prices in those years, since you do not have any
14 variation which would be associated with the
15 infringement in those years, you cannot actually use
16 them for the estimation of the infringement.

17 MR RIDYARD: That was a clear answer. Can I stop you there
18 and get Mr Harvey's take on that particular point?

19 MR HARVEY: The way the infringement effect is identified
20 uses two sources of information. One is the variation
21 in prices over time and it is correct that, by dummifying
22 those years, the regression removes that variation over
23 time in those years. The second source of information
24 is that at a single point in time the variation between
25 prices, costs and other things and the trucks are used

1 to help with that, so it sort of -- I think I half-agree
2 with Professor Neven. So I do not see that they are
3 removed from the --

4 MR RIDYARD: I did not see that as any disagreement. I do
5 not think you are disagreeing at all there, are you?
6 You tell me.

7 PROFESSOR NEVEN: No. I think what is important is to
8 realise that the infringement can only be identified by
9 the time series because there is infringement in
10 a particular period and then there is no infringement in
11 a later period, so --

12 MR RIDYARD: So by using the dummies, you are still using
13 the information in the background, but you are not using
14 the information to estimate the --

15 PROFESSOR NEVEN: Exactly. That is exact. I think the
16 correct way to put it forward is that you are not using
17 these observations directly in order to estimate the
18 infringement, but, of course, it is not because you are
19 estimating a discount for those years or a premium for
20 these years, but still you have variation in prices in
21 those years and the variation in prices across trucks in
22 those years will still be used to identify other
23 variables, that is correct, and the identification of
24 those other variables may interact with the estimation
25 of the dummy.

1 So I think these observations are not used directly
2 but they are used indirectly to the extent that they are
3 used in order to identify the effect of other variables
4 which may interact with the infringement dummy.

5 MR HARVEY: There is agreement.

6 MR RIDYARD: Great.

7 THE CHAIRMAN: It is not taken out completely. It is used
8 but not to identify the overcharge.

9 PROFESSOR NEVEN: Exactly, yes.

10 MR HARVEY: Not directly, I think.

11 MR RIDYARD: Just stepping back from -- obviously we have
12 stepped into a lot of the detail before the break and it
13 was a very useful discussion, if I may say so. Just
14 stepping back from the specifics, a little bit like
15 a question I asked yesterday, I think, there must be --
16 other people have fretted about the effects of the GFC
17 on all sorts of -- understanding all sorts of
18 relationships. Is there anything broader in the
19 literature about how the GFC in particular or other kind
20 of seismic shocks, if you may call them that, should or
21 should not be taken into account when looking at
22 empirical estimation? Maybe, Professor Neven, you could
23 start.

24 PROFESSOR NEVEN: Yes, I mean, of course there is a lot of
25 macro literature about the effect of the financial

1 crisis, but I mean that is not what we are concerned
2 about here. What we are concerned about here is about
3 the effect of a major shift in demand on the pricing in
4 a particular sector. I mean, a lot of this sort of
5 macro-economic literature would not be directly
6 relevant.

7 There is some literature which might be relevant and
8 suggest maybe the use of additional variables to control
9 for demand, which is not particularly relevant for the
10 UK but which is relevant for some of the continental
11 countries, which is the significance of the credit
12 crunch during the financial crisis, because during the
13 financial crisis many buyers of trucks were actually,
14 you know, taken out of financial markets. I mean, they
15 could not borrow. There was a difficulty for them to
16 borrow in order to finance the trucks. This is an
17 effect which is very significant in some European
18 countries, in particular in southern European countries
19 where the credit markets really froze. That is not
20 something which is relevant to the same extent in the
21 context of the UK.

22 MR RIDYARD: Mr Harvey.

23 MR HARVEY: Yes, I sort of agree with Professor Neven in
24 terms of the application of some of those findings to
25 this case. Some of the literature does cite things like

1 the availability of spare capacity, some of the issues
2 in terms of getting hold of credit and the effect that
3 that might have on both demand and pricing decisions,
4 but I did not uncover something that would particularly
5 assist me in this case in terms of the approach to
6 modelling. There are some papers that look at things
7 like, you know, the effect of the crisis on healthcare
8 expenditure, that type of thing, and because they are
9 particularly focused on understanding the crisis effect,
10 those sorts of papers will control for, you know, GDP,
11 overall level of demand and then have separate controls
12 for the crisis to look at that. But, yes, inherently
13 here we are looking at quite a specific situation so it
14 did not inform the work.

15 THE CHAIRMAN: One sort of overlap from what we were
16 discussing yesterday was obviously it led to
17 a depreciation of the pound, quite a substantial one, so
18 that was the second sort of main event in terms of
19 exchange rates.

20 MR HARVEY: Yes.

21 THE CHAIRMAN: Does that affect the way you should have
22 treated the GFC generally?

23 PROFESSOR NEVEN: Yes, I mean, as you point out and as we
24 observed yesterday, the depreciation of the pound is
25 taking place at the same time as the financial crisis in

1 2008, so there is a challenge in identification of --
2 you know, trying to tease out the effect of the
3 financial crisis which would lead to lower prices and
4 the effect of the depreciation of the pound. Again,
5 these two events are sort of taking place at the same
6 time.

7 Now, of course, when Mr Harvey is using dummies for
8 2008, 2009, 2010, he is actually controlling for the
9 financial crisis as well as controlling for the effect
10 of the exchange rate depreciation. In particular, his
11 dummy in 2008 is taking out, I mean, the effect of the
12 depreciation of the pound. So he is not actually
13 disentangling the two effects. He is not actually
14 disentangling the effect of demand from the effect of
15 the exchange rate depreciation.

16 Now, when I estimate my own model in pounds,
17 actually, and when I use the budget rate, I can, and
18 I can do that because of the use of the budget rate.
19 You remember that -- I mean, the budget rate is
20 essentially the exchange rate of the previous year,
21 which means that what I used for identification of the
22 exchange rate through my cost variable in 2008, the
23 first year of the financial crisis, is actually the
24 budget rate which is the exchange rate of the previous
25 year, and so because there is this lag in my approach,

1 I can actually disentangle the two. I mean, I can
2 actually estimate the effect of demand separately from
3 the effect of the exchange rate because of this -- you
4 know, there is a lag effect --

5 THE CHAIRMAN: When you get to 2009, then it will be taken
6 account of.

7 PROFESSOR NEVEN: Yes, then what happens is of course in
8 2009 there has been -- actually in the data you will see
9 that there has been a change in the budget rate.
10 I think it was -- the first one was in 2010, I think.
11 They did not change it until 2010 -- or 2009. I can
12 check. But, in any event, it will always be a lag
13 effect because the financial crisis is really hitting in
14 2008. All what I need is to have a lag for that
15 particular event, which is when the financial crisis
16 hits. I think I have -- if you want to see the budget
17 rates, they must be in Neven -- probably Neven 2,
18 figure 1 or figure 7.

19 MR RIDYARD: Is it that useful to go to those now?

20 THE CHAIRMAN: Sorry, I might have backtracked into what we
21 discussed yesterday.

22 MR RIDYARD: But we can obviously look up that evidence.

23 THE CHAIRMAN: Did you want to say anything, Mr Harvey,
24 about that?

25 MR HARVEY: Just two observations. One is I am not

1 particularly interested in controlling for exchange
2 rates and demands sort of for their own sake so the fact
3 that those dummy variables pick up the effects of both
4 strikes me as potentially a useful part of the
5 modelling.

6 Then the point in relation to the use of the budget
7 rate, it is precisely around this time when I think I am
8 quite concerned about that because you would expect,
9 when there are large swings in exchange rates, that
10 that, sort of, if you like -- the focus would perhaps be
11 more on what is actually happening to exchange rates
12 when making pricing decisions than what the exchange
13 rate was one year ago. So it is around this time where
14 I think the differences, if you like, between the budget
15 rate and the current market rate would be obviously
16 particularly pronounced. So although it has the benefit
17 that Professor Neven has outlined, it sort of comes up
18 cost, which is -- in terms of the way the pricing
19 decisions were being made, you know, we should be
20 looking at this year's or last year's figures when
21 exchange rates move.

22 PROFESSOR NEVEN: I think I disagree with this for the
23 reasons that I explained yesterday, because when
24 I estimate my model in pounds, I have an effect that is
25 coming through the budget rate, through the cost, but

1 I also introduce the exchange rate as a stand-alone
2 variable. Maybe -- and so I pick up the effect that
3 Mr Harvey is concerned about, is the fact that, you
4 know, was DAF NV concerned about the prices in those
5 episodes. Maybe it is useful to just go through the
6 table that shows that, if you think that is useful at
7 this stage, or ...

8 MR RIDYARD: Yes. Let us do that, yes.

9 PROFESSOR NEVEN: I think it is the first report and it is
10 in the annex. That is I think table 19.

11 THE CHAIRMAN: Which page?

12 PROFESSOR NEVEN: In my own pagination, it is page 91.

13 MR BEARD: {E/IC11/91}, we think.

14 PROFESSOR NEVEN: So -- oh, you do not have it yet.

15 MR BEARD: Sorry, I am not absolutely sure which table.

16 THE CHAIRMAN: I am not sure about that.

17 MR BEARD: Table 19, {E/IC11/92}.

18 PROFESSOR NEVEN: It is 92 in the court's pagination.

19 So what you have on this graph is the results of my
20 estimation in pounds. It is for the during/after period
21 so from 2003 to 2017. I want to focus in particular on
22 the column number 3. So column number 3 has an estimate
23 of the prices in pounds in relation to the MLO cost, and
24 the MLO cost, as you will remember, in my model, is an
25 estimate of the MLO, which is actually the estimate

1 which is seen by the pricing team. It is the addition
2 of the cost in pounds plus the cost in euro at the
3 budget rate.

4 Then you see that I introduce --

5 THE CHAIRMAN: Sorry, you said the MLO costing, that is what
6 they say the -- they do not see the MLO, do they?

7 PROFESSOR NEVEN: The MLO costing, I agree with you. They
8 do not see MLO, they see IKP, but IKP is based on MLO.

9 THE CHAIRMAN: Yes, okay.

10 PROFESSOR NEVEN: You are correct. So essentially you have
11 the MLO and then you see in that equation that I am also
12 introducing the market rate for the pound, and so this
13 is a stand-alone exchange rate variable.

14 You see that there is an effect coming through the
15 MLO and there is an effect coming through the market
16 rate. This effect going through the market rate is
17 showing to what extent there was a reaction to the
18 prices -- there was a transmission into the prices of
19 the current changes in the exchange rate in addition to
20 what would be picked up by the MLO expressed at the
21 budget rate.

22 This is a table that I referred to yesterday.
23 Actually this is a reasoning that I referred to
24 yesterday without showing the actual results, but
25 I think that it is useful to actually see that you have

1 these two effects here.

2 THE CHAIRMAN: Do you want to say anything, Mr Harvey, about
3 that?

4 MR HARVEY: I think -- actually, there are a couple of
5 questions I had on this table. One was, in that column,
6 the "GBP market rate", it is the one exchange rate in
7 these sensitivities that is small and negative. The
8 rest are sort of material and positive. What is the
9 interpretation of that?

10 PROFESSOR NEVEN: Oh, this is something that I explained
11 yesterday as well, is that -- I mean, this negative sign
12 is what you would expect in terms of economic
13 principles. This is the right sign. You see that in
14 the other regressions, so regression 2 and regression 5,
15 for instance, where -- or in particular regression -- we
16 are at 2 and 5 -- in which I introduced the exchange
17 rate as a stand-alone variable, I have an identification
18 problem and I understand why I have an identification
19 problem. It is precisely linked to what we were
20 discussing earlier. I mean, it is the fact that in 2008
21 there is a massive depreciation of the pound at the same
22 time as the fall in demand. So I really need this lag
23 effect in order to identify the effect of the exchange
24 rate, so it is not a surprise that I get the wrong sign
25 for the exchange rate if I do not exploit this

1 difference in timing.

2 MR HARVEY: Thank you.

3 MR RIDYARD: Now, just looking at the additional
4 questions -- the extra questions we have on the list
5 which we have not actually posed and I am wondering to
6 what extent some of these have already been covered in
7 the discussion we had before the break. Let me go
8 through the questions anyway and then, if you think we
9 have already covered it well or you want to comment,
10 please do.

11 So the first one was -- Mr Harvey, I am going to put
12 this to you in the first instance. The fact that you
13 have significant results in the dummy variables, do you
14 consider that that sort of vindicates your approach in
15 the sense that it shows that the demand effects that you
16 have anywhere in the model would not be doing its job
17 during that period or not?

18 MR HARVEY: Yes, I think it is saying that there is an
19 effect over and above the effect that is controlled for
20 through the demand control. So yes.

21 MR RIDYARD: Professor Neven, do you have an alternative
22 view on that?

23 PROFESSOR NEVEN: No, I think I disagree with this view.
24 The fact that these dummies are significant does not
25 show that these dummies are picking up an effect which

1 is related to the financial crisis. I mean, in order to
2 show that you would have an effect that comes on the top
3 of the demand effect, you would have to show that what
4 these dummies are picking up is an effect which is
5 orthogonal to the what is being picked up by the demand
6 variables.

7 In such a model, if you introduce dummies, you know,
8 any year -- I mean, you can do an experiment. You can
9 introduce dummies for anything in the year and it will
10 come up significant because there was always going to be
11 some, you know, idiosyncratic premium or some
12 idiosyncratic discount that will be picked up by these
13 dummies.

14 So I think that in order to build this argument that
15 these dummies are really important in order to pick up
16 an effect on prices that go above and beyond, using the
17 words of Mr Harvey, the demand effect, you have to show
18 that they are picking up something which is really
19 independent of the demand and has an effect on prices.
20 So I am not surprised that, you know, these dummies are
21 significant and I do not think that a model
22 specification should be decided on the basis of the
23 significance of variables --

24 MR RIDYARD: The point is, in your view, it does not
25 indicate that there was something missing from the

1 demand measure?

2 PROFESSOR NEVEN: But, more importantly, when you introduce
3 a dummy, the first question you need to ask is: how does
4 it affect my identification?

5 MR RIDYARD: Mr Harvey, come back on that.

6 MR HARVEY: Well, the reason for introducing the dummies was
7 not of itself the fact that they suggest there is
8 significant effect. It was motivated by the
9 considerations that I spoke about earlier.

10 It is the case, I think, that obviously when you
11 include other control variables that in a sense overlap
12 with control variables that are already in the model,
13 then they may pick up some of the variation that the
14 other control variable was picking up. So in that sense
15 I agree with Professor Neven. But certainly that is not
16 the motivation for the inclusion and I do think they are
17 showing the scale as well as the significance of these
18 estimates and suggest that there was a material downturn
19 in price that is not being picked out by the average
20 demand effect in the model.

21 MR RIDYARD: Okay. Moving on then to a question for
22 Professor Neven. In your estimation, when you took out
23 the GFC period and had a look at what impact that had on
24 the overcharge estimate, you found it did change your
25 estimate when you took out those observations?

1 PROFESSOR NEVEN: Yes.

2 MR RIDYARD: So what is the relevance of that exercise?

3 PROFESSOR NEVEN: I think what this exercise actually shows
4 is that removing the observation and introducing dummies
5 is pretty much the same thing because the estimates that
6 you get if you are removing the observations for those
7 years, in terms of the infringement in particular, is
8 very, very, very similar to the estimates that you get
9 if you are simply introducing dummies. This sheds some
10 light on the discussion that we had earlier. I mean,
11 essentially, introducing dummies is like removing the
12 observation for the sake of the identification of the
13 infringement, so this direct effect that we were
14 referring to earlier is really the dominant effect here.
15 I mean --

16 MR RIDYARD: But if you believe those observations are
17 tainted for some reason, they are not representative of
18 what is going on elsewhere, might that be a good thing?

19 PROFESSOR NEVEN: I think that -- of course there are
20 circumstances in which you want to remove observations
21 or introduce dummies that will sort of pick up, I mean,
22 the idiosyncrasies of those observations, but I think
23 that you only want to do that when you do not have an
24 alternative and when you are confident that doing that
25 will not fundamentally change your identification

1 strategy.

2 Here we have alternatives that I have put forward,
3 in particular in terms of these non-linear ways of
4 picking demand, and we know that introducing these
5 dummies fundamentally alters the identification. It
6 does not, you know, change the identification strategy
7 itself. It is still identified on the basis of
8 differences in price during the infringement and after.
9 But the pool of trucks that are used in order to perform
10 that identification changes fundamentally.

11 MR RIDYARD: Mr Harvey.

12 MR HARVEY: I think I sort of see the concern the other way
13 around, that by not controlling for those differences,
14 you compromise identification of the infringement
15 effect.

16 MR RIDYARD: As regards the alternative ways of handling it,
17 I think your evidence is that you have looked at that
18 but you did not find one that was --

19 MR HARVEY: No. We spoke about this earlier.

20 MR RIDYARD: Okay. Our last question was just generally
21 about the sensitivity analysis you have done. Clearly
22 we have talked about quite a few of these, but,
23 Mr Harvey, I will give you the first chance at this: is
24 there anything else in the various sensitivities and
25 cross-check analyses that have been done, looking at the

1 GFC issue, that you do not think we have adequately
2 covered in the comments so far?

3 MR HARVEY: No, I think we have discussed the main ones.

4 MR RIDYARD: Professor Neven.

5 PROFESSOR NEVEN: Yes, there is just one, which is the
6 sensitivity that Mr Harvey is performing, actually, and
7 that is in his third report. Mr Harvey is estimating
8 his model and comparing the estimates of his model with
9 one in which the demand variable, his demand variable,
10 so the sales of DAF, does not play any role by
11 construction during the financial crisis because he
12 replaces the actual value by an average. It is
13 basically a constant and so the variation between these
14 years 8/9/10 in his demand variable cannot play any role
15 because it is a constant. He obtains estimates, in
16 particular estimates of the infringement that are
17 identical to the second decimal point to the estimates
18 that he gets in his main model, and this, to my mind,
19 really shows that his model is taking out whatever
20 information there may be in the demand variable.

21 THE CHAIRMAN: Mr Harvey.

22 MR HARVEY: I feel like I might repeat myself so I am
23 tempted not to. The fundamental question here, I think,
24 is whether this period, the 2008/2009/2010 period, is in
25 a sense informative to the estimation of the overcharge.

1 Professor Neven I think is effectively arguing yes and,
2 more than that, it is critical to include. I think I am
3 effectively arguing I think it is a complicated factor
4 and we should be very careful about that and I think the
5 same message in a sense applies to the entirety of the
6 sensitivity analyses. That is all I would say.

7 MR RIDYARD: So you are agreeing that it takes them out of
8 the equation but you are arguing that is a good thing,
9 not a problem?

10 MR HARVEY: We need to control for them.

11 MR RIDYARD: Good. I think that covers off the GFC
12 questions.

13 THE CHAIRMAN: Any clarification?

14 MR BEARD: Two transcript things, if I may.

15 Just going back to [draft] page 58 in the
16 transcript, I think it is. This was a table that
17 Professor Neven mentioned. Mr Ridyard said we could
18 come to it later but I just want to make sure that it is
19 actually the right reference. I think Professor Neven
20 referred to his second report, figure 1, which is
21 {E/35/13}. Is that not the right page? 15 maybe --
22 apologies. Yes, sorry, 15 {E/35/15}. This is the one
23 where the page is two on. But I was not sure -- is that
24 the chart that you were referring to, Professor Neven?

25 PROFESSOR NEVEN: We did not get to that in the end, did we?

1 No, I was looking for a chart that had the changes in
2 the budget rate, but this is not this one.

3 MR BEARD: Okay. Can I suggest it might be and I am going
4 to suggest that it is {E/IC11/82}.

5 PROFESSOR NEVEN: Yes, this is this one.

6 MR BEARD: Okay, fine. As long as we have the reference
7 because we thought it might not be right.

8 Just [draft] page 46, if we could just call up
9 {E/35/35} -- yes, so in the transcript at [draft]
10 page 46, there is a reference by Professor Neven to the
11 change in the overcharge -- this was a table being
12 referred to -- going from 2.8 to 2.6 and it is --

13 PROFESSOR NEVEN: 0.6.

14 MR BEARD: We thought that was the case. Thank you. That
15 is all I have.

16 THE CHAIRMAN: Thank you. Mr Ward, do you have any?

17 MR WARD: No, I do not. Thank you very much.

18 THE CHAIRMAN: Thank you. All right. That is the end of
19 that session and now emissions.

20 MR RIDYARD: We move on then to how we deal with the
21 emission standards aspects of the estimation and indeed
22 its interpretation.

23 The first question which perhaps I will address to
24 Professor Neven first of all: how does the evidence on
25 the infringement -- the effects of the infringement, how

1 does that inform the econometric assessment of the
2 possible overcharge for trucks meeting different
3 emission standards? What is at stake here?

4 PROFESSOR NEVEN: Yes, indeed, the evidence from the
5 Commission's decision suggests that -- and the
6 Commission says that there was an agreement on the
7 timing and the passing on of costs associated with the
8 emission standards, so we have to consider what is
9 potentially the effect of that. I mean, whether the
10 premia that we estimate for the emission standard could
11 be due to an additional coordination effect. I mean, we
12 have sort of competing hypotheses for interpreting these
13 premia and clearly one of them, in light of the
14 Commission's decision, is that these premia could be the
15 effect of coordination.

16 MR RIDYARD: We are focusing on the allegation that the
17 agreement passed through a particular price rise --

18 PROFESSOR NEVEN: Yes.

19 MR RIDYARD: -- rather than the -- is the agreement or
20 otherwise the timing of the introduction -- is that
21 relevant to the estimations at all?

22 PROFESSOR NEVEN: I guess that, you know, one could have
23 done an estimation of the timing in which one estimates
24 a competitive counterfactual, but, you know, this is --
25 I do not think this is something that can be done in the

1 context of such an exercise here.

2 MR RIDYARD: Mr Harvey.

3 MR HARVEY: I agree with everything Professor Neven has just
4 said.

5 MR RIDYARD: The second question, which I will put to
6 Mr Harvey first of all, is the difference between the
7 two of you on this really primarily or exclusively one
8 about interpretation of the evidence rather than what
9 the evidence is showing us? So you both agree that
10 there is this price premium or this margin premium but
11 the question is whether you can attribute that to the
12 cartel or to the normal working of competitive forces.

13 MR HARVEY: I think we are agreed that there is a margin
14 premium so I think that the issue is one of
15 interpretation.

16 PROFESSOR NEVEN: No, I think that is correct. I think we
17 both in our own model estimate an emission premium. Of
18 course our estimates are a bit different given, you
19 know, the specifications that we have, but essentially
20 the issue here is one of interpretation, yes.

21 MR RIDYARD: Thank you. That is helpful.

22 So then we are on to -- Professor Neven, I will
23 address this one to you, this whole question of
24 willingness to pay, which we did touch on briefly
25 already earlier this morning. I suppose just as

1 a general point, does the interpretation that the
2 premium is due to willingness to pay -- does that
3 exclude the possibility of it being an effect of the
4 infringement?

5 PROFESSOR NEVEN: No. Of course. I mean, you could have
6 sort of two explanations, one in terms of the effect of
7 the infringement, the other one in terms of the
8 willingness to pay. You know, they can add to one
9 another.

10 MR RIDYARD: So would you agree that -- I mean, any monopoly
11 pricing or cartel effect involves firms raising prices
12 above the competitive level artificially, and when they
13 do that they still sell the product to some people and
14 those people who buy are willing to pay the monopoly
15 price?

16 PROFESSOR NEVEN: Yes, the question is to disentangle it.
17 I mean, I think that it is important, however, to keep
18 in mind that -- I mean, truck characteristics in general
19 lead to changes in margin. I mean, that is what we
20 observe in general. I mean, when we -- Mr Harvey or
21 myself, when we estimate the model, we include truck
22 characteristics. You see that these truck
23 characteristics have an effect by themselves.

24 MR RIDYARD: Can we unpick that a little bit because I think
25 that is quite important and potentially useful. So you

1 are talking about the difference between one truck and
2 another type of truck or the difference in one truck
3 when you add more extras to that particular truck?
4 Which are you talking about here?

5 PROFESSOR NEVEN: When we are estimating the model,
6 introducing truck characteristics as a control variable,
7 you are basically saying that trucks that have
8 particular characteristics will tend to have a premium
9 and this premium is not something -- it is really on
10 higher margins, and this is the case, for instance, with
11 respect to the power of the engine. I mean, there tends
12 to be a positive premium. People are willing to pay
13 more for a more powerful engine beyond I mean the cost.

14 THE CHAIRMAN: This is a premium over the cost --

15 PROFESSOR NEVEN: It is an additional margin, that is right.
16 But I want to highlight this because that is what you
17 would expect to have in any form of competitive
18 interactions. I mean, if you have manufacturers
19 competing in selling trucks that are different traded
20 products, the outcome of the competitive interactions
21 between them would lead particular types of products to
22 have higher premiums than others. I mean, this is
23 happening in all markets. I mean, higher quality
24 products tend to have a premium which has nothing to do
25 with the cost.

1 So this is not something that is surprising and
2 I think that I want to highlight this because this is
3 the default case. The default case is you would expect
4 that margins will vary according to truck
5 characteristics, and the emission standards are first
6 and foremost a truck characteristic.

7 MR RIDYARD: Okay. Mr Harvey, your thoughts on that point.

8 MR HARVEY: I think the -- I agree with the observations in
9 relation to willingness to pay. It does not seem to me
10 of itself it would imply a higher margin. I think
11 the -- the issue here is I think a little bit different
12 to the truck characteristics elsewhere. So I think what
13 the emission premia are picking out is obviously
14 sustained differences in that sort of pool of trucks, so
15 Euro 4 trucks, Euro 5 trucks, that enter the market.
16 Now, it is possible that they have new features and
17 those features themselves could in theory attract
18 a higher margin, but what we really -- I think what we
19 are really looking for is almost features that put DAF
20 in a, if you like, stronger competitive position
21 vis-a-vis its rivals; that is to say it allows DAF to
22 command a higher premium that is not sort of -- can be
23 competed away because another rival can make the same
24 offer to the customer. So I think that is the --
25 I think there is sort of a bit of a difference between

1 individual features that might be unique to the DAF
2 trucks versus a general change and evolution of trucks
3 that happens across the board over time.

4 MR RIDYARD: Let me just stop and challenge that slightly
5 there. Professor Neven just gave the example about more
6 powerful trucks having higher margins than less powerful
7 trucks or all truck-makers can make powerful and less
8 powerful trucks, so if that is a correct description of
9 the world, would that not contradict what you just said?

10 MR HARVEY: What we see in the data, of course, is higher
11 margins relative to a sort of base specification and so
12 it is possible that those trucks attract a higher margin
13 because of the additional features. It may well be that
14 there are some features that do not -- margins that do
15 not get competed away because they are special.

16 MR RIDYARD: But power is not special, is it, because
17 presumably all truck-makers can make trucks with all
18 sorts of different power, so on that basis you would not
19 expect to see more powerful trucks earning higher
20 margins than less powerful trucks.

21 MR HARVEY: That is right. That is right.

22 MR RIDYARD: Professor Neven said that we do observe that.

23 PROFESSOR NEVEN: Yes, and I think that fundamentally it is
24 an issue of price discrimination. I mean, when buyers
25 have a higher willingness to pay for more powerful

1 trucks -- I mean, all manufacturers will discriminate,
2 will end up having higher margins for those customers
3 that have a higher willingness to pay for more powerful
4 trucks. So the intuition that, you know, competition
5 will sort of compete away all these mark-ups is I think
6 not sound.

7 MR RIDYARD: Let me put that back to Mr Harvey. How do you
8 respond to that? Let us take this instance of less
9 powerful and more powerful trucks. Assuming that
10 everyone can do that, so why would you then -- do you
11 agree that you observe higher margins on more powerful
12 trucks than less powerful trucks?

13 MR HARVEY: I think within the analysis you do find a higher
14 price having controlled for costs for higher-powered
15 trucks, so, yes, I mean that is the implication of
16 that --

17 MR RIDYARD: Even though all the truck-makers can easily
18 make --

19 MR HARVEY: More powerful trucks, yes, that is right. So
20 I think another way of looking at the Euro standard
21 emissions control is that in a sense the overcharge is
22 changing at different points in time so you get a higher
23 overcharge later as new trucks are brought in. So
24 I suppose what we are looking for is whether those
25 changes associated with the Euro 5 or Euro 4 trucks --

1 whether they are picking out something that is over and
2 above the new characteristics that we have already
3 controlled for within the regression models. So
4 I think -- I accept that there may well be features that
5 attract higher margins in the competitive
6 counterfactual. Here, though, we have controlled for
7 a lot of those features within our model and what we are
8 left with is a premium for the Euro standard control
9 variable.

10 MR RIDYARD: Yes, okay. I understand that. I guess I was
11 just trying to get a kind of base level understanding of
12 how pricing and margin premiums work in the truck market
13 before we start jumping in on the specific questions of
14 the emissions and how that might change things.

15 So if I was to ask you -- the proposition which you
16 both seem to agree with is there is this price
17 discrimination that Professor Neven described and you
18 get higher margins on more highly specified products in
19 this market. What is the best way for -- if I wanted to
20 go to the data just to understand that and see that in
21 a summary form, where would be the best place for me to
22 go in your reports for me to get that kind of base level
23 understanding of how pricing works? Professor Neven, do
24 you have any clues for me on that?

25 PROFESSOR NEVEN: Yes, I think that, as you pointed out, we

1 see in our estimate then these truck characteristics
2 that we can measure tend to have an effect, like the
3 power of trucks. Of course, I mean, here we have an
4 emission standard which is -- can be seen as a truck
5 characteristic and all what we observe is a premium. So
6 the question is: how can we disentangle the hypothesis
7 that it is normal price discrimination from the
8 hypothesis that it is induced by the coordination?

9 As Mr Harvey has pointed out, the only way to do it
10 is to use the time series because, you know, if you tend
11 to have a stronger effect during the period of the
12 infringement, this could potentially be associated with
13 a coordination effect. By contrast, of course, I mean,
14 emission standards effects outside the information
15 period, those for Euro 2, for instance, or those for
16 Euro 6 -- even though we can discuss Euro 6 -- should
17 not have that element, so -- and I think that is the
18 essence of the test that I am proposing, is to try to
19 disentangle the two by seeing to what extent the timing
20 of the infringement is informative, can be used knowing
21 that this additional effect of the coordination that we
22 are concerned about that we want to identify -- knowing
23 that this additional effect has to be related to the
24 infringement. It has to happen during the infringement.
25 That is the essence of what I am trying to use in order

1 to see whether what we are observing in terms of premia
2 can be interpreted as the effect of the infringement as
3 a top-up.

4 MR RIDYARD: So you get a higher effect on margins when
5 a new emission standard arises in the infringement
6 period than when outside?

7 PROFESSOR NEVEN: That is what I am trying to do.

8 THE CHAIRMAN: What are we assuming about the coordination
9 that there was from the decision?

10 PROFESSOR NEVEN: Yes, I mean, taking the decision for what
11 it is, the decision itself could be a coordination and
12 we are trying to see --

13 THE CHAIRMAN: A coordination on the premia --

14 PROFESSOR NEVEN: Yes, exactly.

15 THE CHAIRMAN: -- to be charged on the new emission? Right.

16 So we are assuming that there was coordination in
17 that respect?

18 PROFESSOR NEVEN: We are taking the Commission's decision
19 for granted and they are referring to an infringement
20 and we are -- in particular with respect to the
21 passing-on of cost, and we are asking, "Is it in the
22 data?"

23 THE CHAIRMAN: It would indicate that it would be having at
24 least some effect if it was part of the coordination.

25 PROFESSOR NEVEN: Is it?

1 THE CHAIRMAN: Right. Okay.

2 MR RIDYARD: That is why we are here, is it not, to answer
3 that question?

4 Mr Harvey, any comments on what Professor Neven just
5 said there?

6 MR HARVEY: In terms of -- just going back to the question
7 you asked at the start, I think it was where to find
8 information about pricing --

9 MR RIDYARD: Yes, I do not think I got much of an answer to
10 that question, so if you have one I am grateful to hear
11 it.

12 MR HARVEY: I am not sure I am going to give you a terribly
13 satisfactory answer, but in the annex to my first report
14 I do have some charts that show how the prices vary over
15 time between different characteristics and how they are
16 related to one another. I do not think there is a chart
17 in there that deals with margins by groups of trucks
18 that have different characteristics, but, like
19 Professor Neven, the econometrics gives some indication
20 of that.

21 MR RIDYARD: Just the coefficients on the truck
22 characteristics would be the best place to look?

23 MR HARVEY: Yes.

24 MR RIDYARD: Okay.

25 MR HARVEY: Then on sort of the utility of comparing inside

1 and outside of the infringement and asking ourselves the
2 question of whether, if you get elevated margins outside
3 the infringement with new emission standards, does that
4 tell us something, so there are some challenges with
5 that in practice, I think. So for Euro 2, of which some
6 were sold prior to the infringement, we have this issue
7 about the aggregate cost data, so we do not have --

8 MR RIDYARD: Yes.

9 MR HARVEY: It creates some problems. Then after the
10 infringement we have the introduction of Euro 6 trucks.
11 The wrinkle there is that new models are introduced at
12 exactly the same time and so it is quite hard to
13 disentangle, if you like, a Euro 6 extra stuff included
14 effect from the new model -- the new model effect. So
15 I think -- I am not sure there is a huge amount you can
16 learn. Then I suppose, even if we did not have those
17 empirical challenges, I am not sure of itself that
18 finding that there is a premia outside of that period
19 means that the premia inside of the period are
20 competitive or collusive.

21 MR RIDYARD: But surely in principle you could compare those
22 premia and see if there was something different about
23 them during and afterwards.

24 MR HARVEY: You could, but you would be left with the
25 question, even if I find that for Euro 6 those trucks

1 attracted a premia, it does not tell me whether they
2 should have attracted -- Euro 5 or Euro 4 trucks should
3 have attracted a premia of 2 in the --

4 MR RIDYARD: Is that not why you compare the premiums during
5 and afterwards and see if there is a difference and
6 infer that the difference might be due to the
7 infringement?

8 MR HARVEY: I am just saying I do not think you could
9 immediately reach that conclusion if they are similar
10 because it may well be that the Euro 6 trucks are
11 superior and did attract a willingness to pay a higher
12 margin whereas the other trucks not. I do not know.

13 MR RIDYARD: Yes, okay.

14 Professor Neven, any comments on that?

15 PROFESSOR NEVEN: No. I actually agree with Mr Harvey.
16 I agree with Mr Harvey that, you know, this is -- what
17 is really -- we cannot assume that, you know, whatever
18 premia we observe for Euro 6 is necessarily a premia --
19 okay, let us assume that it is untainted -- that this
20 premia which reflects willingness to pay would also have
21 applied necessarily to Euro 5 or Euro 4 because I mean
22 these were sort of trucks which may be attracting
23 different willingness to pay. This is why I think the
24 test properly should be on the significance of the
25 infringement dummy, but that is going to take some time.

1 MR RIDYARD: Okay. Shall we maybe break there?

2 THE CHAIRMAN: That is a convenient time.

3 MR RIDYARD: Good suggestion, Professor Neven.

4 THE CHAIRMAN: We will take a break. We have a few more
5 questions, I think --

6 MR RIDYARD: We have a few more, yes.

7 THE CHAIRMAN: -- but we will resume in the afternoon. So
8 2 o'clock.

9 (12.58 pm)

10 (The short adjournment)

11 (2.00 pm)

12 THE CHAIRMAN: Good afternoon.

13 MR RIDYARD: To carry on then on our discussion of emission
14 standards, Mr Harvey, just looking at this whole
15 question of willingness to pay, where does Royal Mail's
16 evidence on its views about the attractiveness or
17 otherwise of new emission standards -- where does that
18 fit into the whole assessment of willingness to pay?

19 MR HARVEY: So Royal Mail's views, they -- sorry, I am just
20 (inaudible) for a moment.

21 I think the customers generally do not want to pay
22 more for the trucks and they did not want to pay in
23 particular for new Euro standard trucks in and of
24 themselves. I think what we did hear -- I forget which
25 witness it was -- there was a suggestion that for later

1 Euro standard trucks that had higher fuel efficiency,
2 that would be a potential benefit to them. So in that
3 sense the factual evidence sort of almost points in two
4 directions: on the one hand, not particularly wanting to
5 pay for a new Euro standard for its own sake, but the
6 recognition there might be some new features with the
7 later Euro trucks that could be beneficial.

8 Of course, the witness evidence of itself is from
9 one -- if you like, one claimant, so it does not tell us
10 about the pool for the entirety of the UK, so I suppose
11 it is limited in that respect.

12 MR RIDYARD: But if there was one emission standard where
13 there was no advantage other than it was just the legal
14 requirement and another where the new emission standard
15 also had the added benefit of improving fuel economy,
16 would that suggest something that could be tested then,
17 that you could look at -- the first one you might not
18 expect much of a margin uplift and the second one you
19 would because they were getting something extra for
20 their money?

21 MR HARVEY: Yes, I think in principle you could -- if you
22 had two emission standards, one with enhanced fuel
23 efficiency and the other one not, yes, I think in
24 principle it could pick up a willingness to pay. That
25 does not necessarily flow through, as we spoke about

1 earlier, to an elevated margin in and of itself, but,
2 yes, that would be relevant.

3 MR RIDYARD: Did you look at that though?

4 MR HARVEY: No.

5 MR RIDYARD: Professor Neven.

6 PROFESSOR NEVEN: I have nothing to add with respect to the
7 evidence on Royal Mail. With respect to the evidence
8 more generally on whether one could identify the changes
9 in willingness to pay related to characteristics for
10 different emission standards, I suppose this could be
11 done if there was more disaggregated systematic data
12 about what these additional characteristics could be.
13 The whole idea of controlling for emission standard as
14 a truck characteristic is that you cannot go deeper.
15 I mean, you treat it as a characteristic that might
16 actually explain higher margins, but this is to some
17 extent a black box, I mean -- but it is a black box that
18 you accept given the limitation of the data.

19 Of course, what we observe is not quite willingness
20 to pay but a change in the equilibrium price; okay? So
21 if you were to try to track willingness to pay,
22 essentially what you would need to do is to identify
23 demand, which is even harder.

24 MR RIDYARD: Yes, okay.

25 THE CHAIRMAN: If they had to pay because they were obliged

1 to purchase emission standard vehicles, I mean, that
2 suggests that they would not be willing to pay a premium
3 for the enhancements; no?

4 PROFESSOR NEVEN: What do you mean?

5 THE CHAIRMAN: I mean they had no choice in the matter.

6 They want a truck. It has to, by a certain date, comply
7 with certain emission standards --

8 PROFESSOR NEVEN: Yes, but it is clear at the time at which
9 DAF Trucks were introducing these new emission
10 standards, they had alternatives in both instances.
11 They had alternatives because DAF kept on selling the
12 trucks with the earlier emission standard for a while --
13 not very long, but they kept on selling trucks with
14 alternative emission standards, so they had a choice,
15 and, of course, other manufacturers, as we have
16 discussed last week, have introduced trucks with a new
17 emission standard typically, you know, on the same date.
18 So if they were not happy with the idea of paying more
19 for DAF trucks with a new emission standard, they could
20 have gone to a competitor.

21 SIR IAIN MCMILLAN: Am I correct in recalling that the
22 customers did not want the more expensive trucks with
23 the new emission standards before they had to anyway?

24 PROFESSOR NEVEN: Yes, I think what I have seen in the
25 evidence is that, in the UK -- this is actually fairly

1 UK-specific -- I mean, the customers were not interested
2 in, you know, paying more for the new emission standard
3 by itself because they did not attach a value to being
4 green, if you want.

5 SIR IAIN MCMILLAN: Yes. I should have said UK.

6 PROFESSOR NEVEN: Yes, it is UK-specific because in Germany
7 it is a different thing. But clearly in the UK I have
8 seen some evidence suggesting that at least -- some
9 evidence from Royal Mail -- suggesting that they were
10 not willing to pay more just because the truck was more
11 green. They needed to have a -- in order to sustain
12 a higher willingness to pay, they needed to have better
13 features for the truck.

14 So it is not just by itself the fact that the truck
15 was, you know, polluting less that was valuable to them.
16 You know, they had to comply with the legislation and
17 they had to pay more for trucks that were polluting
18 less, but in order to pay more, they would need to have
19 a business case, and the business case would be either
20 that the truck was more efficient, that it was using
21 less fuel or that the truck had features for which they
22 had a willingness to pay. I mean, you know, the trucks
23 that were introduced with a new emission standard may
24 have had other features which came bundled with the new
25 emission standard for which they had a higher

1 willingness to pay.

2 MR RIDYARD: So the enhancements that we are talking about
3 that arose, they just happened to -- they arose at the
4 same time as the emission standard was changed and --

5 PROFESSOR NEVEN: Exactly.

6 MR RIDYARD: -- they are factors which are not captured by
7 cost considerations because we are controlling for those
8 and they are not captured by other attributes which you
9 are already measuring?

10 PROFESSOR NEVEN: They are not captured by other attributes
11 that we are measuring. You know, this added willingness
12 to pay is not captured by cost because this is a demand
13 feature, so -- but of course these added features may
14 have -- themselves have a cost which is going to be
15 reflected in the MLO and in the IKP. But here what we
16 are talking about is the demand feature, is the added
17 willingness to pay for these features.

18 THE CHAIRMAN: The difference in Germany was because of the
19 tax benefit?

20 PROFESSOR NEVEN: Exactly, yes. That is right. In the UK
21 there were very few incentive schemes --

22 THE CHAIRMAN: Yes, in those days.

23 PROFESSOR NEVEN: -- for use of -- to buy trucks with new
24 emission standards, which was not the case on the
25 Continent.

1 MR RIDYARD: Okay. Let us turn, then, to the econometric
2 results and the extent to which we can use the
3 econometrics to try and disentangle the different
4 effects that we have been talking about. Mr Harvey,
5 would you like to go first on this? How do you see your
6 econometric results as shedding light on this question
7 about what the explanation for the margin premium is?

8 MR HARVEY: So in my econometric models, and I think we
9 are -- I can probably clarify for a moment -- we are
10 talking about only the during/after model here --

11 MR RIDYARD: Yes.

12 MR HARVEY: -- that we just spoke about before. I have
13 included controls for truck series, so LF 45, LF 65,
14 CF 65 -- sorry -- and so forth. I have included
15 controls for other characteristics, including things
16 like cabin, cabin space and that type of thing, as well
17 as, of course, the granular MLO cost variable.

18 So the results of those models show that they
19 provide quite a high explanatory power, the
20 characteristics, so they explain a lot of the variation
21 in prices. When I add additional characteristic
22 controls, so axles and that type of thing, I do not find
23 that they have a large bearing on the estimated emission
24 standard effects. So when I add in or take away more or
25 less controls, those emission standards do not move

1 around very much.

2 The limitation of the analysis is that a specific
3 list of improvements associated with the new emission --
4 trucks with new emission standards we cannot control for
5 in the modelling, so you are left with attempting to
6 sort of interpret the changes and whether they would be
7 regarded as improvements and indeed would be regarded as
8 marginally enhanced improvements as well.

9 MR RIDYARD: When it comes to your estimation of the
10 infringement effect, you are saying that all of the
11 unexplained increases in margins that arise when a new
12 emissions standard comes through -- all of that increase
13 in margins is attributable to the cartel?

14 MR HARVEY: Yes.

15 MR RIDYARD: Professor Neven.

16 PROFESSOR NEVEN: Yes, I think that I can shed some light on
17 the extent to which the premia that I estimated for the
18 new emission standards are not due on average to the
19 effect of the infringement and maybe the best would be
20 for me to walk you through table 8, which is in my reply
21 report. That is {E/35/45}. That is page 43 in my own
22 pagination.

23 MR RIDYARD: Table 8, did you say?

24 PROFESSOR NEVEN: That is table 8, yes.

25 MR RIDYARD: Yes, that is page 45.

1 PROFESSOR NEVEN: Page 43 in my own pagination.

2 MR RIDYARD: 45 on the court ...

3 PROFESSOR NEVEN: Before I go through the details of this
4 table, let me explain here the spirit of the test that
5 I am carrying out here, which I sort of alluded to just
6 before the break.

7 We have two important features with respect to the
8 emission standards and the effect of the coordination on
9 the premia of the emission standard. The first
10 observation is that the coordination, the infringement
11 with respect to emission standards, should lead to
12 a higher price -- higher margin, higher price, exactly
13 in the same way as what I would refer to as the normal
14 infringement, I think the infringement which is
15 unrelated to the emission standard, the infringement
16 that may be related to the information exchange. So
17 they both lead to higher prices so they are, in that
18 perspective, equivalent in terms of observation. We
19 have two channels, one channel which is the coordination
20 through the information exchange, the other channel
21 which is the coordination at the time of the
22 introduction of the information exchange.

23 THE CHAIRMAN: The emission standards?

24 PROFESSOR NEVEN: The emission standard.

25 Now, the other important feature is that they

1 should, both of these effects, be determined by the
2 timing of the infringement; that is to say that these
3 emission standard premia that may be associated with the
4 infringement, they should take place during the
5 infringement and not outside the infringement, which
6 means that we potentially have a strategy in order to
7 identify whether these emission standards are due to the
8 infringement, which, as I mentioned earlier, is to use
9 differences outside the infringement period and during
10 the infringement period.

11 That is essentially what I am doing in this table 8.
12 So essentially, before I go through the table, let me
13 explain the spirit. The spirit is that when I have the
14 emission standard, I potentially -- emission standard,
15 that is fixed effects -- I potentially have two channels
16 for the infringement: one channel which is the
17 information exchange, the other channel which is the
18 coordination around the passing-on of costs. If
19 I switch off one of the channels, the other one should
20 pick up the effect. Why? Because, as I mentioned
21 earlier, these two channels have the same effect in
22 terms of prices and they should also both be higher
23 during the infringement period relative to outside the
24 infringement period.

25 So the spirit of the test that I am doing in this

1 table, in table 8, is to say, "Okay, if I have emission
2 standard fixed effect, I have the two channels. Let me
3 now switch off the channel through the emission
4 standard. Is it that I see that the infringement effect
5 that I estimate, the difference in prices during the
6 infringement period and outside the infringement period,
7 is going up?", because if indeed the emission standards
8 are associated with the infringement, when I switch off
9 that channel, the other channel should pick up because,
10 as I said, both channels lead to higher prices.

11 So what I observed, just to anticipate the results
12 that I have in table 8, is that it is not the case. It
13 is that when I switch off the emission standard channel,
14 my estimate of the infringement is unaffected.

15 So let me walk through the table. So what you have
16 in there, in the first column, is an estimate of my
17 before/during/after model; okay? This is an estimation
18 that sort of is using all the data from 1995 to 2017 and
19 in which, of course, the infringement is from 1997 to
20 2011. So the first column includes all the emission
21 standards from Euro 2 to Euro 6. That is an estimate in
22 which I allow for the second channel.

23 Now, what you see in the second column is an
24 estimate in which I remove all of these emission
25 standards fixed effects. What I exploit of course there

1 is the fact that some emission standards are introduced
2 before the infringement, Euro 6 is introduced after the
3 infringement and the others, 3, 4, 5, are introduced
4 during the infringement. So by removing the emission
5 standard fixed effect, if indeed the emission
6 standard 3, 4, 5 are affected by the coordination,
7 I should observe that my infringement dummy, which
8 measures the difference in price between the prices
9 during the infringement and outside the infringement,
10 that is to say including after and before this
11 infringement, dummy should be higher, and I see that is
12 not the case. I see that if you are comparing my
13 infringement dummy, it is actually going from 0.07 to
14 0.05. It is essentially unaffected.

15 Now, I do an additional two further tests in this
16 table --

17 THE CHAIRMAN: Sorry, you say 0.07?

18 PROFESSOR NEVEN: Yes, so it is basically 0.7 minus --

19 MR RIDYARD: You might have missed a zero out there.

20 THE CHAIRMAN: I think you added a zero.

21 PROFESSOR NEVEN: It is 0.007. This is the coefficient.

22 I was reading the coefficient, but if you look at the
23 estimated overcharge, which is at the last line, it is
24 0.7%, minus 0.7%.

25 THE CHAIRMAN: Yes. Okay. Thank you.

1 PROFESSOR NEVEN: Sorry, I was reading the coefficient
2 and --

3 THE CHAIRMAN: Yes, okay.

4 PROFESSOR NEVEN: -- not the estimated overcharge.

5 These are actually not significantly different from
6 zero, but it is another dimension that we have not
7 discussed so far.

8 Now, let me briefly comment on the third and the
9 fourth estimation. In the third estimation I said,
10 "Okay, there is some concern about Euro 6 and the
11 concern may be that Euro 6 may also be tainted because
12 there were discussions about Euro 6 and the pass-on of
13 course associated with Euro 6". So what I do in
14 column 3 is that I introduce a dummy for Euro 6 which
15 effectively means that I am not using Euro 6 trucks in
16 order to estimate the effect of the infringement.

17 So I have an estimate of the effect of the
18 infringement which compares the Euro 1 and Euro 2 trucks
19 as well as the Euro 5 trucks after 2011 with all of the
20 trucks during the infringement. So I basically, you
21 know, try to see whether this is a year that Euro 6
22 trucks would have been tainted, would have been polluted
23 by the infringement effects, the estimation. As you can
24 see, the estimate is now 0.7%, so it is still very close
25 to zero. There is a change but it is a small change.

1 The final estimation that I do in the fourth column
2 is to say, "Okay, I also have heard about this concern",
3 and we have discussed it yesterday, "that maybe there is
4 a follow-on effect of the infringement. Maybe the
5 infringement does not really stop in 2011". So what
6 I assume there is that all Euro 5 trucks are affected by
7 the infringement independently of the date at which they
8 are actually sold. So that I identify the infringement
9 effect by comparing all of the trucks during the
10 infringement, including all of the Euro 5 trucks that
11 were sold after the infringement relative to a group of
12 untainted trucks that would be Euro 1, Euro 2 for the
13 beginning of the infringement as well as Euro 6 trucks.
14 I see that there again there is no significant change in
15 the estimation of the infringement.

16 So this analysis, to me, suggests that the
17 hypothesis that the emission standard fixed effects are
18 due to coordination is inconsistent with this evidence.
19 It is inconsistent because, if they were associated with
20 coordination, they would take place during -- on
21 average, during the infringement period, and then, given
22 that they are equivalent in terms of higher prices to
23 the normal infringement effect, I should estimate it,
24 I should capture it, through a higher infringement
25 effect.

1 MR RIDYARD: Mr Harvey.

2 MR HARVEY: The validity of this test rests on other
3 variables in this model, not picking up the exclusion of
4 the fixed effects. So put another way, I think that the
5 hypothesis is that, by excluding these variables, if
6 they are related to the infringement, then the
7 infringement effect will pick it up because there is
8 something missing from the model that needs to be
9 accounted for by the infringement effect. But there are
10 other variables in this model, including the series
11 fixed effects, that could otherwise pick up the
12 exclusion. So I do not think that this test itself
13 shows that, if you like, the channel is switched off.

14 PROFESSOR NEVEN: I think it does, and the reason is that if
15 I remove these emission fixed effects, the model will
16 attribute the change in prices that were due to these
17 emission fixed effects to the variable that is most
18 closely correlated. It could be the infringement, it
19 could be something else. What is revealing is that the
20 model is choosing cost and series fixed effect in order
21 to pick up the slack. You know, if indeed the emission
22 standard were associated with coordination, what would
23 pick up the slack is the infringement, and this is not
24 what is happening.

25 MR RIDYARD: Mr Harvey.

1 MR HARVEY: I think the issue is the infringement dummy is
2 stable obviously over the infringement period and so
3 what these dummies are -- essentially they appear at
4 different points in time. So what matters is whether
5 there are other variables, including the series fixed
6 effects, that appear at different points in time and
7 they would pick up the slack. So I do not think the
8 logic of just because it is in the infringement period
9 means that the infringement variable will pick it up.
10 It depends on the other variables.

11 PROFESSOR NEVEN: But what is relevant is that the other
12 variables pick it up. That is the thing. What is
13 relevant is that by removing them, we see that MLO and
14 we see that the series fixed effect pick up the slack.

15 THE CHAIRMAN: So what is the series fixed effect?

16 PROFESSOR NEVEN: Series fixed effects are, I mean, dummies
17 again that we both introduce at the level of series
18 because DAF, as you know, is producing three families of
19 truck and then within the families you have sort of
20 different series. We are both introducing series
21 dummies, that is to say that we are trying to see
22 whether the model will estimate a specific premium or
23 a specific discount, as the case may be, at the level of
24 series. What I see is -- sorry.

25 MR RIDYARD: So one series of truck has a different price

1 level to another series of truck. There is a systematic
2 difference in price between one series and another and
3 that has been accounted for within the model by this
4 effect.

5 Sorry, Professor Neven, I cut you off halfway
6 through. Were you going to add --

7 PROFESSOR NEVEN: No, I think that I explained what I wanted
8 to explain, is that what I find revealing -- and this is
9 why this test is useful -- is that indeed the slack that
10 is left by these emission standard dummies is picked up
11 by other variable than the infringement. You know, if
12 the infringement was behind, on average -- was behind
13 these emission standard premia during the period of the
14 infringement, they would be picked up by the
15 infringement dummy.

16 MR RIDYARD: What I understood Mr Harvey to be saying is
17 that it was a question of which is the closest
18 substitute, if you like.

19 PROFESSOR NEVEN: Yes.

20 MR RIDYARD: He is suggesting that the infringement would
21 not be the closest substitute because it is a blanket
22 dummy across the whole period, whereas some of these
23 other things are more granular and therefore maybe that
24 is why they are appearing to be the closest substitute
25 for the emissions effect. Was that what you were

1 saying?

2 MR HARVEY: Yes.

3 MR RIDYARD: I am not saying whether I think he is right or
4 wrong, but I am just -- but it seems ...

5 PROFESSOR NEVEN: So the argument of Mr Harvey is that,
6 simply because the emission standard is a dummy, this
7 dummy is not going to pick up the slack --

8 MR RIDYARD: I think because it is a dummy that applies
9 right across the infringement period, whereas -- sorry,
10 I do not mean to make Mr Harvey's case for him.

11 MR HARVEY: So in a sense what we are picking up with these
12 Euro emission premia, I suppose you could view it as an
13 overcharge that is varying over time. That is kind of
14 what is going on here, yes.

15 PROFESSOR NEVEN: That is right, that is correct.

16 MR HARVEY: So that is one way of thinking about it. The
17 overcharge, the standard, let us call it, overcharge
18 dummy, by its nature is fixed over time, it is not
19 varying, and then there are other -- but there are other
20 variables in the model that may well vary over time, and
21 what I am saying is they are more likely to pick up the
22 effect of the exclusion than the infringement variable,
23 not because they were not caused by the infringement,
24 just because of the structure of the data.

25 PROFESSOR NEVEN: I mean, what you are pointing to is that

1 this test, of course, is a test with respect to the
2 average of the emission standard effect during the
3 infringement period. It cannot say very much about the
4 significance of the individual or the significance of
5 the coordination potentially with respect to individual
6 emission standards. On average, I mean, this is what
7 this is capturing.

8 MR RIDYARD: Just going back, Mr Harvey, to your answer to
9 the previous question, before we got into the discussion
10 of Professor Neven's results, I mean, you said that in
11 your approach all of the margin premium associated with
12 the introduction of new emissions is attributable to the
13 cartel. So does that worry you in the sense that you
14 are therefore saying -- you are denying any possibility
15 of a margin increase which happens to happen when an
16 emission standard comes in, which might be due to an
17 otherwise unmeasured quality difference which an
18 equilibrium would justify a margin premium?

19 MR HARVEY: It is something that I did think about. It is
20 a question of interpretation. The reason I interpreted
21 all of the uplift as an infringement effect was that
22 I had accounted for a lot of the characteristic
23 differences between the trucks within the modelling plus
24 some additional factors -- sorry, the series effects
25 plus some additional characteristics. Then I looked to

1 the list of improvements that was provided. Some of
2 those appear to be already captured within the model,
3 things like cabin enhancements and so forth; others
4 appear to be sort of day-to-day updates, so software
5 improvements, that type of thing. But there did not
6 seem to be necessarily step change improvements that
7 perhaps would be expected as new trucks came out. But
8 it is an interpretation. It is a judgment on the data.

9 MR RIDYARD: You could say it was quite an extreme judgment
10 because you are giving no credence to the possibility of
11 a margin improvement, naturally occurring margin
12 improvements, on something which is admittedly not
13 measured?

14 MR HARVEY: Yes, and you could reach a different view on the
15 data. But, as I said, I looked at the combination of
16 the view that, generally speaking, people did not want
17 to pay for the new Euro standard, then the fact that you
18 need to be able to reconcile that with the idea that new
19 features come along and you are willing to pay for those
20 plus some at the time of the new introduction of the
21 standard, the combination of controlling for a large
22 section of the characteristics that matter for pricing
23 plus review of the information that was provided where
24 some of the improvements did not in the least strike me
25 on the face of it as being significant. But I of course

1 accept that you could reach a different view on that.

2 MR RIDYARD: Okay, thanks.

3 Just scanning through the questions, again I think
4 quite a bit of what we intended to ask has been covered
5 by the discussions we have had so far. But one thing
6 I wanted to pick up -- it might just be for confirmation
7 purposes more than anything else -- was when we look at
8 the Euro 2 trucks and the Euro 6 trucks, the sort of
9 before and after trucks, are you saying that although on
10 the face of it they look to be quite useful observations
11 because they would be -- enable us to differentiate
12 between cartel period effects and non-cartel period
13 effects, are you saying that in both cases, maybe for
14 different reasons, they are not useful for those
15 purposes? Perhaps, Professor Neven, you could go first
16 on that.

17 PROFESSOR NEVEN: Yes, I think they are useful because,
18 I mean, these are premia that occur outside the period
19 of the infringement. Of course there are limitations to
20 the extent to which we can, I guess, use them as
21 estimate of the premia that could be associated with
22 willingness to pay with respect to other standards
23 because other standards are different. Of course there
24 is a particular concern with respect to Euro 2 which is
25 that we are not controlling for cost as well as we

1 could. It is also the case for Euro 3 because Euro 3 is
2 introduced in 2001 onwards, so it is more difficult for
3 those.

4 With respect to Euro 6, I mean, there is this
5 concern that Euro 6 may also be tainted, but, I mean,
6 the fact that I do not get different results in this
7 regression here, whether I control for Euro 6 or not,
8 suggests that Euro 6 is not affecting the
9 identification. By the way I have run this exercise
10 here also on the before/during model, I mean, with
11 similar -- and that is in table 23, I think, in my
12 second report -- with similar results.

13 MR RIDYARD: Mr Harvey.

14 MR HARVEY: I think I commented on what is in Euro 2 and
15 Euro 6 earlier, so nothing more to add.

16 MR RIDYARD: Okay. I think that is probably all we had on
17 the emissions questions.

18 THE CHAIRMAN: Any clarification?

19 MR BEARD: No, I do not think so. Thank you.

20 THE CHAIRMAN: Nor from you, Mr Ward?

21 MR WARD: No, thank you.

22 MR RIDYARD: Right. Our last topic: value of commerce.

23 The first question is a broad one. I should say, on
24 the question we gave you, I think we have written "DAF"
25 instead of "Royal Mail and BT", but -- so that may be

1 a slightly confusing question. But the question should
2 have been: can you shed light on whether the prices paid
3 by the claimants for bodies and tail-lifts -- whether
4 they were affected by the infringement? Is that
5 something that you can shed light on?

6 THE CHAIRMAN: I think it is only Royal Mail.

7 MR RIDYARD: Only Royal Mail, of course, yes. Sorry --
8 whether the prices paid by Royal Mail for bodies and
9 tail-lifts were affected by the infringement.

10 Mr Harvey, would you like to go first on that?

11 MR HARVEY: The way I think about this is the answer to the
12 question turns on how competition worked in the supply
13 of the bundle, as it were. So I think it depends on
14 basically whether -- almost whether there is a market
15 for the supply of the all-in body and truck that is sort
16 of different to the market for the supply of separate
17 components.

18 My understanding is that Royal Mail went to market
19 to buy the bundle, and if the sort of competitor set for
20 the supply of those services was only those involved in
21 the infringement, then I think it is possible that the
22 prices of the bundle could be elevated. It is
23 a difficult question to answer directly empirically with
24 the data. Further principles -- in terms of the data
25 itself, because the data set is principally a -- I think

1 the phrase is "naked truck, truck data set", it is hard
2 to investigate sort of bundle pricing on its own. So
3 that is some observations.

4 MR RIDYARD: So the vast majority of the observations in the
5 econometrics are for trucks without bodies?

6 MR HARVEY: Yes.

7 MR RIDYARD: Can you just expand a bit on how that
8 affects -- I mean, the Royal Mail purchases were quirky
9 in that respect. They were out of the ordinary.

10 MR HARVEY: Yes. So in terms of the way it affects the
11 implication for the overcharge, the overcharge estimate?

12 MR RIDYARD: Yes.

13 MR HARVEY: The overcharge estimate would mostly reflect
14 overcharge on the naked trucks, but, of course, there is
15 some proportion of the observations for which a bundle
16 is purchased. So intuitively the overcharge estimate
17 will be a sort of weighted average, if you will, of the
18 two but strongly weighted in favour of the naked truck.

19 MR RIDYARD: How does that affect the -- because what we are
20 talking about here is what sales -- what body of
21 sales -- if we have a percentage uplift that we think
22 exists because of the infringement, what do we apply
23 that to?

24 MR HARVEY: Yes, well, by applying it to the combined
25 purchases of the body and the truck itself, the implicit

1 assumption is that the overcharge that emerges for the
2 sort of weighted but predominantly naked truck estimate
3 would also apply to the bundle. That is not something
4 that is directly testable using the data, but that is
5 the implicit assumption and that assumption will be
6 consistent with a market in which there is a sort of
7 supply for the bundle rather than supply for the
8 separate components.

9 SIR IAIN MCMILLAN: Yes. I just wanted to clarify, if
10 I may. It was the purchase price of the bundle that was
11 the input to your model?

12 MR HARVEY: Yes. Yes, because it is sort of negotiated as
13 one.

14 SIR IAIN MCMILLAN: Yes.

15 MR HARVEY: You cannot tease them out.

16 SIR IAIN MCMILLAN: Yes. So I understand, thank you.

17 THE CHAIRMAN: But I thought you said before that the
18 overcharge was estimated by reference to the naked
19 truck, not the bundle.

20 MR HARVEY: Sorry. So the overcharge data, around 90% or so
21 of the observations are for the supply of naked trucks.
22 There is a proportion, around 10%, that include
23 Royal Mail, I think also Morrisons, if I am correct,
24 where the prices include the supply of the bundle. So
25 the data set is a combination of them both but

1 predominantly naked trucks, yes.

2 MR RIDYARD: But when you are trying to explain prices in
3 the model -- obviously you have got a lot of explanatory
4 variables in there -- is the existence of a body one of
5 the explanatory factors?

6 MR HARVEY: No, it is not, but we do have the MLO cost of
7 the --

8 MR RIDYARD: Of course, yes.

9 MR HARVEY: So it is not a separate ...

10 MR RIDYARD: The MLO cost of the body, how does that get fed
11 into the data? Is that the price that DAF paid the
12 subcontractor for the body or is it the actual
13 manufacturing cost of the body?

14 MR HARVEY: No, I think it is the price -- I think this
15 varies over time, actually, but I think I am right in
16 saying that it is the price that DAF pays to those that
17 manufacture it. I think I took -- yes, that is correct,
18 it is the price that DAF pays to others and that is
19 included.

20 MR RIDYARD: In some cases did DAF actually make the bodies
21 themselves?

22 MR HARVEY: I do not think so.

23 THE CHAIRMAN: Yes, I think there was. I think Mr Ashworth
24 said that.

25 PROFESSOR NEVEN: After the end of the infringement, but, as

1 far as I understand, not to Royal Mail. I think that --
2 indeed, I mean, DAF produced some of the bodies itself
3 and, in the case of some customers like Royal Mail,
4 procured bodies from third parties, and of course in the
5 data, when DAF is producing the body, it will be
6 reflected in the cost that is incurred in producing the
7 body. In the case of bodies that were procured from
8 third parties, it is reflected and I understand that the
9 amount that is inputted in the MLO is the amount that
10 was paid to the third party.

11 MR RIDYARD: That would make sense, would it not? Yes. So,
12 Professor Neven, what is your overview on this topic?

13 PROFESSOR NEVEN: I agree with Mr Harvey that we have
14 predominantly, quote unquote, "naked trucks" in the
15 sample. The overcharge that we are estimating is an
16 overcharge -- if at all, an overcharge that would apply
17 to naked trucks and not to the combination between
18 trucks and bodies. Of course, I mean, given that this
19 overcharge is a truck -- is an overcharge that is likely
20 to apply to naked trucks, if we apply to -- this
21 overcharge in the case of Royal Mail not only to the
22 naked truck but also to the body, this is likely to lead
23 to a substantial overestimation of the damages if indeed
24 the bodies were not affected.

25 So under the assumptions that the bodies were not

1 affected, to extrapolate that overcharge estimated on
2 naked trucks to the bodies leads to a substantial
3 overestimation. I mean, in many instances, in the case
4 of Royal Mail, the body, say, is roughly £7,000 or
5 something of that order of magnitude and some of the
6 LF trucks, you know, were priced at 20. So, you know,
7 we have something here which is one-third of the cost of
8 the naked truck. So, you know, it would be
9 a substantial overestimation if you were to extrapolate
10 what has been estimated as the overcharge on naked
11 trucks on the bodies.

12 Just one comment, if I may, on the question of
13 whether there was an overcharge on bodies. I mean,
14 there is clearly a market for bodies -- okay? -- because
15 most customers are actually not buying naked trucks and
16 bodies from the manufacturers. They are actually
17 procuring the bodies from third parties.

18 THE CHAIRMAN: Like BT?

19 PROFESSOR NEVEN: Yes, exactly, like BT. Actually, as
20 Mr Harvey has indicated, there are very few instances in
21 which DAF is actually procuring bodies on behalf of
22 others or even DAF or even producing these bodies. So
23 the predominant organisation is one in which the
24 customers, the final customers, are procuring bodies in
25 the open market and then are asking either DAF or

1 a third party to put the body on the chassis, which
2 means that, you know, you can expect that there will be
3 a competitive constraint that is exercised there. So it
4 is a --

5 THE CHAIRMAN: Is this your argument on complements?

6 PROFESSOR NEVEN: We will get there eventually.

7 THE CHAIRMAN: We will! It is not going to be tomorrow, but
8 ...

9 No, but I mean in terms of the argument on this has
10 an impact on that, does it not?

11 PROFESSOR NEVEN: Indeed. What I am saying is that there
12 was an open market for bodies and that, as a result of
13 the infringement, if there was one, it was an effect on
14 the naked trucks, I would also expect the pricing of
15 complements to go down.

16 MR RIDYARD: This may not be even that relevant, but just to
17 try and complete my understanding. Most of the sales go
18 through dealers. The truck sales go through dealers.
19 Do we know -- maybe we do not know or even do not care,
20 but I will ask anyway -- do we know whether -- when
21 customers buy from a dealer, does the dealer put the
22 body together for them or does the customer buy a naked
23 truck from a dealer and then buy a body from someone
24 else?

25 PROFESSOR NEVEN: I think that typically -- you have

1 alternative models -- is that the customer might arrange
2 for the dealers to actually put the body that they have
3 purchased from someone else onto the chassis, and
4 I understand this is something that the dealers can do.
5 I also understand that there are circumstances in which
6 the dealer will -- I mean, together with the final
7 customer, will procure the body so that the dealer will
8 actually play a role in the negotiation for the bodies.
9 But I am not sure it matters all that much.

10 MR RIDYARD: No, I am not sure it does either. I was just
11 trying to fill in the gap.

12 If you go back to your scenario, Professor Neven --
13 let us take a simplified purely hypothetical example.
14 Let us say we found a 10% overcharge on the naked trucks
15 and let us say -- to make it easy, let us say the truck
16 was the same value as the body, you are then saying, if
17 we then applied that 10% overcharge to the truck and
18 body, we would be doubling the effect of the
19 infringement?

20 PROFESSOR NEVEN: That is correct, yes.

21 MR RIDYARD: So what is the answer to that, then, Mr Harvey?

22 MR HARVEY: I think if the body is unaffected by the
23 infringement, then that would overestimate the effect of
24 the infringement by taking that calculation step, so
25 I do not think there is a disagreement on that.

1 MR RIDYARD: Yes. So the proposition -- so it all rests on
2 whether the infringement impacted the body price -- the
3 prices that DAF charged to Royal Mail for the bodies?

4 MR HARVEY: I think so, yes.

5 MR RIDYARD: How do we --

6 THE CHAIRMAN: How do we test that?

7 PROFESSOR NEVEN: The thing is that we do not have the data
8 to test that case. We have performed a sensitivity
9 analysis in which, instead of using the invoice
10 prices -- and, you know, the invoice prices, as you
11 pointed out, are invoices for the naked truck together
12 with bodies when the body was attached and was sold by
13 DAF to the customer -- we have also performed an
14 exercise in which we remove the body value, when we can,
15 and we estimate the overcharge then on what is the naked
16 truck. I mean, it does not really change very much the
17 estimation of the overcharge. Of course this is
18 something that we can do for the later period because in
19 the later period, I mean, we have an MLO which is
20 truck-specific and so we can identify the body. We
21 cannot do that for the earlier period. Unfortunately,
22 this is a limitation of the data. But for the later
23 period, if we do that exercise of stripping out the
24 value of the body or estimates of the overcharge, then
25 it will change.

1 THE CHAIRMAN: The invoice presumably specifies a separate
2 amount for the body, does it?

3 PROFESSOR NEVEN: I am not sure you want to know the
4 details, but there are sort of two sources of
5 information for that. One source of information is --
6 as a straight answer to your question, it does not;
7 okay?

8 THE CHAIRMAN: It does not?

9 PROFESSOR NEVEN: No. So you have to infer the value of the
10 body either from the MLO data or from the options data.
11 I mean, there are two sources from which we can identify
12 the value of the body. Of course, in the case of
13 Royal Mail, we can extend the analysis for the earlier
14 period, the AS/400 period, something we cannot do for
15 the other customers who bought trucks together with
16 bodies. We can do it in the case of Royal Mail because
17 we have the contracts, and so even though, I mean, the
18 invoice would not specify exactly, you know, which body
19 was purchased in the context of a particular contract,
20 we assume -- actually, if you look at these contracts,
21 they are menus; okay? They will tell you, "Here is
22 an LF 55 with that sort of characteristic and in the
23 context of the contract we have two types of bodies,
24 a body at £7,000, a body at £8,000", whatever.

25 We have assumed, in order to have an imputed value

1 for the body, that we always take the least value, so we
2 are conservative. So we always take out what could have
3 been, in the context of the implementation of these
4 contracts, the lowest value for the body. I am not
5 clear, is it?

6 THE CHAIRMAN: No, I think I understand.

7 MR RIDYARD: Mr Harvey, you had some concerns with
8 Professor Neven's approach to swapping out the body
9 price.

10 MR HARVEY: Yes, I think Professor Neven has touched on some
11 of them. There is the practical difficulty of
12 identifying which body was purchased on the
13 contract-matching approach. The more fundamental issue
14 is: what is, if you like, the true value of the body
15 bit? So, as I understand it, these contracts were --
16 they were being negotiated for the supply of the
17 bundle and so, even though a contract might list, you
18 know, £20,000/£30,000 for the truck, £5,000 for the
19 body, some high amount for a radio, it is not clear that
20 relates necessarily to the economic value of the body
21 bit. So they are the sorts of concerns I had with the
22 contract-matching approach. The --

23 THE CHAIRMAN: Do you mean that DAF might be making a sort
24 of turn on the bodies if they are --

25 MR HARVEY: It is more just -- although an invoice or

1 something like that might just list out, you know, "Here
2 is the price of the truck, here is the price of the
3 body", in the end what was negotiated over was the total
4 package.

5 MR RIDYARD: This is where we are trying to work out the
6 body value from the contract itself as opposed to going
7 through the MLO --

8 MR HARVEY: Correct, yes. So I understand the pragmatism,
9 but that is a limitation of the work.

10 MR RIDYARD: Yes.

11 MR HARVEY: Then the other approach was just to identify the
12 cost of the body in the MLO. The concern there is
13 I think that the cost is not just for the body --

14 PROFESSOR NEVEN: There is a tail-lift.

15 MR HARVEY: -- it is for other things.

16 PROFESSOR NEVEN: There is a tail-lift. Yes, it is true
17 that -- I mean, Royal Mail --

18 THE CHAIRMAN: There is what?

19 PROFESSOR NEVEN: A tail-lift.

20 THE CHAIRMAN: Other bits and pieces added on.

21 PROFESSOR NEVEN: In order to lift the letters into the
22 truck.

23 So Royal Mail was always buying the body and the
24 tail-lift, so essentially what we regard as the body is
25 actually the combination between the body and the

1 tail-lift, which is --

2 THE CHAIRMAN: They are not separated out?

3 PROFESSOR NEVEN: No, they are not separated out. It is
4 essentially a redefinition of the body. Instead of
5 being a simple body, it is a body with a tail-lift.

6 THE CHAIRMAN: But in both cases they are not manufactured
7 by DAF?

8 PROFESSOR NEVEN: Exactly. So they are -- economically they
9 are identical.

10 MR RIDYARD: Okay. I am not sure there is -- unless you
11 think we have missed something important, I am not sure
12 there is much more we have to ask on that topic. Okay.

13 THE CHAIRMAN: All right. Any clarification?

14 MR BEARD: No.

15 THE CHAIRMAN: Same for you, Mr Ward?

16 MR WARD: I do actually, if I may.

17 THE CHAIRMAN: Right.

18 MR WARD: Firstly, there is a -- pure information for the
19 tribunal. There was a discussion a few minutes ago
20 about when DAF started to manufacture its own bodies,
21 and it is actually Mr Ashworth's evidence, if I can just
22 give you the reference. We do not need to turn it up
23 unless you wish to. It is {D/22/55}, paragraph 218,
24 where he says -- sorry, I will just turn it up:

25 "From late 2007, DAF UK customers were able to

1 request that Leyland Trucks build 'box bodies' ..."

2 So that is just Mr Ashworth's evidence.

3 THE CHAIRMAN: Thank you.

4 MR WARD: The second was a clarification question for

5 Mr Harvey, where we have just been talking about the two
6 different methodologies Professor Neven uses to
7 calculate the body value. On page [116] of the
8 transcript, the chairman said, "Do you mean that DAF
9 might be making a sort of turn on the bodies ...", and
10 you said, Mr Harvey:

11 It is more just -- although an invoice or something
12 like that might just list out, you know, 'Here is the
13 price of the truck, here is the price of the body' ..."

14 Is it right that the body is listed on the invoice?

15 I see Professor Neven shaking his head.

16 MR HARVEY: No, sorry. That was misleading. It is the
17 contract that has the price of the body. The invoice
18 obviously is the invoice for the bundle. Apologies.

19 MR WARD: Thank you. Those were my only questions.

20 THE CHAIRMAN: I think what I was trying to get at was that
21 if you are using the MLO cost for the body and the
22 tail-lift or whatever, does that match up in any way to
23 the invoice or the contract price for the bodies?

24 PROFESSOR NEVEN: Yes. I mean, you can do the exercise both
25 ways actually. You can use the contracts in the case of

1 Royal Mail and you can also use the MLO or the bob-lob
2 data, and they lead to very similar results.

3 THE CHAIRMAN: But that assumes that there was no margin
4 on --

5 PROFESSOR NEVEN: Yes, but this margin argument, I am not
6 sure that I am so concerned about it because there is
7 a competitive market. You know, if DAF is trying to
8 have double marginalisation, as we say in economics, if
9 DAF is trying to charge a margin on a margin, well,
10 Royal Mail are going to run away. They are going to
11 say, "Okay, fine. We are going to procure our own
12 bodies".

13 MR BEARD: I do have a clarification just on that. It is
14 because it was for the transcript.

15 I think Professor Neven referred to "bob-lob"; is
16 that right?

17 PROFESSOR NEVEN: Yes.

18 MR BEARD: Is it worth just explaining what "bob-lob" is?

19 PROFESSOR NEVEN: I mean, bob-lob is a file that has been
20 shared by DAF with Royal Mail which essentially has all
21 the options and it is a file in which you can identify
22 the value of the body in those circumstances in which it
23 was not purchased by -- it was not manufactured by
24 Royal Mail. So it is actually from this bob-lob file
25 which is --

1 THE CHAIRMAN: You mean not manufactured by DAF?

2 PROFESSOR NEVEN: By DAF, sorry. I am getting tired. It is
3 not manufactured by DAF.

4 So you do not actually get it straight in the MLO;
5 you get it from this bob-lob file, which is a more
6 specific list of items.

7 THE CHAIRMAN: All right. Thank you.

8 MR BEARD: It is just underlying data, but otherwise the
9 transcript would be unintelligible.

10 THE CHAIRMAN: No, that is a perfectly legitimate
11 clarification.

12 Right. Thank you. I think that is the end of the
13 hot tub session, so we will break now. You are released
14 from any restrictions. We will resume with
15 cross-examination tomorrow. I assume it will be
16 Mr Harvey who is cross-examined first?

17 MR BEARD: Yes, I assumed we would go in the same order.
18 I have not discussed with Mr Ward how long he would
19 intend to be with Professor Neven so I might do that
20 overnight. I do not know whether or not it would be
21 sensible, on a precautionary basis, to think about
22 starting at 10 o'clock tomorrow.

23 THE CHAIRMAN: How long do you think you are going to be?

24 MR BEARD: I think I am going to be a good two and a half
25 hours so I will be the morning, I think, even at

1 reasonable pace, with Mr Harvey. Obviously I will look
2 overnight to see if I can curtail things, but I do not
3 have a sense -- Mr Ward and I have not spoken about this
4 so I do not know Mr Ward's position.

5 THE CHAIRMAN: Well, we certainly have to finish by close of
6 play tomorrow.

7 MR BEARD: That is exactly it. I am concerned -- that is
8 why I am quite aware of it, but I do not know if Mr Ward
9 is in a position to comment now.

10 MR WARD: Yes, I also think I need that sort of amount of
11 time, so starting early --

12 THE CHAIRMAN: We will start at 10.00.

13 MR WARD: -- seems like a good idea.

14 MR BEARD: I am most grateful. Thank you.

15 THE CHAIRMAN: All right. Thank you very much, everyone.

16 We will see you tomorrow at 10.00.

17 (3.01 pm)

18 (The hearing adjourned until.

19 Friday, 27 May 2022 at 10.00 am)

20

21

22

23

24

25

INDEX

1

2 MR JAMES HARVEY (continued)1

3 PROFESSOR DAMIEN NEVEN (continued)1

4 Questions by THE TRIBUNAL (continued)1

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25