

This Transcript has not been proof read or corrected. It is a working tool for the Tribunal for use in preparing its judgment. It will be placed on the Tribunal Website for readers to see how matters were conducted at the public hearing of these proceedings and is not to be relied on or cited in the context of any other proceedings. The Tribunal's judgment in this matter will be the final and definitive record.

IN THE COMPETITION
APPEAL TRIBUNAL

1517/11/7/22

Salisbury Square House
8 Salisbury Square
London EC4Y 8AP

Monday 18th November- Friday 20th December 2024

Before:

The Honourable Justice Michael Green
Ben Tidswell
Professor Michael Waterson

Merchant Interchange Fee Umbrella Proceedings

A P P E A R A N C E S

Sonia Tolaney KC, Matthew Cook KC, Owain Draper & Daniel Benedyk on behalf of Mastercard
(Instructed by Jones Day and Freshfields Bruckhaus Deringer LLP)

Daniel Jowell KC, Jessica Boyd KC, Isabel Buchanan, Ava Mayer & Aislinn Kelly-Lyth on behalf of
Visa (Instructed by Linklaters LLP and Milbank LLP)

Kieron Beal KC, Philip Woolfe KC, Oscar Schonfeld, & Reuben Andrews on behalf of the SSH
Claimants (Instructed by Scott + Scott UK LLP and Stephenson Harwood LLP)

Mark Simpson KC, Jack Williams & Alastair Holder Ross on behalf of Walter Merricks CBE
(Instructed by Willkie Farr & Gallagher (UK) LLP)

Monday, 16 December 2024

(10.30 am)

THE CHAIRMAN: Good morning.

MR BEAL: Good morning.

Please may I call Dr Stefano Trento to give evidence
on behalf of the SSH Claimants.

THE CHAIRMAN: Yes.

DR STEFANO TRENTO (affirmed)

Examination-in-chief by MR BEAL

THE CHAIRMAN: Please sit down, Dr Trento.

MR BEAL: Please could you give your business address for
the Tribunal.

A. Yes, it is Paseo de la Castellana 7 in Madrid, Spain.

Q. Do you have before you copies of your reports?

A. I do.

Q. Could you look, please, in your first report {RC-F2/1}.

Could you go, please, to page 17 {RC-F2/17}. Whose
signature is that?

A. This is my signature.

Q. You there say that you have set out the required experts
declarations at the end of my report. Please could you
turn to page 320 {RC-F/2/320}. Are those the expert
declarations that you have made for this report?

A. Correct, yes.

Q. Are there any changes you would like to make to this

1 first report?

2 A. No changes.

3 Q. Are the contents of that report true to the best of your
4 knowledge and belief?

5 A. It is.

6 Q. Now, your second report is probably in a different
7 folder, I am sorry about that, but for everyone else it
8 is {RC-G/2/1}. Could we look, please, at page 153 in
9 this report {RC-G/2/153}. This is table 10.

10 I understand that there are some corrections to be made
11 to the last two rows on this table. Is that right?

12 A. Sorry, just one second. 143?

13 Q. 153.

14 A. 153. (Pause)

15 Yes, that is correct. So this table shows our
16 results for the estimate of the pass-on of overhead
17 costs for Three, using a modification on Mr Coombs'
18 model, and the last two rows, there is a typo, a
19 copy-based error. So in the last -- sorry, in the
20 second to the last, where we read "Pass-on rate -
21 overheads", that should be "Pass-on rate of total costs
22 minus overheads".

23 Then the last row, where we read "Pass-on rate -
24 handset", that should be "Pass-on rate of overhead
25 costs".

1 Q. So the second to last row is total costs minus overheads
2 and the last row is pass-on rate overheads?
3 A. Correct.
4 Q. Thank you. Are there any other changes you would like
5 to make to this report?
6 A. No further change.
7 Q. Could we look, please, at page 11 {RC-G/2/11}. Whose
8 signature is that?
9 A. Sorry. (Pause)
10 This is my signature.
11 Q. Again, you say that the expert declaration is at the end
12 of the report. Could we look at page 333, please
13 {RC-G/2/333}.
14 A. Yes.
15 Q. Is that the expert declaration you have given for this
16 report?
17 A. It is.
18 Q. Are the contents of this second report true to the best
19 of your knowledge and belief?
20 A. It is.
21 Q. You will recall that there were some additional papers
22 presented by AlixPartners. Are you familiar with that?
23 A. Yes.
24 Q. Could we look, please, at {RC-Q6/3/1}. This will be on
25 the screen in front of you, Dr Trento. (Pause) Thank

1 you very much.

2 Are you familiar with this document?

3 A. I am.

4 Q. I hope this is not controversial. You prepared
5 a responsive paper, is that correct?

6 A. That is correct.

7 Q. Please could we look at {RC-Q1/4/1}. This is a response
8 dated 9 December 2024 in relation to that particular SSH
9 claimant.

10 A. Yes.

11 Q. In broad outline, what were your conclusions from this
12 report?

13 A. I think it needs a bit of background, I am sorry. The
14 background is the following: if we can maybe scroll down
15 to the next page {RC-Q1/4/2}. Yes. So the figure that
16 we see in this page is a figure that was in my second
17 report and that figure shows the evolution of the share
18 of standard rooms that are booked at one of the Hilton
19 hotels for which we have data, and that shows that the
20 share of standard rooms booked at that hotel goes down
21 in time, so it decreases in time.

22 So my conclusion for that is that it is very
23 important to look at the data, Hilton data, at the
24 disaggregate level, transaction by transaction, because
25 if we do that, then we can control for the fact that

1 maybe the average price has decreased, not because the
2 price for the room has decreased, but just because there
3 are more standard rooms that are booked, or actually the
4 opposite, the average price may have increased because
5 there are less standard rooms that are booked and more
6 premium rooms that are booked. So this evolution will
7 have an effect on the average price of rooms booked in
8 the Hilton hotel.

9 So I did the analysis at the transaction levels to
10 control for this effect, and then Mr Holt did an
11 analysis, not at a disaggregate level, but at an
12 aggregate level, and therefore I said in my second
13 report Mr Holt's analysis could be problematic because
14 there could be a mix effect whereby he finds an increase
15 in the average price of a Hilton booked room, not
16 because there is a higher price, but just because
17 a larger share of premium rooms are booked, and that is
18 problematic from an econometric point of view.

19 Then we received from AlixPartners, I think it was
20 last week, we receive an analysis that expands the
21 analysis that we have done in Trento 2.

22 Q. Could we look at page 3. I think that is probably the
23 analysis you are referring to.

24 This report is confidential, so we are obviously not
25 going to deal with any specifics, but is that the

1 analysis, broadly speaking, that you are referring to at
2 page 3? {RC-Q1/4/3}

3 A. That is correct. So the analysis basically replicates
4 the analysis we have done in our second report for all
5 of the Hilton hotels for which we have data, which are
6 29 hotels, and the bars that are in red, they represent
7 the Covid period, and then the bars that are in blue,
8 they represent the period after Covid, and we have got
9 no further explanation, so it is a bit unclear to us
10 what this chart purpose to show.

11 If I had to guess, my guess would be that when you
12 look at other hotels, the share of standard rooms that
13 are booked, it does not change -- it does not vary in
14 time as much as the hotel that we have analysed, but
15 I do not think this is a problem -- sorry, I do not
16 think that this actually gives comfort about the
17 analysis that Mr Holt has done with the aggregated data,
18 because we have been very clear in Trento 2 that
19 aggregating the data can be problematic for many
20 reasons.

21 One is the standard -- sorry, one is the -- what we
22 discussed before, that the share of standard room booked
23 can change in time, and we can see that also for other
24 hotels, that is the case. You do not need to see
25 a monotonic increase or decrease for this to be

1 a problem; just from January to February the share of
2 standard room booked changes, that will have an effect,
3 automatic, mechanic effect on prices, and so the problem
4 is still there.

5 The other one is that the share of standard room
6 booked is just an example, and we have been very clear
7 in Trento 2 that another example could be the lead time,
8 so the difference between the time when the room was
9 booked and the time of the stay. That, also, we know
10 that may have an effect on price.

11 Q. Just pausing you there. Could we look, please, at
12 page 8 of this document {RC-Q1/4/8}. Is this dealing
13 with booking lead time? That is what it says at the top
14 of the page.

15 A. That is correct. Here on page 8, what we do is we
16 replicate the analysis for all of the Hilton hotels, and
17 then we look at the variability of the lead time, so the
18 average monthly lead time for each hotel, and then we
19 see that it changes from month to month quite
20 dramatically, and that, again, will have an effect on
21 the average price that Mr Holt used for the estimation
22 of the pass-on for Hilton, and it does not instead
23 affect our analysis, because our analysis is based on
24 transaction level data, and we control for the fact that
25 if the lead time is longer, then the price is likely to

1 be lower.

2 Q. The second piece of additional work that came in from
3 AlixPartners related to EBITDA margins. Do you remember
4 that?

5 A. I do.

6 Q. Can we look, please, at {RC-Q6/6/1}. This is a document
7 dated 6 December 2024. Have you looked at that document
8 now?

9 A. I have looked at the document, yes.

10 Q. Could we look, please, at {RC-Q1/5/1}. This is
11 a responsive document. Is that a document you have
12 prepared?

13 A. This is a document that I have prepared, yes, together
14 with my team.

15 Q. Please could we look at page 7 {RC-Q1/5/7}. There is
16 a summary there of your conclusions. Does that
17 paragraph reflect your conclusions or is there anything
18 else you would want to bring out as a broad summary of
19 where you got to with the EBITDA margin analysis?

20 A. I think it is a fair summary. Maybe just very, very
21 briefly, 20 seconds I think, what we have found -- so we
22 have received the AlixPartners document without further
23 explanation. I think what we have found is that the
24 selection of the companies for which AlixPartners made
25 an analysis, it seems arbitrary. There is an issue with

1 the selection of companies. So basically what they are
2 doing is comparing the average MSC to the average
3 EBITDA, and they find that the ratio is -- can go up to
4 11%. Then when we fix some of the issues in their
5 analysis, we find that actually that ratio is much, much
6 smaller, and if one looks at the ratio of the MIF, which
7 we think is more relevant to this case than the MSC,
8 because the MSC includes some elements that are not
9 affected by the overcharge, when one does the analysis
10 of the ratio of the MIF over the weighted average
11 EBITDA, we did some correction to the analysis of
12 AlixPartners, then you get as low as 1% to 2%.

13 In any event, I think Mr Economides already
14 explained that comparing a cost to a margin may not be
15 a very informative exercise, because if you compare
16 wages to EBITDA, then you will be in the area of
17 probably 1,000% instead of 11% that AlixPartners
18 estimates and instead of the 2% -- 1 to 2% that we
19 estimate.

20 MR BEAL: Thank you very much. I am sorry that was longer
21 than usual. We were dealing with the --

22 THE CHAIRMAN: This is the new material that was -- yes.

23 MR BEAL: I hope -- let us see where we get to, but I hope
24 I will not need to recall Mr Holt to give further
25 evidence.

1 THE CHAIRMAN: Yes, we were going to revisit that at the end
2 of last week, were we not, but we did not.

3 MR BEAL: I will confirm by the end of Dr Trento's evidence,
4 but hopefully not. That is my ambition.

5 Would you wait there, please. There will be some
6 further questions for you.

7 THE CHAIRMAN: Mr Jowell.

8 Cross-examination by MR JOWELL

9 MR JOWELL: Good morning, Dr Trento.

10 A. Good morning, Mr Jowell.

11 Q. I should start with the usual caveats. First, pursuant
12 to the understanding, I will not be challenging every
13 statement that you make in your evidence, and, secondly
14 I should note that I intend to start my
15 cross-examination, the first half of so of it will be in
16 open, so I hope for it to be in open session, and so
17 when I do refer to any confidential document, we need to
18 take care not to mention any names. Then at a certain
19 point we will go, with the Tribunal's permission, into
20 closed session, and then we can be free to discuss the
21 confidential claimants -- willing claimants
22 specifically.

23 I would like to start off, if I may, by just getting
24 an overview of your overall methodology by which you
25 have arrived at your estimates of pass-on in these

1 proceedings, and I want to understand in particular
2 which parts of your methodology are your work and which
3 parts are Mr Economides' work and which parts are, if
4 you like, a joint effort.

5 A. Yes.

6 Q. So, as I understand it, and this just by way of a very,
7 very broad high-level overview, one can divide your
8 method into broadly speaking three stages. First of
9 all, putting all the claimants into sectors; secondly,
10 deriving estimates for certain of the claimants, the
11 so-called analysed claimants; and then, thirdly,
12 extrapolating from the analysed claimants to other
13 claimants. Is that fair at a very high level?

14 A. I think it is fair.

15 Q. Thank you. So if we could go then to paragraph 1.21 of
16 your first report {RC-F/2/14}. If we can go to page 14,
17 please.

18 A. Sorry, maybe just one clarification. The third step
19 that you mentioned, which is the extrapolation from one
20 sector to another sector --

21 Q. No, that was not the first step. The first step was
22 just dividing into sectors and putting the claimants
23 into sectors.

24 A. Sorry, that is my accent. The third step, I said.

25 Q. The third step, yes.

1 A. The third step, which is extrapolating a result from one
2 sector to other sectors --

3 Q. Yes.

4 A. -- that is an exercise that Mr Economides --

5 Q. That is what I was going to come on to. I am grateful
6 for that clarification, but I want to take it stage by
7 stage and see who is responsible for what. If that is
8 acceptable.

9 A. Of course.

10 Q. So if we could go to 1.21, which has now come up, you
11 will see you say there:

12 "Overall, there are more than 2,000 SSH Claimants
13 bringing claims against Visa and/or Mastercard in the
14 present proceedings, operating in a wide range of
15 different sectors. It is thus impracticable to estimate
16 pass-on empirically for each of them.

17 "1.22 In order to assist the Tribunal with the
18 question of merchant pass-on, I have aggregated
19 merchants that I consider to be likely to pass on the
20 MSCs to a similar extent into groups (or 'sectors'). I
21 then estimate the pass-on for those sectors. I refer to
22 this approach as the 'sectoral approach'."

23 Do you recall that evidence?

24 A. Yes.

25 Q. So stage 1 of identifying the different sectors of the

1 economy, and then allocating the various claimants into
2 a sector, you deal with that in a bit more detail on
3 page 40, please {RC-F/2/40}. If we pick it up at 5.5,
4 we see you make some general comments. You note that in
5 standard proceedings there is only one claimant and that
6 can take a lot of effort, effectively, and requires
7 a lot of data:

8 "As set out above, the present proceedings involve
9 more than 2,000 claimants ..."

10 It would not be -- effectively, you say, it would
11 not be practical.

12 Then in 5.8, you say:

13 "These proceedings thus require a departure from the
14 first best approach, as it simply not practical to
15 disclose, process and analyse data and accompanying
16 qualitative evidence for over 2,000 claimants and
17 estimate pass-on for each of them in a reasonable
18 timeframe.

19 "5.9 Given that first best approach is not feasible
20 ... a second best approach must be devised ... the
21 second best approach involves aggregating SSH Claimants
22 into a limited number of sectors and estimating the
23 pass-on for each of those sectors."

24 Then to that aim, if we then go to page 42, please
25 {RC-F/2/42}, we see, in 5.18, that you order the sectors

1 from the one accounting for the largest amount of the
2 SSH claim to the one accounting for the smallest amount
3 of the SSH claim; yes?

4 A. Yes.

5 Q. Due to settlements and various other factors, those top
6 ten sectors by claim value have changed a bit over the
7 course of these proceedings, have they not?

8 A. Yes. So the first list that I have produced was back
9 for the CMC that we had on 10 and 11 January, and since
10 then there has been -- there have been settlements for
11 two big merchants and --

12 Q. Forgive me, I do not want to interrupt you, but where we
13 have got to is that those top ten sectors now account
14 for 70% of the claim --

15 A. I think it is --

16 Q. -- the SSH Claimants' claim.

17 A. I think it is more than -- sorry, 70%, yes, you are
18 right.

19 Q. By claim value.

20 A. Correct.

21 Q. At least at the time this report was prepared.

22 A. Yes. So 70% of the claim by value, and I think it is
23 80% -- if I am not mistaken, it is 80% of the number of
24 claimants.

25 Q. A little less, but more or less.

1 A. Sorry, yes.

2 Q. If we then go to {RC-I1/98/1}, which is your division by
3 sectors, or a division by sectors, I should say. If we
4 could open this document up. Here we are.

5 We see along the top, CL sector name, CL sector,
6 sector by Economides, number of entities, and then the
7 a percentage of the MIF. Now, the CL sector name, is
8 that Compass Lexecon sector name or is that something
9 else? I was not sure --

10 A. It is Compass Lexecon. I think there may be a very
11 small difference on online travel agencies, because
12 I think in the report we call them online travel agency
13 platforms.

14 Q. So just that I can understand, then we see the sector by
15 Mr Economides. So they seem to be two different sets of
16 sectors, and I just want to understand, first of all,
17 who identified the sectors; was that you or
18 Mr Economides or was it a joint effort?

19 A. No, so for the first list of sectors -- okay, so what we
20 wanted to do is we have 2,000 claimants and, as
21 Mr Jowell has reminded us, what I said in the first
22 report, it is very difficult to -- we cannot possibly
23 estimate the pass-on for each and every claimant. So
24 what we have done is we have listed sectors from the
25 highest share of the claim to the lowest share of the

1 claim, then we have looked at the top ten sectors and we
2 have found that these account for a large majority of
3 claimants and share of claim.

4 What we have tried to do is to estimate pass-on as
5 accurately as possible for those sectors, and the way to
6 do that is we have tried to keep the definition of
7 sector as narrow as possible. So you will see that we
8 have, for instance, one sector that is called health
9 retail, that is Holland & Barrett and iHerb, for
10 instance. We do not have, you know, a broader retail
11 sector, we have a very specific narrow sector, and we
12 try to estimate pass-on as accurately as possible for
13 those sectors.

14 Q. Forgive me, but I am just trying to understand. My
15 question was just who did the job? Who identified the
16 sectors, you or Mr Economides or somebody else?

17 A. It was a long introduction, sorry, yes. So the first
18 thing we did is we ordered the -- sorry, we generated a
19 list of sectors which was very, very narrow --

20 THE CHAIRMAN: When you say "we"?

21 A. Sorry, Compass Lexecon. Compass Lexecon produced a list
22 of sectors which was very, very narrow, and then I think
23 we ended up with -- I cannot remember -- maybe between
24 60 or 80 sectors. Then we only looked at the first ten,
25 and then Mr Economides then, he -- so basically from

1 number 12 onwards -- sorry, number 11 onwards, we
2 have -- we define sectors but we do not use them. We do
3 not use that in our ... what Mr Economides has done is
4 that he has aggregated some of the sectors that are from
5 11 to 60 or 80 in our analysis, he has aggregated some
6 of them into broader sectors, and then he has matched
7 those broader sectors to one of the top ten.

8 Sorry, I do not know whether this is ...

9 MR JOWELL: So is it fair to say that numbers 1 to 10 you
10 did, but then 11 -- after that, Mr Economides messed
11 around with them a bit? I do not mean that
12 pejoratively.

13 A. I do not know whether this ... but, yes, 1 to 10 we did,
14 and then 11 to the last one Mr Economides did.

15 Q. Adjusted?

16 A. Adjusted.

17 Q. Okay. Who then put the different claimants into the
18 different sectoral buckets?

19 A. The first ten we did, and then the last -- sorry, 11
20 onwards Mr Economides did.

21 Q. I see. So when one, for example, looks at household
22 goods, which is, I think, down at number 30, it would
23 have been Mr Economides who would have been responsible
24 for putting the companies into that bucket?

25 A. Correct.

1 Q. Thank you. That would have included Interflora, would
2 it not? Well --

3 A. I am not 100% sure, but I have no reason to doubt that
4 that is the case. But, sorry, can I explain the reason
5 why Mr Economides did the aggregation for the other
6 sector is that then he had to match each of the sectors
7 to one of the top ten, so it made sense for him to do
8 the aggregation for the sector from number 11 onwards.

9 Q. Okay.

10 A. Rather than us.

11 Q. Now, could we then move to the second stage of your
12 analysis, which is identifying the pass-on rate for the
13 top ten sectors. Now, you, I think, recognised the
14 possibility of estimating pass-on rates for the sectors
15 by reference to a number of different data sources, but
16 in the final analysis, such as, for example, publicly
17 available pricing data or studies in the economic
18 literature, but in the event, as it has transpired, the
19 only data that you rely on for your estimates for these
20 sectors are those of certain of the willing claimants.
21 That is correct, is it not?

22 A. It is broadly correct. We have taken into account one
23 or two papers from the economic literature I think for
24 the supermarket sector and for the restaurant sector.

25 Q. I mean, you have considered them, but at the end you

1 disregard them, and the only data that you actually --

2 A. I -- sorry --

3 Q. It seems the only data you actually use is for, I think,

4 ten out of the 13 willing claimants?

5 A. The data, I agree, but there is one paper at least for

6 the supermarket sector that we have taken into account.

7 Q. Okay. Well, we will see.

8 A. Yes.

9 Q. The category of airlines was one of your top ten sectors

10 but there was not a willing claimant for it, is that

11 right?

12 A. Correct.

13 Q. So in the end, you were only able to arrive at estimates

14 using the willing claimant data for nine out of the ten

15 top sectors; correct?

16 A. Correct --

17 Q. I think you call those the analysed sectors?

18 A. Sorry -- this is correct, but with the only caveat that

19 then in the second report we have also looked at three

20 additional claimants, which are Pets at Home, WorldRemit

21 and ...

22 Q. Pendragon.

23 A. Thank you very much.

24 Q. But at least in your first report, it is only for nine

25 out of the ten sectors?

1 A. Correct.

2 Q. That brings you down so that your analysed sectors
3 account for two-thirds of the SSH claim by claim value?

4 A. Correct.

5 Q. Right. So for the other 21 sectors, which I think
6 Mr Economides calls the additional sectors, those
7 account for one-third of the claim value?

8 A. Correct.

9 Q. Now, I take it that for this second stage, arriving at
10 the estimates for these nine analysed sectors, you alone
11 and not Mr Economides was responsible for the technical
12 econometric part of running and interpreting the
13 regressions themselves; correct? The actual running of
14 the regressions, the technical side of the regressions,
15 that was your --

16 A. That is correct, yes.

17 Q. Now, if we could go, please, to {RC-F/2/15}. You will
18 see paragraphs 1.27 to 1.29. You mention the
19 endogeneity problem and you say you eventually abandoned
20 the direct estimation approach and only relied on
21 a proxy cost approach. You say:

22 "1.28 Under the proxy cost approach, it is not the
23 pass-on of the MSCs which is estimated, but rather the
24 pass-on of a different cost borne by a merchant. This
25 alternative cost measure should have two key properties,

1 one conceptual, that I consider inevitably require
2 a qualitative consideration of the business under
3 consideration, and one practical: (i) conceptually, its
4 pass-on rate should be expected to be the same as or
5 similar to the pass-on rate of the MSCs, and (ii)
6 practically its pass-on rate should be easier to
7 determine empirically. I understand that the other
8 experts have also used a proxy cost approach."

9 Then you say:

10 "Another challenge of the direct estimation of the
11 pass-on of the MSCs is that the MSCs are small costs,
12 which makes it difficult for econometric models to
13 correctly disentangle their effect on prices from the
14 effect of other factors. To the extent that proxy costs
15 are similarly small, this is an issue that also affects
16 the proxy cost approach and that affects my choice of
17 proxies."

18 Now, so that is the signal to -- that last point is
19 the signal to noise issue; correct?

20 A. Correct.

21 Q. Right. So you have used this proxy cost approach and
22 one of the important choices, of course, was then which
23 is the best cost proxy to use. I think that initially
24 you were inclined to follow the views of Mr Economides
25 as to which proxy costs were appropriate for each of the

1 nine sectors; that is correct?

2 A. That is correct. The issue is that because we cannot
3 estimate the pass-on of the MSC directly, we need to
4 rely on proxy costs, and then we need to make sure that
5 the proxy cost is chosen accurately. Because if we get
6 a proxy cost that is wrong, then we are going to
7 estimate a pass-on that is different from the pass-on of
8 the MSC, and therefore Mr Economides has done an
9 exercise of trying to identify within the cost of each
10 of the ten -- you know, the claimants that we have
11 analysed, what is the correct proxy cost.

12 So we have gone from trying to analyse the MSC,
13 which we tried to do but we could not have done, to
14 a second best, which is try to analyse a proxy cost
15 identified by Mr Economides.

16 Q. Now, in the event, that second best did not work, did
17 it, because -- save in possibly one case, and we will
18 come to that, but you found that you were unable to use
19 the specific cost proxies suggested by Mr Economides
20 because they too were too small and lacking in variation
21 to permit you to disentangle the effect of the proxy
22 from the other factors, and so they led to unstable and
23 unreliable results?

24 A. That is correct. Just a one-second clarification, which
25 is we have asked Mr Economides to identify proxies that

1 are bigger than the MSC and that did not have an
2 endogeneity problem, which is one of the reasons why we
3 could not estimate the pass-on of the MSC. But, as
4 Mr Jowell correctly said, except for one claimant, we
5 could not estimate the pass-on of the proxy cost
6 identified by Mr Economides reliably.

7 Q. So you then went to another approach, yes, for all --
8 save for that one claimant, and that one sector, and
9 that was to use effectively total overhead costs --

10 A. Correct, for -- sorry.

11 Q. -- excluding the MSCs?

12 A. Excluding the MSCs, which gives an endogenetic problem,
13 yes -- sorry.

14 Q. So I want to -- we will come back to discuss the merits
15 of that approach in a moment, as I am sure you expect,
16 but I just want to understand now, again, who was
17 responsible for that ultimate choice of the proxy cost
18 of total overheads? Was that -- because before, as we
19 discussed, you were relying on Mr Economides. So was
20 this now your choice or Mr Economides' choice or was it
21 both of you?

22 A. It was --

23 Q. To then go to total overheads?

24 A. It was my choice.

25 Q. Thank you.

1 Now, if we read then -- if we could read -- go to
2 page 69 in your report {RC-F/2/69} and if you see 9.26.

3 If you could read with me these paragraphs:

4 "The fact that the SSH Claimants that disclosed
5 evidence generally treat the MSC as an overhead cost
6 shows that it is very important to analyse factual
7 evidence with respect to both how claimants classify the
8 MSCs, for example as COGS or overheads, and their
9 pricing and budgeting policies to check for potential
10 mechanisms for the pass-on of the MSCs. The limitation
11 of my approach is that it considers evidence from only
12 one claimant per sector. This creates a risk that the
13 proxy cost that is identified for that claimant is not
14 a relevant proxy for other claimants operating in the
15 same sector. This would be the case, for example, if
16 the merchant claimant I analysed treats the MSC as an
17 overhead cost while other merchant claimants operating
18 in the same sector, and which I do not analyse, treats
19 the MSCs as COGS."

20 So just pausing there. Could we just unpack that
21 a little bit, because I think you are rightly
22 identifying here two or perhaps three different factors
23 or assumptions that go into your approach. So the first
24 assumption, I think, is a question of accounting
25 classification: do all firms within the same sector

1 classify costs in the same way for accounting purposes,
2 and specifically if one firm within an industry sector
3 treats an item like MSCs as a line item within COGS or,
4 alternatively, as a line item within overheads or
5 operating costs, is it safe to assume that all other
6 firms in the sector it competes with adopt the same
7 accounting classification of the cost item? Your
8 approach assumes they do, does it not?

9 A. I think it is a bit more nuanced than that. I think
10 the -- there has been an evolution. So, for instance,
11 for claimants which treat the MSC as overheads, yes, we
12 have taken the total overheads as a proxy cost, except
13 for one of the claimants for which we could not
14 estimate the pass-on of total overheads costs.

15 For claimants that treat the MSC as COGS, we have
16 taken a broader range of COGS as a relevant proxy cost,
17 but we have also looked at other things, like, for
18 instance, how close we consider that the proxy cost is
19 to pricing. So, for instance, if there is a claimant
20 that records many COGS at the transaction level, at the
21 product level, and then some other cost at the more
22 aggregate level, we have taken that differentiation into
23 account as well, because we consider that that will tell
24 us something about how close those costs are to pricing.

25 Q. Forgive me, you make a fair point, but I think it is

1 slightly off the point, if I can put it that way,
2 because I am just trying to understand at the moment
3 this approach of following total costs or COGS, and
4 I appreciate your point that maybe one does not take
5 total COGS, one could take a subset of COGS.

6 But this point of saying we follow the treatment of
7 one claimant, it does assume, does it not, that
8 everybody else in the sector classifies for accounting
9 purposes the MSCs in the same way? I mean if, for
10 example, you have a sector and some put it into COGS and
11 some put into overheads, then taking overheads is not
12 going to work, is it? It is not going to be
13 appropriate?

14 A. Well, to the extent that there are two companies and one
15 treats the MSC as overheads and another one treats the
16 MSC as COGS, but -- and we analyse only the first
17 company, company A, but to the extent that company A
18 then copies company B, benchmarks its prices with
19 respect to company B, such that company B treats the MSC
20 as COGS, it increases the price because the MSC has
21 increased, then we would see also price change for
22 company A, and that price change --

23 Q. I think we can absolutely agree on that.

24 A. Sorry, but it is very important -- sorry, it is very
25 important that our analysis would capture that price

1 effect. It would capture it, because what we analyse is
2 the pass-on of ...

3 Q. No, I do not think it would.

4 A. Yes.

5 Q. You are capturing total overheads.

6 A. Yes, but --

7 Q. You are not going to capture then -- you are going to
8 massively underestimate ... MSCs are not even in your
9 analysis, are they, because, as we discussed, we took
10 them out --

11 A. They are not (Overspeaking - inaudible) of the
12 endogenetic problem, yes.

13 Q. So if there is a -- so the fact is that if the scenario
14 is that the company you are analysing treats them as --
15 happens to treat MSCs as overheads, they are competing
16 with another company that treats them as COGS, and your
17 company benchmarks against COGS, then using overheads is
18 not correct, is it? That would then -- you do require
19 that assumption, do you not, that everybody in the
20 sector treats them the same way?

21 A. Well, as I said, I think if there is a price effect
22 through price benchmarking, then we would capture the
23 price effect.

24 Q. Well, forgive me, but you, would not, would you, because
25 you are simply measuring overheads costs?

1 A. Yes, and part of the overhead cost is a cost which is
2 COGS.

3 Q. No, it is not, because your proxy does not include MSCs.
4 It does not include MSCs at all within your proxy.

5 A. But the proxy, okay --

6 Q. It does not. You have stripped them out. So it is not
7 even a factor in your analysis, is it?

8 A. But because we are working with proxy costs, obviously.
9 Ideally we would work with the MSC.

10 Q. Yes, but it would not -- well, I mean, let me -- if we
11 go -- you make the point yourself.

12 A. Yes.

13 Q. You say in 9.27, you say in the second sentence:

14 "This creates a risk that the proxy cost that is
15 identified for that claimant is not a relevant proxy for
16 other claimants operating in the same sector."

17 A. I --

18 Q. If it is for them, and they benchmark against those
19 other claimants, it is not for your claimant either?

20 A. I agree. I just wanted to highlight a few nuances, but
21 in general, yes, this one of the working assumptions.

22 Q. It is an assumption, it is a key assumption.

23 There is another assumption which you have built
24 into this, which is that even assuming all competing
25 firms put MSCs into the same accounting category, either

1 overheads or COGS for every sector, you have also got to
2 assume, have you not, that all the firms then follow
3 that accounting classification when it comes to setting
4 prices, because if -- even if one firm treats it as an
5 operating cost, ie as an overhead, not as COGS, if they
6 take it into account when they price, then your model is
7 not going to correctly estimate, is it?

8 A. That is correct. It goes both ways, so when it is
9 treated as overheads and when it is treated as COGS. So
10 we, for some of the claimants, estimate the pass-on of
11 COGS, because the MSC is treated as COGS, and then your
12 argument will also work, yes.

13 Q. That pricing by reference to MSCs could occur either, as
14 I think you effectively acknowledge in 9.26, either by
15 MSCs being taken into account directly in the pricing
16 policy day-to-day or via the budgeting policies more
17 indirectly; correct?

18 A. Yes.

19 Q. Thank you.

20 Now, if we then go to paragraph 9.28, which is on
21 page -- also on the same page, you see you then go on
22 and say:

23 "In this context, I understand from Economides 1
24 that in his experience, similar firms generally exhibit
25 commonalities in their treatment of costs. In

1 particular, Mr Economides explains that he 'would expect
2 companies in the same sector to take a similar approach
3 to the management of their cost structures and reflect
4 them in a similar manner in their accounts'. On this
5 basis, I consider it likely that this is true for the
6 companies within the sectors I analysed for the
7 following reasons ..."

8 Then you give a number of reasons, and I am going to
9 come back to those, if I may, later on.

10 But if we could go over the page and pick it up at
11 9.35 {RC-F/2/70}, there you say:

12 "As set out above, the identification of the
13 relevant proxy cost is the key ingredient of the proxy
14 cost approach. If an incorrect proxy cost is
15 identified, the whole approach would be unreliable as
16 the estimated pass-on would be unlikely to be a reliable
17 measure of the pass-on of the MSCs. As also set out
18 above, the choice of proxy is inherently untestable and
19 I therefore consider it critical that it is assisted by
20 a pricing expert with deep knowledge of how firms treat
21 different types of costs in their pricing processes.
22 The SSH Claimants have therefore instructed
23 Mr Economides to assist me in this process.

24 "I understand that different experts in the present
25 case will take different approaches with respect to

1 identifying the proxy costs and this will inevitably
2 generate differences in the pass-on estimates.

3 In what follows, I summarise my approach, which
4 relies on the assessment of the SSH pricing expert,
5 Mr Economides, on the basis of, among other things, the
6 evidence disclosed by the SSH Claimants."

7 {RC-F/2/71}

8 "As set out in more detail below, I consider that
9 such an approach has many benefits as it combines
10 insights from the economic literature with those from
11 the claimants' evidence and the experience brought by a
12 pricing expert. I also comment on the limitations of
13 such an approach ..."

14 So it would be fair to say that although you
15 ultimately endorse his approach, you were heavily
16 reliant on Mr Economides' experience as to the
17 importance of the accounting treatment of the MSC in the
18 choice of appropriate cost proxy; correct?

19 A. I was reliant on Mr Economides' expertise in identifying
20 the relevant proxy cost for the MSC, yes.

21 Q. In relation, in particular, to this assumption about the
22 accounting treatment of the MSCs and the importance of
23 that?

24 A. I think this is a question for Mr Economides, not for
25 me.

1 Q. No, it is your reliance on him. You were relying on --
2 you say in terms you were relying on him and --

3 A. Exactly, but I think my point is that the identification
4 of the relevant proxy costs by Mr Economides has been
5 done on the basis of different factors and, yes, the
6 accounting treatment is one of those factors.

7 Q. You took Mr Economides, it seems, to be someone with
8 deep knowledge of how firms in all of these sectors
9 treat costs and how they price?

10 A. Better knowledge than myself, and I would say of people
11 without hands-on experience on -- you know, to advise
12 how -- to advise companies on how to price.

13 Q. If we could then come finally to the final stage of your
14 analysis. This is the extrapolation from the estimates
15 that you arrive at for your nine analysed sectors to the
16 other third -- well, the other 21 sectors, I think,
17 which are a third of the claim value. I think you said
18 earlier that that was -- or indicated earlier, but
19 please confirm whether that is right, that this
20 extrapolation exercise was really entirely
21 Mr Economides' remit?

22 A. I confirm that.

23 Q. Thank you. So I will not then ask you too much about
24 that.

25 Now, Dr Trento, you are, of course, an economist and

1 you have a PhD in economics?

2 A. Yes.

3 Q. You have also, I think, worked at Compass Lexecon as an
4 economic consultant for over a decade, I think?

5 A. Correct.

6 Q. I think you have also -- you are a long-standing veteran
7 of interchange claims as well, is that correct?

8 A. I would not say so, but I have been working before in
9 another case that was brought against Mastercard and
10 Visa by three supermarkets.

11 Q. This was the *Sainsbury's* case that reached the
12 Supreme Court, I think?

13 A. It was part of it, but the clients were -- it was not
14 Sainsbury's, we did not work for Sainsbury's. So if
15 I may explain, in that case, it was -- I think I can say
16 the names because they are in the public domain. So, in
17 any event, just to be on the safe side, in that case
18 I was assisting Mr Neil Dryden who was the expert for
19 the three supermarkets against Visa and Mastercard.
20 I was not the expert myself, but I was in the team.

21 Q. You were in the team, and that was for about five years,
22 was it, I think?

23 A. Yes. The work we have done was maybe more concentrated
24 in one/two years, but then those cases went to the Court
25 of Appeal and then they went to the Supreme Court, and

1 we did not file any report of course for the Court of
2 Appeal or the Supreme Court, but we have been assisting
3 the lawyers for those proceedings.

4 Q. Yes. Now, what I would like to do next, if I may, is to
5 try to just establish with you some basic propositions
6 of economic theory that I think you are not going to
7 dissent from, and the reason that I think you are not
8 going to dissent from them is I am going to try to take
9 them as propositions from your report. I am just going
10 to try to make this as uncontentious as possible so we
11 can move swiftly.

12 A. Yes.

13 Q. I am just going to try -- ask you to confirm each of
14 them so that we can establish some common ground, at
15 least on economic theory.

16 If we can start, please, if we can go to page 56 of
17 your first report {RC-F/2/56}. We see -- forgive me,
18 could we go -- I think that is a bad reference. If we
19 go back a page {RC-F/2/55}. One more page {RC-F/2/54}.
20 Yes, that is right.

21 So you will see 7.17. It says -- you talk about the
22 main takeaways from economic theory and you say:

23 "(a) marginal costs are generally expected to be
24 passed on at least in part, as they directly affect the
25 firm's profit maximising price."

1 I take it that you still agree with that?

2 A. Yes, I agree with that. We are talking about economic
3 theory, just -- yes.

4 Q. If we could then go to page 55, please {RC-F/2/55}. If
5 we see 7.25 at the bottom:

6 "Economic theory explains that the pass-on of
7 a marginal cost increase is generally higher if that
8 increase is industry-wide rather than firm-specific."

9 Again, I take it that you still stand by that as
10 a matter of economic theory?

11 A. Yes. I am really sorry, but I need to clarify something
12 which is that from an economic theory perspective, the
13 only thing we know is in a setting of perfect
14 competition. So we know that in perfect competition,
15 companies sell products that are exactly the same, and
16 that for a 1 penny difference between the product that
17 firm A sells and the product that firm B sells, will
18 make consumer go to the firm A, if firm A prices 1 penny
19 below firm B, and that in that context, then prices are
20 equal to marginal cost, because the firms will try to
21 undercut each other until it is profitable to do so, and
22 they will never go below marginal cost. So the price is
23 going to be equal to marginal cost in that setting.

24 Then the only thing that we know, if there is an
25 industry-wide increase in the marginal cost, then all

1 firms will have to pay that increase and then all firms
2 will increase their prices, so that is -- so you can
3 expect pass-on in that context. Then if it is an
4 increase only for one firm in the marginal cost, that
5 firm cannot increase their price -- its prices. Because
6 if it increases its prices and the other company do not
7 increase their prices, it will lose all of its
8 customers. Therefore the company will not increase its
9 prices, probably it will go out of market, and so there
10 is not going to be a price increase in the market.

11 This is the only thing that we know from economic
12 theory, as far as I know.

13 Q. Dr Trento, is it not also the case, though, that even
14 a monopolist will be expected to pass on half of
15 a marginal cost increase? Is that not also an insight
16 of economic theory?

17 A. No, I think it is an insight that is only true if the
18 demand is linear, the demand curve is linear, and only
19 if the marginal cost curve is flat.

20 Q. Yes, well, that is --

21 A. So if these assumptions do not hold, you can go very
22 close to --

23 Q. (Overspeaking) But --

24 A. -- or 200%.

25 Q. But as we know, all of the insights of economic theory

1 are based upon assumptions holding, are they not?

2 A. Yes, but these assumptions are important. Exactly. So
3 this is why I think it is important to make
4 a distinction between them.

5 Q. Yes, but it does not mean there are no other insights,
6 perhaps other than ones in relation to perfect
7 competition, which I think is what you are suggesting,
8 is that not right? There are other insights also in
9 relation to -- in relation to monopolies under
10 certain -- providing certain assumptions hold?

11 A. Well, sorry, because you ask about a difference between
12 a firm-specific cost and an industry-wide cost, and in
13 the case of a monopoly obviously there is only one
14 company so the two are going to be the same.

15 Q. Well, let me ask you this as a matter of, if you like,
16 your economic intuition: supposing you have
17 an oligopoly, say there are three companies in a market,
18 and there is an industry-wide cost change and
19 a firm-specific cost change, which one do you think, as
20 a matter of your economic intuition, is going to be
21 passed on at a greater rate?

22 A. Yes, so the answer is that you have to go to the
23 intuition, and the intuition -- because it becomes
24 a very complex game in the end, who passes it on and who
25 does not pass it on.

1 Q. Do you agree with the intuition?

2 A. It is an intuition. Yes, I would agree to an extent
3 with intuition, because that seems intuitive --

4 Q. Common sense, I know we are not allowed --

5 A. Sorry, I want to say something else. Because if you are
6 in perfect competition -- I agree with intuition, but
7 I think I just want to explain that it is a bit complex.
8 If you are, for instance, in perfect competition and you
9 have end firms, and the increase in marginal cost
10 affects all of them but one, then there is no increase
11 in price. So that is -- so that is -- you see, when you
12 go into economic theory things are quite complex, and
13 then you have to rely on intuition, rather than economic
14 theory.

15 Q. Okay. But you will agree we share the same intuition,
16 in any event?

17 A. In general, you may expect that that is the case. I do
18 not know whether from an economic theory perspective.

19 Q. But you have -- yes, okay.

20 Now, let me move on, if may, to one other
21 proposition which is in your report. If we could go to
22 7.27 {RC-F/2/56} and the size of the cost.

23 You say:

24 "According to economic theory, the size of a
25 marginal cost should not affect the degree of pass-on

1 for that cost."

2 Again, I assume you stand by that as a matter of
3 economic theory?

4 A. Yes, as a matter of economic theory.

5 Q. Okay.

6 Now, I would like, if I may, to turn away from your
7 report and ask you to consider a couple of examples of
8 a product and a cost of that product. The example
9 I want to give you is the product being Americano
10 coffees sold by Pret a Manger which, because of my very
11 poor coffee taste, I rather like.

12 Now, when you buy an Americano at Pret a Manger, you
13 can either have it with milk or without milk; yes? I do
14 not know if you are aware of that.

15 A. I am not aware, but I am sure it is the case.

16 Q. It is priced the same regardless of -- regardless. You
17 just tell them which one you want.

18 A. I trust you, yes.

19 Q. So Americanos, white or black, are the product, and the
20 cost I want you to consider is the cost of the milk that
21 goes into the white Americanos; yes? So it only goes
22 into some of them, but it is a substantial number, let
23 us say about 50 or 60%, as a guess, and let us assume
24 that that proportion is pretty stable day-to-day,
25 some days it may be 40%, some days it may be 70%, others

1 50:50, but it will generally be a pretty stable
2 proportion. So Pret a Manger can predict that if on,
3 say, Tuesdays it sells more Americanos than on Sunday,
4 then it will also consume more milk and the cost of its
5 milk will be greater; yes?

6 A. Yes.

7 Q. Now, do you agree that the cost of milk for Americanos
8 in that example is an example of a variable cost,
9 because an increase in the price of the milk represents,
10 at least over any significant time period, an increase
11 in the cost of Americanos, including their marginal
12 cost?

13 A. Okay, we are entering here very complex territory,
14 I think. Can I --

15 Q. It seems very simple to me.

16 A. No, because it is very difficult to define variable cost
17 and fixed cost. There has been a lot of discussion
18 about variable and fixed cost in here, but I have
19 explained this in the hot-tubbing, which is if you look
20 at a cost like wages, for instance, for retail staff at
21 Pret a Manger, that cost has an element that is
22 variable, because if you want to open two additional
23 hours, then you have to have more people and you have to
24 pay them, and then it has some fixed component, because
25 you need a minimum amount of people working in the

1 premises to open the premises.

2 So it is very difficult to look at a cost and say
3 this is a variable cost and this is a fixed cost, except
4 for some costs which are clearly fixed or variable, and
5 then the status from variable to fixed can also change
6 in time.

7 So what -- very quickly -- what I think the firms
8 do, the evidence in front of us is that they estimate
9 the per product cost, so this is what they use as COGS.
10 So, for instance, if you look at your fashion retailer,
11 then they know perfectly what is the cost for them of
12 a T-shirt, and then they record that cost as part of
13 COGS, and then all of the other costs which you may say,
14 well, this more variable than the other one, they are
15 all recorded as -- usually as overheads. That makes
16 sense, because if you have a cashier in the fashion
17 retail store, the wage of the cashier you cannot
18 allocate to each and every product, so you have -- it is
19 a cost that is common to all products, if you want, and
20 if you want to pass that cost on, then you have to
21 spread the cost across the price of many, many products.

22 Q. Dr Trento, forgive me, it is a very interesting lecture,
23 but I do want you to try to answer the question, which
24 is: do you agree that the milk in my example is
25 a variable cost or not?

- 1 A. I have explained, I think --
- 2 Q. Is it or not? Or is it too difficult to say? Please,
- 3 it is a fairly straightforward question, is it not? It
- 4 seems to me it is a variable cost because it varies --
- 5 A. (Overspeaking) It does vary with the output, yes.
- 6 Q. Do you agree also that it is a marginal cost, over any
- 7 sort of significant amount of output at least?
- 8 A. For the -- yes, for the latte -- I cannot remember what
- 9 the example was.
- 10 Q. Americano.
- 11 A. For an Americano with latte, yes, it is a marginal cost.
- 12 Q. But there is no distinction for Americanos with latte,
- 13 they just sell Americanos, and then you are asked
- 14 whether you want the milk or not, but half of the people
- 15 are taking the milk and half are not.
- 16 So from Pret a Manger's -- in practical terms, it is
- 17 a marginal cost as well as a variable cost, is it not?
- 18 I mean, over any ten Americanos, it is a marginal cost;
- 19 right?
- 20 A. But you see, this is the problem. You need to identify
- 21 a marginal cost for each product. What is the marginal
- 22 cost for an Americano and what is the marginal cost for
- 23 an Americano with latte? If you have a cost --
- 24 Q. Well, but this is the thing, they do not have it as
- 25 different --

- 1 A. But we do not know how they treat them.
- 2 Q. I am telling you, you just ask for the Americano. It is
- 3 a single product at a single price.
- 4 A. Sorry, the price its same. What --
- 5 Q. The price is the same.
- 6 A. I am not contesting this. What I am saying is that when
- 7 you asked me to -- is this a variable cost or is it
- 8 a marginal cost, what I am saying is that the
- 9 distinction from an economic theory point of view may be
- 10 quite neat, but the distinction then in reality between
- 11 a variable cost and a fixed cost is very, very difficult
- 12 to make.
- 13 Q. Well, sometimes -- yes, sometimes maybe with the
- 14 example, and we will come on to MSCs in a second, but
- 15 right now we are just on Americanos, and it does not
- 16 seem to me it is very difficult to say it is a variable
- 17 cost and the milk is both a variable and effectively
- 18 a marginal cost as well of the sale of the Americano?
- 19 A. A marginal cost, I would say it is a marginal cost for
- 20 the Americano with latte. It cannot be a marginal cost
- 21 for an Americano without latte.
- 22 Q. But if you are selling -- over any sales of Americano --
- 23 any material sales of Americanos, let us say if a unit
- 24 is ten, then it is a marginal cost, because you know
- 25 that within that ten at least one of them is going to --

1 A. It will vary, yes, I agree it will vary with the number
2 of Americanos, yes.

3 Q. Okay. Now, if we could go then to page 67 of your
4 report {RC-F/2/67}. You say:

5 "I consider that the MSCs are conceptually a form of
6 variable cost in the sense that they vary with the
7 volume of goods sold, although I note that they are not
8 standard marginal costs in the sense that they are not
9 paid on all transactions, and therefore they are ex ante
10 a probabilistic cost like returns or bad debt. This is
11 means that the merchant does not know on a given
12 transaction (i) whether it will pay the MSCs and (ii)
13 what level of the MSCs it will pay, even though they may
14 form expectations about the overall level of MSCs they
15 might incur. As a result, any pass-on of the MSCs would
16 have to be spread across all transactions in a similar
17 fashion to non-COGS costs."

18 Now, for any relevant card transaction, obviously,
19 the MSC is going to apply; yes?

20 A. Yes.

21 Q. So more card transactions, more MIFs; yes?

22 A. Yes.

23 Q. For most merchants for a given day or a given week, one
24 would expect that the proportion of relevant card
25 transactions to relevant non-card transactions is going

1 to be pretty predictable over any material length of
2 time; correct?

3 A. They could estimate how much, yes, I think they could.

4 Q. If you take your typical retailer, your corner newsagent
5 or a large supermarket or even a large TelCo, if you
6 look at it at least on a weekly level, they are also
7 going to know -- going to have a pretty consistent
8 proportion of card to non-card transactions; correct?

9 A. I think it is fair to say that they could, yes.

10 Q. Yes. They will also have a good experience of the
11 proportion of the different types of relevant cards that
12 incur MIFs. So they will have effectively a steady
13 average MIF rate for card transactions, at least over
14 any material period of time, like a day or a week or
15 month?

16 A. Yes. This is not -- you know, I am an economist, but
17 I think we are now talking common sense. I think if
18 a company wants to estimate what is the average MSC that
19 they would pay on each transaction, if you want, I think
20 there are ways to do so, because they can say, well, one
21 out of two consumers pay by card and then on average the
22 card payment is, you know, X or Y. They could do that.
23 There is evidence that actually there is one claimant
24 that does that. They estimate the payment cost that is
25 going to be related to each and every transaction. Then

1 they include that payment cost or estimated payment cost
2 into the pricing algorithm that then derives the price
3 that they charge to the consumers.

4 So the question is: can you do it? I think the
5 answer is I think you could do it. Then the other
6 question is: do the merchants do it or do the claimants
7 do it? What we are seeing is that only in one case, or
8 maybe two cases, this something that is done, and in
9 these two cases my guess is that these companies are
10 operating in a very competitive market where there is no
11 product differentiation. They have -- or almost no
12 product differentiation. They have very slim margins
13 and the MSC accounts for a very large part of the fees
14 that they charge to consumers.

15 Q. Well, Dr Trento, I am not going to get into the factual
16 evidence with you because you have not really
17 analysed -- I mean, you have not -- that is not your
18 field. That was Mr Economides' field, was it not?
19 I mean, the -- but we certainly do not agree that only
20 one or two of these claimants has been monitoring their
21 MSCs. I think that they all seem to monitor their MSCs.

22 A. No, what I am saying is that you put to me they can
23 estimate the average MSC that is paid on each
24 transaction and that could inform prices. What I am
25 saying is that it is a possibility and we have seen two

1 claimants operating in highly competitive markets that
2 do that --

3 Q. Well --

4 A. -- and treat the MSC as part of their COGS.

5 Q. Well, all of the claimants have line items, I think, for
6 something like payment costs?

7 A. But not --

8 Q. They all, do they not, make budgets for those? So how
9 do you suppose those budgets are arrived at if they do
10 not have an estimate of their -- of the amount of the
11 MSCs and how they will vary with predicted output?

12 A. Yes, what I am saying is that it is not -- they do not
13 use it, the rest of the claimants, they do not use it as
14 a product level, they do not include it as part of
15 COGS --

16 Q. Ah, at the product level. But at the budgetary level
17 they are all looking at that?

18 A. They are looking at all cost, yes.

19 Q. Yes, and they are able to, certainly, to appreciate that
20 the MIF will vary reliably in proportion to output over
21 any significant period of time; correct?

22 A. That the MIF will vary in proportion to output, yes,
23 they will know.

24 Q. They will know, yes. So the effect of increasing the
25 MSC is going to be to push up variable costs and

1 marginal costs, at least over any significant increase
2 in output; correct?

3 A. Are we talking about economic theory or --

4 Q. Well, yes, I mean --

5 A. Or evidence, factual evidence?

6 Q. No, in theory.

7 A. In economic theory?

8 Q. Yes.

9 A. Yes, if the MSC is recognised as a marginal cost, then,
10 yes, of course, that will be the case.

11 Q. Okay. So, as a matter of economic theory then, if the
12 MIF is variable -- both variable and industry-wide, as
13 it clearly is, then it is likely to have a higher
14 pass-on rate than other costs that a merchant incurs
15 that are not variable and that are firm-specific;
16 correct?

17 A. As I said, we do not know much from economic theory.
18 The thing that we know from economic theory, or at least
19 I know from economic theory, I have said before,
20 intuitively I said that I agree with you.

21 Q. But, Dr Trento, I am really just putting two and two
22 together. You have accepted I think --

23 A. I am just saying, intuitively, yes, I think we agreed
24 with that --

25 Q. Intuitively agreed. Very well, okay. I will take the

1 intuition.

2 A. Okay.

3 Q. Very well.

4 I would like to then turn next --

5 THE CHAIRMAN: If you are moving on --

6 MR JOWELL: It may be a good time for a break.

7 THE CHAIRMAN: Yes, time for an Americano, perhaps!

8 MR JOWELL: A very strong one!

9 PROFESSOR WATERSON: Or a macchiato.

10 THE CHAIRMAN: All right. We will have a ten-minute break.

11 Obviously you know the rules and not to talk about
12 the case to anyone. Thank you.

13 (11.42 am)

14 (Short Break)

15 (11.52 am)

16 MR JOWELL: Dr Trento, I would like to pick up on something
17 that you say in your report. If we could go to page 66,
18 please {RC-F/2/66}. You see paragraph 9.20. You say:

19 "While firms may not always follow the textbook
20 categorisation of marginal versus fixed cost, the main
21 teaching of economic theory still applies, that is to
22 say that there is a difference in relation to pass-on
23 between COGS and overhead costs."

24 Now, I am sorry to pick you up on your language, but
25 the teaching of economic theory does not have anything

1 to do -- does not tell us anything about COGS and
2 overhead costs; it is about marginal and fixed costs, is
3 it not?

4 A. That is correct.

5 Q. Okay. Now, you then -- if we go to page 65, please
6 {RC-F/2/65}, you quote from certain economic literature,
7 and at 9.16, you quote from Professor Bresnahan of
8 Stanford on industrial organisation, that:

9 "Economic marginal cost cannot be directly or
10 straightforwardly observed."

11 Then other professors that say the same.

12 Now, that is no doubt true, it will often be hard in
13 practice for a business to know precisely what an
14 additional cost a unit increase in production will lead
15 to, but that does not really stop a business trying to
16 do their best to estimate it, does it?

17 A. So, as I said before, yes, but the difference is between
18 marginal cost and non-marginal cost in economic theory.

19 Q. Yes.

20 A. Then the question is how the companies try to
21 approximate or estimate the marginal costs, and that,
22 I think, the factual evidence explains that it does make
23 a difference. My position is that the way the firms are
24 approximating marginal costs, or one way of doing that,
25 is they include costs that they consider to be marginal

1 in COGS, in cost of goods sold.

2 Q. Let us pause there, because I am just on this paragraph
3 and, I mean, the insight that marginal costs are
4 fundamentally not observed, it does not take one very
5 far in itself, does it? That my only point. It just
6 means they have to estimate it?

7 A. Yes.

8 Q. Then the question is how do they estimate it.

9 A. Yes.

10 Q. You then quote from Professor Syverson from the
11 University of Chicago, and if I can read the quote that
12 you cite, he says:

13 "Accounting data are not constructed for the sake of
14 measuring economic categories like variable costs.
15 Accounting data include two primary categories of costs,
16 (1) cost of goods sold and (2) selling, general and
17 administrative (SG&A) expenses. COGS includes direct
18 costs associated with purchasing and transforming inputs
19 into the products a company sells and as such is thought
20 be composed primarily of variable costs. The SG&A
21 category includes most other costs and as such captures
22 many fixed costs. That said, some SG&A expenses might
23 plausibly scale with the size of operations, while some
24 costs in COGS might arguably be fixed. Indeed
25 accounting standards actually allow clarification of

1 expenses by COGS and SG&A to vary by sector. In the
2 end, the variable fixed demarcation is not as clean as
3 one would like it to be."

4 Then you go on to quote the RBB and RBB report to
5 the effect that the economic distinction between fixed
6 and variable costs need not coincide with accounting
7 practice.

8 Now, I do not take issue with that, but I think what
9 you seem to suggest is that what comes out of that is
10 a wider message, that businesses will price by reference
11 to COGS, using it as an approximation for variable
12 costs. What I would like to put to you is that that
13 does not actually come out of these quotations at all.
14 These quotations do not make any predictions about what
15 firms price by reference to; it simply makes the
16 observation that the accounting classification is not --
17 does not accurately represent the distinction between
18 variable and fixed costs; correct?

19 A. I agree with that, yes.

20 Q. Okay. So I suggest that what -- if there is any message
21 that comes out of this literature, it is that a well-run
22 business that wants to profit-maximise should not price
23 looking solely on the accounting classification of
24 a cost as a COGS, precisely because that accounting
25 classification is inexact and rather arbitrary?

1 A. Well, I disagree with that. I mean, based on --

2 Q. (Overspeaking) Surely a well-run firm should break down
3 its costs in a more detailed way and consider which,
4 within the costs that it happens to put into COGS and
5 those which it happens to put into operating costs, are
6 variable and industry-wide. That would be the sensible
7 thing for a firm to do, would it not?

8 A. No, I disagree. So, as I said, the reality is much more
9 difficult than textbooks. If you take -- if a company
10 wants to open a new store, then you would say, well,
11 this may be a fixed cost, but actually that fixed
12 cost -- sorry, that cost also depends on the amount of
13 sales that the company wants to make. So if the company
14 opens up a new store, it is because it wants to make
15 more sales.

16 So the distinction between variable and fixed costs
17 is one that is very, very difficult to make in reality.
18 Some costs are -- have an element of variability and an
19 element of, you know, they are partly fixed, partly
20 variable. So the difference is really, for me, between
21 marginal and not marginal cost and how companies
22 approximate marginal costs.

23 Q. Well, I suggest to you that it is just not realistic to
24 suppose that a whole sector of the economy in the
25 long-run is going to slavishly follow an arbitrary

1 accounting classification of costs and ignore meaningful
2 marginal industry-wide costs that have increased or
3 decreased, just because of that accounting
4 classification. That is just not realistic, is it?

5 A. No, as I said, I think, from what I see, from the
6 factual evidence, setting price is a very complex
7 exercise. So how a company do it is that they look at
8 demand and marginal costs, and this is consistent with
9 the economic theory, and then the issue is how do they
10 approximate demand, how do they approximate marginal
11 costs? They approximate demand by looking at
12 competitors' prices. So they know if they price above
13 their competitors, they are going to sell less, if they
14 price below their competitors they are going to sell
15 more.

16 Then they look at COGS. These are the two main
17 components into the price setting of the companies.
18 That does not mean that they then ignore all of the
19 other costs. I agree with that.

20 So from an economic perspective, you will look at
21 the other costs -- the economic theory perspective,
22 sorry -- all the costs that are non-marginal, they are
23 not considered into the pricing process. So the company
24 would just accept that they will have to pay those
25 costs, and if they cannot recover those costs the

1 company will exit the market, and if they can cover
2 those costs, the company will stay in the market. This
3 is as far as textbook economic theory goes.

4 I accept that in reality -- the reality is more
5 complex. So the companies, then they monitor the
6 profitability, and if they do not like their
7 profitability they may take some actions, and there are
8 other costs which are not marginal costs which affect
9 the profitability. So there may be an indirect link
10 between those costs and the firm's action, including
11 maybe the company wants to raise prices or reduce other
12 costs when they see that their profitability is not high
13 enough, but the first -- but there is a very big
14 difference between COGS and the rest of the costs. So
15 the mechanism for pass-on of COGS is very different from
16 the mechanism for the pass-on of other costs.

17 Q. Well, you are just taking that from your interpretation
18 of the -- of these very limited claimants and their
19 limited disclosure, is that correct?

20 A. I am taking that, yes, from the evidence that I have in
21 front of me.

22 Q. Let me just -- I was not going to take you to this now,
23 but let me show you something. If we can go to
24 {RC-J6/152/1}. This is McDonald's 2023 annual report.
25 We do not have a lot, but I want to take you to one

comment on page 38 {RC-J6/152/38}. You see at the top:

"The profitability of our company-operated restaurants depends in part on our ability to anticipate and react to changes in commodity costs, including [it lists them out] as well as distribution and other operating costs, including labour."

So, first of all, what this shows us is that it suggests that labour is categorised as a distribution cost; right? Or, forgive me, labour is another operating cost, forgive me, and not as a COGS?

A. Yes.

Q. Secondly, it suggests, does it not, that they are recognising that they have to look at something wider than just the COGS?

A. Yes.

Q. They have to look also at these other operating costs and distribution costs?

A. Yes, and this is consistent with what I said and that is just what you would expect a well-run business to do, is it not?

A. Sorry, I think this is fully consistent with what I have said, which is they do not ignore the other costs, they take them into account; and one of the claimants actually make the same point, which is labour is so important, it is so material, then they actually

1 classify that as operating costs, but when there is
2 a change in wage costs, then this is a direct input into
3 pricing.

4 Q. Yes.

5 A. Yes, which --

6 Q. Indeed. That would apply, it seems, to other operating
7 costs that are variable and industry-wide?

8 A. Yes, that is the -- sorry, I did not want to say "yes".
9 I think this is where we do not agree.

10 Q. Okay.

11 A. Because I think the evidence is some of the claimants
12 take into account costs that are very material, like the
13 cost of wages, but I have seen no evidence that they
14 take into account each and every single cost item that
15 is in the -- within the operating costs; doing
16 a distinction between variable and fixed part of this is
17 because the distinction between variable and fixed is
18 a very difficult distinction to make.

19 Q. Well, not really in the case of something like an MSC,
20 is it?

21 A. I think we discussed this before.

22 Q. Yes, we did.

23 A. Yes.

24 Q. Now, so you do agree, I think, at least in principle,
25 that a firm -- let me take to you to a bit of your

1 report at page 63 {RC-F/2/63}.

2 A. Sorry, before we get there, can I make an additional
3 point, which is we have evidence from one of the
4 claimants that they do not look at the MSC in isolation.
5 Most companies -- most claimants, they do not look at
6 the MSC in isolation. They -- in their accounting, they
7 bundle the MSC with other costs, which can be bank fees
8 or can be other things, and there is one claimant, for
9 instance, that bundles the MSC together with the cost of
10 handling cash, which is, you know, at the end of the day
11 someone is going to go to the store, collect the cash
12 and bring it to the bank, and these may have a fixed
13 element, and it is still bundled together with the MSC.

14 So it is very important not to just make an abstract
15 distinction between, you know, variable and fixed, to
16 the extent that this can be done, but also look at the
17 reality --

18 Q. I mean, there may be a fixed element, but there will
19 also be a very significant variable --

20 A. (Overspeaking) It may be, but --

21 Q. (Overspeaking) -- because more cash, means more
22 deliveries, mean more security, and so on; right?

23 A. Yes, yes, maybe, we do not know, but this is what I am
24 saying is --

25 Q. Well, we do know.

1 THE CHAIRMAN: I think we need to concentrate on the
2 questions that are being asked.

3 A. Sorry, yes.

4 MR JOWELL: Yes. So if we go to 9.10(b)(ii), we see there
5 you say that you assert that the claimant:

6 "The evidence disclosed by the claimants shows that
7 costs classified as COGS are usually a direct input into
8 prices, while costs classified as overheads (or in
9 general as non-COGS opex) do not generally have the same
10 degree of proximity to prices."

11 Then it is the next bit that I am interested in, but
12 we seem to have moved over the page a bit too early,
13 I think:

14 "While this does not mean that overheads only affect
15 prices by their effects on market structure (as in
16 economic textbooks), I understand that increases in
17 overheads would have to be identified and would need to
18 trigger a review of the pricing process, usually in the
19 form of a revision of the firm's target gross margins
20 ([that is to say], the difference between prices and
21 COGS)."

22 So I think, and I this was part of what you were
23 saying earlier, that you do accept that a change in
24 other operating expenses, even if it does not go
25 directly into prices, could trigger a review of the

- 1 firm's target gross margin?
- 2 A. It could result, yes, in profitability levels that the
- 3 firm does not like, and then the firm takes some
- 4 actions, and one of them could be a change in prices.
- 5 Q. A change in prices, okay. Particularly, if they observe
- 6 that they are not reaching their EBITDA targets, then
- 7 they could increase their margins?
- 8 A. Well --
- 9 Q. That we see in the evidence as well?
- 10 A. It may be. I think the only comment is that one thing
- 11 is the margin target and one thing is reality. If it is
- 12 so easy to achieve margin targets, and just set margin
- 13 targets --
- 14 Q. As an economist, do you accept that that process may
- 15 take a little longer than the direct pricing process,
- 16 but it would still translate eventually into prices?
- 17 A. It could take a little longer and potentially this is an
- 18 avenue.
- 19 Q. Yes. That could be -- that could particularly be
- 20 incentivised, could it not, if the firm's management
- 21 have EBITDA targets that they find they are not meeting?
- 22 A. This is not for me, I think.
- 23 Q. Okay. Now, let me ask you this: suppose that you were
- 24 advising the finance department of one of these
- 25 merchants and let us suppose that the finance department

1 notice that they are not -- that existing gross margin
2 targets are not reaching their EBITDA targets because
3 costs have increased. I take it you would not advise
4 the finance department just to put up prices
5 automatically, you would advise them to understand what
6 are the type of costs that have gone up; correct?

7 A. I have never done any type of advising of this kind so
8 I do not know.

9 Q. What would you think -- would that not be a sensible
10 thing to advise them? Would you not advise them to look
11 at the different categories of costs?

12 A. I do not know.

13 Q. Energy, labour, payment services, technology services,
14 and so on?

15 A. I am afraid that I am not an expert on this. So I can
16 comment on economic theory, but I have never advised a
17 company on how to price.

18 Q. As an economist, would you not advise them to look at
19 those different costs and see which ones were variable
20 and which ones were market-wide?

21 A. As an economist, I think we will go to economic theory
22 and then try to transport economic theory in reality,
23 but I know that reality is much more complex than
24 economic theory.

25 Q. Well, do you not think that somebody in the market is

1 going to do the sensible thing?

2 A. But I think they are doing the sensible thing. Are we
3 saying -- I think it would be very strange for a company
4 not to do the sensible thing, which is maximising their
5 profit, but the profit maximisation process is quite
6 a complex one and, yes, the way they are doing it is --
7 well, I do not want to go back, but --

8 THE CHAIRMAN: I think we should stick to Dr Trento's
9 expertise, maybe.

10 MR JOWELL: We ought to.

11 Let me ask you this, Dr Trento: you have made some
12 points that relate to the size of the MSC as
13 a proportion of operating costs, and I think the
14 suggestion really maybe by you, as well as by
15 Mr Economides, is that management might not pick up
16 this -- pick this up as a small cost. Is that still
17 your evidence?

18 A. No, I think what I am suggesting is that it is possible
19 that a change in the MSC is so small that it will not
20 trigger a reaction by the company. That is my position.

21 Q. But if the change in the MSC was dramatic, so the MIF
22 goes, the MIF part goes, then you would accept, I think,
23 in the long-run that is likely to be picked up by the
24 company, is it not?

25 A. I think the problem is with the word "dramatic" because

1 the MIF is a very small component of the overall costs,
2 so we have estimated that it is 0.26% of the revenues,
3 and therefore I do not see that that is a dramatic
4 change in cost so if it goes from 0.26% to zero.

5 Q. But if it is -- but if is monitored as a separate
6 component as part of payment costs, then it is going to
7 get noticed, is it not?

8 A. It is going to what?

9 Q. It is going to be noticed by these companies?

10 A. It may be noticed. I do not know. I am not an expert.
11 It may be noticed. But my point is that it is so small
12 that it may not trigger a reaction by the company in
13 terms of: we are going to try to cut -- sorry, to cut on
14 the other costs or to increase prices.

15 PROFESSOR WATERSON: Can I make -- raise a question here?

16 If we were to observe a company renegotiating its
17 payment charges and MSC, then would that be evidence
18 that it is actively observing its MIF?

19 A. I think it would be evidence that they are trying to
20 minimise their costs and monitor their costs.

21 PROFESSOR WATERSON: Yes.

22 A. But I think we need an additional step, which is for
23 pass-on, which is they need to then take actions and
24 say, well, now we have renegotiated MSC, we are now
25 paying lower MSCs, and now we can reduce our prices or

1 we can increase other costs. So I think that that is
2 the last step that would be needed.

3 PROFESSOR WATERSON: Thank you.

4 MR JOWELL: May I ask a variant on that, Dr Trento, which is
5 if a company surcharges or considers, seriously
6 considers surcharging, do you agree that in those
7 circumstances that is evidence that they are going to be
8 taking MSCs into account?

9 A. Yes, I raised this point already in the joint expert
10 statement. I do not know why companies surcharge. Some
11 of the companies may surcharge. So, for instance, if
12 you take airlines, there was a moment where all airlines
13 were surcharging credit cards payments, and that could
14 have been part -- we do not know -- of a strategy to not
15 put the whole price of the ticket in the price
16 comparison sites, and then when you go and buy this, you
17 see a price of 200, then you go and buy, you pay 210.
18 So I do not know. It is possible.

19 Q. It is possible.

20 A. Sorry, let me read the question again. Sorry, just one
21 second. (Pause)

22 That they are going to be taking MSCs into account,
23 for what?

24 Q. For their pricing.

25 A. That is an obvious ... yes. Yes, sorry. Yes.

1 Q. Thank you. Now, we have had this debate about the ratio
2 between the EBITDA and the MSC and we have seen the
3 paper that you were taken through this morning. I do
4 not want to get into that, because it was only intended
5 to be very broad brush illustrative, but I think
6 I should, because of some of the points that are in that
7 note, just quickly take you through some points on the
8 document that you have recently produced this week.

9 So if we could pull that up, please, at {RC-Q/1/5}.
10 I think I should note, first of all, that you mention
11 that in bold in 1.6 that comparing it, you say, is --
12 you effectively say in the last sentence:

13 "Whether the comparison matters seems to be
14 a question of business and pricing practice."

15 You refer to Mr Economides' evidence.

16 A. Yes, I think, sorry, the bold is a formatting mistake.

17 Q. I am not going to ask you about the significance of
18 this, I just want to ask you -- I should put a few
19 points to you about the actual figures or counter
20 figures that you put forward.

21 If we could go over the page {RC-Q1/5/3}. You
22 notice that the groupings are by GICS sub-sectors rather
23 than SIC sub-sectors, and that is a fair point, but
24 I think you agree that does not make a lot of difference
25 in practice, does it?

1 A. I do not know the answer to this. I am sorry.

2 Q. Okay. Now, you also note that it is not necessarily
3 representative of the SSH Claimants. Now, the purpose
4 of the original document was based on the best match to
5 the 12 Visa sectors and the overall economy. It was not
6 intended to be matched specifically to the SSH
7 Claimants, just for clarification, but the adjusted
8 calculations you have provided are still only based on
9 12 sectors; that is correct?

10 A. That is correct, yes.

11 Q. So they are still not necessarily a fair representation
12 of either the SSH Claimants or more widely; correct?

13 A. But what we have done is we have said okay, we do not
14 know how useful this exercise is, but if you correct for
15 it, just taking it at face value and, sorry, and doing
16 some corrections, then results change quite
17 dramatically.

18 Q. Well, the corrections you have made, though, are quite
19 selective. If you wanted to do something more
20 representative of the margins of the SSH Claimants, then
21 you should really have instead recalculated the median
22 margin figures based on all GICS sectors where the SSH
23 Claimants are present, should you not?

24 A. Sorry, but when did we receive this? I think it was on
25 Friday?

1 Q. Let me ask you: did you do that?

2 A. We did not try to -- we did not do that, yes.

3 Q. Okay. Well, if you had done so, I think you would have
4 found that actually the original estimates of EBITDA
5 were higher -- would go down, not up.

6 MR BEAL: I am worried about the evidence where this is
7 coming from, unless we are going to get it after the
8 evidence of Dr Trento.

9 MR JOWELL: Well, you have also -- another adjustment you
10 have made is you have changed the median to a weighted
11 average approach; yes?

12 A. Correct, and the reason for that is that there are a few
13 companies that are selected and from which the EBITDA
14 margins are calculated, and many of these companies have
15 negative EBITDA margins, and those companies are
16 companies that are selling very little, you know, very
17 few -- sorry, whose sales are very small. Now, if we
18 take the weighted average, which means we weight more
19 firms that are selling more and that have higher
20 revenues, then in my opinion this is going to give you
21 a less distorted view because --

22 Q. But could I put the contrary to you, which is if you
23 take a weighted average, then your ultimate number is
24 going to be highly influenced by a small number of
25 companies that may not be representative of the typical

1 merchant?

2 A. No, but this is --

3 Q. What about those companies like the Amazons and the
4 Googles the Microsofts of this world?

5 A. No, but I think the problem -- if you look at the -- so
6 there are very few companies that have been analysed by
7 AlixPartners and so we do not know, you know, how
8 representative the EBITDA margins -- sorry, the median
9 is.

10 My point is if you see a lot of companies in there
11 that have very small sales, and because they have very
12 small sales, they have very low EBITDA negative margins,
13 then you want to take that into account when you do the
14 analysis and say if you have three companies that sell
15 much more than the three companies that are not selling
16 anything, I think you want to weigh -- you want to take
17 this issue into account.

18 Q. Very well. We will have to agree to disagree on that.

19 A. Okay.

20 Q. The final point you make is to -- you propose making
21 a calculation based on the size of the MIF, rather than
22 the MSC; yes? Yes, that is the final --

23 A. Yes.

24 Q. -- adjustment you make? That depends a bit on what
25 question you are asking, does it not, because if the

1 question is: is the cost material enough that companies
2 are likely to monitor it and take it into account in
3 their budgetary processes, then you should really be
4 looking at the size of the MSC, not the MIF, whereas
5 I do see that if you are asking what would happen in the
6 counterfactual, then you have to ask what would happen
7 with a zero MIF and how would that affect the MSC and
8 how would businesses react to it, but it really depends
9 on the question you are asking, does it not?

10 A. Yes, but the question that we are addressing here is if
11 there was a decrease in MIFs, would have -- would that
12 have triggered a price review? Therefore I think the
13 change in MIF from the factual to the counterfactual is
14 a better metrics to assess this question.

15 Q. Well, again, we will have to agree to disagree.

16 A. Yes, but if you -- otherwise one could say, okay, then
17 MIF is part of MSC, MSC are part of total operating
18 costs, and then I look at total operating costs over
19 EBITDA, and then you get obviously a ratio that is
20 3,000%, 5,000%. That does not make sense. So you need
21 look to at the price change -- the cost change that is
22 of interest to you.

23 Q. Well, you may want to look at both, but you certainly
24 would want to see the size of the MSC if you are
25 considering what is the significance of this for the

1 budgetary processes, surely?

2 A. I think it is -- probably the difference is in the
3 question. I think the question I am trying to address
4 is pass-on.

5 Q. Okay. I would like to move on to something else, which
6 is your choice of proxy for each of the claimants. Now,
7 if we go to page 68 of your first report, please
8 {RC-F/2/68}, and we see paragraph at 9.25 you say:

9 "The evidence disclosed by the claimants I have
10 analysed shows that the MSCs are generally not
11 classified as COGS, with few exceptions."

12 Just to be clear, there was data disclosed by 13
13 claimants; correct?

14 A. Correct.

15 Q. That is out of 2,000 claimants comprising over 600
16 corporate groups?

17 A. Yes.

18 Q. So for the vast majority of claimants, you do not
19 actually know how they classify their MSCs for
20 accounting purposes, do you?

21 A. It is correct that I do not know, for sure.

22 Q. Of the 13 willing claimants who came forward, chose to
23 come forward, three did in fact classify their MSCs as
24 part of COGS; correct?

25 A. That is correct.

1 Q. You have not actually conducted any sampling of all the
2 claimants as to their accounting classification of MSCs?

3 A. No.

4 Q. Okay. So --

5 A. Sorry --

6 Q. -- one is really trying to read rather a lot into a very
7 small and self-selected sample, are you not?

8 A. Sorry, one second. Sampling was discussed, but then --
9 at the very beginning of the exercise, but then the
10 Tribunal decided that it would have taken too long.

11 Q. Yes. You have not undertaken it yourself?

12 A. No.

13 Q. So you are trying to read rather a lot into a very small
14 and self-selected sample, are you not?

15 A. No, I do not think that is the case. Okay, so one thing
16 is for some sectors or for all of the sectors we receive
17 data from different claimants, and then the experts said
18 imagine if we had got data from three claimants, A, B
19 and C, then the experts said, okay, we think that the
20 data from claimant A is better than the data from
21 claimant B and C.

22 Now, for these other claimants, for some of them we
23 had visibility on whether the MSC was classified as part
24 of COGS or overheads, and there were three claimants --
25 sorry, for three sectors we had two different claimants

1 and we could see that actually the classification was
2 exactly the same.

3 Q. I will come on to that, because I want to come on to
4 that right now.

5 A. Okay.

6 Q. But let us -- so if we can go back to page 69
7 {RC-F/2/69}, or on to page 69, rather, and we have seen
8 9.27 already and the opening part of 9.28, and we have
9 seen in 9.28 your statement that Mr Economides would
10 expect companies in the same sector to take a similar
11 approach to the management of their cost structures and
12 reflect them in a similar manner in their accounts.
13 Then you say that you consider it likely that this is
14 true for the companies within the sectors you analyse;
15 yes?

16 I think we have agreed that, to a degree at least,
17 you were relying on Mr Economides' experience in these
18 sectors. That is right?

19 A. Yes.

20 Q. Now, at the time you wrote your report, were you aware
21 that Mr Economides had no significant experience in the
22 hotels or the travel and leisure sector or the telecoms
23 sector or the university sector?

24 A. No.

25 Q. No?

1 A. No.

2 Q. Were you aware that the persons assisting him at LEK,
3 Mr Robertson and Mr Ward, were not pricing experts?

4 A. No.

5 Q. Okay. Were you aware at the time you wrote your report
6 that none of Mr Economides, Mr Robertson nor Mr Ward had
7 any accounting qualifications?

8 A. No.

9 Q. Were you aware at the time you wrote your report that
10 none of them had ever worked in the finance department
11 of a business, any business, unless you count LEK
12 itself?

13 A. I am taking all of these as to be true, I am relying on
14 you, but I was not aware of any of this, yes.

15 Q. So if we then go back to your report, 9.28, and we look
16 at the other factors. In (a) you again quote
17 Mr Economides. Then in (b) you say that you generally
18 rely on a very narrow definition in your sectoral
19 approach, and you say that health retail, for example,
20 has only two claimants and there is a likelihood of
21 substantial commonalities between those two claimants;
22 yes?

23 A. Yes.

24 Q. Now, just to be clear, those are not the only two
25 companies that compete in the health and retail sector,

1 are they?

2 A. In my -- sorry, there are only two claimants, okay, yes.

3 Q. Yes, but in the sector we have -- I think Tesco was
4 mentioned, the supermarket -- other supermarkets, and
5 indeed Amazon was mentioned online; yes?

6 A. Yes.

7 Q. So if Holland & Barrett and iHerb price by reference to
8 those competitors, it is necessary also, on your
9 approach, to consider how Tesco and Amazon and the like
10 classify MSCs in their accounts and how they treat them,
11 MSCs, when it comes to pricing and budgeting.

12 Do you see the point? It does not matter that there
13 are commonalities between those two claimants if there
14 are not commonalities between the claimants and their
15 competitors. To give you a concrete example, let us
16 suppose that Amazon takes into account MSCs and it
17 competes online with Holland & Barrett, and
18 Holland & Barrett -- let us assume that
19 Holland & Barrett take those prices of Amazon into
20 account when they set their prices, then this whole
21 approach falls -- goes out the window, does it not?

22 A. I think we discussed this before. I do not fully agree
23 with this. I think the issue is that if there is
24 a price change that comes as a result of Amazon changing
25 its prices, that that price change would be in the

1 dataset that I am using for the estimation, and then
2 that would affect my estimation of pass-on.

3 Q. Well, we will have to agree to disagree on that,
4 I think.

5 In (c) you say:

6 "I mostly carry out --"

7 You say you have received sample data from the other
8 claimants, and you mention in the footnote the point
9 that you have just mentioned orally about -- you refer
10 to data from three other claimants.

11 Now, the Tribunal, I think, made clear by order that
12 that data was to be deleted from those three claimants
13 and was not to be relied on, we understood. Do you
14 still have that data? Because we had understood --

15 A. No.

16 Q. So you are --

17 A. I deleted it.

18 Q. You are happening to remember?

19 A. Yes. When you see, it is difficult to unsee, say.

20 Q. Right. Of course, you are not suggesting that that was
21 true -- you say, I think, that for others you could not
22 tell; yes?

23 A. For others you could not tell, yes.

24 Q. So -- and, of course, we just do not know, even if
25 however they -- those companies you mention in the

1 footnote -- however they classify their MSCs, we do not
2 know how they treat them for pricing processes -- in
3 their pricing processes, do we? I mean, we have no
4 evidence from them, no qualitative evidence, no
5 disclosure from them?

6 A. Sorry, you are discussing -- sorry, the companies for
7 which we have got the data and then we have deleted it?

8 Q. (Overspeaking) in your footnote, you mention the data
9 you still recall from those three additional claimants?

10 A. Yes.

11 Q. But we do not -- you say they classify MSCs as
12 overheads, but we have no idea whether they take MSCs
13 into account when pricing?

14 A. That is correct.

15 Q. Okay. Then in (d) you say you observe certain
16 regularities in the merchant claimants' evidence across
17 sectors depending upon the relative size of the MSC.

18 A. Yes.

19 Q. You mention, I think, two of the merchants where the MSC
20 is treated as COGS and is high -- a high proportion of
21 their costs, but there is another claimant that you do
22 not mention that also classifies the MSCs as COGS, but
23 where it is quite a small proportion of their gross
24 margin, is it not? I am not going to name it, but are
25 you aware of that?

1 A. One of the SSH Claimants, you mean?

2 Q. Yes.

3 A. Yes, I think there is a big difference between those two

4 claimants and the other claimant, and the big difference

5 is for these two claimants, the MSC represents a very

6 high share of the costs, and I think I have to stop here

7 just because we are in an open session. Maybe we can

8 got there back in the closed session.

9 For the other, for the third claimant, the MSC does

10 not represent a very high share of their costs and in

11 fact when you look at their data -- sorry, can we do

12 this maybe in the closed session --

13 Q. We can do it then.

14 A. -- because it is easier to explain? Thank you.

15 Q. But you accept the basic point that it is a small

16 proportion of their costs for this third claimant?

17 A. Yes, but I also --

18 Q. You want to add something?

19 A. Maybe the overall point is that it is not looked at as

20 COGS are looked at by the other companies.

21 Q. Well, we will have to come on to that, yes.

22 A. But can we please look at that later?

23 Q. But it is in COGS in any event?

24 A. It is in COGS, yes, but it is a different type of --

25 yes, okay, we will have to go back.

1 Q. Now, I think you would agree this is a very small sample
2 on which to try and find regularities, is it not?

3 A. But it is quite striking because when you look at that,
4 the companies for which the MSC represent a very small
5 cost, they do not track them at the product-by-product
6 level with any degree of granularity. When you look at
7 companies for which the MSC represents a very high
8 proportion of the cost, then these are for two
9 companies -- for the two companies that operate in very
10 competitive markets and then, yes, they actually
11 estimate the payment costs that they will have to pay on
12 each transaction, and they include that as part of COGS
13 for their particular transaction, and they include that
14 in a pricing algorithm, at least one of the two, that
15 takes into account pricing costs -- sorry, payment costs
16 to set a price.

17 Now, this is very different from what you see for
18 the other claimants. The other claimants record the MSC
19 as overhead costs. It is one of the many items that are
20 within the bucket of overhead costs and there is no --
21 well, we have seen that COGS is a very different cost
22 from overhead costs, as a more direct input into prices,
23 and for those claimants for which, you know, the MSC is
24 a small share of the costs, these are just recorded as
25 overhead costs and it is consistent with all of the

1 evidence that I have seen.

2 Q. Okay. Dr Trento, the merchants whose data was supplied
3 were those who were willing to supply their data;
4 correct?

5 A. It must have been the case, yes.

6 Q. You are aware of the concept of self-selection bias?

7 A. Yes.

8 Q. So is there not a real risk here that claimants willing
9 to come forward and supply their data are those who have
10 a more plausible case of saying that MSCs do not feature
11 in their price-setting process?

12 A. Well, my response to that is you have two of them, or at
13 least one of them, where it is clear that actually it
14 does feature.

15 Q. Yes, but there might have been more?

16 A. No, but what I mean is that we have a sector with two
17 claimants, one that we know classifies MSC as COGS and
18 takes MSC into account when pricing, and the other
19 claimant, we do not know.

20 Q. But do you not agree that there are a number of
21 examples -- I mean, Mr Holt has cited them in his
22 evidence. I put some of those to Mr Economides,
23 a number of examples, even from the public domain, of
24 merchants in the same sector classifying their costs in
25 inconsistent ways?

1 A. Yes, this -- sorry, I think you maybe went through this
2 with Mr Economides, yes.

3 Q. Yes. I mean you are aware, for example, that
4 Interflora, who we saw Mr Economides put in his original
5 classification in that -- in the household goods sector,
6 and they treat payment costs, including MSCs, as part of
7 the cost of sales, but Mr Economides tells us that
8 everybody else in the household goods sectors classify
9 MSCs as part of operating costs. So we see --

10 A. Household goods sector is not one of sectors that we
11 have analysed. Mr Economides, I think, did a match
12 between the household goods sector, which is not in the
13 top ten.

14 Q. No --

15 A. In one in the top ten.

16 Q. Yes. Well, are you aware in the travel industry,
17 I think I showed him Booking.com and Expedia classify
18 MSCs differently?

19 A. Yes, I am not an accounting expert, to be honest, but if
20 I -- so Booking.com is a very large online platform, it
21 is regulated by the European Commission so --

22 Q. Expedia is also a very large --

23 A. No, it is not classified. Is it one of the VLOP -- I do
24 not think so -- that the European Commission is ...

25 Q. Under the DMA, no, it is very large, nonetheless.

1 A. Yes, I do not know. I think this is more for
2 Mr Economides than for me.

3 Q. Mr Economides, do you remember, with his example of the
4 Tasty chain, where he told us in his report that Tasty
5 categorises staff costs, labour costs, as part of COGS
6 and not part of operating costs, and he told us that
7 that was typical of a business in the sector, but then
8 we have just shown you McDonald's and there it is part
9 of operating costs? So what do we make of this,
10 Dr Trento? It seems as though there are lots of
11 inconsistencies there.

12 A. As I said, I think maybe -- okay, sorry, one thing is we
13 have evidence that restaurants can take wages into
14 account directly when setting prices. This is not
15 inconsistent with Tasty.

16 Q. Well, that is a different point, is it not, because, as
17 I said, there are two steps, are there not? One is the
18 accounting classification is always the same?

19 A. Yes.

20 Q. The other is then what actual costs businesses take into
21 account?

22 A. Yes, I agree, but we --

23 Q. The accounting classification is really just rather
24 arbitrary, is it not?

25 A. I do not know -- it is not for me to say whether it is

1 arbitrary, but we are taking both into account.

2 Q. You see, I suggest that these assumptions you make about
3 uniform accounting classification within a sector and
4 then uniform treatment of costs, they are just not safe
5 assumptions to make, Dr Trento.

6 A. Again, I do not think this is for me. I think this is
7 mostly for Mr Economides, but what I can see is that
8 there is some regularity in there which is if MSC is a
9 small part of the cost, then they are treated as
10 overheads. If they are key input into costs, then they
11 are treated as MSCs.

12 Q. I would like to turn, if may, to a different topic, and
13 that is the use of your specific proxy cost of total
14 overheads. Now, we have discussed already how initially
15 you tried to conduct the regressions on the basis of the
16 proxies recommended by Mr Economides and then you moved
17 away from that because it was unworkable; yes? Do you
18 recall that?

19 A. Yes.

20 Q. Now, one of the factors that Mr Economides identified as
21 to whether the proxy was appropriate was whether the
22 cost in question was variable; in other words, does it
23 scale with the size of the business or turnover. I can
24 show you that if we go to {RC-F/3/29}. You see 3.46, he
25 says:

1 "A suitable proxy should in some way scale with the
2 size (or revenue) of the business, to ensure that it
3 receives similar treatment to the MSC treatment in
4 pricing decisions."

5 Then he goes on to say that he understands from you
6 that if the source of the variation includes an
7 ad valorem component, that can create an issue for the
8 econometric analysis.

9 Now, it appears then that you did discuss this with
10 Mr Economides. Is that correct?

11 A. The ad valorem part, yes.

12 Q. Presumably you both agreed at this stage that a good
13 proxy should vary with the size of the business?

14 A. All costs vary with the size of the business. It is
15 a matter of degree.

16 Q. Well, yes, but he is saying something more specific, is
17 he not? He is saying that the suitable proxy should
18 scale with the size or revenue of the business, is he
19 not?

20 A. As I said, I think all of them -- I do not know what he
21 has in mind in here. It is not very specific.

22 Q. I can show you what he has in mind. If we go to page 51
23 {RC-F/3/51}, if we go to 4.45.6, we see rent costs.
24 I can give you a few examples. It is not -- it is 12
25 times larger than CC commissions.

1 Then he says:
2 "Rent costs are largely fixed in nature" --
3 Oh, forgive me --
4 THE CHAIRMAN: This is confidential.
5 MR JOWELL: I should -- why do you not read it to yourself.
6 I am not actually quite sure why this is particularly
7 confidential, but there we are. (Pause)
8 It is really just that second sentence.
9 A. Yes.
10 Q. If we then go to page -- the same report, page 206,
11 please {RC-F/3/206}, if you see at the bottom of the
12 page:
13 "I have considered several additional costs items
14 but believe these to be unsuitable as proxies for the
15 MSC for the reasons described below ..."
16 Then if we go over the page {RC-F/3/207} and perhaps
17 if you could read the very bottom, 12.63.6, particularly
18 the second sentence. (Pause)
19 A. Yes.
20 Q. Over the page, please {RC-F/3/208}, you see 12.63.7 at
21 the top of the page. (Pause)
22 A. Yes.
23 Q. 12.63.10. (Pause)
24 Just the last sentence. (Pause)
25 A. Yes. Well --

1 Q. So it is clear that Mr Economides specifically rejected
2 proxies because they were costs that were fixed and not
3 linked to revenues; yes?

4 A. Yes, I think all of these costs are linked to revenues.
5 So it is quite difficult for me to -- so some of them --
6 I agree that there may be some costs that are more
7 linked to variable -- sorry, more linked to revenues
8 than others, in the sense that they react more than
9 others, but it is difficult for me to see a cost that is
10 not linked to revenues.

11 Q. Well, let me show you one that really seems very distant
12 from revenues. Let us go to page 89, please
13 {RC-F/3/89}. If we look at 6.43.2. I think I can read
14 this out because it is so generic:

15 "Depreciation is not a suitable proxy. It is
16 a non-cash charge, reflecting the depreciation of
17 capital investment."

18 Yes?

19 A. Yes.

20 Q. Now, that, surely, is miles away from a variable cost,
21 is it not, depreciation?

22 A. Yes, this is more fixed, yes.

23 Q. Yes. Now, what you have done in your regressions is you
24 have used total overheads?

25 A. Yes.

1 Q. If we look at the breakdown of that, if we could go --
2 I think Mr Economides principally has broken down the
3 costs and Mr Holt, I think, has perhaps slightly
4 extended that analysis and put it in a nice form. If we
5 could go to {RC-G/17/94}. We see page -- paragraph 279:

6 "The Claimants' overhead costs generally contain
7 a significant portion of fixed or partially fixed costs.
8 Mr Economides ... has classified the different
9 categories of overhead costs based on whether they are,
10 in his view, fixed or variable costs. These breakdowns
11 are included in the figure below for the Claimants for
12 whom Mr Economides has provided a cost split as well as
13 for the Claimants where I was able to extend
14 Mr Economides' mapping. As can be seen, for the average
15 Claimant, Mr Economides identifies that 30% of overheads
16 are fixed costs, 57% semi-fixed costs and 13% variable
17 costs. Excluding [one unnamed claimant], for which
18 multiple costs that I anticipate being at least
19 partially fixed have been coded as variable, increases
20 the average fixed cost component of the overheads cost
21 to 34% and semi-fixed component to 61%, while the
22 average variable cost falls to 5%."

23 Then if we look over the page at Figure 5.1, we see
24 that that is set out in a bar graph {RC-G/17/95}.
25 I take it you do not dispute that these are the sort of

1 approximate proportions?

2 A. I am sorry, I have not done the analysis, to be honest,
3 so it is difficult for me to opine now, but the only
4 thing I can say now is that at least those costs that
5 are called semi-fixed, as I have explained, I think many
6 times now today, it is very difficult to say whether
7 they are fixed or variable and how they scale with the
8 revenues of a company. All costs have a fixed component
9 and a variable component.

10 Then I think what Holt -- Mr Holt does, or maybe not
11 here -- maybe he is just taking Mr Economides'
12 categorisation -- but just to explain, one of the things
13 that Mr Holt does in order to tell apart variable cost
14 with fixed cost is that he looks at the correlation
15 between a cost and the revenues and if the correlation
16 is higher than 0.5, so the correlation coefficient goes
17 from zero to 1, if it is more than 0.5, then he says,
18 well, this is a variable cost. If it is less than 0.5,
19 then he says, well, this is a fixed cost, but my point
20 is that you have a range of correlation between the cost
21 and the prices that goes from zero to 1 and it is very
22 difficult to say, well, you know, the costs to the left
23 of this point are fixed and the costs to the right of
24 this point are variable. There is no reason to do that.
25 There is a range of variability.

1 So that is my only point. Sorry, I know it was
2 long.

3 Q. Fair enough, Dr Trento.

4 Can I just show you the next figure, Figure 5.2. So
5 this is a breakdown of one specific claimant's overhead
6 costs and -- now, the information is confidential, but
7 I think I can refer to one figure because it is
8 anonymised. You see that most of the overhead costs,
9 52%, are wages and salaries, but look at the figure for
10 depreciation, the second largest, 17.5%.

11 A. Yes.

12 Q. That is all going into your total overhead costs, which
13 you are using as a proxy?

14 A. For this claimant, yes, and the reason for that is that
15 this claimant has said very clearly that they look at
16 their operating margins and their operating margin
17 includes depreciation.

18 Q. Well, can we agree this, that both COGS and overheads
19 will contain some amount of fixed costs and some
20 proportion of variable costs?

21 A. Well, I do not want to --

22 Q. Both COGS and overheads; yes?

23 A. Sorry?

24 Q. Both COGS and overheads will contain some amount of
25 fixed costs, some amount of semi-fixed costs, some

1 amount of variable costs?

2 A. As I said, I am not very comfortable with this binary
3 distinction between fixed and variable.

4 Q. Okay. It is -- if you like, it is a range?

5 A. Yes.

6 Q. But if you are comparing total overheads to total COGS,
7 typically a much larger proportion of overhead costs are
8 going to be fixed or semi-fixed, rather than variable;
9 correct, than compared to COGS?

10 A. Okay, let me try --

11 Q. I think it is --

12 A. Let me try --

13 Q. I would suggest it is one word.

14 A. Yes, but because the problem is that, as I said, I am
15 not very comfortable with variable and fixed, but let me
16 try to unpack this.

17 If you have three costs, one is more variable,
18 another one is less variable and another one is even
19 less variable, and then you look at how variable these
20 three costs are in total, and then COGS includes certain
21 costs, like these three costs, and then overheads
22 include other three or five costs, then I agree that if
23 you look at COGS, they are -- they tend to be more
24 variable with the revenues than the overheads costs are.
25 Yes, I agree with that.

1 Sorry, it is just the binary distinction between
2 fixed and variable.

3 Q. I do not want to rush you --

4 A. Okay.

5 Q. -- but you agree then that COGS, from an economic
6 perspective, from the perspective of the nature of the
7 cost, are a better proxy for MSCs than overheads costs?

8 A. I strongly disagree with this.

9 Q. From an economic perspective?

10 A. Oh, sorry, sorry --

11 Q. Purely from an economic perspective. You are an
12 economist, Dr Trento.

13 A. Yes, from an economic theory perspective.

14 Q. Yes, from the perspective of their degree of variability
15 and their degree of, if you like,
16 industry-wide/firm-specificity, if I can put it that
17 way, they are closer, much closer, to COGS than they are
18 to overheads costs, are they not?

19 A. Well, let us put it this way: MSCs are -- they do vary
20 with -- they do vary with revenues or outputs. I agree
21 with that.

22 Q. Okay. You must accept, surely, that if you are going to
23 include in your proxy all of these overhead fixed costs,
24 things like depreciation costs, rent, pensions, audit
25 costs, you are going to -- all these things that

1 Mr Economides rejected because they are not related to
2 revenue, you are going to reach an estimate that is bias
3 downwards, are you not?

4 A. No, I disagree. It is quite easy. So what we have
5 tried to do -- sorry, this is going to be quick. What
6 we have tried to do, we have tried to estimate the
7 pass-on of the MSC because we then would not have any
8 problem identifying the relevant proxy. That is our
9 first best. We failed. We could not do it. We tried
10 very hard. We could not do it, because there was an
11 endogeneity issue.

12 Then we went to the second best and the second best
13 for us was the proxy cost identified by Mr Economides,
14 which he identified on the basis of different factors.
15 One of these factors was the variability of the cost.
16 Another factor was the size of the cost and then there
17 were other factors. We could not do it, except for one
18 of the claimants.

19 Then we went to the third best, which we said, you
20 know, we need to provide something to the Tribunal and
21 then what is the most relevant proxy? We said now we
22 have a choice between two very big baskets of cost. One
23 is the COGS and the other one is the total overheads.
24 We could not go more granular than that. We chose total
25 overhead cost for reason that, you know, I think are now

1 clear. When you look at the pass-on of total overhead
2 costs, that includes costs which are very sizeable for
3 the firms, like wages, for instance, which we know from
4 some claimants that they actually feature into the
5 pricing decision, and that they also include MSCs for
6 which there is no evidence that they individually are
7 taken into account in pricing decisions. So when we
8 then take this bucket, which is the third best, and
9 say: is the pass-on for this bucket underestimating the
10 pass-on for the MSC, I do not think we have any evidence
11 to say that it is.

12 Q. Well, it is going to underestimate it, is it not,
13 because it is going to be based upon a collection of
14 costs, some of which, a large proportion of which, are
15 fixed and firm-specific and therefore are likely to bias
16 the estimate downwards?

17 A. No, but we also include in the total overhead costs,
18 costs like wages which we know may have a direct impact
19 into the price.

20 MR JOWELL: Yes, and we will come on to that. I think
21 this -- I would like to come on to wages, Dr Trento, but
22 I think it might be sensible to do so after the short
23 adjournment.

24 THE CHAIRMAN: Can I just ask, before making a decision --
25 you said there was a decision to be made as to whether

1 you were going to use COGS or you were going to use
2 total overheads?

3 A. Yes.

4 THE CHAIRMAN: You knew, before making that decision, that
5 overheads would be likely to produce a lower pass-on
6 than COGS?

7 A. I think we did not know. It was an empirical matter.
8 We did not know, but we estimated both the pass-on of
9 COGS and the pass-on of total overhead costs and what
10 came out is clearly that the pass-on of total overhead
11 costs is lower than the pass-on of COGS.

12 THE CHAIRMAN: So you actually did the estimate before
13 deciding which way to go, did you?

14 A. No, no, no. We decided that the proxy cost was going to
15 be total overhead costs, but we also estimated the
16 pass-on of COGS in case the Tribunal wanted to use COGS
17 as the relevant proxy costs.

18 THE CHAIRMAN: Right. Okay.

19 A. But the reason why we chose total overhead costs instead
20 of COGS is that COGS, for me, are a very different
21 category of costs. You know from qualitative evidence
22 that all of the claimants take into account COGS into
23 their pricing decisions. It is the first thing that
24 they take into account and then you know that the total
25 overhead costs, they may affect prices, maybe

1 indirectly, but they may affect prices through
2 profitability. So the company looks at the
3 profitability and says: well, we are not doing as well
4 as we thought, let us do something about it, and that
5 something could be, you know, we reduce marketing
6 expenditure or it could be something -- it could be we
7 increase prices, but the channel through which these
8 COGS and total overhead costs are passed on is quite
9 different. So --

10 THE CHAIRMAN: It is more indirect?

11 A. Therefore we think -- given that the MSC is part of
12 total overhead costs, we think that the better proxy is
13 total overheard costs.

14 THE CHAIRMAN: Yes, okay. 2 o'clock then.

15 (1.00 pm)

16 (The luncheon adjournment)

17 (2.00 pm)

18 THE CHAIRMAN: Yes, Mr Jowell.

19 MR JOWELL: Good afternoon, Dr Trento. I was going to
20 discuss a little bit about wages and how that fits in to
21 this question of --

22 A. Can I, sorry, make a small correction before we --

23 Q. Certainly, yes.

24 A. So I think before Mr Jowell put to me that if McDonald's
25 increases prices because of the MSC, because it is part

1 of COGS, for instance, and say Wagamama then copies
2 McDonald's, then there would be a price increase for
3 Wagamama, and then I said, yes, there would be a price
4 increase, but that would be captured by my analysis, my
5 analysis would take that into account, because I have --
6 because in the dataset that I am using I have the
7 variation in price that is reflecting this price
8 increase. But I think Mr Jowell is right and I was
9 wrong, because what happens there is that I do have the
10 variation in prices but I do not have in my analysis the
11 variation in the MSC because I removed the MSC from the
12 total overhead costs. So you were right on this.

13 Q. I am grateful.

14 A. I apologise. Can I make a very short comment about
15 this?

16 Q. Certainly.

17 A. Which is copying competitors' prices, it is not -- so in
18 the case of McDonald's again and Wagamama, say, so if
19 McDonald's then benchmark its prices to Wagamama and
20 Wagamama benchmark its prices to McDonald's, and only
21 one of them takes the MSC into account when setting
22 prices, from an economic theory perspective the game is
23 very difficult game to solve, so you do not really know
24 where you end up, whether Wagamama is going to copy
25 McDonald's, McDonald's is going to copy Wagamama or

1 somewhere in between, so that is a clarification that

2 I also want to make in respect to this point.

3 Q. Yes, I understand that point, but I suppose it is
4 a reasonably good bet, is it not, that over the
5 long-run, the equilibrium price is going to be the one
6 that reflects the marginal -- the change in the marginal
7 industry-wide cost, is it not?

8 A. Yes, I do not think I agree with this. I do not have
9 elements to say the probability that the outcome is
10 everybody is copying McDonald's, it is more likely than
11 the --

12 Q. You do not --

13 A. -- than the opposite.

14 Q. But you do not know?

15 A. I do not know. I do not know.

16 Q. Okay.

17 PROFESSOR WATERSON: But hopefully not.

18 A. Hopefully not, yes.

19 MR JOWELL: Could I then -- I would like to discuss the wage
20 component within your proxy. I am going to take you to
21 a specific example, and, again, I am not going to
22 mention the name of the company but I think we can do
23 this without doing so.

24 Could we go to {RC-F/2/362}, please. At H12, we see
25 that you estimate the pass-on rate of total overhead

1 costs for the company to be a mid-point between zero and
2 27%, or 13.5%, across your 72 models; yes?

3 A. Yes.

4 Q. If we could go to 364, please {RFC/2/364}, we see in
5 your table 69 that here you estimate pass-on for staff
6 costs within overhead costs --

7 A. Yes.

8 Q. -- and you find that the company passed on its staff
9 costs at a higher rate than total overheads; yes?

10 A. Yes.

11 Q. If we go to page 225, please {RC-F/2/225}, we see that
12 staff costs are -- if we can see the footnote at the
13 bottom of the page, we see that staff costs here were
14 part of overhead costs?

15 A. Yes.

16 Q. I think you estimate, do you not, that the staff costs
17 were passed on, I think, at an average rate of -- well,
18 if we go to 17.49, if we can go up a little, we see that
19 above the table you say you find pass-on rates of up to
20 39%?

21 A. Yes.

22 MR BEAL: I think that is marked as confidential, is it not?

23 THE CHAIRMAN: Yes.

24 MR JOWELL: It is, but ...

25 THE CHAIRMAN: I do not think the merchant has been

1 identified.

2 MR JOWELL: I have not identified the company, so I am
3 assuming, I think reasonably, that it is okay.

4 THE CHAIRMAN: Yes.

5 MR JOWELL: So I think what you are suggesting here is that
6 this can imply that the pass-on rate for this
7 sub-component of overhead costs, the wages, is passed on
8 at a higher rate than the remainder of overheads; yes?

9 A. Yes.

10 Q. I think the conclusion that you suggest that comes from
11 this, in the last sentence of the page in front of us,
12 you say:

13 "This may imply that the pass-on rates for non-staff
14 related overhead costs, including the MSC, could be
15 zero."

16 But I would say actually what we can draw is
17 a broader conclusion, not that conclusion, but a broader
18 one, that different elements or parts of overhead costs
19 may be passed on at different rates. Is that correct?

20 A. In the case of this particular claimant, we know from
21 the qualitative evidence that although staff cost is
22 part overhead costs, they actually take staff costs into
23 account directly when setting prices. So this is the
24 reason why I have run a regression, trying to analyse
25 the pass-on of wage costs. So there is a difference,

1 I think, between the part of total overhead costs which
2 are related to wages, and the part of total overhead
3 costs which are not related to wages, and this is why
4 I draw this distinction in there.

5 Q. Yes, but there is also a broader point that we can take
6 here, which is that variable industry-wide costs within
7 overhead costs may be treated differently for pricing
8 purposes than fixed firm-specific costs; correct?

9 A. I am -- yes, so we have total overhead costs, and then
10 MSC is part of this total overhead costs, and I think my
11 understanding is that Mr Holt or Visa's position is when
12 it comes to pass-on of these total overheads costs,
13 there is going to be a distinction between variable
14 costs and fixed costs, and variable costs are passed on
15 at a higher rate -- sorry, variable overhead costs are
16 passed on at a higher rate than fixed overhead costs.
17 I think this is their position.

18 I have seen no evidence for this to be the case.
19 I think the alternative would be -- unlike in this case
20 where wages are obviously a direct input into pricing
21 according to witness evidence, the other possibility is
22 that total overhead costs are passed on as a bucket
23 through their effect on profitability, and another
24 possibility is that there are some total overhead costs
25 which are monitored and actions are taken on them more

1 directly than others.

2 Q. Yes. Just picking you up on that. So, for example,
3 depreciation, which we see in some of your total
4 overheads costs, that is not going to be something that
5 is likely to be monitored and picked up in prices
6 specifically, whereas variable industry-wide costs are
7 more likely to be picked up, like wages, and will be
8 reflected in prices; correct?

9 A. I think this depends on the qualitative evidence. So
10 for the -- sorry, for the claimant that we looked at
11 before, and you showed me the figure with the
12 depreciation, for that claimant we have evidence that
13 the claimant says, okay, our main component of prices is
14 COGS and competitors' prices, but we monitor our
15 operating margins, and if operating margins then deviate
16 too much from what we want, then we may take action. We
17 may take action also on prices.

18 The thing is that the operating margin that they are
19 looking at, they include depreciation, and that is the
20 reason why we have included depreciation in our measure
21 of the proxy costs.

22 Q. Dr Trento, but you also include depreciation in other
23 claimants?

24 A. Yes, because I think the evidence is sometimes --
25 I think we include depreciation in three claimants, if

1 I am not mistaken. Now, for this claimant the evidence
2 is quite clear. For one of the other two claimants
3 I think the evidence is not clear, because they say that
4 they look at various measures of profitability, and it
5 is not clear whether those measures include or do not
6 include depreciation.

7 Q. Well, we can come to it in due course.

8 A. Yes.

9 Q. Perhaps it is better, but I suggest they do not, that
10 they look at EBITDA, which does not take -- EBITDA is,
11 by definition, before depreciation.

12 A. Are we going to come to that in closed session?

13 Q. We will, yes.

14 A. Okay, thank you.

15 Q. Now, if I could just take you to your first report and
16 then to a correction or change you make in your second
17 report. So if we could go to {RC-F/2/23}, please. You
18 say, in 2.15(a), you say --

19 A. Sorry, this is the first report?

20 Q. The first report, yes.

21 A. Yes.

22 Q. I think this is a bad -- this may be a bad reference,
23 but I think I can just put the point to you, which is
24 this: you state -- forgive me, it is in here.

25 If we look in 2.15(a), you say that:

1 "For those claimants that treat MSCs as overhead
2 costs, I have been able to carry out regression analysis
3 that estimates the pass-on of the broader category of
4 total overhead costs."

5 You say -- for the reasons you explain below, you
6 say:

7 "This is likely to set an upper bound to any pass-on
8 of the MSC overcharge."

9 Now, you revise that in your second report. If we
10 can go to that at {RC-G/2/18}. We are in paragraph --
11 sub-paragraph (f), and you say:

12 "Regarding the interpretation of the pass-on of
13 total overhead costs as an upper bound to the pass-on of
14 the MSCs for claimants that treat the MSCs as overheads,
15 I have slightly revised my position with respect to
16 Trento 1. In Trento 1, I explained that I considered
17 that (i) the pass-on of the MSC overcharge cannot be
18 inferred from pass-on of total overhead costs, and that
19 (ii) the pass-on of the total overhead costs is likely
20 an upper bound of the pass-on of the MSC."

21 Then you say:

22 "I still consider the first point is correct ..."

23 Then you say it is possible that:

24 "... a small change in overhead costs, such as the
25 MSC overcharge, had no effect on prices for many

1 claimants ..."

2 If we can go over the page {RC-G/2/19}.

3 "... and I consider it is a factual question."

4 But then in (ii) you say:

5 "However, with more time to digest the information
6 available to me, I consider that if the MSC overcharge
7 has been passed on, then it is likely to have been
8 passed on at the same rate as total overhead costs as
9 opposed to a lower rate."

10 So you have fairly made a revision there. It is no
11 longer a lower bound, you are now considering it is
12 a central estimate.

13 What I want to put to you is that, given what we
14 have seen about the high proportion of total overhead
15 costs that are fixed and likely firm-specific, your
16 estimates are actually a lower bound, they are actually
17 an underestimate of the pass-on rate, are they not?

18 A. I do not think so, because I think, as I have explained
19 before, there are costs within the bucket of total
20 overhead costs which are looked at quite closely by the
21 firm, and these are included in my analysis, in my
22 estimation of pass-on. So, for instance, in the case of
23 the claimant that we discussed before, wages ...

24 Q. But wages, if anything, is less variable and less
25 industry-wide than MSCs. So from an economic

1 perspective, it looks as though they will be less likely
2 to be passed on, even than wages. Are you saying --

3 A. (Overspeaking) Than the MSC. So I think maybe we need
4 to draw a distinction between economic theory and in
5 practice, yes? So I take from your point that what you
6 are saying is the MSC is more variable than wages and
7 therefore is going to be passed on at a higher rate than
8 wages. I think the first sentence is correct, but I do
9 not think we have any evidence about the second
10 sentence --

11 Q. Well, can we agree that it is at least as likely to be
12 passed on as wages?

13 A. No, because it is a very small cost. So what you are
14 saying is that --

15 Q. So you think the fact that it is small is more important
16 than the fact that it is much more variable and more
17 industry-wide, is that your evidence?

18 A. It is an important consideration, of course, yes.

19 Q. Those two things just balance each other out, if
20 anything?

21 A. We do not know.

22 Q. All right.

23 Let us move on to something else: the reliance on
24 public studies or the lack of reliance. Now, in your
25 first report, you do on occasion, I think, refer to

1 empirical sectoral studies and to public data, but
2 I think it is fair to say that on every occasion you do
3 not place any reliance on them, is that correct?

4 A. Except in the case of supermarkets, yes.

5 Q. Okay. Even when -- and the matching process to the
6 additional sectors, also -- I know you said that is
7 something that Mr Economides does, but neither he, nor
8 you, put any reliance on the studies or the public data
9 for that matching purpose either?

10 A. No.

11 Q. Okay. Now, I think you accept that there are empirical
12 analyses in the economic literature on pass-on rates and
13 that these are often or usually -- even usually sound;
14 correct?

15 A. Yes.

16 Q. Now, in your first report, you give specific reasons for
17 a number of -- why you do not like a number of the
18 studies, but in your second report you attempt a more
19 general critique of the use of the empirical studies.
20 Do you recall that?

21 A. Yes. I would not call it critique, but I think I know
22 what you are referring to, yes.

23 Q. You refer to three justifications for not using them.
24 One is transportability or generalisability of the
25 results, the other is publication bias, and the third is

1 approach to combining the results. Do you recall that
2 evidence?

3 A. Yes.

4 Q. Okay. So in relation to transportability or
5 generalisability, your concern relates to the choice of
6 proxy, the time period studied, and the geographic
7 location of the economic population that is analysed;
8 yes?

9 A. Yes, the main point being the choice of proxy, because
10 a large part of this literature is looking at the
11 pass-on of wholesale prices, which are COGS, or excise
12 duties, which are also COGS, and therefore, because my
13 proxy cost is total overhead costs, the pass-on of COGS
14 does not provide me information on the pass-on of total
15 overhead costs.

16 Q. So you are aware, are you, that the European Commission
17 commissioned a study on the effect of the Interchange
18 Fee Regulation?

19 A. Yes.

20 Q. It was published in 2020; yes?

21 A. Yes.

22 Q. I am sure you are familiar with it?

23 A. I do not know whether it was 2020, but I believe you.

24 Q. Let us have a look at it, if we may. It is
25 {RC-J6/89/1}. If we could go to page 170

1 {RC-J6/89/170}, you will see it says -- perhaps if you
2 can just read the page to yourself, Dr Trento. (Pause)

3 Have you ...?

4 A. Yes.

5 Q. Could we go then to page 172 {RC-J6/89/172}. We see,
6 talking about factors:

7 "Small cost changes [the point you were on just
8 now].

9 "The studies provide evidence of pass-through of
10 large and small cost changes in different sectors and
11 different countries. Bergman and Hansen, for instance,
12 show that a [Danish Krone, I assume] 0.04 increase in
13 taxes per beer bottle is passed through to consumers.
14 This is important evidence for the analysis since the
15 decrease in [interchange fee] due to the [Interchange
16 Fee Regulation] also constitutes a small cost change
17 across sectors and countries. This shows that
18 pass-through of small cost changes is possible in the
19 first place."

20 I take it you do not disagree with that?

21 A. I agree with that. There is a question whether this is
22 part of marginal cost or not from the point of view of
23 the firm.

24 Q. It also then considers sectoral and country-specific
25 characteristics which serve as determinants of the

1 pass-on rate, such as the measures of market
2 competitiveness and the national GDP level. If we -- we
3 will see that over the page at 173 {RC-J6/89/173}.

4 The study uses a regression model to determine the
5 influences of these factors on pass-on rates. If we go
6 to page 175, please {RC-J6/89/175}, we see the results
7 and you see it in the table. For a cost increase, it is
8 effectively a 90% pass-on rate, and for a cost decrease,
9 66%; yes?

10 A. Yes.

11 Q. Now, are you really saying that a study like this has no
12 legitimacy, no evidential weight; that, instead, the
13 Tribunal is better off basing itself on Mr Economides'
14 matching exercise, for example, or the evidence of one
15 claimant whose regression analyses are very
16 questionably -- of questionable reliance or reliability?

17 A. No, but maybe it is important to clarify that this study
18 does not estimate the pass-on of the MIF or the MSC.
19 What they are doing is they are taking an average
20 pass-on rate estimated by different papers, but the
21 pass-on rate is very often the pass-on rate of wholesale
22 prices for taxes.

23 Q. But they consider that to be a reliable proxy, do they
24 not?

25 A. Yes, they do.

1 Q. Now, another reason that you give for ignoring all of
2 the public studies is you mention the possibility of
3 publication bias. So this is the idea that there may be
4 a tendency for statistically significant positive
5 results to be over-represented; yes?

6 A. Yes, I do not use them as a reason for discarding the
7 public studies.

8 Q. No; as was my understanding of your -- if you do not
9 rely on publication bias, then we can move on.

10 A. That is fine, yes.

11 Q. Fine.

12 A. The only thing I am saying there is we need to
13 contextualise the results. So there is a literature
14 that is known in economic and in other disciplines about
15 the publication bias, which is, it is easier for a paper
16 to be published if it finds statistically significant
17 results than if it does not, and therefore there are
18 many studies or many analyses that have been carried out
19 but have not been published, and therefore there is an
20 overrepresentation of statistically significant effect
21 in the literature. That is just some context.

22 Q. That is just a general point, but it is not really of
23 relevance here. One does not -- one would not expect
24 that pass-on rates would be higher in the literature as
25 a -- that would be reported as a result of that here?

1 A. Well, that is exactly the point, that if you look at the
2 average pass-on rate estimate, that is going to be an
3 overestimation of the true pass-on rate. That is what
4 the literature on --

5 Q. Well, I thought a moment ago you accepted it was not
6 really a reason to --

7 A. No, I do not -- I would not use this to discard.

8 Q. To discard.

9 A. To discard reliance on public papers.

10 Q. We looked through this report of the European
11 Commission, and they do not mention publication bias as
12 a concern at all.

13 A. Yes. Well, publication bias I think is a very
14 well-known fact in economic and other disciplines.
15 There are Nobel prizes written about it, so ...

16 Q. The other issue that you mention is accounting for the
17 varying precision of different studies when you are
18 synthesising the results; yes?

19 A. Yes.

20 Q. I think you criticise Mr Holt's approach to just
21 aggregating and averaging the results, rather than
22 accounting for the differences in the quality or
23 accuracy of the results; yes?

24 A. Yes.

25 Q. So if we go, please, to RC-G --

1 A. Sorry, before we move on from this document, this is
2 exactly what the document does. So it takes into
3 account the different precision of the estimates of the
4 values and it runs an econometric analysis on these
5 results, and then it comes to a conclusion on the
6 average pass-on rate estimates.

7 Q. Well, you are quite right that the IFR study does, if we
8 look at this -- if one goes to 174 in this, please
9 {RC-J6/89/174}, you are absolutely right that it does
10 look at various explanatory variables, including sector
11 and country-specific characteristics, and if you -- you
12 can see that also on page 309 {RC-J6/89/309}. But
13 I think you will find that it does not assign greater
14 weight to more precise estimates, it is simply that it
15 runs a regression analysis on these explanatory factors;
16 correct?

17 A. It may be the case, yes, sorry.

18 Q. Okay. So it does something rather different. What
19 Mr Holt does is two things: first of all, he has an
20 exercise of quality control in which he excludes those
21 studies that are liable to be unreliable or irrelevant
22 or that are not peer-reviewed. Are you aware of that?

23 You are nodding. You have to say "yes".

24 A. Sorry. Yes, he excludes those studies that he considers
25 to be unreliable, yes.

1 Q. He does in fact make -- he does actually check in his
2 second -- in his twelfth report, he does actually
3 regress pass-on rate estimates from the peer-reviewed
4 studies on time and geography to check whether these
5 have an effect -- a substantial effect on the overall
6 pass-on rates, does he not? I can take you to that.
7 {RC-G/18/242}, please.

8 Between paragraphs 818 and 829, he considers the
9 effect of geography and time period. So he does in
10 fact -- he does in fact check whether these factors
11 matter?

12 A. Yes. I did not take this as a regression analysis.

13 Q. Okay. But it is at least a check?

14 A. It is a check, yes.

15 Q. Of course if you wanted to do that check on the studies
16 he has used, you were always free to do that yourself,
17 were you not?

18 A. Absolutely, yes.

19 Q. You chose not to do it?

20 A. No. But I have explained why, because most of these
21 studies look at proxy costs that is not a relevant proxy
22 cost for me.

23 Q. Okay, let us turn on to public data. You take issue
24 every time the other experts rely on public data and
25 I would like to look at an example of that. If we go to

1 {RC-G/2/204}, you see in 11.28 he says -- you say this:

2 "The second comment is that I consider Mr Holt's
3 analysis, other than the analysis of the
4 Holland & Barrett data, to be uninformative for the
5 pass-on of Holland & Barrett and iHerb, in particular
6 his public data analysis for the cosmetic and beauty
7 store sub-sector that he considers includes
8 Holland & Barrett and iHerb is carried out using as
9 a measure of price the copy of appliances and products
10 for personal care. The CPI is based on the price of,
11 amongst others, the following products: electrical hair
12 straighteners/tongs, electric toothbrush, toilet roll,
13 disposable nappies, sanitary towels, electric razor,
14 toothbrush, tissues, baby wipes, self-tanning products
15 [and so on]."

16 You say:

17 "These products have little, if any, overlap with
18 the product range of Holland & Barrett or any other
19 health retailer. As a result, these estimates are not
20 informative for a sector containing Holland & Barrett
21 and iHerb."

22 Yes?

23 A. Yes, I can see where you are going. I think there --

24 THE CHAIRMAN: Wait for the question.

25 A. Sorry, sorry.

1 MR JOWELL: If we go to page 207 {RC-G/2/207},

2 paragraph 11.44, you say essentially much the same
3 thing. You essentially repeat yourself; yes? So you
4 say it twice.

5 Now, the items that Mr Holt identifies are pretty
6 typical retail items sold in a pharmacy like Boots the
7 chemist, are they not?

8 A. Maybe.

9 Q. Well, they are, are they not?

10 A. I do not know.

11 Q. So you are essentially saying, well, the retail goods
12 sold in a pharmacy are very different to the goods sold
13 in Holland & Barrett. That is essentially your point;
14 correct?

15 A. Yes.

16 Q. Right. So you say there is no reason for the pass-on
17 rate in those two different types of shop to be the
18 same; correct?

19 A. Yes.

20 Q. Okay. Could we go back to your first report
21 {RC-F2/213}. You see 16.68, you come to the end of your
22 analysis for the health retail sector based on
23 Holland & Barrett, and you say:

24 "This is my conclusion for the health retail sector
25 ..."

1 Then you say this, at the bottom of the page:

2 "I understand from Mr Economides' analysis that the
3 pass-on rate estimated for this sector may also be
4 extrapolated to the following sectors for which I do not
5 assess pass-on directly:

6 "(a) pharmacy ..."

7 A. Yes.

8 Q. So you are prepared to take it from Mr Economides that
9 the pass-on rates for the health retail sector could be
10 extrapolated to a pharmacy, but when Mr Holt does the
11 reverse, and he uses pass-on of products sold in
12 a pharmacy to extrapolate back to health retail
13 products, suddenly they are completely different and not
14 informative?

15 A. Yes. Can I explain?

16 Q. Yes, please.

17 A. Yes. So what we have done is we have taken a top ten
18 sector and tried to estimate pass-on as accurately as
19 possible, and one of the top ten sector is
20 Holland & Barrett and iHerb, which is health retail.
21 Now, for that sector we have tried to estimate the
22 pass-on with Holland & Barrett data and I think we have
23 done that as accurately as possible.

24 Now, the nature of the exercise is that we have
25 2,000 claimants and possibly 80 different subsectors,

1 and we cannot estimate pass-on precisely or accurately
2 for each of these sub-sectors, and therefore once we
3 have taken the top ten sectors, which account for 70/80%
4 of the claim and of the claimants, we have tried to do
5 the exercise as well as we could for those top ten
6 sector, but the nature of the exercise is that there is
7 always going to be imprecision, and now we have tried to
8 limit imprecision to the bottom -- to the bottom 20%
9 which includes pharmacies.

10 Q. Well, it is a third, is it not, the bottom third,
11 which --

12 A. Well, in terms of claim values, it is. Now excluding
13 Pets at Home, actually, when I think about it, excluding
14 Pets at Home, WorldRemit and Pendragon.

15 Q. But I am still confused, because why are health products
16 like pharmacy products when Mr Economides says they are,
17 but pharmacy products are not like health products when
18 Mr Holt says --

19 A. No, no -- okay, I see. It is quite attractive
20 superficially. But what we are saying is that there is
21 going to be inevitably imprecision, because we cannot do
22 the estimate for all of the 80 subsectors, and now let
23 us try to minimise the imprecision to the sub-sectors,
24 like pharmacy, that do not really matter for the SSH
25 Claimants, and how we do that is we estimate the pass-on

1 for the top ten, and then we ask Mr Economides to do the
2 matching for the bottom, you know, from the number 11
3 onwards.

4 Q. Yes, but, forgive me, the matching has to be symmetric.
5 It cannot be -- similarity has a symmetric quality, does
6 it not? If sector A is similar to sector B for these
7 purposes, then sector B is also similar to sector A.,
8 that is just --

9 A. No, but what I am saying is we need to be as precise as
10 possible for the top ten, and the top ten includes
11 Holland & Barrett and iHerb and health retail, and that
12 we have done.

13 Q. Well, it is the top nine actually.

14 A. Top nine. Well, yes, nine of the top ten, yes. We have
15 done that as accurately as possible. I do not think
16 Mr Holt has done that, because he has estimated actually
17 the pass-on of pharmacy for the pass-on of
18 Holland & Barrett. So we have tried to estimate the
19 pass-on of Holland & Barrett as accurately as possible,
20 because Holland & Barrett is one of the two claimants in
21 that sector, and Mr Holt has looked at the public data
22 and has said: well, I do not know about
23 Holland & Barrett, let me take a pharmacy.

24 Q. Yes, because he thought, like Mr Economides, apparently,
25 that they were similar products --

1 A. Yes, but --

2 Q. -- but apparently they are only similar products when
3 Mr Economides says they are similar products, but not
4 when Mr Holt treats them as similar?

5 A. We are accepting that actually the matching exercise, we
6 say we are very upfront in our report. We acknowledge
7 that the matching exercise is inevitably inaccurate,
8 because you are asking Mr Economides to find one of the
9 top nine or top ten sectors and then match it with
10 another sector which is, in this case, pharmacy. We
11 acknowledge that this is an imperfect exercise, but we
12 say that it is just intrinsic in the analysis that we do
13 that there must be some imperfection, that everybody
14 does -- let me give you another example, sorry.

15 We are upfront about this imperfection and we try to
16 minimise the imperfection. If you look at what Visa has
17 done, they have taken a sector, the sector that Visa
18 categorises as a sector. So you have a sector which is
19 other services and then you have many different
20 claimants in there. One is BMW financial services. One
21 is a gym, and the other one is an internet domain
22 company that gives you the name for the website.

23 Q. Dr Trento, forgive me --

24 A. Sorry, I think it is quite important to finish this.
25 Mr Holt assigns the same pass-on rate to these three

1 very different companies, because it is just the nature
2 of the exercise that we are asked to do that there is
3 going to be some imprecision in there.

4 There is another sector where you have the British
5 Heart Foundation, and this is together with telecoms,
6 like Vodafone. It is not from us, it is from Visa --

7 Q. Dr Trento, we are talking about your report at the
8 moment, and all I am suggesting is that you should have
9 been consistent, that if you were going to be prepared
10 to extrapolate to pharmacy, then you ought to have also
11 considered --

12 A. But I have said --

13 Q. -- the evidence of pass-on from pharmacy products was
14 relevant, and not irrelevant as you have treated it?

15 A. But I have said that extrapolation is an imperfect
16 exercise, this is clear in our report, and therefore --

17 Q. Can we just disregard extrapolation, would that really
18 be better?

19 A. No, I think it is imperfect but it is not to be
20 disregarded.

21 Q. Well, it is not. But do you then regard Mr Holt's
22 figures for pharmacy, or not? Because we cannot have it
23 both ways really.

24 A. No, no, I can have it both ways, because what I am
25 saying is -- because what I am saying is I estimate for

1 Holland & Barrett it is, say, 40%, or whatever it is,
2 and then pharmacy could be 60%, it could be 20%, and
3 when Mr Economides does the extrapolation, imagine the
4 pharmacy is actually 20% and it goes to 40%, that is
5 imprecise, and that I have acknowledged.

6 What I want to do is for Holland & Barrett in
7 particular, I want to get this 40% right, and so when
8 someone comes and says, well, you know, I do not have
9 data for Holland & Barrett, I do it for pharmacy, and
10 I estimate a 20% pass-on rate, that in my opinion is
11 wrong, because what we want to do, Holland & Barrett is
12 probably around 7% of the SSH claim, we want to get it
13 as correct as possible, so --

14 Q. Dr Trento, forgive me, but you are not just estimating
15 for Holland & Barrett, you are estimating for the
16 sector. You are estimating for the sector.

17 A. Well, but my sector is Holland & Barrett and iHerb.

18 Q. Well, no, your sector is health products, actually.

19 A. Health retail --

20 Q. You have taken --

21 A. -- where the only claimants are Holland & Barrett --

22 Q. -- you are making a sector-wide estimate?

23 A. No, I am making a sector-wide which only includes iHerb
24 and Holland & Barrett. This is my sector.

25 Q. Dr Trento, I think I have put the point more than once

1 and I am going to move on.

2 Another concern that you have about the public data
3 is the question of simultaneity bias; correct?

4 A. Yes, correct.

5 Q. That is -- essentially your concern is that higher
6 prices can lead to higher costs, as well as the more
7 obvious point of higher costs leading to higher prices;
8 yes?

9 A. Yes.

10 Q. That is particularly a concern, I think, that you think
11 individuals anticipate higher costs in the future, and
12 that is then reflected in higher costs in the present.
13 Is that right?

14 A. So, for instance, in the case of wage, what I say is
15 that it is possible that wages are affected by the level
16 of inflation, so if the inflation is higher, then people
17 are demanding higher wages, so that would be one of the
18 effects.

19 Q. Yes. Now, are you aware that in, I think, 28 out of 30
20 of Mr Holt's analyses of the public data, he uses
21 a first differences analysis or specification?

22 A. Yes. That does not change anything.

23 Q. Well, he looks at changes in costs not only in the
24 current quarter and the costs in the previous three
25 quarters and the changes over a period of a year, so,

effectively, if the changes in prices in the last quarter of 2023 are determined in his model by changes in costs over quarter 1 to the whole -- quarter 1 to quarter 4 2023, and the pass-on is then the sum of those effects, and changes in costs in other periods, so later periods, are not included in his estimates of the pass-on. So given that he focuses only on current and recent past cost changes, does that not reduce significantly the potential for simultaneity bias as a practical matter?

A. For the past costs, yes. For the current costs, no, obviously, because of the simultaneities, meaning that the two are -- you know, prices and wages are determined at the same time.

Q. It does reduce the concern of simultaneity?

A. Only for the -- only for the lagged costs, and so -- so you put to me that he takes first differences, which just means that he is taking the increase in prices and the increase in wages.

Q. That in itself, I accept, but it is the combination of that with the price data that he uses?

A. Okay. Well --

Q. That does reduce the simultaneity?

A. Only for the lagged costs.

Q. Okay. Now the concerns about simultaneity bias that you

1 cite from the literature, I can take you through all the
2 papers -- I would rather not -- but they all, I think
3 you may recall, concern economy-wide data?

4 A. Yes.

5 Q. Now, we are talking here about sectoral data; yes?

6 A. Yes.

7 Q. Now, if we take, for example, the claimants' data, I am
8 sure you would agree that there is less of a concern
9 about simultaneity bias because people can swap inputs?

10 A. I think for the claimants' data it is the fact that you
11 would not -- you know, wages at the economic level are
12 not set according to Primark's prices, yes.

13 Q. Yes. But by the same token, sectoral -- where you are
14 looking at sectoral data, it is less of a concern, is it
15 not, than --

16 A. I agree that it is less of a concern, yes.

17 Q. Okay. So what I would say is that overall, it was
18 appropriate to place some reliance, in arriving at your
19 sectoral estimates, on the prior public studies and the
20 analysis of the public data, was it not?

21 A. Well, I have explained. The main reason why I have not
22 put reliance on that is the issue of the proxy cost.
23 These studies, or the PPI data, for instance, looks at
24 the pass-on of wholesale prices or taxes.

25 Q. But that is a relevant thing to look at, is it not,

1 because there is -- we are already on -- we have
2 accepted, I think, that your proxy cost is very
3 imperfect?

4 A. Yes.

5 Q. So why not look at other imperfect proxies and give them
6 some weight, Dr Trento?

7 A. I mean, the question is: how far we go from the truth,
8 from the truth? In my opinion, we are already quite far
9 away with total overhead costs, and if we look at costs,
10 we are even further away.

11 Q. Well, I suggest with total overhead costs, taking all
12 that depreciation, you are one end of the spectrum. So
13 you need surely to look at things at the other end of
14 the spectrum and at least balance it out, do you not,
15 Dr Trento?

16 A. Well, I have already explained why I do not think COGS
17 are the relevant proxy cost.

18 Q. Right. Well, let us talk about wages, because that is,
19 if you like, somewhere in between. Now, if we could go,
20 please, to your second report {RC-G/2/78}, please. You
21 see 5.36(iii), and you write there:

22 "I note that Mr Coombs (and Mr Holt) also estimate
23 the pass-on of wages -- which they consider to be
24 a variable cost, even though they are at times treated
25 as overhead costs by the claimants -- at lower levels

1 than the pass-on of COGS which they also consider to be
2 a variable cost (a characterisation I agree with)."

3 Then I think we can skip over Mr Coombs, and you
4 say:

5 "... Mr Holt's average pass-on estimates for PPI and
6 wages. Again, the average estimate for the pass-on of
7 wages is below that of PPI."

8 If we could go -- then you say:

9 "Hence, Mr Coombs' and Mr Holt's own analyses find
10 that costs which they consider to be both variable (ie
11 wages and COGS) from an economic theory perspective are
12 passed on at different rates. While there may be
13 various reasons for these differences, this evidence
14 does not support the prediction that all costs that are
15 conceptually variable are passed on at the same rates,
16 a prediction which Mr Holt and Mr Coombs rely on to
17 identify their proxy costs. Indeed, Mr Coombs' and
18 Mr Holt's analyses demonstrate the relevance of the
19 treatment of a cost by the merchant claimants in reality
20 as a determinant of pass-on."

21 Then in the table below the one -- so table 4, you
22 see you compare Mr Holt's -- "Cost measures and pass-on
23 estimates compared", and for COGS, 83%, and for AWE
24 series, which is a wage series, we see 66%; yes? Do you
25 recall this evidence?

1 A. Yes.

2 Q. So your (inaudible) different, suggesting that variable
3 costs are passed on at significantly lower rates if they
4 are treated as overheads, rather than as COGS; correct?
5 That is the point you are making, I think?

6 A. No, I am just saying that they are passed on at
7 different rates, even on their analysis.

8 Q. All right. Well, if you look in the footnote, footnote
9 2 to table 4, you acknowledge that:

10 "These numbers include three estimates that Mr Holt
11 considers unreliable. Removing these barely changes the
12 results to 83% for PPI and 69% for AWE."

13 Well, that is the proper comparison, is it not,
14 because one has to remove, as he does for all of his
15 estimates, you have to remove the unreliable estimates?

16 A. Yes, it could be.

17 Q. So the real difference is 83% compared to 69%. Now,
18 given the general level of uncertainty of all of
19 these -- of all of these results, that is not really
20 a huge difference, is it?

21 A. No, I agree it is not a huge difference, but maybe --
22 I think it is important to make a point, which is when
23 you do an analysis based on public data, if you look at
24 an econometric textbook, they say, look, there are three
25 sources of bias that you need to try to avoid at all

1 costs, one is simultaneity and endogeneity bias, one is
2 omitted variable bias, and the other one is measurement
3 error bias. When running a regression of CPIs on PPIs
4 or CPIs on wages using this public data, you have the
5 three of them, you have three big problems, three
6 sources of bias, and you do not know how big they are.

7 So if these results are driven by omitted variable
8 bias, because there are some -- because they are not
9 taking into account, you know, the effect of demands,
10 for instance, properly, on prices and costs, both these
11 estimates could be substantial overestimation of the
12 true pass-on, and they go both in the direction of
13 overestimating for the omitted variable bias, so --

14 Q. I am really not on that point right now.

15 A. Okay, but --

16 Q. You are making the point -- you are making in your
17 report this great comparison between 83% and actually
18 69% for wages to make a point that -- a different point,
19 which is wages and COGS are passed on at different
20 rates, and all I am putting to you is that that is not
21 a very substantial difference in the scheme of things,
22 given the variability of the various results.

23 Another point that I should put to you is that of
24 course, as we just discussed, because Mr Holt is using a
25 first differences approach using those datasets of

1 one year, it is possible, is it not, that if one were to
2 take a period of more than a year, that 69% could go up,
3 because, I think, as we discussed earlier, it may be
4 that when one is talking about the pass-on of something
5 like wage rates, that might take a little longer to feed
6 through into prices?

7 A. We do not know this. It is possible. It is
8 a possibility but we do not know.

9 Q. It is a possibility, right.

10 Now, if we could go to {RC-I6/4/1}, please. Now,
11 this is simply a document that we produced that
12 summarises the other pass-on rates on the basis of --
13 found on the basis of labour costs from other public
14 studies. You see here the first one is 0.7, so that is
15 pretty similar to the 69% that Mr Holt found, and the
16 others, 97%, and I think if we go over the page there
17 are more, 98, 100% and 83% {RC-I6/4/2}. They are all
18 broadly consistent with the figures for COGS as well,
19 are they not?

20 A. Okay, I think there is a -- sorry, please, they are
21 very -- they are high pass-on rates, yes, yes.

22 Q. Yes. So it does not seem to be right, when one looks at
23 the figures as a whole, that there is a radical
24 difference between pass-on rates for wages and pass-on
25 rates for COGS?

1 A. Okay. So the issue here is the following: the
2 literature that analyses the pass-on rate of minimum
3 wages is focused on a very different question from our
4 question. The question that we ask is: if you take
5 a retailer and then you increase the wages that they are
6 paying, how much of this wage increase is passed on?

7 Now, this literature usually looks at the pass-on of
8 minimum wages throughout the supply chain, so it is
9 possible that an increase in minimum wages affects
10 wholesale prices, and that wholesale prices are then
11 passed on into higher retail prices. That does not tell
12 you much about the pass-on of minimum wages for the
13 retailer into prices.

14 So we are only interested in one step during the
15 supply chain, and this paper is mainly interested in the
16 whole steps from the beginning to the end. There is
17 another issue, which is when you increase minimum wages,
18 you also increase demand, or you potentially also
19 increase demand, because people have more money to
20 spend, and therefore that is an additional effect that
21 does not go through a pass-on effect but it goes through
22 something else.

23 So that is why I think those papers are ...
24 difficult to transport the results of those papers into
25 our question.

1 Q. Well, we will have to agree to disagree, I think.

2 A. Well, no, I think it is quite an important point,
3 because the question is if you increase minimum wages,
4 and this increases the cost of a retailer by how much
5 the retailer then increases prices, that is one
6 question. If you say minimum wage increase, this will
7 lead to an increase in COGS, this will lead to increase
8 in demand, this will lead to an increase in the retailer
9 cost, then all of this effect combined will lead to
10 a price increase, then we are capturing two of the three
11 effects which are effects we do not want to capture.

12 Q. Well, there is no perfect proxy, is there; we
13 established that?

14 A. Yes, yes.

15 Q. Could I talk about your proxy and the benefits you say
16 that come from having disaggregated data. Now, I think
17 that if we start off with perhaps some common ground,
18 I hope, if we go to {RC-F/19/163}. You see
19 paragraph 468, "Unit aggregation ...", we have
20 a discussion here by Mr Holt on mix effects and unit
21 aggregation effects.

22 Now, you agree that, I am sure, about the existence
23 of these two potential effects, mix effects and unit
24 aggregation effects?

25 A. I am aware that Holt considered those to be problematic.

1 Q. Yes. Now, you have sought to make a virtue of the fact,
2 I think it is fair to say, that you use, where possible,
3 disaggregated data; correct?

4 A. Correct.

5 Q. But when you focus on overheads, your analysis of
6 overheads typically analyses aggregate overheads;
7 correct?

8 A. Yes, that is correct, because this is the way the data
9 comes to us, so this is the way that companies record
10 their overhead costs.

11 Q. So you are combining aggregate overheads with product
12 level prices?

13 A. Yes.

14 Q. Okay. Then in some cases, rather than aggregate the
15 overheads -- just aggregate the overheads, you also then
16 seek to normalise them by dividing by, for example, in
17 particular, the number of stores or some other divisor;
18 yes?

19 A. Well, sorry, just one qualification: you say I aggregate
20 overheads. I do not aggregate overheads; this is how
21 they come in the data.

22 Q. That is what you have.

23 A. Then, yes, I try to ...

24 Q. Now --

25 A. Yes.

1 Q. -- you have said, well, I have -- I think you said in
2 your first report that you have several millions of data
3 points which provide a wealth of information on the
4 variation of prices and costs, and I think you said
5 something similar in the hot-tub?

6 A. Yes.

7 Q. That is a fair representation of your views?

8 A. Yes.

9 Q. But I think you would accept that when you have
10 aggregate data at the overhead level, that does rather
11 limit you. To give you an example, if you have 72
12 monthly time periods, which is fairly typical, I think,
13 for the length of the claimant data, even if you have
14 10,000 products, as a practical matter you have to
15 compare 72 aggregate costs to 72 average prices?

16 A. Yes. Sorry, yes, no, I agree, this is the data that we
17 get. We get -- for instance, if for one claimant we get
18 only 72 months, then this is the data that we have.

19 Q. Yes. You analyse overheads typically using a moving
20 average of overheads over a three, six or 12-month
21 period; correct?

22 A. Correct.

23 Q. So if you have 72 monthly observations and a 12-month
24 moving average, you have only six observations that are
25 completely distinct from each other; correct?

1 A. No, all of them are distinct from each other, sorry.

2 Why only six?

3 Q. Well, is that right? If you are happy to -- if you are
4 looking at a moving average over a 12-month period, then
5 you have to divide your 72 by --

6 A. No, sorry, maybe I can explain. What we do is, for
7 instance, if you take prices in November 2020, and then
8 imagine we take a moving average of three months, this
9 means that I look at price in November 2020 and then
10 I look at the overhead costs in the three months before
11 November 2020, so that would be August, September
12 and October 2020.

13 Then we look at prices in December 2020, and then
14 the moving average moves. So this is why it is called
15 a moving average, because now instead of having August,
16 September, October, we have September, October,
17 November, so in fact we have the same number of
18 observations.

19 Q. Well, you are talking about three months, but let us
20 take the 12-month example, right? The average is
21 calculated using the previous 12-month period?

22 A. Yes.

23 Q. So there is an overlap between -- for each 12-month
24 period?

25 A. An overlap between the overhead costs that are included?

1 Q. Yes.

2 A. Yes.

3 Q. So effectively you have only six observations or six
4 sets of observations that are completely distinct from
5 each other?

6 A. I still do not agree with you, because they are all
7 different from each other, then I understand what
8 you are ...

9 I think what counsel is saying is that -- let us go
10 back to this example of three months. If you have
11 August, September and October, when you want to estimate
12 the price for November then you go to the price in
13 December, you still have September and October in the
14 moving average. You now have September, October,
15 November; that I agree with. But the moving average --
16 the value of the moving average will be different,
17 because now we have a different month, that is included
18 in a different month --

19 THE CHAIRMAN: You now have November instead of August?

20 A. Exactly.

21 THE CHAIRMAN: Yes.

22 MR JOWELL: Now, Mr Holt has explained that one benefit of
23 disaggregated data is that it allows for the use of time
24 fixed effects which flexibly control for factors that
25 change over time but affect all units in the same way,

- 1 such as demand; yes?
- 2 A. Yes.
- 3 Q. You agree that time fixed effects can be used for that?
- 4 A. Yes.
- 5 Q. You cannot include time fixed effects in your overhead
- 6 model, can you?
- 7 A. I cannot.
- 8 Q. That is a disadvantage of your model, is it not?
- 9 A. Well, I do not know. If you want to estimate the
- 10 pass-on of COGS, maybe, yes. But if you want to
- 11 estimate the pass-on of total overheads costs, then you
- 12 just cannot use Mr Holt's model.
- 13 Q. Well, you criticise Mr Holt's analysis of public data
- 14 for only including a trend and quarterly dummies on the
- 15 ground that a trend cannot capture all the variation in
- 16 demand.
- 17 A. Yes.
- 18 Q. Right?
- 19 A. Yes.
- 20 Q. Well, many of your analyses control for demand using
- 21 median pay per worker.
- 22 A. Yes.
- 23 Q. Well, that is not very different from a --
- 24 A. Well --
- 25 Q. -- linear trend, is it?

1 A. Well, it is very different. Sorry. A trend is a linear
2 trend, so what -- in the analysis of Mr Holt, you just
3 have an effect which is just -- it does not change with
4 the ups and downs of the economy. So if there is
5 a recession or whether there is an economic boom, the
6 demand is variable, the trend does not capture that.

7 Under my analysis I am using variables, like the
8 median wage or the UK GDP, that do capture the business
9 cycle of the demand, and because prices -- we know the
10 prices are pro-cyclical, so they are affected by demand,
11 then I think my demand variable does capture the effect
12 of demand -- the pressure that demand can put on prices,
13 or to increase prices or to reduce price. You cannot do
14 this with a linear trend because demand is not linear.

15 Q. You would still, I think, accept, would you not, that
16 there are differences between the businesses -- radical
17 differences between the businesses that you analyse
18 here, all of these different willing claimants, and they
19 are going to vary around a trend, are they not?

20 A. Yes, I accept that there could be some claimant-specific
21 effect.

22 Q. So that is also a deviation around a trend, is it not?

23 A. No, but it is very different. There may be a deviation
24 around the trend, but the problem is one of omitted
25 variable bias. If you cannot control for the effect of

1 demand on prices, then the model is going to capture
2 that effect, not through demand, because demand is not
3 there, but through the costs, so meaning that it is
4 going to inflate the pass-on estimate on cost.

5 I think Professor Waterson had a very nice example
6 which -- well, I mean, sorry, maybe this is off-topic,
7 but the issue is that if wages affect prices, not only
8 because they increase costs but also because they allow
9 people to spend more, and therefore companies put up
10 their prices, then you want to capture both effects. If
11 you do not capture the effect through demand, then the
12 model is going to assign that effect to cost
13 incorrectly. So it is going to say, well, yes, I can
14 see there is a link between wages and prices, and all of
15 the link, I assume that it goes through costs, even if
16 that is not true.

17 In my case, I do not see any omitted variable bias
18 in this case. Because if it is true that there is
19 something very specific to the claimant, which may be
20 true, that is unlikely to be correlated with the cost of
21 the claimant.

22 Q. Well, let me ask you about something, another matter,
23 which is --

24 PROFESSOR WATERSON: Can I just raise a point here?

25 MR JOWELL: Yes, please.

1 PROFESSOR WATERSON: Which is related to what we have been
2 talking about, but not in that sense, and that is that
3 you have millions of prices but you only have
4 a relatively small number of observations of costs.

5 A. Of operating -- of overhead costs? Yes, not COGS.

6 PROFESSOR WATERSON: Yes.

7 A. COGS also (inaudible).

8 PROFESSOR WATERSON: So in terms of the degrees of freedom
9 that you have, it is somewhat illusory to talk about
10 millions of prices, is it not, when the thing that you
11 are explaining them with is a relatively small sample?

12 A. Yes. So I think the benefit of using disaggregated data
13 is that for each transaction we know the price, we know
14 the COGS, and we know the characteristic of the
15 transaction, so where it was, what the product that was
16 sold was, when, and other things that affect prices, and
17 I think this variation is very important to estimate, to
18 explain prices.

19 Now, I agree with you that when you look then at
20 total overhead costs, we do not have the same
21 information for each product, so we do not have one
22 million variations -- or millions of variation in the
23 total overhead costs, just because how companies record
24 their overhead costs is that they record one total
25 overhead cost per month, then I agree with you that you

1 cannot -- I mean, estimating the pass-on of total
2 overhead costs is not -- well, it is more challenging
3 than estimating the pass-on of COGS, but I think you
4 still need to do the analysis at the disaggregated
5 level, because that tells you -- that allows you to
6 better explain prices through other factors, like COGS
7 etc, etc, product characteristics, and that allows you
8 to then interpret the coefficient on total overhead
9 costs, as a causation from total overhead costs to
10 prices. But, yes, I agree with you, we are not looking
11 at millions ...

12 PROFESSOR WATERSON: But there is an issue about the degrees
13 of freedom --

14 A. It is -- it is much less easy to estimate the pass-on of
15 total overhead costs than it is to estimate the pass-on
16 of COGS, because you have much more variability in COGS
17 than you have in total overhead costs.

18 PROFESSOR WATERSON: Yes.

19 MR JOWELL: May I pick up on another problem that arises
20 from this aggregation?

21 A. Yes.

22 Q. So you will see on the page in front of you that Mr Holt
23 explains and he gives an example of a mix effect. He
24 says:

25 "A mix effect occurs [at the top of the page] when

1 there are changes in the average price or cost level,
2 not because of any change in the individual underlying
3 changes, but rather because of a change in the
4 composition of sales. For example, a car showroom might
5 see its average price level go up because it sold more
6 SUVs and fewer hatchbacks, even if the price of both
7 SUVs and hatchbacks was entirely constant. Equivalent
8 issues can arise with costs, for example in this case
9 the COGS per unit would also rise if SUVs had higher
10 COGS than hatchbacks."

11 A. Yes, yes, and hence the reason we use transaction level
12 data for the estimation.

13 Q. Well, okay, so where you are using both aggregated costs
14 and aggregated prices, the potential bias from those mix
15 effects is ambiguous, it could go either way; correct?
16 The bias, just -- not the -- it can lead to variance,
17 but we do not know whether it is going to lead to
18 bias -- there is no reason to suppose it is going to
19 bias the results in any particular direction?

20 Let us suppose you are using aggregated costs and
21 aggregated prices, and there is a mix effect of that
22 nature, using the SUV example, then the bias is
23 ambiguous; correct?

24 A. I mean, in the case of the example of Mr Holt, I think
25 it is quite clear what the bias is, but I am sure you

1 can come up with an example where the bias is different.

2 Q. Well, it would depend on the circumstances, would it
3 not?

4 A. Yes, it is very general question.

5 Q. Let us now take, if you have disaggregated prices but
6 aggregated costs, which is your situation, right?

7 A. Yes.

8 Q. So then you have a scope for mix effects in costs but
9 not in prices; correct?

10 A. Sorry, what is the mix effects on costs?

11 Q. Well, let us take the SUVs and hatchback example. Let
12 us suppose you are using aggregated costs but
13 disaggregated prices?

14 A. But these are COGS again.

15 Q. Okay. Let us suppose that the price and the cost of an
16 SUV is higher --

17 A. Sorry, I agree -- if you are talking about COGS, yes,
18 I agree.

19 Q. Yes. So if you are using aggregated costs, then that
20 can lead to an increase in the measured aggregated costs
21 in the average of all cars sold -- well, it can be an
22 increase or a decrease; right? In the case of an
23 increase, the increase in average cost would appear even
24 if there were no actual changes in individual costs of
25 either hatchbacks or SUVs?

- 1 A. Yes.
- 2 Q. There would also be an increase in aggregate revenue,
3 but there would not be an increase in the price of the
4 SUVs or the hatchback?
- 5 A. Yes, this is exactly the mix effects.
- 6 Q. Conversely, if there is a decrease in the share of SUVs
7 over the period of measurement, then that is going to
8 lead to a decrease in measured aggregate costs, even if
9 there is no actual cost change of either type of car;
10 yes?
- 11 A. Yes.
- 12 Q. So despite the decrease in average total costs, there is
13 going to be no decrease in price; yes?
- 14 A. Despite the decrease in average total costs ...
- 15 Sorry, is it a question? If I am looking at price
16 at individual level, transaction level, and if I am
17 actually looking at COGS at an aggregate level, okay,
18 yes, I agree.
- 19 Q. Yes, you agree. So what you have there are you have
20 a model with -- when you have a model with aggregated
21 costs and disaggregated prices, this is picked up as
22 a change in cost but with no correlative change in
23 price?
- 24 A. Yes.
- 25 Q. Okay.

- 1 A. Sorry, can I just clarify we are talking about COGS
2 here?
- 3 Q. Yes.
- 4 A. Yes, okay.
- 5 Q. But you are then going to find -- let us suppose that is
6 the only change in price and cost, so everything else is
7 held the same, but it is just the mix of the SUVs and
8 hatchbacks that changes, you are then going to find zero
9 pass-on?
- 10 A. If you have a change in COGS which is not reflected in
11 the change in prices.
- 12 Q. Correct. So -- and then if you imagine that those other
13 costs and prices are changing, then your analysis is
14 going to capture a mix of cost changes, some of which
15 are caused by an actual change in cost and some of which
16 are caused by this mix effect; correct?
- 17 A. Still in the example of COGS, yes.
- 18 Q. So you are going to have effectively a weighted average
19 of the actual level of pass-on of actual cost changes
20 and this zero mix effect; right?
- 21 A. Still in the example of COGS, yes.
- 22 Q. That creates classical measurement error, which leads to
23 attenuation bias, biasing the result downwards, does it
24 not?
- 25 A. In this example, yes.

1 Q. There is another issue which is perhaps even more
2 fundamental about --

3 A. Sorry, I think I may need to make a fundamental point,
4 which is we are looking at COGS in here, and we are not
5 looking at total overhead costs.

6 Q. Yes. Perhaps you could explain why it would matter?

7 A. Yes, because total overhead costs, the way that they are
8 recorded by the company, is they only have an aggregate
9 monthly cost. So they are not recorded at the product
10 level, and then I am aggregating those very granular
11 data into something that is aggregate. This is not what
12 I am doing. It is just the data comes in that format,
13 and this is the way that the data is then monitored, so
14 overhead costs are monitored, and this is also how
15 overhead costs are then possibly translated into an
16 action from the firm, including a price change, so I am
17 not -- so I think what --

18 Q. Well, there is still a problem with a mix effect, is
19 there not?

20 A. But what mix effect? Can you give me an example of
21 a mix effect for overhead costs? I think it is quite
22 difficult to think of one. So if I have -- say I am
23 a company, a supermarket, and what I do is I invest in
24 checkout machines and I reduce the number of retail
25 staff, then what you see -- then what you see in the

1 data, you know, there may be many effects on the
2 different -- prices of the different input, but the only
3 thing you see in the data is because I have invested,
4 then it means that this decrease is my cost. I have
5 done it so it may make sense for me to do it. So that
6 change will decrease my overall total overhead costs.

7 This is the only thing you see in the data. I do
8 not think you can see ... I cannot see how you see a mix
9 effect in total overhead costs.

10 Q. Well, there might be all sorts of reasons why overhead
11 costs vary over time, or the proportions spent on
12 particular overheads vary over time. I mean, what if,
13 for example, you have -- you need more utilities in
14 a larger room or something like that? All sorts of
15 examples you could think of.

16 A. Yes, but you need more utilities, then these are bigger
17 costs for you, and you need to take that into account.

18 Q. Well, surely you can have examples -- one can think of
19 examples of depreciation costs going up and down, audit
20 costs, particularly different audit, or there may be all
21 sorts of things that lead to these changes in the volume
22 of overheads, as well as the price of the overheads.

23 A. Yes, I still cannot see a mix effect there, to be
24 honest, but --

25 Q. Well, okay.

1 MR JOWELL: Would that be a convenient moment?

2 THE CHAIRMAN: Yes. We will have a ten-minute break.

3 (3.17 pm)

4 (Short Break)

5 (3.27 pm)

6 THE CHAIRMAN: Yes, Mr Jowell.

7 MR JOWELL: Dr Trento, I would like to discuss
8 normalisation.

9 A. Yes.

10 Q. Now, as we have mentioned before, you analysed the
11 effect of changes in expenditure on total overheads and
12 also changes of so-called normalised expenditure on
13 overheads, I think that you typically favour the
14 normalised forms of your regression analysis. Is that
15 correct?

16 A. That is correct. The normalisation only means that
17 I divide total overhead costs by the number of stores or
18 the number of restaurants or something that gives me an
19 idea about the scale of the company.

20 Q. The reason you do that is because it is possible that
21 expenditure on overheads can change, both because of
22 changes in the price of the overheads, and also because
23 of simply the fact that the merchant is spending more,
24 because there are, say, more shops or more programmes or
25 more restaurants or --

- 1 A. Correct.
- 2 Q. Okay. So that is a form of a greater quantity of
- 3 overhead expenditure that is not -- that is captured by
- 4 the normalisation; yes? So you are --
- 5 A. That is not -- it is captured ...
- 6 Q. I did not put that very well. You are seeking to
- 7 capture that form of change in quantity by the
- 8 normalisation?
- 9 A. Yes.
- 10 Q. Okay. But there are also other changes -- potential
- 11 changes in the quantity of overheads that are not caught
- 12 by that. So to give you an example, it could be that,
- 13 say, advertising and marketing expenditure increases
- 14 without the price of advertising or marketing
- 15 expenditure increasing?
- 16 A. I agree. I think this is part of the unit aggregation
- 17 effects that Mr Holt discusses.
- 18 Q. Yes, so -- and similarly, there could be seasonal
- 19 changes in expenditure, such as more staff being hired
- 20 around the Christmas period?
- 21 A. Yes.
- 22 Q. Just controlling for the number of stores will not
- 23 account for those sorts of cost changes, will it?
- 24 A. That is broadly -- well, I accept that there are some
- 25 changes in overhead costs which are not captured by the

1 normalisation that I do, which is just divide by the
2 number of stores. We try to control for these effects
3 in a different way, which is imperfect, of course, but
4 we try to. One is to use moving averages for total
5 overhead costs, such as if there is a spike in marketing
6 costs in one month, then we spread this spike into
7 different months, maybe three, maybe six or maybe 12,
8 and if there is a seasonal effect, like increased
9 months, you need more retail staff. We try to control
10 for this, not only through the moving average but also
11 by including some effects -- some fixed effects in the
12 analysis, that are called seasonal fixed effects, that
13 would capture these ups and downs of total overhead
14 costs through the year.

15 Q. But just to be clear, the moving average will not help
16 if you are looking at a moving average of less than
17 a year, not for the Christmas sales point, will it?

18 A. For the Christmas sales, sorry, yes, what -- sorry, can
19 you --

20 Q. If there is an increase in expenditure over Christmas,
21 taking a three-month or six-month moving average will
22 not help you?

23 A. It is going to help you to smooth out that increase. So
24 if you have a spike just in December because it is
25 Christmas, but you are actually taking six months of

1 total overhead costs between, yes, July and December,
2 then you are smoothing out, you are reducing the
3 spike --

4 Q. It may reduce it --

5 A. But you still have it, yes. Sorry, in that case, that
6 effect would be captured by seasonal fixed effects that
7 we include in the regression analysis. So we have some
8 fixed effects, like Mr Holt can use time fixed effects
9 which are every month and every year. We can only
10 include fixed effect which are either every month, so
11 January is a fixed effect, February, and one
12 is December, and that one in December would capture the
13 spike in the cost of retail staff.

14 So, yes, of course, look, I think we can agree that
15 the estimation of pass-on of total overhead costs is
16 challenging. It is more challenging than the estimation
17 of the pass-on of COGS. We have done everything we
18 could to try and make it work, really.

19 Q. If we go to, just to see the sort of variations,
20 fluctuations, that one is talking about there, I want to
21 just show you some examples. If we go to {RC-G/18/76},
22 please.

23 THE CHAIRMAN: This is Mr Holt's twelfth report, his reply.

24 MR JOWELL: Yes, it is, his twelfth report.

25 At figure 12.1, we see an example of what he calls

1 the "lumpiness" in overhead costs in one of the
2 company's data, and you see a big drop in 2020 which is
3 presumably Covid.

4 A. Yes.

5 Q. But also in the non-Covid months, one sees this
6 variation, this up and down in the graph, yes?

7 A. Yes. Sorry, just to clarify that this is the original
8 data, it is not the moving average. So this is -- these
9 are the starting point, then we take moving average and
10 then the lumpiness. I cannot say it goes away, but it
11 is smoothed away.

12 Q. Well, if you look at -- if we look at the next one,
13 which is page 95 {RC-G/18/95} --

14 A. Sorry, one other clarification for this particular
15 claimant, and the one that we -- yes, this chart, you
16 can see a drop in overhead costs during the Covid
17 period. We also control for the Covid period in the
18 regression analysis, so, again, it is challenging but we
19 do our best.

20 Q. Yes. If we go to 95, we see another claimant, and,
21 again, we see really sharp fluctuations in overheads?

22 A. Yes. Can I make just one point, that this chart is
23 repeated twice in Mr Holt's report, and I think this
24 version is incorrect. There is another version which is
25 correct where you can still see some spikes but not to

1 that extent.

2 Q. Okay. We will double-check that.

3 If we can go to page 118 {RC-G/18/118}, we see
4 another claimant. Again, we see these sharp
5 fluctuations period to period?

6 A. Yes, and again we control for the Covid period, and we
7 smooth out as much as we can the -- what is it called --
8 the lumpiness.

9 Q. You accept that this sort of month to month spikiness,
10 it is more likely to be driven by changes in quantity,
11 not by changes in price, is it not?

12 A. Possible, yes.

13 Q. Okay. The effect of this is going to be to really lead
14 to the inclusion, in your model, of a degree of changes
15 in costs which are not going to be reflected in changes
16 in price. Again, it is going to lead to a downward
17 bias, is it not?

18 A. Again, we tried to avoid these at all costs. The way we
19 do it is that we do not run the regression based on this
20 data that we see on the screen, but we take the moving
21 average to try and avoid the lumpiness in the data.

22 Q. As you say, it is not completely avoided, is it?

23 A. I think to the extent that there is a -- it is not
24 completely avoided, I agree with that.

25 MR JOWELL: Thank you. Mr Chairman, I would like, if we

1 may, to go into closed session now to deal with the
2 individual claimants.

3 THE CHAIRMAN: Yes, okay. Is there any one here that should
4 not be here? You are free to leave!

5 Right.

6 In Private

7 (4.30 pm)

8 (The hearing adjourned until 10.30 am
9 on Tuesday, 17 December 2024)

10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25