

This Transcript has not been proof read or corrected. It is a working tool for the Tribunal for use in preparing its judgment. It will be placed on the Tribunal Website for readers to see how matters were conducted at the public hearing of these proceedings and is not to be relied on or cited in the context of any other proceedings. The Tribunal's judgment in this matter will be the final and definitive record.

IN THE COMPETITION
APPEAL TRIBUNAL

Case No: 1403/7/7/21

Salisbury Square House
8 Salisbury Square
London EC4Y 8AP

Wednesday 29th January 2025

Before:
Ben Tidswell
Dr William
Bishop
Tim Frazer

(Sitting as a Tribunal in England and Wales)

BETWEEN:

Dr. Rachael Kent

Class Representative

v

Apple Inc. and Apple Distribution International Ltd

Defendants

A P P E A R A N C E S

Mark Hoskins KC, Tim Ward KC, Michael Armitage, Matthew Kennedy, Antonia Fitzpatrick,
(Instructed by Hausfeld & Co. LLP) On behalf of Dr. Rachael Kent

Marie Demetriou KC, Brian Kennelly KC, Daniel Piccinin KC, Hugo Leith, Hollie Higgins
(Instructed by Gibson, Dunn & Crutcher UK LLP) On behalf of Apple Inc. and Apple
Distribution International Ltd

Wednesday, 29 January 2025

(10.30 am)

(Open session)

PROFESSOR AVIEL RUBIN (continued)

Cross-examination by MR KENNEDY (continued)

THE CHAIRMAN: Mr Kennedy.

MR KENNEDY: Sir, I propose to do about an hour in open, and then I would like to go into closed for a short period, perhaps following the transcriber's break in terms of running order.

THE CHAIRMAN: Yes, thank you.

MR KENNEDY: Good morning, Professor Rubin.

A. Good morning.

Q. You recall that yesterday afternoon we were looking at the various participants in the payment process for in-app purchases, yes?

A. Yes.

Q. We saw that the third parties that were involved in the actual world, so people like the card schemes, would remain involved in the counterfactual world, but that different entities would be involved in providing merchant of record services or other payment related services, do you recall that?

A. Right.

Q. So I want to pick it up by focusing on the entities that

1 would change in the counterfactual, so the alternative
2 providers of merchant record or other payment services.

3 It is your evidence, Professor Rubin, that in the
4 absence of the Payment System Restrictions, the security
5 of iOS device users' personal and financial information
6 would be compromised because they may be required to
7 share those details with other entities, some of which
8 may not be as secure as Apple, is that a fair summary?

9 A. That is one of my opinions, yes.

10 Q. When you refer to personal information, are you
11 referring to iOS device users' names and addresses in
12 this context?

13 A. I think those would have been included.

14 Q. Anything else?

15 A. Their credit card numbers, various transaction details.

16 Q. When you refer to financial information, are you
17 referring to credit card numbers and debit card numbers?

18 A. Yes.

19 Q. Also transaction information that you just referred to?

20 A. Right.

21 Q. Professor Rubin, you accept that any entity that
22 collects and/or retains payment card information and
23 associated personal information must be PCI-DSS
24 certified, yes?

25 A. Yes.

1 Q. PCI-DSS is an information security standard designed to
2 reduce payment card fraud by increasing security
3 controls around cardholder data, yes?

4 A. Yes.

5 Q. The PCI-DSS are administered by the Payment Card
6 Industry Security Standards Council, yes?

7 A. Yes.

8 Q. So any third party that provided merchant of record or
9 other payment related services to app developers and iOS
10 Device users in the counterfactual would need to be
11 PCI-DSS compliant, yes?

12 A. Yes.

13 Q. But your evidence is that PCI-DSS compliance is not
14 sufficient?

15 A. Well, it is not as good.

16 Q. Not as good as?

17 A. As IAP.

18 Q. I want to look, Professor Rubin, at what Apple has
19 required in circumstances in which it has relaxed the
20 Payment System Restrictions, and if we can pick it up at
21 {D2/1792}, and that is going to be tab 40 of the white
22 bundle you have in front of you.

23 If I could just take you to start on page 1 so we
24 can see what the document is, please. This is an
25 extract from Apple's developer website and it concerns

1 changes that Apple has made in the Netherlands in
2 respect of distributing dating apps, and for dating apps
3 in the Netherlands you are no longer required
4 exclusively to use IAP, do you recall that?

5 A. Yes.

6 Q. If we go over the page to page 2 {D2/1792/2}, we can see
7 a heading, heading 3. Can we zoom in on heading 3,
8 please. We see:

9 "Enter your payment processing information."

10 These are instructions for the developer who wishes
11 to avail themselves of the new options.

12 "Enter the name and website of your payment service
13 provider (PSP). Before your entitlement can be
14 assigned, you must certify that your PSP meets the
15 following criteria."

16 First bullet:

17 "Meets level 1 payment card industry (PCI)
18 compliance for handling credit and debit card data, or
19 complies with the payment services directive when not
20 handling credit and debit card data".

21 "PCI" in that bullet appears to be a reference to
22 PCI-DSS that we have just been discussing, yes?

23 A. Yes.

24 Q. If we go to {D2/203/1}, and that is the next tab for
25 you, Professor Rubin, it is tab 31. Again, this

document is a screenshot of Apple's developer website.
In this case it is discussing use of alternative payment
options on the App Store in the European Union, so this
is in light of the changes Apple has made under the DMA,
and you are aware that following those changes, it is
also possible in the European Union to use an in-app
payment services provider, other than IAP, yes?

A. Yes.

Q. Let us pick it up on page 8. {D2/203/8}. If we could
look at the second half of the document, "Submitting
Your App for Review in App Store Connect".

We see:

"When submitting your new app binary for review in
App Store Connect, make sure to follow these submission
requirements as well as the alternative terms addendum
for apps distributed in the European Union, the App
Review Guidelines and the Apple Developer Program
Licence Agreement.

Then if we skip down to the final black bullet, we
see:

"The name of your payment service provider (PSP) is
included in the review notes. Make sure the PSP is
ready to complete transactions in your app. Your PSP
must ..."

First sub-bullet:

1 "Meets Level 1 Payment Card Industry (PCI)
2 compliance for handling credit and debit card data."

3 Again, that appears to be a reference to PCI-DSS
4 that we have just been discussing, yes?

5 A. Yes.

6 Q. Then finally, South Korea, if we go to {D2/497/3}. This
7 is going to be electronic only, because the hard copy
8 got cut off. Can we pick it up on page 1 again so we
9 can see what the document is. {D2/497/2}. Again, an
10 extract from Apple's developer website, this time
11 concerned with the changes made in South Korea, and you
12 are familiar with those changes which allowed developers
13 to use in-app payment services other than IAP, yes?

14 A. Yes.

15 Q. Let us go to page {D2/497/3}. Can we go back over to
16 page 2 just briefly and we will pick it up right at the
17 bottom, and you will see that there are four PSPs that
18 are currently qualified to provide a payment processing
19 system, and then if you pick it up after the bullets it
20 says:

21 "If you prefer to use a different PSP, enter their
22 name and website information for consideration [etc]."

23 Then if we pick it up at the end of the paragraph,
24 it says:

25 "Your PSP will need to offer the following ..."

1 If we could flick on to the next page, we see:

2 "Privacy, security, and fraud prevention services
3 consistent with industry standards."

4 Would you agree that "consistent with industry
5 standards" would include consistent with PCI-DSS?

6 A. I think it should include that. Probably should have
7 said that.

8 Q. So what we see, Professor Rubin, is that when Apple has
9 relaxed the Payment System Restrictions in other
10 jurisdictions, it has required developers to use
11 a payment service provider that complies with PCI-DSS
12 level 1, yes?

13 A. Yes.

14 Q. Staying on the topic of South Korea and the Netherlands,
15 Dr Lee has said in his evidence that he is not aware of
16 any attacks that have arisen as a result of the changes
17 that Apple has made to the Payment System Restrictions
18 in South Korea and the Netherlands, yes?

19 A. He did.

20 Q. You have not identified any attacks which have arisen as
21 a result of those changes either, correct?

22 A. Correct.

23 Q. And those changes in each case were introduced in 2022,
24 correct?

25 A. I think so.

1 Q. If we could pick up {D2/1023.1}. It is tab 47 for you,
2 Professor Rubin. Just to make the date good. If we
3 could zoom in a little bit. This is again an extract
4 from Apple's website and if we pick it up we see the
5 date is March 30, 2022, and:

6 "Since February of this year, developers of dating
7 apps on in the Netherlands have been able to use the
8 Store Kit External Purchase Entitlement."

9 Which is the reference to changes regarding IAP,
10 yes?

11 A. Yes.

12 Q. Then {D1/1304}, and 48 for you, Professor Rubin, we see
13 an update on apps distributed in South Korea, dated
14 June 30, 2022, and if we look at the second paragraph we
15 see:

16 "The Telecommunications Business Act in South Korea
17 was recently amended to mandate that apps distributed by
18 app market operators in South Korea be allowed to offer
19 an alternative payment processing option within their
20 apps."

21 So again, 2022.

22 A. Yes.

23 Q. If we could look at what you say in your report,
24 Professor Rubin, about why PCI-DSS is not sufficient, we
25 will pick it up in second Rubin at para 211 and that is

1 {C3/6/95}. You say:

2 "Moreover, although PCI-DSS level 1 does require an
3 external audit for compliance, different auditors may
4 have different standards and auditors may still miss
5 issues. Further, just because a company was complying
6 at the time of an audit, does not mean that the company
7 will remain in compliance afterwards. Indeed, many
8 well-known companies that have suffered data breaches
9 claimed to be PCI-DSS level 1 compliant. Such companies
10 include Target, Home Depot, Adobe, TJX, Equifax and
11 others."

12 Yes?

13 A. Yes.

14 Q. But you do not in this paragraph point to any specific
15 examples of companies providing PCI-DSS audit services
16 applying different standards, correct?

17 A. I do not call that out in this paragraph.

18 Q. You do not identify any specific examples of companies
19 providing PCI-DSS audit services missing issues,
20 correct?

21 A. What was the last word?

22 Q. You do not identify any companies providing audit
23 services missing issues?

24 A. Missing issues?

25 Q. You say they may apply different standards and may still

1 miss issues.

2 A. Missing issues. Okay. I do not.

3 Q. Let us look at the two examples you give, Dr Rubin, of
4 companies that have suffered data breaches. The first
5 is in 213, and it is Home Depot, and this data breach
6 exploited a weakness in Home Depot's point of sale
7 system, correct?

8 A. Yes.

9 Q. A point of sale system refers to the physical payment
10 console which is used in a physical store, yes?

11 A. Yes.

12 Q. So this was not an attack which affected in-app
13 transactions for digital goods, correct?

14 A. That is right.

15 Q. Let us look at the second example, it is over the page,
16 and you say, this is 214 {C3/6/96}:

17 "Even entities that comply with industry standards
18 may not engage in sufficient data protection or suffer
19 from other vulnerabilities, including but not limited to
20 human errors, insider threats and failures in other
21 parts of a transaction workflow. By way of example,
22 Cornerstone Payment Systems is a credit card processing
23 company that advertises itself as offering 'a full
24 compliment of merchant processing services', where it
25 will 'authorise and settle transactions for all major

1 credit cards' [and so on]."

2 Then the next sentence:

3 "In 2022, it was discovered to be using an insecure
4 database to store its transaction records and customer
5 information."

6 Yes?

7 A. Yes.

8 Q. Is it your evidence that Cornerstone Payment Systems was
9 compliant with PCI-DSS, notwithstanding the data breach
10 that it suffered?

11 A. I do not say anything about that in my report and I do
12 not have specific information, but I believe that to
13 operate in the size and scale that they do, you would
14 have to have that.

15 Q. But at the time of the breach, do you think that they
16 were complying with PCI-DSS or not complying? If the
17 answer is "I do not know", then the answer is "I do not
18 know".

19 A. I want a clarification on the question. Are you asking
20 if they would have passed a PCI-DSS audit or if their
21 behaviour was in compliance with the standard?

22 Q. The confusion, Professor Rubin, arises from your report.
23 You say in the introductory sentence "Even entities that
24 comply with industry standards", and then you say "by
25 way of example", which I take to refer back to the

1 previous sentence, and then the example is Cornerstone
2 Payment Systems. But as you have said, what you do not
3 go on to do is say: and the data breach was as a result
4 of non-compliance with PCI-DSS or otherwise. So I am
5 simply seeking to clarify your evidence.

6 A. Right. So I think it would have been better for me to
7 say even entities that have passed PCI-DSS audits, as
8 opposed to saying that they are actually complying.

9 Q. Okay. Let us have a look at who Cornerstone Payment
10 Systems are. We can pick it this up at {D1/820}. Let
11 us pick it up at 1. It is tab 39 for you,
12 Professor Rubin. We can see the title of the article,
13 "A Christian-friendly payments processor spilled
14 6 million transaction records online".

15 If we read paragraph 1:

16 "A little-known payments processor, which bills
17 itself as a Christian-friendly company that does 'not
18 process credit card transactions for morally
19 objectionable businesses', left online a database
20 containing years' worth of customer payment
21 transactions."

22 Do you see that?

23 A. Yes.

24 Q. If we go over the page, top of 2, {D1/820/2}:

25 "Security researcher Anurag Sen found the database.

1 TechCrunch identified its owner as Cornerstone Payment
2 Systems, which provides payment processing to
3 ministries, nonprofits and other morally aligned
4 businesses across the US, including churches, religious
5 radio personalities and pro-life groups."

6 Yes?

7 A. Yes.

8 Q. I will ask you to take it from me, but Cornerstone is
9 not identified by Mr Burelli, Dr Lee or Mr Howell as an
10 entity that is likely to offer payment-related services
11 to iOS App developer in the counterfactual, and I am
12 going to suggest that it is unlikely that Cornerstone
13 provide payment-related services in our counterfactual?

14 A. That is not something that I can comment on. I do not
15 know if they would offer an app or not.

16 Q. Let us go to first Rubin, para 323, {C3/2/165}. If we
17 pick it up at 322:

18 "Deficient, vulnerable or malicious in-app purchase
19 channels would considerably harm iOS users' security and
20 privacy."

21 Yes?

22 A. Yes.

23 Q. Then you say:

24 "Indeed, external payment platforms have been used
25 as vehicles for stealing a user's financial information.

1 For example, a user may use a vulnerable or malicious
2 payment website, sometimes which masquerades as
3 a legitimate payment platform, to obtain credit card and
4 banking information."

5 Then footnote 381, you give an example of an attack
6 on Shopify and BigCommerce, yes?

7 A. Yes.

8 Q. Let us have a look at, I think it is the second document
9 that you identify in that footnote. It is {D1/394}.
10 Professor Rubin, that is tab 35 for you. If we pick it
11 up at the bottom of page 1, you see:

12 "This month we came across a combination of two
13 types of attacks. Hackers are infecting checkout pages
14 on legitimate e-commerce sites ..."

15 I think that is a reference to the two e-commerce
16 sites that are identified in the footnote, yes? Shopify
17 and BigCommerce, I think.

18 A. I think that is right, yes.

19 Q. Then if we go to bottom of {D1/394/4}, we see a heading
20 "Malicious Redirect". Then top of {D1/394/5}, which is
21 the first substantive paragraph under that heading, we
22 see:

23 "Let's get back to the compromised e-commerce
24 sites."

25 Again, it appears to be a reference back to Shopify

1 and BigCommerce.

2 It says:

3 "The infection is very simple. Hackers simply add
4 a short JavaScript snippet to checkout pages."

5 Yes?

6 A. Yes.

7 Q. That is -- the nature of the attack was a JavaScript
8 injection attack, is that correct?

9 A. Yes.

10 Q. JavaScript is a web programming language, correct?

11 A. Yes.

12 Q. JavaScript is interpreted code, correct?

13 A. Yes.

14 Q. Native iOS Apps are written in languages like Swift or
15 Objective-C, correct?

16 A. Yes.

17 Q. Those languages are compiled rather than interpreted,
18 yes?

19 A. Yes.

20 Q. The code for a native iOS app cannot be altered after
21 App Review because of code signing?

22 A. Not without detection, correct.

23 Q. Not without deduction. The hash values would be
24 different and it would be rejected from being downloaded
25 or being run on the app, correct?

1 A. Yes.

2 Q. It follows that a JavaScript injection attack, such as
3 the ones described in this article, could not be used to
4 steal an iOS device user's financial information if that
5 user was using a fully native iOS app which integrated,
6 using only native code, an alternative payment services
7 provider's payment service instead of Apple's IAP,
8 correct?

9 A. If I understand your counterfactual here.

10 Q. I can repeat the question if it is helpful.
11 I appreciate it is a very long question. If it would be
12 helpful?

13 A. Please.

14 Q. It follows that a JavaScript injection attack, such as
15 the ones described in this article, could not be used to
16 steal an iOS device user's financial information if that
17 user was using a fully native iOS app which integrated,
18 using only native code, an alternative payment services
19 provider's payment service instead of Apple's IAP?

20 A. I do not agree with that.

21 Q. The MageCart attack which you describe in 323, worked in
22 the same way, correct? That was also a JavaScript
23 injection attack?

24 A. Yes.

25 Q. Professor Rubin, you are aware that iOS Apps that sell

1 physical goods and services must use payment services
2 other than Apple's IAP, yes?

3 A. I did not hear the whole question.

4 Q. I am sorry. You are aware that iOS Apps that sell
5 physical goods and services, so we are talking about
6 physical goods and services in-app purchases, they must
7 use payment services other than IAP?

8 A. Yes.

9 Q. In your reports you do not present any evidence that
10 suggests that iOS Device users that make in-app
11 purchases of physical goods and services through iOS
12 Apps have had their personal and financial information
13 compromised as a result, do you?

14 A. No.

15 Q. If we could stay in first Rubin but go to paragraph 319,
16 which is just over the page to 164 {C3/2/164}, you say:

17 "IAP offers an architecture in which transaction
18 information is known to be cryptographically signed;
19 this cryptographically signed transaction information is
20 provided to developers to verify proof of purchase of
21 in-app purchases, subscriptions, and other digital
22 content."

23 Yes?

24 A. Yes.

25 Q. Can we go to {D2/1002} and it is tab 49 for you,

1 Professor Rubin. This is an extract from Apple's
2 website that explains what a transaction is, and this is
3 what you are referring to in paragraph 319, yes?

4 A. Yes.

5 Q. We see "Overview":

6 "A transaction represents a successful in-app
7 purchase. The App Store generates a transaction each
8 time a customer purchases an in-app purchase product or
9 renews a subscription. For each transaction that
10 represents a current purchase, your app unlocks the
11 purchased content or service and finishes the
12 transaction."

13 Yes?

14 A. Yes.

15 Q. If we go over the page, we can see what tasks
16 a developer can perform using a transaction. They can
17 get the transaction history, latest transactions,
18 current entitlements to unlock content and services, and
19 so on.

20 A. Yes.

21 Q. So a transaction in this sense provides information
22 about in-app purchases a user has made to the developer
23 of the iOS app in question, yes?

24 A. That is correct.

25 Q. This has nothing to do with the encryption of iOS device

1 users' personal or financial information when it is
2 provided to third parties, such as payment services
3 providers, correct?

4 A. This section is dealing with developers only, yes.

5 Q. But transactions in this sense have nothing to do with
6 the provision of information, encrypted or otherwise, to
7 third parties. It is exclusively a service or mechanism
8 that Apple provides to developers that allows
9 communication between the app and the developer so that
10 the developer understands what the user is entitled to
11 in terms of in-app purchase, yes?

12 A. Correct.

13 Q. That is its only functionality, correct?

14 A. As far as I understand it.

15 Q. Let us talk about fraud detection. A debate has emerged
16 between you and Dr Lee about fraud detection. What
17 I want to do is show you the relevant parts of your
18 reports and ask you some questions, okay?

19 A. Sounds good.

20 Q. Let us pick it up at first Lee, para 132, that is
21 {C2/5/76}.

22 A. Is this in my binder? I do not have Lee's first report.

23 Q. It may be in your binder. I am using my copy. But if
24 you give me one moment I will tell you if it is in your
25 binder.

1 Tab 20, Professor Rubin. Try that.

2 A. Of the white binder?

3 Q. Of the white binder, yes.

4 A. I have found it, yes.

5 Q. What we see is:

6 "When it comes to detecting and stopping fraud, data
7 from the ASPS is much narrower in scope than that used
8 by large third party payment systems that service
9 numerous customers through numerous channels. As
10 a result, the ASPS may miss the opportunity to detect
11 suspicious transactions early (ie before there is
12 already clear evidence of financial loss to consumers)
13 because the ASPS does not have data regarding the
14 merchant's behaviours in other online commerce
15 activities that can be utilised for fraud detection in
16 in-app purchases. For example, in 2019, Apple
17 facilitated approximately \$61 billion in sales of
18 digital goods and services. Stripe, on the other hand,
19 processes hundreds of billions of dollars in
20 transactions each year. Payment companies, in addition
21 to following strict security and privacy standards, have
22 a long history of detecting and stopping a wide range of
23 thefts, scams and fraudulent activities. For example,
24 they have been dealing effectively with credit card
25 frauds for decades. Their rich experiences, diverse

1 data sources, and large transaction volumes would, if
2 permitted, help them effectively deploy their fraud
3 detection algorithms for all types of in-app purchases
4 on iOS."

5 Yes?

6 A. That is what he said, yes.

7 Q. Dr Lee repeats that opinion in his second report at
8 paragraph 133. We do not need to turn it up. But what
9 Dr Lee says is:

10 "As I explained in Lee 1, my opinion is that in-app
11 aftermarket service providers, such as Paddle and
12 Stripe, are in fact better at detecting fraud than
13 IAP/ASPS in the actual world."

14 Yes?

15 A. He said that.

16 Q. Let us see what you say about it. It is second Rubin,
17 paragraph 206, and that is {C3/6/92}. You say -- let us
18 pick it up on 93. You will see -- about a third of the
19 way down, you will see a sentence beginning "However"?

20 A. Yes.

21 Q. You say:

22 "However, Dr Lee's opinion about the significance of
23 the volume of transactions is overly simplistic. I have
24 discussed in section VI.C1 above that various aspects of
25 the training dataset affect the performance of machine

1 learning algorithms, including data quality and
2 relevance. Specifically, Apple's IAP transactions
3 involve transactions on the iOS platform for digital
4 goods. I understand that Apple utilises data collected
5 from IAP transactions to train its own fraud detection
6 tools that continue to analyse IAP transactions on iOS
7 for digital goods."

8 Then you quote from Mr Schiller's statement.

9 If we pick it up after the quote:

10 "Therefore, Apple's transaction data is highly
11 representative of what the fraud detection tools
12 encounter. In contrast, although third-party payment
13 providers may gather larger volumes of data for their
14 machine learning tools (on which Dr Lee never provides
15 evidence), such data is likely to be less precise and
16 relevant to in-app purchases on the iOS platform,
17 particularly those involving digital goods. As Dr Lee
18 also admits, these third party marketplaces 'service
19 numerous customers through numerous channels.'"

20 Yes?

21 A. Yes.

22 Q. Let us just have a look at what you say in your first
23 report about data and training of machine learning
24 algorithms. It is first Rubin, 328. That is
25 {C3/2/118}. That is a bad reference. I will get you

1 the correct reference.

2 A. I have found it.

3 Q. For the EPE, it is {C3/2/168}. We see:

4 "Use of IAP for all purchases of digital goods and
5 services also builds a large, and continually growing,
6 dataset that can be used by Apple's fraud algorithms to
7 detect fraudulent activity, as well as to learn new
8 patterns of fraudulent activity."

9 Then if we pick it up two sentences down:

10 "In other words, a machine learning model performs
11 better where the data it uses to train, or learn from,
12 does not over or under-represent the particular type of
13 behaviour that they are seeking, but also benefits where
14 the data permits them to learn more diverse and nuanced
15 patterns of activity."

16 Then near the bottom, four lines from the bottom:

17 "Ensuring the quality and diversity of data inputs
18 is crucial for maximising the effectiveness of machine
19 learning models."

20 At the risk of over simplification, as I understand
21 your evidence, it is not just about quantity, but it is
22 also about ensuring sufficient diversity of data so
23 there is neither under nor overrepresentation in the
24 dataset; is that a fair summary?

25 A. Yes, you want the data to match -- you want the training

1 data to match the detection data as closely as possible.

2 Q. But diversity is an important element of that?

3 A. To the extent that diversity will create

4 a representative set of data, then, yes.

5 Q. What you say here, we saw that from the first sentence,

6 is we are discussing here specifically fraud algorithms

7 in the context of payments, yes?

8 A. In the context of IAP.

9 Q. Yes, which is a payment system?

10 A. Yes.

11 Q. Professor Rubin, you accept that companies which could

12 provide in app aftermarket services, such as Paddle and

13 Stripe, use fraud detection technology, yes?

14 A. Yes.

15 Q. One of the types of fraud that those companies look for

16 is use of stolen credit cards, yes?

17 A. Surely.

18 Q. You accept that some of those companies, such as Stripe,

19 process a significantly greater number of transactions

20 as compared to Apple's IAP?

21 A. Yes.

22 Q. You accept that some of those companies, such as Stripe,

23 process transactions through numerous channels, not just

24 iOS Apps, yes?

25 A. Yes.

1 Q. Some of those transactions are for digital goods and
2 services sold on platforms other than iOS?

3 A. Yes.

4 Q. Different purchase channels will likely experience
5 different types of financial fraud?

6 A. Yes.

7 Q. Companies that process transactions across various
8 channels, such as Stripe, are therefore likely to have
9 not only a greater quantity of data but also a greater
10 diversity of data on financial fraud than Apple, yes?

11 A. Yes, I think that is the problem.

12 Q. Therefore Dr Lee is correct, is he not, that other
13 potential payment services providers, such as Paddle and
14 Stripe, are in fact better at detecting fraud than IAP
15 or ASPS?

16 A. I would say they are better at detecting generic fraud,
17 but in terms of being a representative dataset to the
18 types of transactions that occur in IAP there is no
19 better source than all the data from IAP, and in fact
20 the data from the other sources that Stripe and Paddle
21 will see will skew the representativeness of the right
22 type of data and actually make them worse at detecting
23 IAP type fraud.

24 Q. We saw in Dr Lee's first report that Apple facilitated
25 approximately 61 billion in sales of digital goods and

1 services in 2019, yes?

2 A. I am sorry, where was that?

3 Q. It was in Dr Lee's first report, paragraph 312. I can
4 reread you the relevant section. {C2/5/76} for the EPE.

5 A. Correct, yes.

6 Q. Halfway down:

7 "For example, in 2019 ..."

8 A. I see it.

9 Q. So that is the figure that we have for Apple in 2019.

10 Have you heard of Paddle?

11 A. Yes.

12 Q. Are you aware that the founder of Paddle, Mr Owens, gave
13 evidence earlier in this trial?

14 A. Yes.

15 Q. I want to just show you his evidence on this particular
16 point about fraud detection and digital goods and
17 services specifically. It is Day 3 and it should
18 hopefully be page 123 of the transcript. It is not.

19 We are running into the same problem we had
20 yesterday, sir. I took the reference from Opus this
21 morning and it is not clear to me why we have different
22 page numbers and line numbers.

23 THE CHAIRMAN: I am not sure I can do much about that,
24 Mr Kennedy. I mean either you can find a way to ask
25 your question or you cannot, but I am sure Mr Kennelly

1 is going to say, if you are asking him to look at the
2 transcript, that he needs to look at the transcript.

3 MR KENNEDY: I am sure he is, sir.

4 THE CHAIRMAN: If there is some other way of asking the
5 question, then ...

6 MR KENNEDY: I do not think there is any point in me
7 summarising the effect of Mr Owens' evidence and putting
8 that to Professor Rubin. Perhaps we will try and find a
9 solution over the break and I can come back to the
10 point. Sorry for wasting your time.

11 Professor Rubin, you gave evidence for Apple in the
12 proceedings brought by Epic Games in the Northern
13 District of California, yes?

14 A. Yes.

15 Q. I want to show you the judgment in that case.
16 {AB5/7/1}, and for you, Professor Rubin, it is 51 of the
17 hard copy bundle. Just pick it up on page 1. You will
18 see that this is the Rule 52 Order after trial on the
19 merits, which is what we would call the judgment.

20 If we pick it up at page 180 {AB5/7/181} very
21 briefly, you will see it is dated 10 September 2021,
22 yes?

23 A. Yes.

24 Q. If we go to page 114, {AB5/7/115}, and about two pages
25 into the hard copy for you, Professor Rubin, you will

1 see a heading, "B Anticompetitive Effects: In-App
2 Payment Restrictions", yes?

3 A. I see that.

4 Q. So you get your bearings, we are in the section of the
5 judgment that is dealing with specifically the facts
6 regarding in-app payment restrictions, okay?

7 A. Okay.

8 Q. If you go over two pages, 117 for the EPE {AB5/7/117},
9 and pick it up just above the heading "Business
10 Justifications", we see:

11 "Apple cites three additional pro-competitive
12 business justifications for its payment processing
13 restrictions:

14 As with app distribution, Apple cites security,
15 including privacy and fraud prevention, collection of
16 its Commission, and compensation for its intellectual
17 property. The Court addresses each justification --"

18 A. I am not sure where you are reading.

19 Q. I am sorry. It is the paragraph just above the heading
20 "2. Business Justifications". Do you have that?

21 A. Okay.

22 Q. Do you see:

23 "Apple cites three additional ..."

24 A. Okay, thanks.

25 Q. I will just let you read that. (Pause)

1 A. Okay.

2 Q. So three pro-competitive justifications. The first is
3 security. Then if we see subheading (a) just below
4 that, "Security". Do you have that?

5 A. Yes.

6 Q. Then we will see:

7 "Dr Rubin opines that by maintaining all transaction
8 data in one place, ie centralisation, Apple is better
9 able to detect new patterns in fraudulent transactions
10 using algorithms. Dr Rubin also claims that Apple
11 benefits from its visibility into the entire
12 transaction, which allows it to verify certain
13 transactions.

14 "As explained above, the Court agrees that
15 decentralisation may decrease security in some
16 instances. The other arguments cut both ways. For
17 instance, with respect to scale and fraud mining,
18 Dr Rubin suggests that having more data points will
19 always lead to better fraud detection. Apple admits,
20 however, that IAP is not the largest in-app payment
21 service because it processes at most 3% of in-app
22 purchases. Thus, to the extent that scale allows Apple
23 to better detect fraud, other companies could do it
24 better because they process more transactions."

25 Yes?

1 A. Yes.

2 Q. So the evidence you gave in the United States was to
3 similar effect to the evidence that you have given in
4 these proceedings, yes?

5 A. Yes.

6 Q. The court in *Epic* rejected your evidence, yes?

7 A. I think they said it cuts both ways. So I think they
8 did reject what I said but allowed for the fact that
9 there is merit to my point.

10 Q. I think they were addressing a different point. If we
11 pick it up in the final sentence:

12 "Thus, to the extent that scale allows Apple to
13 better detect fraud, other companies could do it better
14 because they process more transactions."

15 So they rejected your evidence and came to the same
16 conclusion as Dr Lee, yes?

17 A. Right.

18 Q. Presumably you were aware that the court in *Epic* had
19 rejected your evidence in this regard?

20 A. I actually have not seen this before so I did not know
21 that.

22 Q. Go to first Rubin, paragraph 339. {C3/2/174}. We are
23 back on the topic of fragmentation of information.
24 Picking it up halfway down:

25 "A similar concern ..."

1 Do you see that?

2 A. Yes.

3 Q. "A similar concern will arise in connection with
4 identifying fraud in in-app payments; payment data for
5 digital goods in the EU will now be fragmented across
6 multiple app marketplaces and payment processors. Every
7 party involved in iOS transactions for digital goods,
8 Apple included, will receive fewer signals of payment
9 fraud or abuse. Every marketplace will inevitably be
10 less efficient in rooting out these threats."

11 Yes?

12 A. Yes.

13 Q. In the actual world, alternative providers of payment
14 services for in-app purchase of digital goods and
15 services cannot provide those services, correct?

16 A. Which services?

17 Q. Payment-related services for in-app purchases of digital
18 goods and services, it is only Apple at the moment?

19 A. Right.

20 Q. So third parties currently receive no signals associated
21 with those purchases, correct?

22 A. I could split hairs here and say that the registered
23 credit card for IAP gets to see all the transactions, so
24 I am not sure that is entirely correct.

25 Q. They receive fewer signals than Apple, certainly.

1 A. Yes.

2 Q. But in a counterfactual world, potential alternative
3 providers of payment services could process payments for
4 in-app purchases of digital goods and services, yes?

5 A. Yes, if it was opened up then they could.

6 Q. In that world they would receive more signals --

7 A. Probably.

8 Q. -- for fraud. So it is not correct to say that every
9 party would receive fewer signals of payment fraud or
10 abuse, is it?

11 A. That is true.

12 Q. If those alternative providers were receiving these new
13 additional signals, that should actually improve the
14 datasets that they use to detect fraud, yes?

15 A. Yes.

16 Q. It may make them more efficient in identifying fraud?

17 A. It would make them more efficient.

18 Q. Or more effective, I should say?

19 A. Either one.

20 MR KENNEDY: Sir, we are slightly ahead of time, but that
21 takes me to the private session. I may have a couple of
22 questions I could do in open to take us a bit further
23 along, so that we do not ...

24 THE CHAIRMAN: Just so I understand, if we did a private
25 session that is not the end of --

1 MR KENNEDY: It is not the end of it, sir. The private
2 session concerns two Apple documents which are entirely
3 confidential and one of the topics we just covered, and
4 it concerns Apple's ability to carry out fraud detection
5 in the counterfactual, which requires looking at
6 confidential numbers. So it is sort of on the topics
7 that we have been looking at, so the natural place to do
8 it is now, but I also do not want to inconvenience you
9 or ...

10 THE CHAIRMAN: Well, it is a little bit early, is it not?
11 So unless you think it presents you with a problem, do
12 you mind coming back to them?

13 MR KENNEDY: I do not mind, sir. If you give me one minute
14 just to find the right place to pick up.

15 THE CHAIRMAN: Yes, of course. (Pause)

16 MR KENNEDY: If we go to first Rubin, para 326, so back
17 a couple of pages, page {C3/2/167}.

18 And pick it up near -- about five lines from the
19 bottom you see:

20 "The availability of ..."

21 A. Yes.

22 Q. "The availability of payment mechanisms could also
23 permit exploitation of the fragmentation of information
24 about the transactions in question; a malicious actor
25 could choose to spread its fraudulent transactions

1 across multiple payment mechanisms in order to limit the
2 amount of information that each mechanism sees, and
3 thereby reduce the likelihood of detection of a pattern
4 of those fraudulent activities."

5 A. Yes.

6 Q. On other digital content platforms, it is possible for
7 developers and users to use multiple different payment
8 methods, yes?

9 A. Yes.

10 Q. Where the Payment System Restrictions do not apply, it
11 is possible to use multiple different payment mechanisms
12 for in-app transactions within iOS Apps?

13 A. If they are available, yes.

14 Q. But you have not presented any evidence that the type of
15 fraudulent activity you describe here actually takes
16 place where multiple payment mechanisms are available,
17 do you?

18 A. I do not have examples of that.

19 Q. Moving away from fraud detection and staying with
20 fragmentation of information, one of the other
21 consequences of fragmentation of information that you
22 identify is you say there will be a burden on developers
23 and users to discriminate between safe and unsafe
24 payment services providers, yes?

25 A. Yes.

1 Q. So what I want to do is I want to start with developers.
2 Let us pick it up at first Rubin, paragraph 321. So
3 again, back a couple of pages. {C3/2/165}.

4 You say:

5 "The burden of selecting, evaluating, and utilising
6 in-app purchase providers in a fair and secure way falls
7 more heavily upon both users and developers where
8 alternative payment mechanisms can exist alongside IAP."

9 But you do not refer in this paragraph or anywhere
10 else to any materials in which iOS app developers have
11 indicated they would be burdened if they were able to
12 choose between using IAP or an alternative payment
13 service provider, do you?

14 A. I do not have any surveys or anything like that.

15 Q. Let us go to the CMA's report and appendix H. It is
16 {AB6/33/1} and it is tab 52 for you. Are you aware that
17 the Competition and Markets Authority carried out
18 a market study into mobile ecosystems?

19 A. Yes.

20 Q. This is appendix H to that study which specifically
21 addressed Apple's and Google's in-app purchase rules, so
22 it addressed, amongst other things, the Payment System
23 Restrictions, okay?

24 A. Okay.

25 Q. If we pick it up at paragraph 40 which is page 12.

1 {AB6/33/12}. It is near the bottom of the page. What
2 we see is:

3 "Most of the large app developers that responded to
4 our requests for information said that Apple's and
5 Google's payment systems are in various ways limited
6 compared to the alternative payment solutions available
7 from PSPs. Almost all developers said that they would
8 not use Apple's or Google's payment systems if they were
9 not required to. Some highlighted the difference in
10 Commission between the Apple's and Google's systems and
11 third-party PSPs as the main reason. However, many
12 stated that the alternative payment solutions they used
13 elsewhere were preferable, irrespective of the
14 Commission, as they offered greater flexibility and
15 functionality and enabled the developer to offer a more
16 consistent user experience across platforms."

17 Yes?

18 A. Yes.

19 Q. So the reality is that iOS app developers actually want
20 to be able to choose between alternatives to IAP, do
21 they not?

22 A. I think that is a fair inference from what you read me.

23 Q. Just as you do not refer to any survey evidence or any
24 evidence as to developers being burdened, you similarly
25 did not refer in your reports to any materials in which

1 iOS Device users have indicated that they would find it
2 difficult to choose between payment options, do you?

3 A. I just want to clarify that I did not necessarily focus
4 on the users finding it difficult, but rather that they
5 may not be in a position or may not choose the most
6 secure option. That is the point I am trying to make.

7 Q. Let us look at Dr Lee's evidence again. Second Lee,
8 paragraph 129, at {C2/13/72}. It is page 72 that we
9 want. That is tab 51 for you, Professor Rubin. The
10 paragraph beginning "Professor Rubin states ..."

11 A. Okay.

12 Q. We see:

13 "Professor Rubin states that there would be a new
14 burden on developers and users in the counterfactual to
15 select secure alternative in-app aftermarket services
16 providers in circumstances where they may not be
17 equipped to make a well-informed choice ..."

18 Then you see he quotes from your report?

19 A. Right.

20 Q. Then if we pick it up over the page, he says:

21 "I disagree."

22 Then the next sentence:

23 "In summary, there would be no additional
24 security/privacy risk in the counterfactual as
25 developers and users would not be required to select

1 between secure and insecure service providers as
2 alternative services providers are required to comply
3 with strict security standards. Developers would be
4 able and incentivised to choose secure in-app
5 aftermarket service providers to provide a high quality
6 of service to their users, and even if there were
7 insecure service providers in the counterfactual, users
8 are used to making payment-related decisions in their
9 everyday lives (eg in the context of online commerce)
10 and would be able to do it in the same way in these
11 circumstances."

12 Dr Lee is right about that, is he not?

13 A. I do not think so.

14 Q. Professor Rubin, first Rubin, paragraph 292. It is
15 {C3/2/152}. We are back to App Review.

16 A. Okay.

17 Q. Just a short question on that. Give me one moment. We
18 see:

19 "Apple's App Review, combined with its requirement
20 to use IAP, provides significant protections against
21 some common (and relatively easy to instigate) frauds."

22 Then you give some examples of the types of fraud
23 you are addressing, yes?

24 A. Right.

25 Q. In this paragraph, are you addressing the review of

1 in-app purchases as part of Apple's App Review?

2 A. In this paragraph I am talking about the combination of
3 having App Review and IAP together.

4 Q. What is the nature of that combination? That is my
5 question. Sorry if I was not clear.

6 A. So each one of those serves as a layer of security. So
7 with App Review, you get certain guarantees that Apple
8 will apply a certain level of checking of whether an app
9 does things that do not meet the guidelines, and then
10 with IAP you know that Apple is going to be involved in
11 every transaction. So Apple would have the ability to
12 take the information learned in one layer of security
13 and use it in another layer of security, which is really
14 where the strength of the multi-layer approach lies.

15 Q. Let us just look at the examples. The first example of
16 fraudulent pricing is where a developer tells the user
17 that a digital item costs \$1.99 but then charges \$2.99.

18 A. Right.

19 Q. Is it your evidence that Apple discovers that through
20 App Review or through IAP or through a combination of
21 the two?

22 A. It could be post-review monitoring, post-deployment
23 monitoring. It could be -- if it was done in App
24 Review, the app probably would never have been deployed.
25 So I think in this example, this would be something they

- 1 would find some other way.
- 2 Q. What is the other way?
- 3 A. Perhaps users notified of this charge on their credit
- 4 card statement, so they contact Apple and say, hey,
- 5 I was led to believe it would be this much but then
- 6 I got charged this much.
- 7 Q. That would have nothing to do with IAP, correct?
- 8 A. With IAP they will come back to Apple. If there was an
- 9 IAP, and there was a third party provider like Visa or
- 10 someone like that, then they would go to that entity and
- 11 Apple would not see that.
- 12 Q. But that is just due to the nature of it being
- 13 a different service provider and nothing peculiar to
- 14 IAP's functionality in that example?
- 15 A. Right.
- 16 Q. What I am trying to establish is: is there some
- 17 particular functionality of IAP that allows Apple
- 18 uniquely to identify the fraudulent pricing schemes that
- 19 you address in this paragraph?
- 20 A. It is just the fact that Apple is the centre point of
- 21 contact for the users.
- 22 Q. When you say centre point of contact are you going back
- 23 to your email or something else?
- 24 A. That is part of it.
- 25 Q. So you do not identify any sort of automated

1 functionality with an IAP that allows Apple to identify
2 the fraud that you identify here?

3 A. Right.

4 Q. Likewise, the second example: unauthorised recurring
5 charges where a developer tells the user that an item
6 costs \$1.99 but then proceeds to charge \$1.99 per week,
7 you do not identify any automated or unique
8 functionality of IAP that allows Apple to identify that
9 type of fraud?

10 A. That is right. It is really just the fact that the user
11 would always contact Apple in the IAP if they are using
12 IAP but if they are not using IAP Apple may never learn
13 about this and thus will not be able to identify that
14 this developer wrote this app that had this particular
15 feature.

16 Q. If you go to paragraph 295, which is over the page,
17 Professor Rubin. It is another example of financial
18 fraud. {C3/2/153}. You say:

19 "In addition to fraud associated with payment
20 schemes, Apple also protects against other forms of
21 financial fraud, including apps that facilitate
22 fraudulent and deceitful behaviour in loan services such
23 as SpyLoan apps that lure users into high-interest-rate
24 loans and harvest the users' financial and personal
25 data ..."

1 Then you give an example which is the SpyLoan, yes?

2 A. Yes.

3 Q. We see that:

4 "Security company Lookout discovered 35 iOS SpyLoan
5 apps and 251 Android SpyLoan apps."

6 Yes?

7 A. Yes.

8 Q. Which were then removed by Google and Apple
9 respectively?

10 A. Yes.

11 Q. So those apps made their way on to the App Store, yes?

12 A. Yes.

13 Q. So they were missed by Apple's App Review, yes?

14 A. Yes.

15 Q. If we go over the page, just to pick up a point about
16 the DMA, 296 {C3/2/154}:

17 "SpyLoan apps violate Apple's App Store Review
18 Guideline, such as Guideline 3.2.2 which is crucial to
19 significantly lowering such practices on the iOS
20 platform. However, SpyLoan or similar practices where
21 an app developer creates an app presenting deceitful
22 loan terms for financial gain may now be possible under
23 the DMA, given that that Guideline [...] will not be
24 enforced ..."

25 Yes?

1 A. Yes.

2 Q. If we go back to the counterfactual world we considered
3 yesterday in which Apple is applying not the
4 notarisation for iOS subset of the guidelines but the
5 full set of guidelines, the full set of guidelines would
6 continue to apply and continue to prohibit apps such as
7 the SpyLoan app, yes?

8 A. Yes.

9 Q. Sir, we are now sort of moving on to a topic that is
10 quite far removed from what we have just been discussing
11 so I do not know if that is a convenient --

12 THE CHAIRMAN: Yes, why do we not take a ten-minute break
13 now and when we come back we will start in closed
14 session. So how long do you think you will be with
15 that?

16 MR KENNEDY: In closed, sir, I think -- give me one moment,
17 I will tell you. It is about ten pages, sir.

18 THE CHAIRMAN: I am just wondering whether we are going to
19 have a delay at the other end, but I think perhaps not,
20 if we are switching from private to ...

21 MR KENNEDY: I think there is a delay both ways, sir, of a
22 few minutes.

23 (Pause)

24 I may actually be able to cut one bit of the
25 cross-examination short. So why do I not ask one final

1 question in open, and that may get rid of a whole
2 section. Why do we not do it that way and then we can
3 see, sir.

4 THE CHAIRMAN: Yes.

5 MR KENNEDY: Professor Rubin, I want to go to your second
6 report and paragraph 201. That is {C3/6/91}. Let us
7 pick it up at the second sentence of 201:

8 "IAP functionality, however, provides a number of
9 security and fraud-detection benefits beyond pure
10 payment processing that might be lost if an alternate
11 payment system provider were utilised."

12 Yes?

13 A. Yes.

14 Q. Let us go over the page to 204, page {C3/6/92}, and you
15 say:

16 "Apple provides the following security mechanisms
17 that accompan[y] various aspects of Apple's IAP
18 workflow. Not all third-parties are guaranteed to apply
19 these security protections."

20 Yes?

21 Then you set out a number of items in a table?

22 A. Yes.

23 Q. Are these items in the table the security of fraud
24 detection benefits beyond pure payment processing that
25 you say might be lost if an alternate payment system

1 provider were utilised?

2 A. Yes.

3 Q. Just to be clear, you are not saying that no third
4 parties provide these security protections, you are just
5 saying that not all third parties provide these security
6 benefits, is that correct?

7 A. That not all could or not all would, correct.

8 Q. But you are not saying that no one who is a third party
9 could provide those security protections?

10 A. Well, some of them. I do not know how a third party
11 would provide family controls, for example.

12 Q. We can go and look at the evidence on that, but I am
13 just trying to clarify your position. I will restate
14 the question a different way.

15 You accept that some third parties can provide
16 either some or all of these security protections?

17 A. I would agree with some.

18 Q. Some. Are you familiar with the particular services
19 provided by different third party payment services
20 providers, such as Paddle?

21 A. To some degree.

22 MR KENNEDY: To some degree. Sir, that may be a convenient
23 moment before I get into the table and back into
24 Mr Burelli's evidence, sir.

25 THE CHAIRMAN: Good, thank you. So we will take

1 a ten-minute break and we will resume again in closed
2 session.

3 (11.31 am)

4 (A short break)

5 (11.43 am)

6 (Private session)

7 (12.16 pm)

8 (Open session)

9 MR KENNEDY: Professor Rubin, second Rubin, 204, which is
10 {C3/6/92}.

11 A. Okay.

12 Q. You recall we were looking at this table, and I asked
13 you whether you were familiar with the service offerings
14 of any third party such as Paddle, and I think your
15 answer was "some"?

16 A. Right.

17 Q. So what I want to do is just go through the table and
18 have a look at each of the items and have a look at what
19 other third parties might be able to provide, okay?

20 A. Okay.

21 Q. So let us pick it up at item 1 on the left-hand column,
22 "Authentication and ID Technologies". We also see
23 across item 2, under "iOS Developers", we see "ID and
24 Authentication Tools". Are they referring to the same
25 thing?

1 A. Yes.

2 Q. Is "authentication" a reference to technologies such as
3 two-factor authentication?

4 A. Yes.

5 Q. Is "ID technology" a reference to Touch ID and Face ID?

6 A. Those would be relevant to that.

7 Q. If we could go to the joint statement which is {C4/139}.
8 It should be the third tab for you, Professor Rubin.
9 Page {C4/139/39}. It is IC.i-13. We see:

10 "App Review, Face ID and two-factor authentication,
11 and fraud checks do not inherently require the use of
12 ASPS."

13 The final column is you, and we see your answer:

14 "I understand that App Review, Face ID and
15 two-factor authentication on iOS may run without Apple's
16 IAP."

17 Yes?

18 A. Yes.

19 Q. So other providers of payment services in the
20 counterfactual could use two-factor authentication and
21 Face ID or Touch ID, yes?

22 A. Yes.

23 Q. Then the second item in the first column is fraud and
24 abuse protections, and I think that you accept that
25 Dr Lee does address that in his evidence?

1 A. We have some disagreements about it but he does address
2 it.

3 Q. You accept that alternative providers of payment
4 services provide fraud and abuse protections, yes?

5 A. I do not accept that they can do it as well as Apple but
6 they could offer such services.

7 Q. Item 3 in our list, customer support. We are going to
8 have a look at Mr Burelli's evidence on this. It is
9 {C2/6/1}. Let us start on page 1. Tab 31. Expert
10 report of Francesco Burelli. Do you see that?

11 A. Yes.

12 Q. Mr Burelli is an expert in payment systems, he is
13 instructed by the Class Representative, and this is his
14 first report in these proceedings, okay?

15 A. Okay.

16 Q. Let us go to paragraph 57 which is at page {C2/6/23}.

17 We see:

18 "Below is a table (table 2) comparing the MoR
19 services ..."

20 "MoR services" is merchant of record services, okay?

21 A. Okay.

22 Q. "... that Apple provides with those [...] of a selection
23 of other MoRs. I have chosen FastSpring, Paddle and
24 BlueSnap for the purposes of this comparator analysis
25 because they are established and reputable MoRs who

1 provide detailed publicly available/referenceable
2 information about the services they provide.

3 So what Mr Burelli is doing in this table is looking
4 at some of the sorts of companies that might enter the
5 in-app Aftermarket in the counterfactual and looking at
6 what services they provide?

7 A. Sure.

8 Q. The first column, you see services provided by Apple.
9 That column has been populated based on Mr Burelli's
10 review of Mr Schiller's statement and Mr Lloyd's
11 affidavit, okay?

12 A. Okay.

13 Q. So that is what we are looking at. Let us go over the
14 page, {C2/6/24}, and pick it up in the second row. We
15 see:

16 "Customer support including managing refunds,
17 cancellation, chargebacks and disputes."

18 If we look under each of the three columns that
19 follow, FastSpring, Paddle and BlueSnap we see three
20 ticks, yes?

21 A. Yes.

22 Q. So each of those potential alternative service providers
23 provide customer support, yes?

24 A. Just like with fraud I do not think they can do it as
25 well, but they do provide such services.

1 Q. Just focusing on one second on refunds you see that that
2 includes refunds?

3 A. I am sorry.

4 Q. We are just looking at the left-hand column, "customer
5 support, including managing refunds"?

6 A. Okay, sure.

7 Q. I just wanted to focus for one moment on refunds. What
8 we see from this table is in the counterfactual
9 alternative merchants of record could provide refunds to
10 iOS Device users, yes?

11 A. They could.

12 Q. I think it may unfortunately be convenient to keep
13 Mr Burelli's evidence open and flick back and forth from
14 your report to Mr Burelli. Item 4 is age verification
15 and authentication; do you see that?

16 A. Yes.

17 Q. You do not provide a reference for what that is and it
18 does not appear in Mr Schiller's statement but are you
19 referring to Verify with Wallet API?

20 A. For purchases that require someone to be of a certain
21 age they provide age verification.

22 Q. Who provides?

23 A. Apple.

24 Q. Is it part of the IAP functionality or was it part of
25 the verify -- we can look at a document if it is going

1 to help. Let us go to {D2/526.1} and it is 57 of your
2 bundle. This is from Apple's website. You see the
3 heading "Get started with the Verify with Wallet API?"

4 A. Yes.

5 Q. You see:

6 "Apps that require age or identity verification can
7 use the Verify with wallet API."

8 A. Sure.

9 Q. Is that what you are referring to?

10 A. Yes.

11 Q. This is not part of IAP but rather part of the Apple
12 Wallet app, yes?

13 A. Yes.

14 Q. Have you any reason to believe that alternative
15 providers of payment services could not use the Verify
16 with Wallet API?

17 A. I do not believe there is a problem with that.

18 Q. Item 5 in your table, "privacy protections". Again, no
19 reference. My question is, are you referring here to
20 the handling of iOS device users' personal and financial
21 information?

22 A. I am referring to the fact that IAP -- when using IAP
23 the information about the users only goes to Apple and
24 then it can go to -- some of it can go to developers but
25 it is much more controlled than if you were using other

1 parties that might also get more of the information.

2 Q. Just to clarify, what information are you referring to?

3 A. For example, financial, credit card numbers, things like
4 that.

5 Q. What of that information goes to Apple?

6 A. All of it.

7 Q. Because Apple store credit card information on the
8 device?

9 A. On the device?

10 Q. On the device.

11 A. It can.

12 Q. Does it store it as credit card information or does it
13 store it as something else?

14 A. I would need to look back at some documents. It might
15 be stored as tokens, but I do not remember off the top
16 of my head.

17 Q. What information is provided to developers?

18 A. I cannot say off the top of my head. I would have to
19 look.

20 Q. So if you are not able to say what information is
21 provided to developers in the actual world, are you able
22 to say what information would be provided to developers
23 in the counterfactual world?

24 A. So, I recall that there is a list of information that
25 developers get somewhere in my report. I think we even

1 referenced it, that developers can set up -- for
2 example, if you are buying something in a game the
3 developer would need to know what it is that you bought,
4 so that they could give you that item because that would
5 come from the developer.

6 Q. Are you referring back to the transaction --

7 A. Yes.

8 Q. -- method?

9 A. Yes.

10 Q. But you accepted earlier that that had nothing do with
11 the provision of personal and financial information from
12 users to third parties?

13 A. Correct.

14 Q. It just concerned users' entitlements to, for example,
15 to access some gold coins, yes?

16 A. Right.

17 Q. So it is nothing to do with the credit card information?

18 A. I do not think the developer would see the credit card
19 information.

20 Q. Item 6 is family controls. Is this a reference to
21 Apple's Ask to Buy feature?

22 A. This is a reference to the ability for people in the
23 same family to use the same account. Like if I buy an
24 app my son can download it and not pay for it again if
25 I am using Family Sharing.

1 Q. So that is Family Sharing rather than Ask to Buy?

2 A. Yes.

3 Q. Then item 3 on the developer's lists, so the second
4 column, you see "chargeback management"?

5 A. Yes.

6 Q. If we just briefly go back to Mr Burelli's table so it
7 is {C2/6/24}, tab 31 for you, Dr Rubin. Just looking at
8 the second row:

9 "Customer support, including management refunds,
10 cancellation chargebacks."

11 Again, three ticks. We have seen that. So other
12 providers of payment services also provide developers
13 with support for chargebacks, yes?

14 A. Yes.

15 Q. Item 4, "Risk and fraud tools (such as App Attest and
16 DeviceCheck)."

17 Can we go to {D2/174}, an extract from Apple's
18 website?

19 A. I am sorry, which tab is this?

20 Q. It is not in hard copy, I am afraid. Just on the
21 screen. So an extract from Apple's developer
22 documentation. DeviceCheck. You see "Overview":

23 "The DeviceCheck service consists of both
24 a framework interface that you access from you app and
25 an Apple server interface that you access from your own

1 server."

2 Then we see:

3 "Using the DCDevice class in your app, you can get
4 a token that you use on your server to set and query two
5 binary digitals of data per device."

6 Then if we just pick it up in the final sentence:

7 "The server to server APIs also let you verify that
8 the token you receive comes from your app on an Apple
9 device."

10 Yes?

11 A. Yes.

12 Q. Then if we can scroll down a little bit so we can get
13 the second paragraph more clearly. Just pick it up in
14 the second sentence, so the paragraph starting
15 "someone". Let us look at the second sentence:

16 "The App Attest service gives your app a way to
17 assert its validity so that your server can more
18 confidently provide access to sensitive resources."

19 Yes?

20 A. Yes.

21 Q. It seems from this document, Professor Rubin, that these
22 services can be used by an iOS App developer regardless
23 of whether they are using Apple's IAP for in-app
24 purchases for digital goods and services; is that
25 correct?

1 A. That is possible.

2 Q. Item 5, under "developers", "management information and
3 reporting".

4 If we go back to Mr Burelli's report, {C2/6/24}
5 staying in Table 2. This time looking at the seventh
6 row. So you will see "currency conversion" and then you
7 will see:

8 "Recording sales and generating receipts (to
9 sub-merchants (iOS app developers) and customers (iOS
10 Device users), including providing transaction
11 statistics/analytics and summaries)."

12 Is this the sort of thing you are referring to when
13 you refer to management information and reporting?

14 A. Yes.

15 Q. Again, we see three ticks which indicates that these
16 potential providers of payment services also provide
17 those services to iOS app developers, yes?

18 A. Yes.

19 Q. Nearly there. Item 6, "developer support" in your list.
20 Then staying with Mr Burelli's Table 2, this time row 5,
21 so two rows above the row we just looked at.

22 "Ensuring developer compliance with regulatory
23 statutory and tax obligations."

24 Is this the sort of thing that you are referring to
25 when you refer to developer support?

1 A. That is part of it. Also including things like if
2 a developer maybe notices that there is a user that is
3 using stolen credit cards, for example, so they have
4 a problem so they could report that to Apple and Apple
5 could help them deal with that.

6 Q. Then that concludes the table, Professor Rubin. There
7 is just one similar item I wanted to pick up. It is
8 first Rubin, paragraph 324, {C3/2/166}. I have given
9 you a bad reference, Professor Rubin. Forgive me.
10 {C3/2/167}. So over the page. Pick it up at "in
11 addition":

12 "In addition IAP aids in allowing iOS users to
13 manage recurring payments for digital content
14 subscriptions, by seeing in one place a list of the
15 recurring payments ..."

16 Yes?

17 A. Yes.

18 Q. If we go back to Mr Burelli's report which is {C2/6/24}.
19 It is 31 for you, Professor Rubin.

20 A. Yes.

21 Q. It is the third row of the table, "Providing
22 subscription management services". We see two ticks for
23 FastSpring and Paddle and then for BlueSnap there is
24 additional text which says, "Through integrations" with
25 other third parties, yes?

1 A. Yes.

2 Q. So again, what we see is that alternative providers of
3 payment services also provide subscription management
4 services, yes?

5 A. But not nearly as well.

6 Q. Sir, if you give me one moment because we have been
7 jumping around. I just wanted to make sure we have
8 covered everything we need to cover.

9 THE CHAIRMAN: Of course. (Pause).

10 MR KENNEDY: You will be delighted to hear, Dr Rubin, no
11 further questions from me.

12 Re-examination by MR KENNELLY

13 MR KENNELLY: Dr Rubin, I want to begin, if I may, with some
14 of your cross-references. Could I ask you to be shown
15 the transcripts from yesterday, page 73. So
16 {Day11/73:17}. I hope my references are okay. Here we
17 go. So if you recall you were being shown paragraph 146
18 of your first report and if you go to line 17 you have
19 been taken to the sixth sentence of -- there you see --
20 there is no need to turn it up.

21 A. Okay.

22 Q. Just read the transcript.

23 A. Okay.

24 Q. You see it is your report and you are reading:

25 "When Apple's analytical tools determine that an app

1 improperly collects location data ..."

2 Do you recall that from yesterday?

3 A. Yes.

4 Q. So just read through that, please, and then over the
5 next page. {Day11/74:1}. You said in your report:

6 "When Apple's analytical tools determine that an app
7 improperly collects location data and sends such data to
8 data brokers without user consent, this app is
9 rejected."

10 Then you were asked questions about where you got
11 that from; do you recall?

12 A. Yes.

13 Q. If you can read down, please, to the end of that page
14 and then over the page, maybe let the document presenter
15 know when you have got to the end of page 74. (Pause).

16 A. Okay.

17 Q. Next page, please, {Day11/75:1}. Just read down to
18 line 7.

19 A. Okay, I have read that.

20 Q. Can I show you Mr Federighi's witness statement in these
21 proceedings, {B2/3/26}. If you could read paragraph --

22 A. 86?

23 Q. Could you read paragraph -- is that the right? Yes,
24 paragraph 85. So it is the previous page. {B2/3/25}.

25 So it begins at 85. So if -- yes, thank you?

1 A. That is good.

2 Q. There you go, and you can read the top of page 26. The

3 rest of that paragraph 85.

4 A. Yes.

5 Q. Is there anything you want to add if anything to what

6 you said to Mr Kennedy?

7 A. Yes, so I would cite to this.

8 Q. Could you now be shown, please, from the transcript

9 yesterday, page 65. {Day11/65:6}. Again, this is

10 a quotation from paragraph 140 of your first report. It

11 is an inverted commas from line 7. Do you see that,

12 Dr Rubin?

13 A. Yes.

14 Q. Could you just read down, please, to line 15. (Pause).

15 A. Okay.

16 Q. Again, there was a question about where this was coming

17 from. Could you read, please, over the page,

18 {Day11/66:1}. Please read this carefully, Dr Rubin, and

19 let us know when you have finished reading page 66.

20 A. Okay. (Pause). Okay.

21 Q. Now page {Day11/67:1}. (Pause).

22 A. Okay.

23 Q. The top of page 68, down to line 4. {Day11/68:4}. Do

24 you recall that?

25 A. Yes.

1 Q. Could you now be shown Mr Federighi's witness statement
2 again, {B2/3/26}. It is at paragraph 86 and 86(ii) in
3 particular.

4 A. I see that.

5 Q. Is there anything you want to say about your answer that
6 the bit about "manipulating users to purchase was an
7 interpretation"?

8 A. So actually Mr Federighi states it here and it should
9 have cited here, says, "more than 153,000 submissions
10 which were rejected as being, spam, copycats or
11 misleading to users in ways such as to manipulate them
12 into making a purchase", which is the part of the
13 sentence that I was being questioned about, what my
14 source was for that.

15 Q. On Steam, Dr Rubin, you were asked a question about
16 Steam. Now can we have again from the transcript,
17 {Day11/103:1}. You were taken to a report from the
18 Australian Proceedings, the report of Dr Somayaji. Do
19 you recall that?

20 A. Yes.

21 Q. Can you look at page 18, please.

22 A. Okay.

23 Q. Can you read down to the bottom of the page.

24 A. Okay.

25 Q. (Pause). Next page, please, {Day11/104:1}. No, that is

1 all you needed to see was the previous page.

2 Now could you be shown {G1/13/64}. I am sorry,
3 first of all, page 1 just to make sure you understand
4 what you are looking at. Do you recognise this
5 document? {G1/13/1}

6 A. Yes, this is my report for Australia.

7 Q. Could you be taken to page 64, please. {G1/13/64}.

8 Over the page, page {G1/13/65}. Could you please read
9 paragraphs 117 to 119. So let the document presenter
10 know, please, when you have got to the end of that page.
11 {G1/13/65-66}.

12 A. Next page, please. (Pause). I have finished 117.

13 Q. Sorry, did you read 118?

14 A. Yes, 118.

15 Q. So you finished that, have you?

16 A. I am sorry?

17 Q. I am asking you to read paragraphs 117 and 118 and 119.

18 A. Okay. (Pause). Okay, next page. {G1/13/67}. (Pause).
19 Okay.

20 Q. So having refreshed your memory there, what if anything
21 do you want to add about malware being extremely rare on
22 Steam?

23 A. Yes, so as I gave in the Australian report there are
24 many factors to consider about why there might be less
25 malware on Steam and I actually do give an example of

1 malware that was on Steam. The number of apps is very,
2 very small compared to the App Store and they all focus
3 on games. The Apple App Store has apps that are a wide
4 variety of different uses, in addition to games, such as
5 finance, entertainment, transportation, I mean, you name
6 it just about everybody has an app from the iOS store
7 and Steam is just limited.

8 Steam is also written by a company called Valve
9 which has to interface with Microsoft because the Steam
10 store runs on Windows and there can be interactions
11 between those that would present security challenges for
12 Steam. So altogether I think that looking at a small
13 store for games with a tiny, tiny fraction of the number
14 of apps there are for iOS and claiming there has not
15 been malware on Steam, even though there actually has
16 been, is not a fair comparison.

17 Q. Doctor, you were asked several questions about
18 a counterfactual world -- we are moving on from Steam
19 now -- in which Apple continues to do full App Review of
20 every app?

21 A. Right.

22 Q. On iOS but there is decentralised distribution. I can
23 show you Day 11, just to recall the answer you gave,
24 {Day11/120:14-18}. The question was about what
25 information would not be available to Apple in that

1 counterfactual of decentralised distribution?

2 A. Right.

3 Q. You see that you mention marketing material and user

4 reviews?

5 A. Right.

6 Q. I want you to consider the second counterfactual that

7 was put to you by my learned friend which is

8 decentralised payment providers. So the counterfactual

9 where there is decentralised distribution and

10 decentralised payment providers?

11 A. Okay.

12 Q. Can I show you {C3/2/168} to refresh your memory. This

13 is your first report. Could you please read 328 and

14 329. (Pause).

15 A. Okay, next page. (Pause). {C3/2/169}. Just

16 paragraph 328?

17 Q. Also 329. (Pause)

18 A. Okay.

19 Q. So on a counterfactual on a decentralised distribution

20 and decentralised payment providers what if anything

21 would you add to the answer that you gave my learned

22 friend about the information that Apple would not have?

23 A. Right, so Apple with the decentralised distribution

24 model loses a lot of signals about what is happening

25 with an app, for example, feedback that comes from users

1 to the third party store would not be something that
2 Apple would have and with payment systems there are
3 similar concerns that users -- let me give an example.

4 Say that a user has a child who gets ahold of their
5 phone and knows their pass code and starts making
6 a whole bunch of in-app purchases, Apple can -- the user
7 can complain to Apple and get that resolved somehow. If
8 you have a situation where you have third parties, then
9 the user would have to go to that credit card company
10 and resolve that and Apple might not even know that any
11 of this happened and so they would lose some of the
12 signals that they need and in my report I emphasise the
13 importance of all of the information collectively that
14 Apple gets to train its model and I discussed the
15 success that they have had in stopping so much -- so
16 many fraudulent transactions and preventing 3.9 million
17 stolen credit cards being used.

18 Q. Looking at the question of incentives, again in this
19 counterfactual, the counterfactual of decentralised
20 distribution first.

21 A. Sure.

22 Q. Could I show you your second report, {C3/6/61}.
23 Paragraph 173. Sorry, 137.

24 A. Okay.

25 Q. Could you please read that and footnote 170. (Pause).

1 A. Just 137?

2 Q. Yes, and footnote 170, please.

3 MR KENNEDY: Sir, I am sorry to interrupt my learned friend

4 but I think that the supplementary report referred to in

5 170 is from the Australian Proceedings.

6 MR KENNELLY: Just the text and the footnote.

7 MR KENNEDY: Of course Professor Rubin was not sworn on his

8 Australian reports.

9 MR KENNELLY: I am happy to give up the text in the footnote

10 if that is a problem.

11 A. Okay.

12 Q. Thank you, Dr Rubin. So again, we are assuming

13 counterfactual where you have centralised Apple App

14 Review, so Apple is doing all the App Review but there

15 is decentralised distribution and we are thinking about

16 attacker incentives, incentives of attackers?

17 A. Right.

18 Q. What change if any would there be to attacker incentives

19 in that counterfactual?

20 A. So if you look at an attacker who is actually hosting

21 a third party app store their business model may be that

22 they want to have as many downloads as possible, maybe

23 they are selling advertising or they want to bring as

24 many users to their site, and so they would not have the

25 same incentive that Apple has to provide accurate

1 reviews and to provide a safe app store the way Apple
2 does.

3 Q. If one were to remove the payment restrictions, so now
4 we have a decentralised distribution system and
5 decentralised payments. Could you go on, please, to
6 page {C3/6/97} in the same report and paragraph 217.

7 Could you read paragraph 217, please. (Pause)

8 A. Okay, I think it is on page 93. (Pause). Okay.

9 Q. Again, thinking about attacker incentives in
10 a counterfactual you have decentralised payment
11 providers as well as decentralised distribution. In
12 that counterfactual what change if any would there be to
13 attacker incentives?

14 A. So in a counterfactual where the in-app purchase is not
15 required and there are third party payment providers,
16 there would be additional incentives for an attacker to
17 attack because the attack surface would be expanded,
18 meaning there would be more points of vulnerability. So
19 now instead of just having to attack Apple as they had
20 to in the past they could succeed by attacking
21 a merchant bank, by attacking a credit card provider or
22 anyone acting as a third party provider like Paddle or
23 someone like that.

24 Q. Thank you, Dr Rubin. Now, the final question, and it
25 goes again to the counterfactual that has been put to

1 you. It was suggested to you that the assumptions that
2 you are being asked to make were based on Mr Kosmyнка's
3 evidence.

4 A. Right.

5 Q. I do want to show you that evidence so you are very
6 clear about the assumptions that were put to you in the
7 counterfactual.

8 Can I show you first, what Mr Kosmyнка said in the
9 chance transcript about post app distribution checks.
10 That is {Day5/219:1}. It is line 7. I am sorry, this
11 is -- should be 219 line 7. It is about Mercury. Just
12 give me a second. So give me a second, sir, because if
13 we search for Mercury we will get the right page.

14 (Pause).

15 {Day5/209:7}, please. Mr Kosmyнка was giving
16 evidence about the automated tools that Apple would use
17 to check on malicious apps after they had been
18 distributed. You see at line 15 you see that?

19 A. Yes.

20 Q. Mr Kosmyнка said:

21 "The Mercury tool would be involved ..."

22 Then he goes on to mention ratings and reviews but
23 he is saying the Mercury tool would be involved. Do you
24 see that?

25 A. Yes.

1 Q. Now can I show you Mr Kosmyinka's statement, {B2/6/19}.

2 Could you read, please, again very carefully
3 paragraph 70 and 71, 70 and 71 which goes through the
4 tools, the various ways the feedback loop works that
5 information can be channelled through to Apple.

6 (Pause).

7 A. Okay.

8 Q. Now can we go to the particular question that my learned
9 friend asked you, {Day11/140:9-16}, yesterday. Do you
10 see there where my learned friend said:

11 "professor Rubin, drawing the threads together ..." and
12 he re-stated his question. He said: "In the light of
13 the assumptions I have asked you to make", and that is
14 the assumption where Apple does all the App Review,
15 still running Mercury but there is decentralised
16 distribution?

17 A. Okay.

18 Q. Decentralised payment providers as well. What I am
19 going to suggest to you there will be no material
20 reduction in the identification and removal of malicious
21 apps post-distribution in the counterfactual world and
22 you said, "I disagree with that".

23 Could you explain to the Tribunal why you say in
24 a counterfactual where you have a centralised review by
25 Apple but coupled with decentralised distribution and

1 decentralised payment providers the loss of security
2 would be material?

3 A. Yes, so one of the things that Apple does, and
4 Mr Kosmyнка discussed in his statement, is
5 post-distribution review, meaning that the security does
6 not stop after the user has downloaded an app and is
7 using it. Apple continues to look at reviews to see if
8 somebody is complaining about the app. They also use
9 their Mercury tool which runs many, many different
10 hardware instances of an app. It runs apps on their
11 large hardware array to see how an app performs and so
12 this is not something that third parties would have
13 access to or be able to do.

14 So I think that if you were in a third party
15 distribution model you do not know that these third
16 parties are going to do this sort of post-distribution
17 security analysis and vigilance, including using tools
18 like Mercury that Apple's doing.

19 Q. In addition to the Mercury tool do you see what
20 Mr Kosmyнка said about the other tools that Apple would
21 rely on to obtain information post-distribution?

22 A. Right, so Apple also uses its machine learning tools and
23 it collects information that because it is a central
24 source it is able to see a lot more information than
25 third parties would.

1 MR KENNELLY: I have nothing further for Dr Rubin.

2 THE CHAIRMAN: Thank you.

3 Questions by THE TRIBUNAL

4 THE CHAIRMAN: I have one question for you, Dr Rubin.

5 I think you have probably answered it in response to
6 a question from Mr Kennelly about the relationship
7 between the two restrictions but could we have a look at
8 the transcript from today, page 24, please.

9 A. The relationship between what?

10 THE CHAIRMAN: It is here, I will just show you the question
11 you were asked. Page 24. You see there you have been
12 asked a question -- you are being asked about Paddle and
13 Stripe being better at detecting fraud than iOS or IAP
14 and you say:

15 "I would say they are better at detecting generic
16 fraud but in terms of being a representative dataset to
17 the types of transactions that occur in IAP there is no
18 better source than all the data from IAP ..."

19 A. Right.

20 THE CHAIRMAN: You go on to talk about skew. Can you just
21 explain to me, what you mean by types of transactions
22 that occur in IAP, why it is important to focus on that?

23 A. Right. So every set of transactions will have certain
24 characteristics to it. If you look, for example, at
25 Amazon where people purchase items and then they get

1 shipped to their house, you will find certain statistics
2 about how many people in this region are buying this
3 type of thing, how many transactions are between this
4 price and that, and the same with fraud. You will see
5 certain types of fraud will be much more prevalent than
6 other types of fraud.

7 IAP has certain characteristics that define it
8 because of its nature of being a lot of subscriptions,
9 in-app game features like swords and things that people
10 buy online.

11 So the type of fraud that you are going to find is
12 going to be very specific to the environment in which it
13 is running and if you were to take all the data Apple
14 collects from IAP and run machine learning on it, you
15 would be very good at identifying similar types of fraud
16 as things that they are used to seeing.

17 In the term learning machine skew, if you were to
18 take Paddle's database and you say well, they have a lot
19 more financial data than Apple does for IAP, but that
20 data is under different characteristics, different types
21 of transactions. They are not in-app purchases and
22 I feel that that would skew the data to make Apple less
23 accurate at detecting fraud in IAP than it would be with
24 data that was all trained from IAP.

25 THE CHAIRMAN: So you are saying that if you wanted to

1 detect fraud in relation to people making digital
2 transactions on iOS the best place to look for data to
3 train the tool is transactions conducted on iOS.

4 A. I think that makes the most sense.

5 THE CHAIRMAN: That is the point you make. I understand.

6 That is helpful. Thank you. Are there any points
7 arising from that?

8 MR KENNELLY: Nothing from me on that point.

9 THE CHAIRMAN: No. Just checking there is nothing arising
10 from that, Mr Kennedy.

11 MR KENNEDY: Sorry, sir, arising out of the question you had
12 sir?

13 THE CHAIRMAN: Yes.

14 MR KENNEDY: No, sir.

15 THE CHAIRMAN: Thank you very much. In that case we are
16 finished with Dr Rubin, I think. Dr Rubin, thank you
17 very much for your evidence. You are now released from
18 the witness box.

19 A. Thank you.

20 (The witness withdrew)

21 THE CHAIRMAN: So we are going to move to accounting
22 evidence after lunch and we have got Mr Dudney ready to
23 go at 2 o'clock. Is that the plan?

24 MR WARD: That is the plan.

25 THE CHAIRMAN: Okay, we will rise until 2 o'clock.

1 (1.07 pm)

2 (Luncheon Adjournment)

3 (2.00 pm)

4 Housekeeping

5 THE CHAIRMAN: Yes, Mr Ward. Just before you get going,
6 there is just one bit of housekeeping. It may be that
7 Mr Kennedy has gone, I think, but there was just the one
8 outstanding point with Dr Rubin, because he produced
9 some corrections, and Mr Ward looks like he does not
10 know anything about it.

11 MR WARD: I am afraid not, sir.

12 THE CHAIRMAN: I do not want to leave it as a loose end,
13 because it was left on the basis that we assumed there
14 was not a problem, and Mr Kennedy was going to let us
15 know if there were any issues in relation to the
16 corrections that Dr Rubin gave us and have otherwise
17 gone into the (inaudible). If it is not necessary;
18 I just did not want to lose the point.

19 MR WARD: I hear from behind me that it is being confirmed
20 now and hopefully we will update you during the court of
21 the afternoon.

22 THE CHAIRMAN: Yes, that would be helpful, just to make sure
23 we have tied it down, so we all know where we are with
24 that.

25 MR WARD: Thank you, sir.

1 MR KENNELLY: I may be able to help you with that, sir.

2 I saw on the screen in the meeting room Mr Kennedy
3 confirmed this morning he had no problem with the
4 corrections.

5 THE CHAIRMAN: Good. So in that case ...

6 MR WARD: I will see if he agrees.

7 THE CHAIRMAN: I am sure he will, because he has told
8 Mr Kennelly, so that is fine. So we are treating that
9 as all been dealt with, and whatever that was, the
10 supplemental report has gone.

11 MR KENNELLY: Yes, sir.

12 THE CHAIRMAN: Thank you.

13 Mr Ward.

14 MR WARD: Thank you, sir. I would like to briefly raise
15 a short process point relating to the cross-examination
16 which is about to take place, before we call Mr Dudney.
17 It relates to a document we received on Thursday
18 afternoon from Apple. If we could call it up on the
19 screen, it is {CB2/22.1.2}. What you will see is at the
20 top of the page it says "Equations for Dudney". If we
21 could just please scroll very slowly through to the
22 fourth page, you will see that it contains a series of
23 mathematical equations. Thank you. If we just go to
24 the fourth page as well {CB2/22.1.2/4}.

25 It was served under the cover of an email that

1 simply said these are matters that Apple "may" wish to
2 put to Mr Dudney, but there was no explanation at all as
3 to where it had come from or what the purpose was or the
4 point that was going to be put to Mr Dudney.

5 So we were, as I am sure you can appreciate,
6 concerned that this was another attempt to adduce very
7 late expert evidence, and of course had it come during
8 the expert process Mr Dudney could have considered it
9 and responded to it, but instead it came less than
10 a week before his oral evidence.

11 So as you can imagine, we pushed back. We were told
12 that it is in fact the work product of counsel, and we
13 were given a one-line explanation of what the purpose of
14 all of this was, and we were also told it had not even
15 been discussed with Dr Barnes, so it is not the expert
16 evidence of Dr Barnes.

17 On that basis we consented to it being added to the
18 trial bundle, but I am sure you can appreciate we are
19 still seriously concerned about the fairness of whatever
20 questions are going to be put on the basis of this
21 document. Mr Dudney has asked for a whiteboard, you can
22 see behind him, because he thinks there may be
23 illustrations that may help deal with it, but my real
24 purpose in raising this is just to make clear that we do
25 reserve our position as to the fairness of any questions

1 that are put and indeed the weight that could be put on
2 any answers that are given.

3 That is all I wanted to say at this stage, sir.

4 THE CHAIRMAN: Yes.

5 Do you want to say anything at the moment,

6 Mr Piccinin?

7 MR PICCININ: Not really, sir. It will come up in the

8 course of the cross-examination when we get to it.

9 There is nothing in it other than simple algebra. It
10 was actually us just trying to be helpful to Mr Dudney,
11 because there is no restriction on what I can put to him
12 in the witness box, but it seemed to me it would be
13 helpful to everyone if we gave him a few days' notice.

14 We gave him a lot more notice than is the practice
15 in the patents court, for example, which is the only
16 jurisdiction forum that I am aware of in which advance
17 notice of cross-examination material is required at all.
18 There it is 48 hours. We gave Mr Dudney almost a week
19 to look at a few equations. There is not even any
20 calculus there, it is literally just algebraic
21 manipulation.

22 So it is effectively an advance draft of some
23 questions that I was hoping to put to Mr Dudney,
24 expressed in algebraic form.

25 THE CHAIRMAN: Why do we not see where we get to. No doubt

1 if there are issues that arise, and the marker has been
2 put down, we will understand.

3 MR PICCININ: I should say, though, I do apologise to
4 Mr Dudney for the "Equations for Dudney" heading. There
5 should have been a "Mr" there, obviously.

6 THE CHAIRMAN: It is probably not the point that Mr Ward was
7 concerned about, actually.

8 Okay, let us see what happens then.

9 MR LOUIS DUDNEY (called)

10 THE CHAIRMAN: Shall we swear Mr Dudney in, please.

11 MR LOUIS DUDNEY (affirmed)

12 Examination-in-chief by MR ARMITAGE

13 MR ARMITAGE: Good afternoon, Mr Dudney. I hope you have in
14 front of you a copy of the expert report of
15 Louis Dudney, dated 14 May 2024?

16 A. Yes, it appears so.

17 Q. Is that your first report in these proceedings?

18 A. Yes.

19 Q. Could we go to the very last page of the document, which
20 is {C2/7/78}. You see "Appendix 7: Signed Declaration
21 and Statement of Truth". Do you have that?

22 A. I do.

23 Q. Is that your signature at the bottom of the page?

24 A. It is.

25 Q. Could we just go to internal page 42, please, so page 50

- 1 in the EPE. {C2/7/50}.
- 2 A. Page 42. Is that the report page or is that the
- 3 numbering system?
- 4 Q. It is internal page 42. Then if you look at the Opus
- 5 numbers in the bottom right, it is {C2/7/50}. I hope it
- 6 comes up on the screen in front of you as well.
- 7 A. Yes, I see that.
- 8 Q. Is there a correction you would like to make to this
- 9 page?
- 10 A. Yes. So if look down about two-thirds of the page, the
- 11 paragraph is numbered A3.1.4, and then there is
- 12 a subbullet underneath that which is (a). The word
- 13 "profit" at the end of that statement should read
- 14 "revenue".
- 15 Q. Thank you. Then you should also have a copy of the
- 16 supplemental expert report of Louis Dudney which is also
- 17 dated 14 May 2024?
- 18 A. Yes.
- 19 Q. Is that the second report you gave in these proceedings?
- 20 A. Yes.
- 21 Q. If we could turn to {C2/9/24}, internal page 18.
- 22 A. Yes.
- 23 Q. You see the heading "Appendix 2: Declaration and
- 24 Statement of Truth"?
- 25 A. I do.

1 Q. Again, is that your signature at the bottom of the page?

2 A. It is.

3 Q. Do you also have a copy of the supplemental expert
4 report of Louis Dudney dated 9 January 2025?

5 A. Yes, I do.

6 Q. Could we then look at page {C2/18/6} of that document.

7 "Appendix 1: Declaration and Statement of Truth".

8 A. Okay, I am there.

9 Q. Again, is that your signature at the bottom of the page?

10 A. Yes.

11 Q. If we could look at page 4 of this document, please,
12 {C2/18/4}. There are lots of confidential figures and
13 I am going to try and do this without reading anything
14 out. I believe you have some corrections to make to one
15 of the figures in table 1 and then over the page to some
16 of the figures in table 3. So as I say, I do not want
17 to read any of the figures out, and I hope this works.

18 If we could now go, please, to {CB1/2/1}, which
19 should come up on the screen for you, Mr Dudney. Can
20 you see there is a letter from Hausfeld to the Tribunal
21 dated 27 January?

22 A. Yes.

23 Q. If we could go over to page 2, please. {CB1/2/2}.

24 Can you see that there are two tables on this page?

25 Again, given the confidentiality I will just ask you

1 this in general terms. Do those tables contain the
2 corrections you wish to make to the tables we just saw
3 in your supplemental report of 9 January?

4 A. They do.

5 Q. Thank you. I think I should probably show you the joint
6 experts' statement as well. So if we could go to
7 {C4/6/1}, do you see the joint statement from the
8 forensic accounting experts?

9 A. Yes, I do.

10 Q. If we could go to page 6 {C4/6/6}, is that your
11 signature in the bottom left?

12 A. Yes, it is.

13 Q. Subject to the corrections that we have been through, do
14 the matters set out in your three reports and here in
15 the joint experts' statement represent your expert
16 opinions in relation to this case?

17 A. They do.

18 Q. Insofar as the facts set out in those documents are
19 within your knowledge, are those facts true?

20 A. To the best of my knowledge, yes.

21 MR ARMITAGE: If you stay there, Mr Dudney, my learned
22 friend Mr Piccinin will have some questions for you.

23 A. Okay, thank you.

24 Cross-examination by MR PICCININ

25 MR PICCININ: Good afternoon, Mr Dudney.

1 A. Good afternoon, Mr Piccinin.

2 Q. I would like to start by orientating ourselves as to

3 what the output of your analysis is and where it is

4 going?

5 A. Okay.

6 Q. If you go to {C2/7/12}, please, which is --

7 A. Is this going to be on the screen or in my binder?

8 Q. It is probably both, actually, in this case.

9 A. Both.

10 Q. Most things will be on the screen.

11 A. Okay. I will hold off looking it up until you ask your

12 question and then see if I need to look at other parts

13 of my report.

14 Q. Sure. At the top of the page we have table 2, and that

15 is your calculations of the App Store's profitability in

16 the relevant period, yes?

17 A. Correct.

18 Q. You have given three different measures of

19 profitability?

20 A. Correct.

21 Q. The first of them is the operating margin or return on

22 revenue?

23 A. Yes.

24 Q. The second is return on assets?

25 A. Correct.

1 Q. The third is ROCE, or return on capital employed?

2 A. Yes.

3 Q. I just note that the numbers up to FY19 are not
4 confidential so we can say those out loud if we need to,
5 but the numbers that you can see in pink from FY20
6 onwards are confidential, so I am going to try to avoid
7 saying those numbers and I would like to ask you to do
8 the same.

9 If we need to talk about any confidential numbers
10 then we can go into private, and there will be a session
11 at the end of my cross-examination, I should say, where
12 we will need to do that anyway.

13 A. I will certainly do my best to not use any of the
14 numbers that are in the pink highlighting as I try to
15 answer your questions, unless I feel I need to. In that
16 case, I will try to identify it so the Tribunal can
17 handle that as appropriate.

18 Q. Exactly.

19 Now, all three of your measures have the same
20 numerator, do they not?

21 A. Yes.

22 Q. Which is essentially revenue minus costs?

23 A. Yes. There are categories of costs, but to keep it
24 simple, yes, it is revenue minus what I would refer to
25 as cost of goods sold and operating expenses.

1 Q. Yes, I am glad you raised the question of categories
2 because I was just going to try and settle some
3 terminology with you as we go.

4 So when I am going to be talking about costs today
5 I am going to try to distinguish between what I will
6 call cost of sales, by which I mean both COGS and OCOGS
7 together, and OPEX, and I wonder if that is okay with
8 you, this terminology?

9 A. Yes. I would -- again, I do not have a problem with
10 that.

11 Q. Now, because your numerators for these three measures
12 are all the same, it follows that the difference between
13 them is in the denominator, yes?

14 A. There is a different relationship because of what the
15 measure is, so by definition that would be the case.

16 Q. So for operating margin, the denominator is just
17 the revenue again?

18 A. Correct.

19 Q. So operating margin is always less than 100%, is it not?

20 A. It is in this case.

21 Q. But it must be, by definition, because the numerator is
22 revenue minus something and it is divided by revenue.

23 A. I suppose I might be able to conjure a situation where
24 it would be something different, but I do not think that
25 is applicable here.

1 Q. So ignoring taxes for the moment, essentially what
2 operating margin tells you is what fraction of revenue
3 is available to the company to contribute to the cost of
4 capital and to profits, is that fair?

5 A. Yes, just to use my terminology -- it is synonymous,
6 though -- I express everything as a percent, but
7 a percent obviously is the result of the application of
8 a fraction. So it would be the same, but typically that
9 measure is expressed as a percentage.

10 Q. I should have said what percentage of revenue.

11 A. That would be the common nomenclature that is used for
12 that statistic in all of the statistics that I have
13 shown.

14 Q. Got it. So for return on assets, the second measure,
15 the denominator is assets?

16 A. Correct.

17 Q. So that is telling you how much of the profit you were
18 making as a percentage of the assets that you were
19 deploying to earn those profits?

20 A. Correct.

21 Q. Or to put it another way, the return on assets is the
22 annual return that your assets are generating?

23 A. As measured by the operating margin, being that
24 measurement of return, since there are different ways
25 that one might measure return. But under that

1 construct, that is the way that I have shown that
2 amount, is using operating margin as the numerator and
3 assets as the denominator.

4 Q. I see. For the third measure, which is ROCE, the
5 denominator is obviously capital employed?

6 A. Yes.

7 Q. Capital employed is defined to be total assets --

8 A. Correct.

9 Q. -- minus current liabilities?

10 A. Correct.

11 Q. Current liabilities are those that are due in the next
12 12 months?

13 A. Correct.

14 Q. So capital employed is essentially the amount that your
15 investors, including both debt and equity, effectively
16 have to put up to earn these revenues?

17 A. I think that is the general purpose of the measure, yes.

18 Q. So, again, it is giving you a measure of return that the
19 company is generating with the capital that investors
20 provide?

21 A. Yes, I think that is a fair articulation.

22 Q. Okay. So to create -- to calculate the first measure,
23 operating margin, you need a P&L, parts of a P&L?

24 A. You can certainly use a P&L and find those figures.

25 Q. In particular, perhaps just to make it more concrete, we

1 need three things. The first thing we need is revenue?

2 A. Correct.

3 Q. The second thing we need is the cost of sales?

4 A. Yes. Or, as we discussed earlier, what might be termed
5 the cost of goods sold, because they are synonymous.

6 Q. Yes, and the third we need is OPEX?

7 A. In this case, yes.

8 Q. Just so everyone is clear, you and Dr Barnes agree on
9 the revenue and the cost of sales, cost of goods sales
10 then of the App Store?

11 A. Yes. The difference between those two would be referred
12 to as the gross margin, and, as I understand it,
13 Dr Barnes and I agree as to that figure for the
14 App Store.

15 Q. So the only dispute between you that relates to the
16 operating margin concerns the level of OPEX?

17 A. Generally speaking, yes. I mean, I do not want to speak
18 for Dr Barnes, but I think as a high level matter that
19 is certainly an area of dispute between the two of us,
20 or a difference of opinion.

21 Q. Okay. For the other two measures, return on assets and
22 ROCE, you still need the inputs that we have just talked
23 about?

24 A. Correct.

25 Q. But in addition, you also need to estimate the assets

1 and the current liabilities of the App Store?

2 A. Correct.

3 Q. To do that, you build a balance sheet for the App Store?

4 A. Yes, I did. Yes, sufficient to make those measures.

5 Q. Again, just so we are clear, there is a dispute between

6 you and Dr Barnes about whether it is possible to build

7 a balance sheet for the App Store that is meaningful?

8 A. I think that is a fair characterisation.

9 Q. So having set out the roadmap where we are going,

10 I would like to start by looking at the P&L items, and

11 so what I am going to do is ask you some questions about

12 the way you have gone about estimating OPEX. Then when

13 we are done with OPEX, we will move on to the balance

14 sheet items, and then we will finish up with the three

15 profitability measures that we will come back to where

16 we just started.

17 A. However you would like to proceed is perfectly fine.

18 Q. I would like to start by looking with you at Apple's

19 2023 Form 10-K, the annual reports, just to put the

20 App Store figures in their proper context.

21 A. Okay.

22 Q. So we have that at {D2/288/33}. That is not the right

23 document. (Pause). That is the right document. Happy

24 days.

25 So this is effectively Apple's P&L, yes?

1 A. Yes.

2 Q. If we just go to the third line down from the top, we
3 can see Apple Inc's total revenues of \$383 billion, yes?

4 A. Correct.

5 Q. Of that, nearly 300 billion is products?

6 A. Yes, and just for the sake of the record, we are looking
7 at the September 30, 2023 --

8 Q. I am so sorry, I should have said that.

9 A. I followed you.

10 Q. So 300 billion of products, yes?

11 A. Just shy of, yes.

12 Q. If we just flick back to page {D2/288/27}, just looking
13 at the top of the page here again at the 2023 figures,
14 about 200 billion of those product sales is made up of
15 iPhones?

16 A. Correct.

17 Q. So Apple must sell a lot of iPhones?

18 A. I think that is a fair statement.

19 Q. It must be hundreds of millions?

20 A. I do not know the number but I think it is a significant
21 size or percent of the market, and there are obviously
22 quite a few cellphones in the market.

23 Q. I was not talking about the percent of the market, but
24 just in terms of the numbers it must be large?

25 A. I think one can conclude from \$200 billion that it is

1 a significant number of phones that are sold.

2 Q. Whereas its Mac sales are quite a lot smaller than that,
3 are they not? You can see it there at about 30 billion?

4 A. Yes.

5 Q. So going back to page 33, {D2/288/33}, the App Store
6 revenues must be a very small part of the overall
7 picture, yes, of the overall revenues?

8 A. I mean, there is -- depending on the year, we can go and
9 look at the number, because it is not in dispute between
10 Dr Barnes and me.

11 Q. We can look at one, then. So on page 11 of your report,
12 that is {C2/7/11}. So table 1 is the --

13 A. Let me just catch up to you. One moment. So I have the
14 page open since I might want to refer to some of the
15 charts.

16 Q. Yes.

17 A. You are referring to the internal numbering or the Opus
18 numbering when you say 11?

19 Q. I am referring to the Opus numbering.

20 A. Okay, let me ...

21 Q. I thought at this point it was -- well, I thought it was
22 the same, maybe it is not.

23 A. No, the ...

24 Q. It is page 4 internal, I am told.

25 A. Okay, thank you.

1 Q. So seven different.

2 A. Yes, I am there.

3 Q. Okay. You can see table 1 then?

4 A. Yes, I can.

5 Q. So this is effectively the P&L that you have constructed

6 for the App Store?

7 A. That is correct.

8 Q. The revenue numbers are in the top line. I will not

9 read out the number for FY23, because that is marked as

10 confidential, but it is obviously a very small fraction

11 of the bigger number, the 383 billion that we looked at

12 for Apple Inc?

13 A. Of course we could calculate the percent, but it is

14 a smaller percent, if you will, but the numbers will

15 speak for themselves.

16 Q. Yes. So if you just want to keep your report open on

17 that page so that we can flick back and forth, but if we

18 can go back now to {D2/288/33}. Thank you.

19 So just looking at the product sales, going down to

20 the cost of sales line, it is right, is it not, that the

21 product sales have very significant cost of sales,

22 190 billion?

23 A. Yes, they have a lower gross margin than some of the

24 other portions of Apple.

25 Q. So to put it another way, it must cost a lot to make an

1 iPhone?

2 A. I do not know what "a lot" means, but if it is relative
3 to the cost of sales associated with services, I would
4 agree that as a percent the gross margin percentage,
5 which is revenue minus direct cost of sales, that margin
6 percent for products is going to be, I believe, lower
7 than what it would be for services.

8 Q. Significantly lower?

9 A. I can calculate it if you would like, but it is
10 certainly lower.

11 Q. That pattern is often the case in services businesses,
12 is it not, that they have higher gross margins?

13 A. I would not reach a general characterisation like that.
14 It certainly can be. But I do not think it is a given.
15 I think it depends on the nature of the business.

16 Q. That is fair. But specifically thinking about digital
17 services, I mean, there is not going to be anything to
18 manufacture?

19 A. Well, if you are asking about a service, it is not
20 traditionally considered a manufacturing activity.

21 Q. Exactly. Digital services often do not even require
22 labour to deliver them directly to the customer, do
23 they?

24 A. They may or may not, again depending on what the nature
25 of the services are.

1 Q. For something like the App Store, although there are
2 obviously lots of employees who do lots of work that is
3 associated with it, in terms of actually delivering the
4 transaction it is not like the work that you or I do,
5 where we spend a lot of time physically delivering the
6 service?

7 A. To my understanding it is different, yes.

8 Q. So that is why it is common, is it not, not universal,
9 but it is common for a successful digital service
10 business to have high gross margins, if I can put it
11 that way?

12 A. I would say that I did not find that characteristic
13 unusual in light of the operations of the business as
14 I understood it, for the reasons in part that you
15 explained, but just more broadly my understanding of how
16 the business operates and how it transacts with its
17 customers.

18 Q. That is true -- so a digital service business having
19 a high margin could be true whether they are highly
20 profitable overall or not?

21 A. I am not sure I understand your question. Can you
22 repeat that?

23 Q. It is possible to have a high gross margin and yet
24 overall at the operating margin level be relatively
25 unprofitable?

1 A. It depends on, of course, the business and many things,
2 what stage in its life cycle it might be, what are some
3 of those operating expenses that are not direct cost of
4 sales, and that might be considered common costs that
5 would be allocable to that business. It very much might
6 depend on the maturity of the business, the scale of the
7 business, a number of factors.

8 Q. I see. Just picking up on that answer and looking back
9 at that page we have in front of us on the screen, I did
10 also want to ask you about the OPEX line. So for OPEX,
11 in around the middle of the page, we have got about
12 \$55 billion, yes?

13 A. Yes.

14 Q. So just in numerical terms as a total, that completely
15 dwarfs the App Store's revenues, doesn't it?

16 A. I do not know your characterisation of "completely
17 dwarfs", but it is that number, if you are pointing me
18 to the 54.8 million, is that what you are focusing on?
19 For 2023, that is materially larger than the revenues of
20 the App Store, yes.

21 Q. It breaks down into two parts, one is R&D and the other
22 is -- I am just going to call it SG&A.

23 A. SG&A, yes.

24 Q. Both of those components are individually large,
25 relative to the App Store revenues?

1 A. Yes, because you are comparing the whole business to an
2 individual component of the business. But, yes, just as
3 a matter of numeric comparison, both of those individual
4 categories are larger than the revenue associated with
5 the App Store.

6 Q. So the big question that we are facing when we are
7 trying to put together a P&L for the App Store is how
8 much of that OPEX we are looking at there, how much of
9 the 55 billion, meets the description "Costs incurred in
10 generating the App Store revenues"; is that fair?

11 A. I think that is one way to articulate it. It is --
12 I think there are other ways to articulate it, but I do
13 not have a problem with that articulation.

14 Q. Okay. So I am going to come on, soon, to the way that
15 you have answered that question, but before we do that
16 I would just like to ask you some questions, some meta
17 questions, if you like, some questions about what the
18 question means.

19 Let us do that thinking about R&D. So a lot of the
20 costs in this 30 billion of R&D that we are looking at
21 here are just salaries of engineers working on new
22 products or new software, yes?

23 A. I would presume that is included within that. There
24 could certainly be other costs, but I would assume there
25 are salaries of researchers included in that.

1 Q. There might, I suppose, also be costs of machinery that
2 they need to use in order to do their research?

3 A. Correct, it could be third party services that they rely
4 on. It could be other software that they rely on for
5 purposes of developing. It could be a number of things
6 that they believe are properly classified for GAAP
7 accounting presentation purposes as R&D.

8 Q. So let us keep it simple and focus on one thing. Let us
9 think about the salaries of some engineers who are
10 developing a new and improved sensor, like a gyroscope
11 or an accelerometer for the iPhone?

12 A. Okay.

13 Q. Those would be features of the iPhone product, yes?

14 A. They could be.

15 Q. Assuming that it is a sensor for an iPhone, it would be?

16 A. It could be, but it also could apply to, and I am not
17 a technical expert, but it could apply to an iPad.
18 Maybe there is a feature that they would want to use it
19 on an Apple TV, I do not know. So there could be, I
20 presume, or conceptually at least, different
21 applications of things that they develop, and of course
22 things that they develop also may turn into meaningless
23 expenditures because it just did not pan out.

24 Q. That is all fair. But if it does pan out, then as well
25 as being features of whatever devices they relate to,

1 they also enable or improve functions that are deployed
2 in third party apps?

3 A. They may.

4 Q. So if they do, so for the circumstances where they do,
5 the work that those hardware engineers are doing
6 increases the value of the devices?

7 A. Let me make sure I understand your question. Are you
8 asking me if there is the development of an
9 accelerometer, and that somehow that has new features
10 that may enhance the value of an iPhone, is that what
11 you are asking me?

12 Q. Either its price or quantity. Either one.

13 A. Maybe it does, maybe it does not. It is not part of
14 what -- again, I am not a technical expert, so that is
15 not something I am opining about. But as a conceptual
16 matter it could but it also may not. I do not -- it is
17 not something that I have tried to technically analyse.

18 Q. Okay. But if it is useful, it could also increase the
19 value of third party apps that are sold on the
20 App Store, at least conceptually?

21 A. When you say "value", that means something very specific
22 to me. I might say that it could increase the
23 functionality.

24 Q. Yes.

25 A. Possibly. It could increase the appeal, therefore, of

1 that app. It also may not. But value is a different
2 concept for me. So I am happy to talk about it, but
3 I am not sure you meant it in the way I typically think
4 about value.

5 Q. I think we were using it in much the same way, as it
6 happens.

7 A. Well, if that is the case, then that is really about:
8 does the application of the additional technology
9 actually result in any incremental financial benefit
10 such that the price of an app would change because of
11 that feature, and that may or may not be the case
12 because of constant development that goes on, even to
13 keep pace with other offerings. There are programs that
14 are being enhanced all the time, but that does not
15 necessarily translate into enhanced cash flow and
16 therefore necessarily enhanced value, just to take that
17 simple example.

18 Q. There you mentioned the price of the third party apps,
19 but also the quantity --

20 A. The same would be true for quantity. Again, my point
21 would hold on quantity as well. It may, but it also may
22 not. It may just simply be the price of admission, if
23 you will, to stay relevant and to maintain that app's
24 market share in the particular category that it
25 competes.

1 Q. These kind of functionalities could increase the value
2 not only of paid apps on the App Store but also of apps
3 that are free to download on the App Store?

4 A. I think my answer would be the same, that it may or may
5 not. It depends on the app, the feature, and what the
6 competitive offerings would be, just as a matter of
7 concept.

8 Q. But if they do, so if this is a good piece of R&D that
9 improves the device and improves the apps on the device,
10 then the salaries of the engineers who have created that
11 innovation are simultaneously being incurred to generate
12 iPhone revenue and also App Store revenue; is that fair?

13 A. Again, subject to the constraints or the conditions that
14 I described, it may be, it may not be. It depends on
15 the particular competitive circumstance, if you will,
16 that that feature comes in, and how that affects the
17 App Store, how that affects the iPhone is a separate
18 question, and then of course those two things also have
19 a relationship, meaning the App Store and the iPhone.

20 Q. Yes, I understand. So we will get on to the question of
21 how to do an allocation to deal with this issue in
22 a moment, but just to finish this train of thought. If
23 we ask what part of the salaries are incurred by Apple
24 in generating App Store revenues, in one sense the
25 answer is that all of the salaries of these engineers

1 working on this successful innovation are incurred by
2 Apple in generating both the App Store revenues and
3 device revenues?

4 A. I would not agree with that, knowing what I know, which
5 is that I know as a matter of factual evidence that
6 there are other projects which do not relate to the
7 iPhone, do not relate necessarily to an app. It may
8 relate to driverless cars, it may relate to what has
9 been referred to as black projects. It may relate to
10 a number of different things.

11 So that R&D number is the amalgam of all of the
12 research and development that Apple engages in, not just
13 to the iPhone, and any benefits associated with those
14 features may have to a particular app.

15 Q. I see. Mr Dudney, I think I probably was not clear
16 enough in my question, then. I was not putting to you
17 that the full 30 billion, that the full salary of every
18 engineer who works for Apple, relates to both the device
19 and the App Store. What I was trying to put to you is
20 that if you think about particular engineers who spend
21 a particular period of time working on a particular
22 innovation that benefits both the App Store, through
23 third party apps, and the device, then those salaries,
24 the parts of the salaries that relate to that work that
25 they were doing is simultaneously being incurred in

1 generating both sets of revenues?

2 A. Well, the way I would answer your question is to say
3 that to the extent there is a benefit associated with
4 R&D in whatever way it manifests itself, and knowing
5 that you do not always get a benefit from R&D, but R&D
6 is typically engaged in, in order to result in sales,
7 and so it would manifest itself in whatever way it would
8 in the sales of, in the case of your question, iPhones
9 and apps.

10 Q. In those circumstances, then there is no answer to the
11 question of what proportion of those costs are incurred
12 in generating the App Store revenues as distinct from
13 the iPhone revenues?

14 A. Well, what I would say is that Apple does not track that
15 information, to my knowledge, and so -- because also you
16 do not know, often, in my experience, on day one, or
17 when you are engaging in activity, in what way it might
18 or might not benefit something, how long that benefit
19 will last, to what extent that benefit actually is tied
20 to a change in financial performance, and so it is quite
21 unusual actually to see that sort of direct articulation
22 or nexus, if you will.

23 Instead, you typically look at this and consider it
24 with respect to what benefits are being achieved by R&D
25 in general, some of which is yielding some positive

1 results, some of which may not, but ultimately the
2 design of R&D, both on a short-term basis and
3 a long-term basis, is to produce enhanced sales or
4 competitive advantage which then itself results in sales
5 of a company.

6 Q. Yes. Just so we can be clear, when we are doing an
7 allocation exercise, then, we are not trying to say, for
8 example, this engineer on Monday to Wednesday was doing
9 work that benefits the App Store and on Thursday and
10 Friday they do work that benefits the device, because
11 that is not a question -- that is not the way that R&D
12 works?

13 A. Well, when you say "we" in your question, what do you
14 mean?

15 Q. I mean you.

16 A. I am sorry. That may be just a difference between the
17 same language.

18 I do not approach, as I think you know, the
19 allocation that way, in part because of the nature of
20 R&D, but also in part because there is no information in
21 that regard that would allow such an analysis, if even
22 such an analysis would be appropriate, because of the
23 nature of R&D oftentimes not being so specific.

24 Q. Exactly.

25 A. Meaning it has a more general application to the overall

1 activity of Apple.

2 Q. Exactly. So there are two problems with trying to do it
3 in that way, the Monday to Wednesday, Thursday to Friday
4 way. One problem is a lack of information. We do not
5 have data.

6 A. That is correct, I do not have that kind of information.

7 Q. The other problem is a conceptual problem, which is that
8 R&D is not divisible in that way?

9 A. Well, it is that it is -- it may have multiple
10 applications, I guess is the way I would think about it,
11 and it may manifest benefit as a -- expenses are
12 incurred to, at least in this context, benefit the
13 company under a rational economic act or model. The way
14 that it benefits the company in one way is to of course
15 increase or maintain or otherwise positively influence
16 sales compared to what they would otherwise have been
17 but for the expenditure of that R&D.

18 Q. Much the same is actually true for the other category of
19 OPEX, is it not, SG&A?

20 A. Yes, in the sense that, using your Monday to Wednesday
21 and Thursday to Friday example, while there are some
22 businesses that track executive time, for example, or
23 other things, again that is not data I have seen
24 produced in this matter, and it would be atypical of my
25 experience over the last 35 years, but conceptually SG&A

1 expenses are incurred, much like R&D, for the benefit of
2 the entire entity, and I think it is easy to understand
3 if one were to see the subcategories below SG&A as to
4 why that is the case.

5 Q. I see. But obviously if we are going to produce
6 a series of P&Ls for the different business units or
7 parts of Apple, and if we are going to produce them in
8 a way that add up to the totals that we see here, then
9 we need to do some sort of allocation?

10 A. That is correct, I think that is fair.

11 Q. So now I would like to look at the way that you have
12 done it specifically.

13 A. Okay.

14 Q. So your starting point, as I understand it, is to take
15 the P&Ls for Apple services that are set out in the
16 various line of business report documents?

17 A. Yes.

18 Q. If we just pull one of these up. I think this is going
19 to be the only spreadsheet I attempt to pull up so
20 hopefully it will come up. It is {F/21}. If we could
21 just go to the top of the page we can see what it is.
22 This is the line of business report, yes?

23 A. It appears to be, yes.

24 Q. Did you see or read the transcript of the evidence that
25 Mr Parekh gave about these documents?

1 A. In this proceeding, yes, I did.

2 Q. So you saw that these documents are essentially only
3 produced for Apple's legal counsel and not for senior
4 management, yes?

5 A. I do not want to opine on the ...

6 Q. I wasn't asking you whether he was right or wrong.

7 A. Fair enough. I did read that testimony. That is
8 obviously for the panel to consider, you know, the bona
9 fides of that testimony --

10 Q. Of course.

11 A. -- but I saw his testimony about it, that it was
12 prepared in some way, shape or form in a legal
13 proceeding.

14 Q. Sure.

15 A. Or related to a legal proceeding.

16 Q. I just really wanted to make sure we were working from
17 the same basis, that is all.

18 A. I saw that testimony, yes, I did.

19 Q. Just looking at what these documents do, there are four
20 tabs at the bottom, one for each line of business; that
21 is right?

22 A. Well, there are four tabs at the bottom. I cannot
23 testify that those are solely the four lines of
24 business. But as it relates to this spreadsheet, there
25 are four tabs that are included, and one of those is

1 services, which is the tab that I utilised.

2 Q. So perhaps we should go to that one then. Just click on
3 it. The fourth one. Perfect.

4 So again, if we could scroll back up so we can see
5 it all. Essentially it is a P&L, is it not?

6 A. Yes, it is a P&L that, if you look in the bottom
7 left-hand corner of it, it will say, as it relates to
8 OPEX, that that OPEX number is based on an allocation
9 based on revenue.

10 Q. You are skipping ahead in my list of questions.

11 So as you say, the OPEX numbers are allocated by
12 revenue. Basically what that means is that for 2023,
13 for example, if we just take the 55 billion that we saw
14 before on the 10-K, and we split it between the various
15 lines of business in proportion to their revenue?

16 A. Yes, I think that this generally ties up, there might be
17 some small differences, but it reflects a revenue-based
18 allocation of OPEX down to the services level.

19 Q. What you do with this report is you take that services
20 OPEX figure that we have got here, and you allocate it
21 out to the App Store in proportion to the App Store's
22 share of services revenue?

23 A. Yes, I continue to apply, if you will, the same
24 methodology which, while I understand this document was
25 prepared for litigation purposes, at least that was the

1 testimony again, I also appreciated that OPEX allocation
2 based on revenue was a methodology that Apple had
3 deployed in the normal course of business as well.

4 Q. Mr Dudney, I think we are doing okay for time, but if
5 you could just stick to the answer to the question, then
6 we will have plenty of time to talk about whether the
7 revenue allocation makes sense or does not.

8 But that is just what you have done; that is all
9 I was asking you?

10 A. Yes, as a general matter I have applied that allocation
11 percentage based on sales.

12 Q. So rather than doing this in two stages from Apple Inc
13 down to services, and then from services down to the
14 App Store, you could have just done it in one step,
15 could you not?

16 A. I think mechanically one could do it, assuming that if
17 the allocations are all mathematically done correctly
18 you should yield the same answer.

19 Q. If you had done it, you would have got to roughly the
20 same answer?

21 A. Yes, I believe so.

22 Q. So when we go back to our little hypothetical about some
23 engineers who are working on some sensors, what you have
24 done here is you have said that the proportion of their
25 salaries that should be allocated to the App Store is

1 the same as the proportion of Apple's revenues that come
2 from the App Store?

3 A. I have allocated it with respect to all of the operating
4 expenses that way, so I have used what would be referred
5 to as a benefits methodology where it is using sales as
6 one of the measures. But as you would know,
7 Mr Piccinin, I also considered and looked at other
8 documents where there were allocations, and I looked at
9 other allocation methodologies in order to try to be
10 conservative in my application, but ultimately -- of
11 this analysis. Ultimately, though, I utilised a sales
12 allocation method to allocate R&D as well as all of
13 SG&A.

14 Q. Okay, we are going to come on to some of those other
15 methodologies. I would say as well, if you think I have
16 missed one before we get to the end, do shout, and we
17 can talk about another one.

18 A. I will not hesitate. Thanks.

19 Q. Great. So you mention benefits received as the kind of
20 concept here, and that was what I wanted -- my next
21 question actually is about. So to make it easier, if we
22 could pull up the joint statement, which is at
23 {C4/6/15}. At the top of the page we have got part of
24 the answer to question 7. I do not know if you want to
25 just refamiliarise yourself with the beginning?

1 A. I am happy to listen to the question and then read it,
2 yes.

3 Q. So the fourth line down in this box, you say that the
4 literature supports the criterion of cost allocation
5 known as the benefits received criterion, do you see
6 that?

7 A. I do.

8 Q. The point is that you "identify the beneficiaries of the
9 outputs of the cost object", yes?

10 A. Yes.

11 Q. Just explaining what that means, see if I have got it
12 right, the cost object is the engineer working on
13 a sensor?

14 A. Think of it as the amount being allocated, which would
15 include the cost in the sensor, but it would include all
16 of the things I am allocating.

17 Q. All the other things as well. But in relation to the
18 sensor, the cost object is the engineer who is doing the
19 work?

20 A. In your hypothetical, yes.

21 Q. The outputs of the cost object, that is the sensor, that
22 is the innovation?

23 A. Yes, in, again, your example to keep it simple, sure.

24 Q. So we use the revenues to identify which parts of
25 Apple's business benefit from the sensor?

1 A. Well, yes, I did it with respect to R&D as a whole --

2 Q. As a whole.

3 A. -- recognising all the possible permutations of how R&D

4 can manifest itself from abject failure to wild success

5 to everything in between, so I allocated the R&D as well

6 as the SG&A based on relative sales for each of the

7 Relevant Periods.

8 Q. Okay. You say here towards the bottom of the box,

9 although, actually, to be fair to you, I think you are

10 quoting, that the rationale for this approach is that

11 divisions with higher revenues presumably benefited more

12 from the OPEX than divisions with lower revenues?

13 A. That is part of the rationale associated with this

14 approach.

15 Q. So the idea is that the parts of the business that enjoy

16 the benefit should carry the cost?

17 A. Since -- yes, since you incurred the cost in order to

18 generate revenues.

19 Q. Now what I want to do is explore with you some of the

20 consequences of taking that approach in this case?

21 A. Okay.

22 Q. I hope you will bear with me. I want to do that by

23 considering with you some hypothetical scenarios that

24 are different from the real world in a simple, stylised

25 way. The reason I want to do that is just to think

1 about how a revenue allocation would have produced
2 different results for the App Store if the world had
3 been different in some way.

4 A. Okay, I will do my best.

5 Q. So the first scenario is imagine that Apple had taken
6 a different approach to deciding what should and should
7 not be subject to commissions on the App Store, and
8 suppose that it excluded some large category of
9 transactions, like subscriptions, for example, that in
10 the real world are subject to commissions, and in this
11 counterfactual world would not be subject to
12 commissions.

13 Just to make it simple, let us assume that the
14 consequence of that is that all of the same apps exist
15 as in the real world, all of the prices are the same as
16 they are in the real world, but Apple ends up with half
17 the revenue. Do you follow the scenario?

18 A. I think I do, which is you are telling me -- let me see
19 if I can repeat it back, which is if you assume that
20 they take a different business model that has the result
21 of manifesting half the revenues of what they otherwise
22 would have had because of some choice, what would be the
23 consequence of doing that?

24 Q. Yes, and the consequence is that you would have
25 allocated roughly, I think it is not quite, but roughly

1 half as much OPEX to the App Store?

2 A. It would certainly have a mathematical consequence but,
3 as you know, as I mentioned earlier, I did not just do
4 and apply my approach in a vacuum, I looked at other
5 methods as well and compared them to my application, and
6 my approach yielded the highest application of OPEX,
7 which therefore has the lowest relative operating
8 margin.

9 Q. So as I said, I am going to come back to the other
10 methods, but just thinking of about what a revenue
11 allocation does, a revenue allocation would allocate
12 roughly half as much OPEX, roughly?

13 A. I would need to work through it, but I certainly agree
14 that it would reduce the allocation, just on a pure
15 mathematical basis, if one were to just, in a vacuum,
16 think about it that way, sure.

17 Q. Just thinking about it slightly more, then. The
18 fraction of OPEX that you get is App Store revenues
19 divided by Apple Inc revenues, yes?

20 A. Correct, in your hypothetical, yes.

21 Q. No, that is just what a revenue allocation does?

22 A. Yes, but again recognise, as I said, and I am happy to
23 go through it, but I did not do it in a vacuum like
24 that, I did it in context with other analyses.

25 But just as it relates to the pure mathematics, if

1 you lower the numerator, and all else equal in the
2 denominator, by definition then you are going to
3 allocate fewer costs, setting aside the other
4 comparisons that I did to check that analysis.

5 Q. Okay. So if we could go back to your report {C2/7/11},
6 which I think is page 4.

7 A. One moment. (Pause)

8 Q. Table 1.

9 A. Let me just go there. Okay, I am there.

10 Q. So again, forgetting the other methodologies that are
11 not applied in this table, like the other things you
12 discuss in your report, just looking at --

13 A. I am sorry.

14 Q. I will finish the question and then you can tell me why
15 it is wrong.

16 So just looking at what you have got here in this
17 report, if you had done this piece of work, the revenue
18 allocation work to get to this table in the scenario
19 that we are talking about, in 2019, instead of having
20 1.8 billion of OPEX, you would have had about
21 900 million?

22 A. Well, what I would say as a matter of mathematics again,
23 I would be happy to check it, but it would certainly be
24 less, and significantly less I would expect it to be as
25 a matter of mathematics. That said, as you know, in my

1 application of this method I checked it against other
2 methods, including ones that Apple deploys. But my
3 point is that the application that I made is not done
4 without regard to and consideration of these other
5 things, so in the way I think about it, you have to look
6 at those two things collectively.

7 Q. Since we keep coming back to this, perhaps you could
8 explain that a little bit further then. So is your
9 point that if you had lived in that counterfactual world
10 you would have done the revenue allocation and come up
11 with something like 900 million in 2019, and then you
12 would have compared that to some other methodology and
13 said, oh, that is a bit low. Is that right?

14 A. Well, I do not know how far you want me to take the
15 counterfactual world, but if I keep some reality to the
16 question and answer in this regard, I would have looked
17 at the direct cost allocation methods that Apple itself
18 deployed to see what that yielded. I would of course
19 compare it to a revenue allocation as I ultimately
20 deployed to see what that yielded. I would continue to
21 look at a headcount-related allocation methodology.
22 Just in the hypothetical that we are in, I would then,
23 without any other things you want me to consider, but
24 just as a matter of concept, I would have taken the
25 highest one of those in an effort to be as conservative

1 as possible in light of the data I have available to me,
2 and in light of knowing what I know about Apple's
3 practices with respect to how it allocates its OPEX --

4 Q. Got it.

5 A. -- when it does similar types of analyses.

6 Q. I think we can now take that answer as read.

7 I certainly understand it; I am sure the Tribunal does
8 too.

9 I am going to ask you a few more questions just
10 about the revenue allocation, and we can just read into
11 your answers that you would have cross-checked against
12 the other methodologies?

13 A. Happy to answer with that proviso.

14 Q. The next scenario I want you to consider is suppose that
15 Apple had done something different, suppose it had
16 banned in-app advertising, just did not allow it, and
17 imagine that the consequence of that was that, again,
18 all the same apps are available but at higher prices
19 than in the real world, because developers want to make
20 up for the lost ad revenue, and suppose the consequence
21 of that was that the total revenue collected by Apple on
22 the App Store was twice what it is in the real world.
23 Got it?

24 A. I think so.

25 Q. Good. So then, again, just on the revenue allocation,

1 you would have ended up with roughly -- a bit less, but
2 roughly twice as much OPEX?

3 A. Again, as a matter of mathematics that would be the
4 case, because that now is the implication with respect
5 to the business model in your hypothetical that Apple
6 utilises and how it manifests itself in terms of
7 revenues, and that is the manifestation, because there
8 are obviously different models that Apple can use, and
9 that then affects the benefits that might one see
10 amongst other factors, not just the OPEX, but there are
11 maybe other reasons why Apple chooses a particular
12 business model to deploy. That would then just result
13 in a manifestation of those revenues. But as a matter
14 of mathematics, sure, if you double the revenue you
15 would have a greater allocation of costs under that
16 method with the proviso we talked about.

17 Q. Yes, although let us come back to the proviso just for
18 this one because I like it.

19 A. Okay.

20 Q. I think your proviso is that you take the highest
21 number?

22 A. I do.

23 Q. So you would have taken that number?

24 A. Correct, unless something else in the hypothetical would
25 have moved, the direct cost or something else. But if

1 we hold those constant, then, yes, I would have taken
2 that number.

3 Q. So in that scenario, another \$1.8 billion of OPEX would
4 have appeared in this P&L in FY2019?

5 A. Yes, in your hypothetical, for the reasons that
6 I stated, yes, it would have that mathematical effect
7 I think for good reason.

8 Q. I am sorry, I did not mean to cut you off.

9 A. No, that is it.

10 Q. That is true even though all of the same engineers would
11 have been doing all of the same work with all of the
12 same machines to come up with whatever the OPEX number
13 was in FY2019?

14 A. Right, but they impact the business differently because
15 of the choice in business model made. So therefore the
16 benefit to that business, because of that business model
17 choice, manifests itself in a different way in terms of
18 the revenue.

19 Q. Got it.

20 One more scenario for you to consider, actually
21 there might be a cheeky further one, but another one.
22 Imagine an alternative world in which Apple Inc's
23 revenues were to double -- were double what they were in
24 the real world, but there is no other change to the
25 business: no change to the OPEX, no change to the

1 App Store, its revenues, cost of sales, nothing.

2 Just to imagine how that might happen, suppose that
3 everyone in the real world who has a Windows-based
4 machine and has seen the light and has bought a Mac
5 instead. So suppose that has happened and now Apple Inc
6 has doubled the revenue that it has in the real world.

7 So again, if you had come along and done your P&L
8 for the App Store with this methodology, then Apple's
9 share of OPEX would have been half; actually exactly
10 half this time what it is in this?

11 A. Did your question say Apple or App Store?

12 Q. I meant to say the App Store's share of OPEX --

13 A. I think that is what you meant as well.

14 Q. -- would have been exactly half.

15 A. Again, I would work through the math, but it would be
16 less, but again subject to the proviso of the other
17 methodologies that I did in fact consider, and in that
18 case it might come into play in light of the
19 hypothetical that you put to me.

20 Q. So the point I have been trying to illustrate with these
21 scenarios is that within the revenue allocation
22 methodology, the results vary significantly depending on
23 factors that have nothing to do with the nature of the
24 OPEX or its relationship to the App Store?

25 A. I would not agree with that statement, no, for the

1 reasons that I stated.

2 Q. So is the reason why you disagree that its relationship
3 to the App Store changes, in the sense that the
4 App Store's proportion of the benefits change, is that
5 it?

6 A. It is that and it is the model that is being used by
7 Apple so, in other words, it is expending a certain
8 amount of money on R&D and SG&A. It chooses in its
9 various businesses that it operates particular business
10 models. Those models then manifest themselves in
11 financial results and since the investment is being made
12 through expenses to try and achieve operating results,
13 that is what I mean in terms of the relationship, and so
14 to extent that there are benefits being received all
15 else equal in other parts of the business, it is fair
16 under this methodology to allocate to that portion of
17 the business to then receive I think a reliable and fair
18 view when taken in conjunction with the cost of sales
19 what the operating margin is of a particular business
20 within the Apple ecosystem.

21 Q. So it all comes down to the benefits received point
22 then?

23 A. It is a way of measuring the manifestation of the
24 benefits that were received given the implementation of
25 the business model that they have chosen to deploy.

1 Q. Okay. So I want to ask you one other question about
2 the revenue allocation approach. So you understand that
3 the vast majority of transactions on the App Store are
4 free?

5 A. If measured in terms of app downloads or some other
6 measure.

7 Q. The number of transactions.

8 A. Yes, I think that is correct. I would want to double
9 check but it is not insignificant.

10 Q. Perhaps to be fairer to you I should show you. If we go
11 to {C3/4/189}. I should tell you this is from one of
12 Apple's expert economists' report. It is
13 Professor Hitt.

14 A. Yes.

15 Q. In exhibit 33 we have a chart showing different
16 categories of transactions, free download, paid download
17 and in-app purchase. Let us the not talk about the 2020
18 onwards and just focus on the bit that is not shaded.

19 A. Fair enough, sure.

20 Q. So in 2019, for example, it is more than 80% of the
21 transactions are free?

22 A. Yes. Based -- I have not studied the -- how Dr Hit
23 prepared this but just based on the face of the
24 document, yes, it shows a number for -- that is not
25 shaded in the pink or purple for 2019 above 80%.

1 Q. So using a revenue allocation approach those
2 transactions just make no difference at all to the OPEX
3 that you allocate to the App Store?

4 A. Well, they do not make a, I will call it a direct
5 implication, because they do not require a payment.
6 Now, to what extent do those turn into or somehow
7 support paid app purchases because people have access to
8 unpaid ones, I do not know. I do not know if anyone has
9 done a study in that regard. But I think as a general
10 matter those apps would be and the costs associated with
11 running that marketplace to provide those apps has
12 different implications or impacts to Apple, both in
13 benefits to the iPhone as well as to whatever the costs
14 are to support the digital marketplace that allows for
15 free apps to exist.

16 Q. Just to illustrate what I mean. It was meant to be
17 a simpler question than I think all of that. If we
18 double the number of those transactions that would make
19 no difference at all to the OPEX that you allocate to
20 the App Store?

21 A. If I were to assume that the number of free apps has no
22 relation to the number of paid apps or in-app purchases
23 that are made, and I do not know that I can make that
24 that assumption, but one were to make that assumption
25 then just as a simple response to your question, that

1 would be true, again recognising that I also subjected
2 these analyses to these other comparisons that I talked
3 about.

4 Q. I think time is starting to get slightly tighter with
5 these long answers, so if you could try and give shorter
6 answers to the extent you can.

7 THE CHAIRMAN: To be fair, Mr Piccinin, I think he is
8 answering the question.

9 MR PICCININ: I agree.

10 THE CHAIRMAN: I would be reluctant to cut him short. I am
11 sure, Mr Dudney, you are conscious of the time and you
12 will keep it short but I do not think, Mr Piccinin, you
13 want you to cut him off for making points which seem to
14 me to be perfectly valid responses to the questions.

15 MR PICCININ: That is fair. I am grateful, sir.

16 If Apple suddenly switched to charging for those
17 transactions then again, using your revenue allocation
18 you would allocate, you would start allocating OPEX?

19 A. If there was more revenue, if their business model
20 changed such that it manifest in benefits in the
21 App Store, there would be, under that methodology of
22 the revenue, apportionment, if there would be a greater
23 apportionment subject to the proviso of the checks that
24 I did.

25 Q. If we can go back to your report again, {C2/7/11}, so

1 page 4 for you. So if for argument's sake bearing in
2 mind that the OPEX numbers here all relate to the less
3 than 20% of transactions that are paid, if we were to
4 gross that up by a factor of 5 or 6, that would make
5 a massive difference to the operating profit figure,
6 would it not?

7 A. I do not think that would be appropriate. But if you
8 are just asking me as to the matter of math, sure. If
9 you multiply the last year, I will not say the numbers
10 since it is in pink, but if you multiply it by 5, it is
11 going to have a significant impact to the operating
12 profit.

13 That said, I would also -- it is part of why
14 I looked at the direct cost allocation methodology,
15 since that would be neutral with respect to whether
16 something was a paid or free app in terms of the cost
17 that Apple incurs for that digital marketplace.

18 Q. We will get on to the direct cost allocations in
19 a moment, but just in terms of the way the revenue
20 allocations work.

21 I think you have given the answer. That is fine.

22 So I think at this point we can move on to the
23 headcount methodology, which is the other one that you
24 actually deploy in your report to make your own
25 calculations.

1 THE CHAIRMAN: Is that a convenient time to take a break?

2 MR PICCININ: Yes, it is, yes.

3 THE CHAIRMAN: Good, we will take ten minutes.

4 Mr Dudney, you know the rules about not speaking to
5 anybody during the break?

6 A. I do, your Honour, yes.

7 (3.10 pm)

8 (A short break)

9 (3.20 pm)

10 MR PICCININ: Mr Dudney, I said we were about to get on to
11 the headcount methodology, so let us do that now. To do
12 that, I think it would be helpful to have your report
13 open. So for Opus it is {C2/7/37} and table 18.
14 I think it is probably page 33 for you, Mr Dudney, if
15 you have got that in hard copy.

16 A. Yes, I do have it in front of me.

17 Q. Great. So let us just look at how it works. As
18 I understand, what you do is that you start with the
19 App Store OPEX figures that you take from three
20 particular management presentations relating to the
21 years 2018 to 2020; is that right?

22 A. Yes, that is the figure B within the mathematics that
23 I use.

24 Q. Then what you do is you take the average of those
25 three years' App Store OPEX, yes?

- 1 A. Yes.
- 2 Q. You divide that by the average App Store headcount from
3 those same three years?
- 4 A. Yes, that is the figure C.
- 5 Q. You take those headcounts from the same App Store
6 management presentations?
- 7 A. Yes.
- 8 Q. It seems to be that there are a number of people working
9 specifically on the App Store?
- 10 A. It is what I found in the Apple documents, yes, that
11 were produced.
- 12 Q. So that division at row C gives you your average
13 App Store OPEX per head for the period 2018 to 2020.
14 I do not want to read it out because actually the same
15 number is marked as confidential further up the page.
- 16 A. Fair point, yes, I see that.
- 17 Q. Then what you do is you take that App Store average OPEX
18 per head figure and you multiply that by the App Store
19 headcount for the two other years that you have
20 headcount data for; is that right, 2021 and 2022?
- 21 A. Yes, correct.
- 22 Q. So taking 2021 as an example, I am right that you are
23 not starting with the total Apple Inc OPEX from that
24 year, that is not an input to your calculation of the
25 2021 OPEX figure that we see here?

1 A. No, that is correct. I am starting with what was shown
2 by Apple in the same management report that had that
3 same headcount, just because that was the data I had.

4 Q. Yes, you are starting with the headcount, the App Store
5 headcount figure?

6 A. Correct.

7 Q. But you are not actually performing an allocation on the
8 \$44 billion of OPEX that Apple Inc spent in that year?

9 A. That is correct, because the App Store management
10 presentation had identification itself of OPEX already
11 in it.

12 MR PICCININ: Sorry, I detected there might be a question
13 from the ...

14 THE CHAIRMAN: No, sorry.

15 MR PICCININ: Yes, so what you are doing is you are taking
16 the average OPEX from the years 18 to 20 and you are
17 extrapolating from those OPEX figures into 21 and 22
18 using the change in the App Store headcount?

19 A. Yes, based on the limited data I had in this regard.

20 Q. So that is -- it is not really an allocation at all, is
21 it, because it ignores the total OPEX that you did know
22 Apple Inc incurred in 2021 and 2022?

23 A. Well, that, what I know is what was shown on this
24 particular page based on the separate input source, if
25 you will. So I was comparing that to the answer that

1 I would receive based on my revenue allocation, so it
2 was a different methodology to see which would yield the
3 highest cost.

4 Q. Sure. But you did know what the Apple Inc OPEX was in
5 2021 because it is reported in the Form 10-K?

6 A. Apple Inc, yes.

7 Q. My point is that you are not using that, you are not
8 allocating that \$44 billion out to the various parts of
9 Apple. That is not what you are doing, is it?

10 A. No, I am not doing it that way, because Apple in this
11 document had already made a recognition or an
12 identification of OPEX in that particular presentation,
13 and I was trying to extrapolate from that presentation
14 to future years as another way of looking at OPEX to try
15 and find the highest cost in order to be most
16 conservative in my calculation.

17 Q. So if we rolled this methodology out to all of the
18 business units in Apple, so if you had the headcount
19 data, and the 2018 to 2020 allocated OPEX figures for
20 every other business unit in Apple, they would not even
21 add up to the total, would they?

22 A. I am not sure I understand your question. If you do not
23 mind either rephrasing it or repeating it, just so I can
24 follow it?

25 Q. That is fair enough. What you have done is you have

1 taken allocated OPEX figures for the years 2018 to 2020,
2 yes?

3 A. Allocated that were identified by Apple in this
4 particular presentation.

5 Q. In the App Store presentations.

6 A. Correct.

7 Q. Then you are extrapolating from those numbers to new
8 OPEX figures for the App Store in 2021 and 2022 using
9 the change in headcount for the App Store in those
10 years?

11 A. It is not so much the change, it is the absolute
12 headcount in each of those years, because what I am
13 doing is taking an average, calculating an average OPEX
14 per head based on the information that Apple provided,
15 which was both OPEX and people or headcount in the three
16 prior years, and so I am simply extrapolating that using
17 an average so that it is not influenced by any one year.

18 Q. The point I am trying to put to you, Mr Dudney, is that
19 if you had the same presentations, the same kind of data
20 for all of the other business units in Apple, and you
21 applied the same methodology, extrapolating from 18 to
22 20 into 21 and 22, in exactly the same way as you have
23 done here, and then if we added up all of the FY2021
24 OPEX figures, it would not add up to the 44 billion
25 total?

1 A. As a matter of maths it would not, but that said, if
2 I had all of that data, that would then give me another
3 ability to analyse it in a different way where I could
4 account for that, but I was limited to the information
5 that Apple provided. So they have -- they are not
6 showing me the relationship of this to the total, and so
7 that is why I do not approach it that way. I simply
8 approach it within the confines of the analysis that
9 they did provide.

10 Q. For this methodology to work, for it to be accurate,
11 would you agree with me that we need to make the
12 following two assumptions. Each of them is necessary so
13 I will put them to you one at a time.

14 The first one is that we have to assume that the
15 presentations that you are using for FY18 to 20 had
16 a meaningful and fully burdened estimation of App Store
17 OPEX in those years?

18 A. Well, what I would say is that it allowed me, under
19 whatever methodology Apple chose, to include OPEX, it
20 gave me a lens into that contemporaneous presentation,
21 and I was comparing that to see if that methodology
22 yielded a larger expense and therefore would result in
23 a lower operating margin given the conservatism approach
24 that I applied.

25 So I did not have full vision into the workings of

1 how they allocated those OPEX, but I wanted to at least
2 recognise them to test them against my other
3 methodology.

4 Q. Mr Dudney, that is not actually an answer to my
5 question. My question was whether -- in order for this
6 methodology to be meaningful on its own, then we need to
7 assume that these presentations have a meaningful and
8 fully burdened estimation of App Store OPEX in those
9 years, yes or no?

10 A. I would not state it that way because of the comparative
11 nature of what I did with it.

12 Q. I mean, if the input is garbage then the output is
13 garbage?

14 A. Of course, as a matter of logic. But my point is when
15 I allocated based on revenues, I got a certain number.
16 I then wanted to compare that to an allocation based on
17 this, which was something they produced in the normal
18 course, to see how that compared, and it gave me a lower
19 operating expense allocation. Therefore, I
20 conservatively took the higher number.

21 Q. Mr Dudney, the problem I am having with this is that
22 every time I ask you about one of your methodologies,
23 you say: Mr Piccinin, do not worry about this one,
24 I have others. Then I go to the next one and you say:
25 but Mr Piccinin, what about my last one? Is that a fair

1 characterisation?

2 A. No, but I would also say I think you recognise that in
3 my analysis I did look at each of these methods, and was
4 very conscientious and careful to do so with the
5 available information I had. So there is a relationship
6 between the different methods, which is why I point that
7 out, because it was important to me to look at different
8 allocation methodologies to see what I felt at the end
9 of the day was the appropriate one in light of the data
10 available to me.

11 Q. But if all three of them -- we are on to number two
12 now -- but if all three of them are rubbish, then using
13 them to cross-check each other is also rubbish?

14 A. That presupposes in your question that they are rubbish
15 and I would disagree with that characterisation.

16 Q. Mr Dudney, what I am trying to do here, perhaps I should
17 have been clearer, is to go through each one in turn and
18 show you why I say it is rubbish. I have already done
19 that with revenue, we are on to headcount.

20 A. I think the difference we are having is simply that
21 I look at them both individually and in context with one
22 another because of the way I did my work.

23 Q. You keep saying that, Mr Dudney, but the point I was
24 putting to you is that if individually all three of them
25 are rubbish, then looking at them in concert, together,

1 is also rubbish?

2 A. You and I will not agree on that point, simply because
3 I do not agree that the revenue allocation method that
4 I used is rubbish. That is your characterisation, not
5 mine.

6 Q. No, I accept that, but we have already seen how
7 the revenue allocation can produce very different
8 numbers depending on what is going on in other parts of
9 Apple's business?

10 A. Correct, but that does not invalidate the methodology,
11 and, again, I think we just have a difference of opinion
12 on that.

13 Q. Okay, that is fine.

14 Sticking with the headcount methodology now, and so
15 without looking at the others, just on the headcount
16 methodology, would you agree with me that for this one
17 not to be rubbish, it needs to be the case that the
18 estimates of OPEX that you are taking from these
19 presentations are meaningful and fully burdened?

20 A. Well, what I would say is that I accepted them for
21 purposes of this comparison because they were presented
22 contemporaneously. I assumed that Apple did not prepare
23 meaningless and rubbish numbers when it was putting
24 together its data.

25 Q. Mr Dudney, I am not asking you right now whether they

1 are or are not meaningful. The question I am asking you
2 is whether they need to be meaningful and fully
3 burdened?

4 A. I think they need to reflect a fair and reasonable
5 allocation of operating expense in order to be
6 appropriate. I will say it that way.

7 Q. That was the first of the two assumptions. The second
8 of the two critical assumptions that I wanted to put to
9 you was that we also have to assume that after those
10 three years, FY18 to FY20, App Store OPEX grew roughly
11 in proportion to App Store headcount?

12 A. I will say it this way, that the change in or the
13 absolute number, however you want to look at it, in
14 terms of the headcount in the years where I did not have
15 OPEX, that that relationship of the historical average
16 was representative for purposes of estimating 21 and 22,
17 and I had limited data with respect to the App Store but
18 that is implicit in the mathematics.

19 Q. Yes, so we need the average OPEX per head to be stable?

20 A. It needs to be equivalent to the previous three years'
21 average on a per head basis.

22 Q. So let us look at those two assumptions in turn then.
23 Let us just look at an example of the presentations you
24 are using for the App Store OPEX. If you go to
25 {D1/725/161}. I do not want to read out any of the

1 numbers because it is all in pink, but this is the
2 source for one of the numbers in your chart. It is the
3 FY18 number at the bottom, yes?

4 A. Yes, it shows the number that is shown in my report,
5 which curiously is not in pink in my report but it is in
6 pink here so I will not say it out of caution, but yes,
7 it is one of the numbers shown here, correct.

8 Q. But this -- I think you accept in your report at 5.75 to
9 5.76, this is not a fully burdened allocation of OPEX,
10 is it?

11 A. Is there somewhere in my report you want to point me to
12 the "I accept" part? I am happy to read through it, but
13 I just ...

14 Q. Sure. Why do you not read 5.75 to 5.76?

15 A. 5.75 ...

16 Q. It is on page -- let us get up {C2/7/34}.

17 A. Okay, let me just read 5.75 and 5.76 briefly. (Pause)

18 Okay, I have read them. I think it is still
19 accurate, yes.

20 Q. So it is not -- that is not a fully burdened allocation
21 of OPEX?

22 A. I am exploring that possibility here that that is not
23 the case, and it is backed up by my comparison, which
24 again is why I use, in part, the revenue-based
25 allocation.

1 Q. Can we go back to {D1/725/161}, please. Can we just --
2 I do not want to read them out, but if you just look at
3 the categories on the left-hand side, nothing here looks
4 like software engineering or hardware engineering or
5 anything like that, does it?

6 A. It does not in terms of the names itself, but I have no
7 insight into how they aggregated these numbers.

8 Q. So the first of the two assumptions that I put to you
9 before is not sound. You do not believe that this is
10 a fully burdened OPEX allocation?

11 A. Again, I would not agree with the "it is not sound"
12 comment. What I would say is that I recognise in the
13 paragraphs that I just read that it could be the case
14 that there were allocations above the level of where
15 this report comes from, and I was testing that,
16 essentially, found that in fact it is -- when one does
17 it based on what is contained in this report, meaning
18 allocates OPEX this way, that you get a number lower
19 than the OPEX that I calculated, which again did nothing
20 to influence me to change that revenue-based method that
21 I utilised.

22 So I conceptually point that out to the panel in the
23 report, that that is my view as to why likely that is
24 the case, but I also tested it mathematically.

25 Q. So if we were relying on this methodology in a world

1 where your revenue methodology did not work, it spat out
2 numbers that were too low, then we would be stuck with
3 extrapolating from these non-fully burdened OPEX
4 allocations?

5 A. I think if you take away the revenue-based allocation it
6 appears, based on my analysis, that this particular
7 source does not include certain allocations above the --
8 I think I describe it as being the amp level, because of
9 other data I saw.

10 Q. If we could go back to your report, table 18. So
11 {C2/7/37}.

12 A. Okay.

13 Q. So the second assumption, remember, is that OPEX per
14 head is constant, yes?

15 A. It is that it is equal to the average of the prior
16 three years.

17 Q. If we look at the way headcount grows from 18 to 19, it
18 go grows by 278, yes?

19 A. I am sorry, between which two years?

20 Q. 2018 and 2019. I think that is right.

21 A. Yes.

22 Q. OPEX grows by 170 million, yes?

23 A. Yes.

24 Q. So that is about 610k per head?

25 A. It sounds right. I am happy to do the math but it

1 sounds about right.

2 Q. That is quite different from the average OPEX per head
3 that you have got there, is it not?

4 A. Yes, but those are two different -- you are doing
5 a growth calculation as opposed to a total OPEX.

6 Q. What I am asking -- it is not a growth rate, right?
7 What I am looking at is absolute number of additional
8 people from 18 to 19 and absolute number of additional
9 OPEX from 18 to 19, so it is linear?

10 A. Correct. But there is a fixed component to it, if you
11 will, in that the 876 that are the subtraction, if you
12 will, (inaudible) the amount of the increase, there is
13 a certain cost associated with those. So the additional
14 heads in total, given the mix of people, and the OPEX
15 that they chose to allocate in the normal course of
16 business, has a financial result in that. I am simply
17 reflecting Apple's own data in that regard.

18 Q. I think you can see, though, if you look at each of
19 those three years, that the OPEX per head is not the
20 same in the first year as the others, is it?

21 A. No, and it is part of what I why I took an average.
22 I had three years of data; I wanted to try and use all
23 the years of data I had.

24 Q. But having three years of data, only three data points,
25 should surely make it pretty uncomfortable in assuming

1 there is a linear relationship between App Store
2 headcount and OPEX?

3 A. No, it is not that it made me uncomfortable or not, it
4 is simply that it was the data I had available to me,
5 and I was interested to take a look at, as headcount
6 increased, if one looked at it this way, how would that
7 compare to the method that I was utilising, and
8 recognising the comments that I make in 5.75 and 5.76.

9 Q. Let us look at this another way, then, forget about OPEX
10 per head, these calculations. But the headcount of
11 people who are working specifically on the App Store
12 just has nothing to do with the proportion of the R&D or
13 SG&A expenses that Apple Inc incurs that relate to the
14 App Store?

15 A. Well, that would be true if you assume that none of the
16 categories that are shown in that document contain some
17 allocation of R&D, and what I can tell you is that in
18 looking at various financial information that I have
19 seen, there are absolutely instances of implicit
20 allocations going into categories which, by themselves,
21 you would not be able to tell there was an allocation
22 that went on within that particular line item.

23 So I cannot say for certain, but I drew the
24 observations that I did, utilising the information
25 I had, to try and assess whether that would be a more

1 reasonable method versus my revenue method.

2 Q. Once again, I think I have failed to be clear in the
3 question.

4 My question to you is that conceptually -- let us go
5 back to the engineers working on a sensor, for example.
6 The number of people working on App Review, for example,
7 or the design of the App Store, the number of headcount
8 involved in the App Store, just has nothing to do with
9 the extent to which an engineer working on a sensor
10 benefits or does not benefit the App Store?

11 A. I would agree with the concept that the number of people
12 in the App Store is not directly related necessarily to
13 the number of people doing research in a particular
14 area, other than to the extent it impacted the App Store
15 and therefore, as a result of that, the App Store's
16 financial performance necessitated a change in the
17 number of people.

18 The difference, though, is in addition to the
19 people, I also had Apple allocating OPEX, so I felt it
20 was my duty to analyse that for the benefit of the panel
21 and not just disregard that, given that allocation of
22 OPEX is an important issue, and I wanted to test and see
23 how that compared to my revenue allocation.

24 Q. But this extrapolation that you have done does not tell
25 us anything meaningful about the OPEX that is associated

1 with the App Store?

2 A. Well, I would disagree. It tells me what Apple
3 historically, at least in this document, decided was
4 appropriate to allocate in terms of OPEX, and it is an
5 amount, when I compare it to the method I used, that is
6 lower. So therefore I felt more comfortable with
7 the revenue method for -- not only that it was
8 conservative, but also I recognised the attributes of
9 this document as articulated in 5.75 and 5.76 of my
10 first report.

11 Q. What it does is it takes an average of three numbers
12 that are not fully burdened and then it extrapolates
13 from that average on a basis that has nothing to do with
14 OPEX?

15 A. I would disagree with the last portion of your question.
16 I would simply say that this is taking Apple's own data
17 of OPEX and demonstrating to me that a higher allocation
18 is appropriate, and that is what I have done. A higher
19 allocation of operating expenses to the relevant years,
20 which is what I have done.

21 Q. The other allocation methodology that you have talked
22 about in your answers today is the direct cost method.
23 I do not think you actually present your own
24 calculations on that basis in the report, do you?

25 A. I did not have to, because Apple does it for me.

1 Q. In these presentations that we have been looking at, or
2 in other ones?

3 A. It is in what would be referred to as the long range
4 forecast document, and then I cite to the line chart, if
5 you will, that is in the latter portion of my report.

6 Q. Yes. Again, though, there is no systematic link, is
7 there, between the direct costs of the App Store and the
8 question of how relevant R&D done by engineers, hardware
9 and software engineers, is for the App Store?

10 A. I would disagree in the sense that Apple obviously
11 thought that it was a reasonable method to utilise as to
12 what the spend was, because that is a reflection of the
13 business model. That business model is, in part,
14 a function of what benefits do and do not come out of
15 R&D, and it is a function of, in part, the management of
16 Apple Inc as a whole, which also makes up, you know,
17 a large portion of the operating expenses that are being
18 allocated.

19 Q. So I think what you are saying is that because Apple has
20 put those numbers in presentations, they must be
21 meaningful. Is that it?

22 A. It is more than that. It is that they put it in
23 presentations, they presented it to senior management,
24 they compared it, and it is not just that line chart, as
25 you know, sir; it is a number of other charts behind

1 that show quite a bit of detail in terms of how they
2 thought about the costs. So it is that that -- for
3 purposes of presenting to senior management, they
4 thought that was a reasonable method.

5 It also --

6 Q. Just to be clear, just so I know how many documents you
7 are talking about. You are just talking about those two
8 2019 documents?

9 A. There are two documents, they are quite significant in
10 terms of data and size, but there are two of them, yes.

11 Q. Just two?

12 A. Yes, there are two. My only point was simply that it is
13 that, and understanding the fact that direct costs can
14 be another proxy for the relative effort that it takes
15 to run a particular business, and therefore that is
16 a measurement basis to allocate common costs that could
17 have application across the business as a whole.

18 So those two methods, meaning revenue in the case of
19 what I used, or a direct cost method that Apple used,
20 those are both very familiar to me prior to coming to
21 this case.

22 Q. Right. So I will deal with those two reasons that you
23 have just given there in turn. On the first one, which
24 is that someone has put them in these presentations,
25 would you agree with me that the question of what

1 Apple's executives actually thought about that analysis
2 and how much weight they placed on it at the time is
3 a factual question?

4 A. I am not speaking to what weight, you know, Mr Cook or
5 Mr Mestri or others, put on it. I just recognise that
6 it did go to senior management, which in and of itself
7 is significant to me.

8 Q. Then turning to what you can speak to, which is what it
9 actually means, would you agree with me that using
10 a direct cost allocation creates a bias against parts of
11 the business that operate on a high gross margin?

12 A. As a matter of mathematics it would reflect the
13 characteristics of the business model that Apple chose
14 to deploy, and so it is not a matter of biasing or not,
15 it would simply reflect what cost did they have to incur
16 and how did they think about then the margin associated
17 with it.

18 Q. If we just look at your report then again, back to our
19 favourite page, 4, so it is {C2/7/11}.

20 A. Okay, one moment. Let me flip there.

21 Q. Table 1.

22 A. Okay, I can see it on the screen.

23 Q. The cost of sales figures here are really very, very
24 small, are they not?

25 A. What I would say is that "small" is only relative to, in

1 one sense, revenue. I will say that the gross margin,
2 which is revenue minus cost of sales, is, all else
3 equal, on an absolute basis, is high. That is
4 a function of revenue being earned with very little
5 direct cost needing to be incurred as a result of that.

6 Q. Exactly. Whereas we have already talked about, right at
7 the outset, that other parts, the biggest part of
8 Apple's business is a manufacturing business, it is
9 a product business, is it not?

10 A. It is the most significant in terms of dollars. There
11 are other measurement methods, of course, in terms of
12 profit per dollar and other things, but just on pure
13 revenue, the iPhones are the big -- the largest of its
14 businesses, as I understand it.

15 Q. If we are doing a direct cost allocation then just the
16 mere fact that we are combining, we are allocating
17 between a products business on the one hand and a store,
18 a digital store on the other hand, is just going to
19 shift all of those R&D revenues to the products
20 business, is it not?

21 A. Well, as a matter of mathematics, of course, businesses
22 with more costs are going to get allocated more, and
23 that is a function of the business model that Apple has
24 determined to deploy for its various businesses in terms
25 of whether you manufacture iPhones, whether you licence

1 iPhones, and if someone else manufactures -- whatever
2 the business model happens to be.

3 It is also why, again, I compared the output, when
4 one looks at it that way, to looking at it not on a cost
5 basis but looking at it on a revenue basis.

6 Q. I think we have covered that particular issue a few
7 times now.

8 A. We have.

9 Q. I think we can move on from the P&L now and get on to
10 the balance sheet.

11 Now, none of the Apple documents that you have ever
12 seen show -- have ever attempted to put together
13 a balance sheet for the App Store, have they?

14 A. They do not engage in that exercise in the normal course
15 as I have seen it.

16 Q. No, and so none of the Apple documents that you have
17 seen show any estimates at all for the return on assets
18 or the ROCE of the App Store?

19 A. They do not. I have not seen a calculation of ROA or
20 ROCE in the documents produced by Apple.

21 Q. Are you familiar with the CMA's Mobile Ecosystems Market
22 study?

23 A. I do not know that I am, no.

24 Q. Let us focus on what you did now. So what you did, as
25 I understand it, is you started with the Form 10-K, the

1 annual report, yes?

2 A. Yes.

3 Q. Then what you did is you went through and ticked off the

4 items that related to the App Store in some way?

5 A. I did a line by line review of the balance sheet items

6 to identify those balance sheet items that I think would

7 be reasonably applicable to the App Store for purposes

8 of my analysis.

9 Q. In a yes or no way?

10 A. Correct. With one proviso which is, to the extent

11 I included them, then I made a determination as to how

12 I would include them. In some cases it was based on

13 a revenue allocation, in some cases on a different

14 methodology.

15 Q. Okay. I would like to have a look at Apple's balance

16 sheet, so if we go back to {D2/288/35}. This is just

17 going to be on the screen.

18 A. Okay, thank you.

19 Q. This is the 2023 figures that I am looking at.

20 A. I see it.

21 Q. So the total assets, in the middle of the page, is

22 just -- is \$352 billion, \$353 billion, yes?

23 A. Yes.

24 Q. Mostly that is just made up of cash, marketable

25 securities and accounts receivable, yes? Those figures

- 1 come to about 200 billion?
- 2 A. They are significant. So, yes, I did not add them up,
3 but I will take your word for it. That is a significant
4 portion of the assets that they hold on their balance
5 sheet.
- 6 Q. Other than that, the assets are just basically
7 43 billion of PPE, the property, plant and equipment.
8 That is one item, yes?
- 9 A. Correct.
- 10 Q. Then there is about 80 billion of other assets, other
11 current assets and other noncurrent assets, yes?
- 12 A. Correct. There is some others but, yes, those are
13 included.
- 14 Q. So this balance sheet is essentially telling us that
15 Apple consists of a business whose assets are primarily
16 cash and machinery and so on?
- 17 A. It speaks for itself. If you want to characterise it by
18 size, I would -- they produce quite a bit in various
19 ways, as we have talked about, but I took the balance
20 sheets as they were presented to me.
- 21 Q. Yes. So if you subtract total liabilities, then you get
22 down to the penultimate line at the bottom.
23 Shareholders equity is about 60 billion?
- 24 A. Correct.
- 25 Q. If we wanted to calculate the capital employed by Apple

1 as a whole, it would be just under 210 billion, so 352
2 for the assets minus 145 for the current liabilities?

3 A. Yes, depending on how you wanted to treat the term debt,
4 even though it is a current portion of long-term debt.
5 But generally speaking, you could look at it that way.

6 Q. That would not move the dial, I do not think?

7 A. It would have -- it is a smaller amount, to your point.

8 Q. The market capitalisation of Apple at this time,
9 September 2023, was about \$2.7 trillion. You can just
10 take that from me as a figure. So that is about 45
11 times larger than the book value of equity, yes?

12 A. I have not done the math but it is quite a bit larger.

13 Q. Yes. It is an order of magnitude larger. It is
14 trillions rather than billions?

15 A. Yes, and unsurprisingly so, given what the two figures
16 represent.

17 Q. Exactly. So that is because Apple's investors believe
18 that Apple is made up of something more valuable than
19 just the items we see here, like cash and machinery?

20 A. I would state it differently.

21 Q. Okay. I mean, perhaps you will accept this way of
22 saying it then: the issue is that accounting standards
23 do not allow for balance sheets to include internally
24 generated intangible assets?

25 A. Well, that is true as a matter of fact, but that is

1 a consequence of something else. So in other words, the
2 reason that the market capitalization is higher is
3 because of investors' expectations as to future growth
4 of the cash flows, oftentimes the EBITDA of the business
5 and the riskiness that the investor marketplace places
6 on those future cash flows to come up to the calculation
7 that would result in market cap.

8 So that is the reason why and part of the reason why
9 you get those cash flows is the result of many things,
10 including internally developed IP.

11 Q. Internally developed ...?

12 A. Intellectual property.

13 Q. Yes.

14 A. Which is a form of intangible asset.

15 Q. Exactly. Then another form of intangible asset is brand
16 value?

17 A. Brand value is certainly an intangible asset, and
18 I think in Apple's case it is certainly a well known
19 brand.

20 Q. So what the stock market is telling us is those assets,
21 the IP and the brand value, are worth trillions of
22 dollars?

23 A. It is telling us that the present value of the future
24 expected cash flows, when one considers that in the
25 market capitalisation transaction or analysis, that

1 those are significantly greater than the balance sheet
2 based figures, and it is because of things like -- it
3 could be brand, it could be market position, it could be
4 collection of the workforce. There are a number of
5 things that go into an ability a company has to generate
6 future cash flows, but that is ultimately what results
7 in the value of a stock and the market capitalisation or
8 enterprise value, if you want to look at it more
9 broadly, of a company.

10 Q. If a private equity company were to have bought all of
11 Apple's equity in September 2023, the \$2.7 trillion,
12 then they would have on their balance sheet one or more
13 asset lines representing those trillion dollars of
14 intangible asset value?

15 A. Correct, because they would have spent the money for it.
16 Whereas what Apple has done is they have -- they have
17 taken advantage of their ability to create products, to
18 generate sales, to operate profitably, and do that as
19 opposed to if I or any other investor, I wish I had the
20 ability to, I do not, but if a private equity firm had
21 the ability to buy it, they would have to spend that
22 money. So that would then represent capital that they
23 have invested. Whereas the development, if you will, of
24 this difference between balance sheet assets and market
25 capitalisation in that difference, those are assets that

1 have been -- that is value that has been created as
2 a result of the underlying workings of the business, not
3 investments that are not otherwise shown on the balance
4 sheet, if that makes sense.

5 Q. That value is not shown on the balance sheet?

6 A. Correct, because it is not money that was spent by
7 Apple. It is a reflection of their advantageous
8 business operations that result in significant cash flow
9 and competitive advantage in terms of their ability to
10 generate positive cash flows in the future.

11 Q. Can we have a look at {C2/9/14}. This is your
12 supplemental report.

13 A. One moment. (Pause).

14 Q. I think it is page 13 internally.

15 A. Yes, it is, thank you.

16 Q. So you are asked in paragraph 5.1.1 to capitalise
17 Apple's R&D into an IP asset on the balance sheet
18 instead of expensing it as OPEX on the P&L, yes?

19 A. Yes.

20 Q. Then you go on and do that?

21 A. Amortise it over time, yes.

22 Q. I just wanted to check four points that I have
23 understand about that properly. The first is that your
24 starting point for this IP asset is just what Apple has
25 actually spent on R&D, yes?

1 A. Correct, going back, if you will, to earlier periods, to
2 make sure that my periods are fulsome and fully
3 accounted for. But, yes, it is based on the actual
4 spend.

5 Q. So this calculation treats IP as being worth what it
6 costs to create it, no more?

7 A. I would say that -- I am not trying to value the IP,
8 I am capturing the dollar investment that Apple made.
9 There is then, if one wants to think about it, there is
10 a rate of return that would come out of that, and that
11 is if those R&D dollars produced the ability to have
12 differentiated or greater cash flows, that would then
13 manifest itself in the value of IP. That is a result,
14 that is the extra value that Apple would get based on
15 the actual dollars it invested.

16 So I was focusing -- as I understand the exercise
17 that I was asking to do, the consequence of it is I am
18 focusing on dollars invested.

19 Q. I understand, and that is divorced from what the IP is
20 actually worth; as you have just said, that is
21 a different question?

22 A. It is a different question. I did not value the IP of
23 Apple.

24 Q. The second point, as you said a moment ago, is that you
25 amortised the R&D spend on a straight line basis over

1 a four-year period?

2 A. That was I believe the instruction that I was given.

3 Q. Yes. So what that instruction then meant is that for
4 R&D expenditure in 2013, for example, it is only worth
5 75% of that in 2014?

6 A. Correct.

7 Q. 50% in 2015, and so on?

8 A. That would be just the function of the mathematics in
9 terms of when it would hit the profit and loss, yes.

10 Q. By 2017 it is worth nothing at all?

11 A. It is not that it is worth nothing, because we cannot
12 confuse worth with investment.

13 Q. Exactly.

14 A. Because an investment dollar can be made and it is worth
15 nothing, or an investment dollar can be made and there
16 is a significant return, like any investment.

17 So this is simply recognising the fact that R&D, if
18 it were to have been capitalised, because that would
19 affect then my return calculations, what would be the
20 consequence of capitalising R&D, meaning putting it on
21 the balance sheet, therefore going into my profitability
22 ROA and ROCE calculations, as opposed to what is
23 typically happening to R&D, which is it is typically
24 expensed. The accounting rules require, generally
25 speaking, that it is expensed, and so this was an

1 alternative instruction that I was given to treat R&D as
2 a capitalised asset for the value of the dollars that
3 went into R&D.

4 Q. Okay. Then the third point is that you are only
5 allocating the share of R&D which is consistent with
6 your revenue allocation methodology, yes?

7 A. Yes.

8 Q. So if your revenue allocation methodology is not
9 a reliable guide to the proportion of R&D that relates
10 to the App Store then this is no good either?

11 A. If you change it, it would -- if you change the amount
12 of R&D that I included in this calculation, it would of
13 course change the calculation. I could run it at any
14 level but I was asked to run it as I have.

15 Q. Then the final point is that this is only about IP, is
16 it not, this does not deal with the value of Apple's
17 brand at all?

18 A. Other than to the extent that investment dollars in R&D
19 contribute to brand value. Said differently, if
20 products do not work the brand value of Apple probably
21 does not do very well. Conversely, if products work
22 really well, as they often do by Apple, then that would
23 enhance the brand and enhance its pricing ability etc.

24 Q. I think we probably agree with each other, though, so
25 I am just trying to cut things a bit shorter if we can.

1 A. Of course.

2 Q. Equity investors in Apple would not be willing to invest
3 what they actually invest on the stock market in order
4 to receive the WACC on the book value of the tangible
5 assets that appear on Apple Inc's balance sheet?

6 A. The weighted average cost of capital as it is typically
7 deployed is based on the expectation of future cash
8 flows, and that then, when one does that math, that then
9 results in the -- I will skip a few steps, but basically
10 the stock price when all else is considered. So that is
11 how the WACC is typically used and that reflects what an
12 investor, if you will, who is paying a price that is
13 reflective of the things you have talked about, among
14 other things brand value -- brand value, value of IP,
15 competitive positioning, collective workforce, etc, etc,
16 because they are investors at that level.

17 That is different of course than Apple and what it
18 invested in order to generate these results, just to be
19 clear.

20 Q. I understand that. If we can go back to Opus page 11 on
21 this. {C2/9/11}. It is probably page 10?

22 A. Same report.

23 Q. Yes. So here you have three tables set out. In table 7
24 your calculation of the App Store's operating profit,
25 then table 8, capital employed multiplied by WACC, and

1 then table 9 you have the difference. I know these WACC
2 figures are wrong. You have corrected it separately to
3 use the pre-tax figures but we do not need to worry
4 about that.

5 A. Yes, right.

6 Q. The point I was just putting to you is that Apple's
7 investors are not willing to accept the returns that you
8 have got there in table 8, that is nothing like what
9 investors demand for investing in Apple?

10 A. I think what I would say is that this is not trying
11 to -- I was just asked to do this. I did this. That
12 said, this reflects a rate of return on Apple's
13 investment as opposed to investors' investments, and
14 that is the distinction at least at one level that
15 I think I would agree that those are two different
16 things, for the reason I stated earlier in terms of what
17 and how a weighted average cost of capital figure is
18 used.

19 Q. If we go back to your first report, {C2/7/12}. It is
20 probably your page 5.

21 A. Okay, one moment.

22 Q. If we adjusted the ROCE figures here to use capital
23 employed that was consistent with the market value of
24 Apple's balance sheets, including the market value of
25 the brand and the market value of the IP, then that

1 would be highly material to these numbers, would it not?

2 There would be --

3 A. Well, it would, but it would not be then return on
4 capital employed, because that is not capital that Apple
5 employed.

6 Q. But it would be the return that is required -- it would
7 be based on the return that is actually required by
8 investors for investing in Apple?

9 A. It would reflect the fact that investors are willing to
10 pay so much, if you will, for Apple because it is so
11 profitable. That then, when you apply a WACC to it,
12 implies a much higher value than what is shown on the
13 balance sheet. So really what the investors are willing
14 to pay vis à vis the WACC is their assessment of the
15 riskiness of those future cash flows. Those future cash
16 flows are themselves a function directly of how
17 profitable or not profitable Apple is.

18 Q. Yes, I mean, the problem is though that the capital that
19 you are talking about being employed here excludes the
20 assets that are actually key to the success of Apple,
21 namely the brand and the IP?

22 A. I would not look at it that way, and the reason I say
23 that is because the expenditures that it took to create
24 that, at least during the Relevant Periods that I was
25 asked to look at, are included in my analysis.

1 Q. But they are not in the -- they are not included in the
2 balance sheet that you start from?

3 A. Well, to the extent that there is anything -- it is
4 either included vis à vis a reduction to operating
5 profit or in the balance sheet, my point being that the
6 market capitalisation or enterprise value of the company
7 is a function of the cash flows. Those cash flows are
8 a function of the investment that Apple made, and that
9 investment that Apple made, as opposed to an acquiring
10 entity, to take a comparison, is reflected in the GAAP
11 based financials.

12 Q. Let us have a look in more detail at what you do with
13 this balance sheet. If we could go to {C2/7/50}.

14 A. Appendix 3?

15 Q. That is the one. I am looking for table 32.

16 A. It is the very next page.

17 Q. Great. {C2/7/51}. So that has a list of the Apple Inc
18 balance sheet items?

19 A. Yes.

20 Q. We have "Yes" for the ones which you say are relevant --
21 "Y" for the ones that are relevant and "N" for the ones
22 you say are not?

23 A. Yes.

24 Q. We can skip cash for the moment and come back to it.

25 The first "Yes", other than cash, is for other current

1 assets?

2 A. Yes.

3 Q. You just do a revenue allocation for that, do you not?

4 A. Correct.

5 Q. Then the next one is PPE?

6 A. Yes.

7 Q. That is another revenue allocation?

8 A. That is correct.

9 Q. Actually you do a revenue allocation for every "Yes" on

10 the balance sheet, except for I think it is cash and

11 accounts payable?

12 A. That is correct.

13 Q. Yes?

14 A. That is correct.

15 Q. Your approach to cash is to say that the App Store

16 should be allocated cash that is equal to two months'

17 worth of expenses plus what they need to pay developers?

18 A. Yes.

19 Q. The part that is needed to pay developers disappears

20 from the capital employed calculation, does it not,

21 because it is exactly offset by the accounts payable?

22 A. Yes.

23 Q. So aside from the cash for two months' worth of

24 expenses, the entirety of your formula for capital

25 employed is made up of revenue allocations?

1 A. After the application of the judgment that I applied and
2 articulate in appendix 3 as to why I included certain
3 line items and why I did not, in light of my recognition
4 of the operating characteristics of the App Store. So
5 as an example, it does not -- it does not appear to have
6 accounts receivable in light of the way it does
7 business.

8 Q. I just meant the ones with the "Y". Everything is
9 either the cash or it is a revenue allocation?

10 A. I understand, but I am just explaining how I got to the
11 "Ys" because that is important.

12 Q. Then the numerator in your ROCE calculation is equal to
13 App Store revenues minus the cost of sales minus OPEX?

14 A. For the App Store that I calculated, that is correct.

15 Q. That OPEX figure is also a revenue allocation?

16 A. Yes, as we have talked about, it is.

17 Q. Now I want to look at the dreaded equations document
18 with you. If we could go to {CB2/22.1.2}. So again, as
19 I think everyone now knows courtesy of Mr Ward, this is
20 a short document that I put together to enable me to ask
21 you some questions about the way the revenue allocation
22 works. All it consists of is algebraic manipulations,
23 and you have had it now for some time, I think?

24 A. I have had it for a few days. There was a lot of
25 questions as to whether or not it was appropriate and so

1 forth. I will not comment on that. But I have had it
2 for a couple of days, yes.

3 Q. Were you able to understand it?

4 A. Yes. I did not spend that much time with it, but
5 I understood, particularly after your letter explaining
6 what it was. I understand the calculations,
7 particularly the ones that are the -- I will call the
8 conclusory calculations, after you do your manipulations
9 algebraically, what those represent. So I have a sense
10 of what those represent, of course.

11 Q. You agree with what they -- with the algebraic
12 manipulations?

13 A. Yes, but I think it is important, and I am happy to get
14 into it with you, that one recognises what the various
15 terms actually mean in terms of the implication if one
16 wants to try and draw some conclusion. As a matter of
17 pure mathematics, it would -- you could feed the numbers
18 in, and because there are percentages at the end of the
19 day you can do them on different relative bases.

20 Q. Sure. If we can go to page 3 and we will just jump into
21 the detail then. So paragraph 9, right at the top of
22 the page, gives the expression for operating margin or
23 return on revenue. What that is equal to algebraically,
24 what I mean by that is this is another way you could
25 have calculated the return on revenue figures that you

1 reach, essentially?

2 A. You can calculate it this way but I would -- there would
3 be a reason why I would not. Even though it results in
4 the same number, I think it can -- it just has
5 a different component to it, so we can talk about it if
6 you would like.

7 Q. Okay. So the only thing -- just what this is equal to,
8 I should say, to start with, is the Apple Inc revenue
9 multiplied by the gross margin of the App Store minus
10 the Apple Inc OPEX all divided by the Apple Inc revenue.
11 Yes?

12 A. So what is your question, if that is what it represents?

13 Q. Yes.

14 A. That is what is shown -- that is what it shows in the
15 formula, yes.

16 Q. So the only thing in that formula that affects the
17 answer that relates to the App Store specifically is the
18 gross margin?

19 A. Well, yes, when you show it this way, that is true,
20 because I have allocated the OPEX so this is on
21 a revenue basis. But I would simply point out that the
22 first operation, $R \text{ times } GM \text{ sub } S$, is, well, again,
23 algebraically equivalent. There is no world in which
24 Apple revenue has a gross margin equivalent to the
25 App Store.

- 1 Q. No, I was not suggesting that it did.
- 2 A. No, but I want to make that clear, because the reason it
3 works is because you are dividing it by R, which is the
4 Apple revenue. So it works because it is a percentage
5 when one does it like that, but that first operation
6 results in a nonsense number. It only makes sense then
7 when you do on a relative basis, and that was my
8 takeaway from reviewing your document at least at one
9 level.
- 10 Q. That is fine. This formula would hold good for any part
11 of the Apple business, would it not?
- 12 A. Well, no, because this has a gross margin of --
- 13 Q. -- of the gross margin of the App Store with the gross
14 margin of any other part of the business and it would
15 still work in the same way?
- 16 A. If you did a revenue based allocation.
- 17 Q. Exactly.
- 18 A. Yes, it is a matter of math, sure.
- 19 Q. So if we were doing revenue allocations comparing the
20 App Store against the Mac product or against
21 Apple Music, they would only all only be different to
22 the extent that their gross margins were different?
- 23 A. Yes, but recognise that that is -- kind of the issue is
24 that the gross -- at least from my perspective, the
25 gross margin for the App Store is, as we talked about

1 earlier, quite high, and that is what drives --
2 ultimately the answer that I provide the panel in terms
3 of ROA, ROCE, ROR, it is really being driven by that
4 GM sub S figure.

5 Q. Exactly, and if the gross margin for the App Store, say,
6 just imagine it was 100%, or for any other part of
7 Apple's business it was 100%, then this would spit out
8 a figure of about 86% for 2023. Perhaps just take that
9 from me?

10 A. I have not run it that way.

11 Q. You could run it from the P&L. That is therefore,
12 basically, the starting point for any part of the
13 Apple Inc business. The question of how close you are
14 to 86% just depends on the gross margin?

15 A. The gross margin is an important part of calculating the
16 return on revenue. There is no question. It is --
17 I think the figures, just to be specific, is if you look
18 at this number without the allocation of OPEX you get a
19 rough order of magnitude of roughly 85% gross margin,
20 and then if you apply a reduction for the OPEX, it takes
21 it down about 10 percentage points into the 75-ish
22 range, which is what I calculated.

23 Q. Exactly, that is precisely my point, which is the fact
24 that you are calculating operating margins in the 70s is
25 just telling us that the gross margin for the App Store

1 is high?

2 A. It is high.

3 Q. It is not telling you anything else about the App Store?

4 A. I respectfully disagree, in the sense that operating
5 statistics, like a gross margin, tell you a lot about
6 a particular business, is that it has a lot of profit,
7 if you will, before you start considering other things.
8 So you can characterise it different ways but it is
9 quite a meaningful number.

10 Q. You said at the outset that there was nothing unusual
11 about gross margin being high for this type of business?

12 A. It does not -- when I saw the result it did not surprise
13 me in light of the type of business, and in light of the
14 fact that Apple itself calculated similar operating
15 margins which are a function, in part, of a gross margin
16 being high.

17 Q. But we also agreed that the fact that a business has a
18 high gross margin does not imply that it is profitable
19 at the operating level?

20 A. No. But all else equal, I mean, all else equal, it
21 certainly would increase the likelihood, if you will,
22 that it is going to be profitable.

23 Q. Of course it does, directionally.

24 A. Is my point.

25 Q. The problem is if you are doing a revenue allocation,

1 what this expression is showing you is that a high gross
2 margin for this business, Apple Inc, does bake in the
3 high operating margin?

4 A. It is not that it bakes it in, it is simply that this
5 business is quite profitable at the gross margin level.
6 There are certain set --

7 Q. "This business" is?

8 A. The App Store. Thank you. The App Store is quite
9 profitable. Dr Barnes and I agree with that, so there
10 is no confusion or contention there. Then there is
11 a common pool of costs that relate to the entirety of
12 Apple, and, again, as I have stated many times, the
13 basis of the allocation that I do is based on the
14 benefit that is demonstrated by revenue, consistent with
15 the way Apple does it in certain analyses. It is
16 conceptually consistent with the reasons I have stated
17 as to why I think it is appropriate. So it is
18 unsurprising to me that at the end of day, when there is
19 a reasonable allocation of operating expenses, it
20 results in a high operating margin as a well as a high
21 gross margin.

22 Q. The point I am making is that because Apple Inc's
23 revenue is significantly larger than Apple Inc's OPEX,
24 it inevitably follows that any part of the business that
25 has a high gross margin will have a high operating

margin on a revenue allocated basis?

A. Well, except for the fact that the difference between the operating margin of the App Store and the operating margin of Apple, or the gross margin of the App Store versus the gross margin of Apple Inc, those are materially different. I would also say that, again, the fact is Apple, compared to its revenue, has a limited amount of operating expenses to allocate, and so that is again unsurprising in the result of a high operating margin.

Q. It is a mathematical identity here, right? So what I am putting to you is because of the Apple Inc revenue and OPEX figures, any part of the business that has a high gross margin will inevitably be shown to have a high operating margin using a revenue allocation, yes or no?

A. That is not the way I think about it. I think about it simply --

Q. But it is true, is it not?

A. The relationship between Apple Inc, OPEX and its revenue is what it is. So yes, because -- in other words, it is a fact as to what that relationship is. I am simply then allocating that relationship --

Q. It is what it is and it has nothing to do with the App Store?

A. I would disagree it has nothing to do with the

1 App Store.

2 Q. Let us have a look at the ROCE expression. So if we go
3 to paragraph 13 at the bottom. I think it follows from
4 what you said earlier that you also agree that this is
5 algebraically correct?

6 A. It would result -- subject to your footnote 1, and I am
7 not sure maybe footnote 2 as well, but footnote 1
8 certainly, it basically would represent or come out with
9 the same numbers. If you substituted the way you did
10 and put in these numbers, it would yield the
11 relationship that I calculated.

12 Q. Again, the only term in this whole equation that is
13 specific to the App Store is the gross margin again?

14 A. Importantly so, yes.

15 Q. Again, supposing that the gross margin is 100%, just to
16 take the benchmark for the maximum, this would
17 inevitably spit out a ROCE figure which is well over
18 400%. You can take that from me.

19 A. I have not done that math.

20 Q. Again, essentially that is just because the Apple Inc
21 total revenue is large relative to its OPEX and to the
22 value of its machinery and other assets, yes?

23 A. Yes, I think that is part of what the ROCE calculation
24 is showing.

25 Q. Except these are Apple Inc figures?

1 A. No, I understand that, but the App Store is part of
2 Apple Inc.

3 Q. It is a very small part of Apple Inc, though, is it not?

4 A. It is a small part in terms of the revenue dollars, but
5 also this is consistent, at least from an output
6 perspective, with how Apple thought about the App Store
7 in its profitability.

8 Q. Before we move on to the return on assets, I just want
9 to think about how this ROCE formula might apply to
10 a business with some different characteristics. So let
11 us imagine you have got a business that has a portfolio
12 of products and a minimal cost of sales associated with
13 any of them, yes?

14 A. Okay.

15 Q. Then for a business like that, the total revenue might
16 only exceed OPEX by an amount that is small, relative to
17 tangible assets. That is one possibility?

18 A. It might, sure.

19 Q. If it did, then this formula would give you a very low
20 ROCE for any one of those multiple products that they
21 produce?

22 A. I think again just as a matter of mathematics.

23 Q. Even if one of them is generating virtually all of the
24 gross margin in the business?

25 A. It would -- I would have to work through it to see if

1 that was true, because if you -- once you start changing
2 the gross margin between each of them, that is going to
3 impact on the individual ROCEs for each one.

4 Q. But if you look at the formula here, if you set that
5 gross margin, the GMS figure, to something close to 1,
6 then if R is close to 0 the whole expression is close to
7 zero?

8 A. Yes.

9 Q. So using a revenue allocation can bake in very low ROCE
10 figures, can it not?

11 A. I do not know that I think about it the way that you
12 articulated it. I think it is simply a function of
13 really, at the end of the day, what is the gross margin
14 of the App Store, and then what is the relationship of
15 the OPEX for Apple Inc as a whole to the revenue of
16 Apple Inc, and then -- because they are common costs,
17 and if you look at the component concepts as to what
18 those costs are, it is very reasonable and usual that
19 one would allocate those, and I think in this case
20 a revenue based allocation is conservative, quite
21 frankly.

22 Q. Okay, on the return of assets figure, can we agree the
23 same sort of answer applies mutatis mutandis?

24 A. I would answer all the questions in the same way, sir.

25 Q. Great. So that takes me then to your supplemental

1 report which is at {C2/9/1}. I should say it takes me
2 back to your supplemental report.

3 A. Let me get there. This is the January 9 one?

4 Q. No, sorry.

5 A. You want the supplement to the original report?

6 Q. Exactly.

7 A. Okay, thank you.

8 Q. If we can just go on to paragraph 1.2.2. {C2/9/4}

9 A. This is in the introduction?

10 Q. So you were asked to calculate profitability for the
11 App Store assuming that Apple's Commission was 10, 15 or
12 20%, yes?

13 A. Yes.

14 Q. If we go on to page {C2/9/8}. So this is where you set
15 out the answers to that question, yes?

16 A. Correct.

17 Q. Table 1 has the figures for a 10% Commission, yes?

18 A. Yes.

19 Q. Table 3 for 15?

20 A. Correct.

21 Q. If we could just zoom out on the screen a bit so we can
22 see the whole page, because the next question was that
23 the OPEX lines are the same, yes?

24 A. Yes.

25 Q. You do not show new figures for assets or capital

1 employed either?

2 A. Let me just see, check one thing.

3 The only thing I change is the Commission rates.

4 Q. Yes. So these operating margin, return on asset and

5 ROCE figures are based on costs and assets that have

6 been estimated by using revenue allocations assuming

7 Apple's actual Commission, yes?

8 A. Correct.

9 Q. Then you are comparing those costs and assets with

10 revenues that have been produced by a Commission of 10%

11 or 15% or 20%, yes?

12 A. Essentially I am holding those other factors.

13 I believe, again subject to check, I believe that they

14 are -- I am holding those constant and then changing the

15 Commission rate.

16 Q. Yes. So let us assume -- let us imagine

17 a counterfactual in which Apple did charge 10% or 15% or

18 20% Commission right from the outset. So there would

19 never have been a 30% Commission in that scenario?

20 A. Okay.

21 Q. Imagine that Dr Kent looked at this and said: look, this

22 is outrageous, 15% Commission, that is excessive.

23 I want Mr Dudney to calculate how profitable the

24 App Store is. Then you came along to do your

25 allocations, you would not have come up with these

- 1 numbers, would you?
- 2 A. It would depend on how I treated the allocation.
- 3 Q. But you definitely would not have come up with these
- 4 numbers, because are done using a revenue allocation on
- 5 a Commission that Apple would never have charged?
- 6 A. Can you repeat the question for me? I just want to make
- 7 sure I understand it.
- 8 Q. So these figures are based on OPEX and assets and
- 9 liabilities that you have calculated using a revenue
- 10 allocation?
- 11 A. Correct.
- 12 Q. Based on the App Store's actual revenues?
- 13 A. Correct, that relationship.
- 14 Q. You would not have had those numbers available to you at
- 15 all in the counterfactual that we are talking about?
- 16 A. Depending on how one would want to use the
- 17 counterfactual. But if one wanted to just, again,
- 18 adjust simply that top revenue number, which is what
- 19 I understood my instructions to be, that I then set out
- 20 the impact of that for whatever purpose Dr Holt might
- 21 find applicable. So that is the only thing that I then
- 22 varied, and resulted in a different gross profit as
- 23 a result.
- 24 Q. Not just gross profit?
- 25 A. Operating profit as well, correct.

- 1 Q. Also ROCE and return on assets?
- 2 A. Correct.
- 3 Q. So if you had actually applied your own methodology in
- 4 full to come up with these tables, you would have
- 5 allocated significantly less OPEX to the App Store, yes?
- 6 A. If I did it mathematically, that would be the case.
- 7 I mean, I address this point in 2.2, subsection (b).
- 8 Q. Significantly less capital employed as well, yes?
- 9 A. I am sorry, could you repeat the question?
- 10 Q. Significantly less capital employed would be allocated
- 11 as well on the same methodology?
- 12 A. It would have an impact. I would have to run that
- 13 analysis.
- 14 Q. An impact down?
- 15 A. I would want to run before I estimate it.
- 16 Q. We have discussed the fact that they are all revenue
- 17 allocations. So if there is less revenue there is going
- 18 to be less capital employed?
- 19 A. Correct, less capital employed. But then the question
- 20 would be how that would relate to the operating profit
- 21 that would be in the numerator of the analysis.
- 22 Q. Yes. I mean, we can actually do this if we wanted to by
- 23 going back to the formulas that I had up before, because
- 24 that is exactly what they are showing you, is it not?
- 25 A. I could run the analysis in different ways, sure.

1 Q. So just looking at FY19 in the 15% Commission rate, the
2 gross margin there is about 83%, so that is 6,482
3 divided by 7,582. I am not asking you to do it but take
4 it from me.

5 A. I am happy to accept it to see what your next question
6 is.

7 Q. Okay. So if we went back to the formula that I had
8 before for what the operating margin is, perhaps you can
9 just take it from me to make it go quickly, that would
10 actually give us an operating margin of 70%. Does that
11 surprise you?

12 A. It would change, and so, no, it would not surprise me
13 that there would be some change.

14 Q. So actually all of these figures, whether it is the
15 operating margin or the ROCE or the return on assets,
16 would actually turn out pretty similar to what you have
17 found in the real world?

18 A. If I were to change from the simplifying assumptions
19 that I talk about here in 2.2, or actually it is
20 2.2.1(b).

21 Q. So if you had written your report in that counterfactual
22 world and done all the same -- applied exactly the same
23 methodology, you would have come up with almost the same
24 ROCE figures as what you have actually come up with?

25 A. I would have to run them to see just to confirm it for

1 myself because there are different elements, if you
2 will, that are there, but if I had made that change it
3 would have an impact, I agree with that.

4 Q. But to see what is happening when those revenues are
5 lower is that you are also stripping out costs and you
6 are also stripping out capital?

7 A. If one were to run it in an alternative scenario versus
8 what I ran here, that is the case, yes.

9 Q. So broadly speaking you would expect it to take you,
10 looking at the formulas we had before, to the same sort
11 of place?

12 A. Again, I would want to run it before I would agree. It
13 could be the case, I would just need to run that
14 calculation, because that was not part of the set that
15 I ran.

16 MR PICCININ: I only have one more topic, sir. I am looking
17 at the time. This is the topic I would have to go into
18 closed for, and it is not very long, if I can put it
19 that way.

20 THE CHAIRMAN: How long is not very long?

21 MR PICCININ: I have 44 pages in total and I am on page 37.
22 Sorry, 43 pages.

23 THE CHAIRMAN: Are you suggesting you could finish this
24 evening?

25 MR PICCININ: I would expect I would finish this evening.

1 THE CHAIRMAN: How much longer do you think? Are you
2 talkings about 15 minutes or longer?

3 MR PICCININ: It is probably about that, I would say.

4 THE CHAIRMAN: But we would need to go into closed for it?

5 MR PICCININ: Yes, that is the only thing.

6 THE CHAIRMAN: Okay, we will do that.

7 If you are happy with that, Mr Dudney?

8 A. Perfectly fine.

9 THE CHAIRMAN: So could we please -- so you are willing now
10 to go into closed session?

11 MR PICCININ: Yes.

12 THE CHAIRMAN: Could we please turn the live stream off, and
13 anyone who is not in the confidentiality ring please
14 leave the court.

15 (4.34 pm)

16 (Private session)

17 (The hearing adjourned until Thursday, 30 January at
18 10.30 am)

19

20

21

22

23

24

25

INDEX

(Open session)2

PROFESSOR AVIEL RUBIN (continued)2

Cross-examination by MR KENNEDY (continued)2

(Private session)47

(Open session)47

Re-examination by MR KENNELLY59

Questions by THE TRIBUNAL72

Housekeeping75

MR LOUIS DUDNEY (called)79

MR LOUIS DUDNEY (affirmed)79

Examination-in-chief by MR ARMITAGE79

Cross-examination by MR PICCININ82

(Private session)178

1
2
3