

This Transcript has not been proof read or corrected. It is a working tool for the Tribunal for use in preparing its judgment. It will be placed on the Tribunal Website for readers to see how matters were conducted at the public hearing of these proceedings and is not to be relied on or cited in the context of any other proceedings. The Tribunal's judgment in this matter will be the final and definitive record.

IN THE COMPETITION
APPEAL TRIBUNAL

Case No: 1403/7/7/21

Salisbury Square House
8 Salisbury Square
London EC4Y 8AP

Tuesday 28th – January 2025

Before:
Ben Tidswell
Dr William
Bishop
Tim Frazer

(Sitting as a Tribunal in England and Wales)

BETWEEN:

Dr. Rachael Kent

Class Representative

v

Apple Inc. and Apple Distribution International Ltd

Defendants

A P P E A R A N C E S

Mark Hoskins KC, Tim Ward KC, Michael Armitage, Matthew Kennedy, Antonia Fitzpatrick,
(Instructed by Hausfeld & Co. LLP) On behalf of Dr. Rachael Kent

Marie Demetriou KC, Brian Kennelly KC, Daniel Piccinin KC, Hugo Leith, Hollie Higgins
(Instructed by Gibson, Dunn & Crutcher UK LLP) On behalf of Apple Inc. and Apple
Distribution International Ltd

Tuesday, 28 January 2025

(10.30 am)

Housekeeping

MR KENNEDY: Sir, we said we would come back to you on the timetable, so we start there.

THE CHAIRMAN: Yes.

MR KENNEDY: The position I have discussed with Mr Kennelly, so this is agreed, I hope to be finished with Professor Rubin before lunch tomorrow but we will see how we get on, and Apple expects to finish Mr Dudney on Wednesday.

THE CHAIRMAN: Okay, so Mr Dudney is only going to take an afternoon then, that is the plan?

MR KENNEDY: Potentially there is a bit more if I finish early.

THE CHAIRMAN: Yes, exactly. Yes, I think you do need to finish by lunchtime.

MR KENNEDY: There is no question I will not finish by lunchtime. I am hoping to finish a little bit earlier.

THE CHAIRMAN: In which case, you can give Mr Kennelly a bit of time back with Mr Dudney.

MR KENNEDY: Yes, exactly. Mr Piccinin will be the one who is dealing with Mr Dudney.

THE CHAIRMAN: Yes, quite.

MR KENNEDY: Dr Barnes will be available on Wednesday in

1 case Mr Dudney is finished early.

2 You have indicated, sir, that on Thursday you can
3 start at 10.30, and the parties are keen to make use of
4 that additional hour, and in the event that Mr Dudney
5 runs over, it has been agreed that Apple take no more
6 than 30 minutes of the additional hour on the Thursday.

7 I appreciate we are jumping back and forth between
8 early and late.

9 THE CHAIRMAN: Yes, I am catching up. I see. So if
10 Mr Dudney, for any reason Mr Piccinin needs more than an
11 afternoon, possibly we could sit a little bit later
12 tomorrow afternoon as well to help with that, but there
13 is a bit of run-off time on Thursday morning, because
14 I suppose there is a question as to whether you do need
15 a whole day with Dr Barnes. Is that your expectation at
16 the moment?

17 MR KENNEDY: The time estimate for Mr Ward and Mr Armitage
18 is at least three-quarters of a day. That is what I am
19 told, sir.

20 THE CHAIRMAN: Okay, that all works then, does it not?

21 MR KENNEDY: That all works, and that is the security and
22 accountancy finished by the end of Thursday, as you
23 indicated, sir, so I think we are in good shape.

24 THE CHAIRMAN: Good. Well, that is very helpful.

25 Thank you.

1 MR KENNEDY: I think the only other piece of housekeeping is
2 the Tribunal should have received an errata sheet from
3 Professor Rubin.

4 THE CHAIRMAN: Yes, we did.

5 MR KENNEDY: It has been agreed that will not be dealt with
6 in chief, just due to its length, sir. Hopefully you
7 have received a copy; I received a copy this morning at
8 8.30, I have not had a chance entirely to digest it but
9 will endeavour to do so in the breaks, to make sure I
10 can sweep up anything that arises out of it, but just
11 making sure it is on your radar, sir.

12 THE CHAIRMAN: Yes, I have seen that. So if it is not going
13 to be dealt with in chief, how will it be dealt with?

14 MR KENNELLY: It will be dealt with in chief in the sense
15 that it will be confirmed by Dr Rubin and he will
16 confirm that it is his signature, so his evidence will
17 be sworn subject to these corrections which he will also
18 swear to, so it will be introduced in that way.

19 When Dr Rubin asked for these corrections to be made
20 overnight, and I saw there were seven textual
21 corrections and 11 cross-reference corrections, it
22 seemed a more sensible way to do it.

23 THE CHAIRMAN: Yes, it is very helpful to do it that way.

24 So is the point that you have not seen them, so you are
25 just reserving your position on them?

1 MR KENNEDY: I am just reserving my position, sir, exactly.

2 THE CHAIRMAN: So you are not objecting to them going into
3 the record but you may have things to say about them.

4 MR KENNEDY: Yes, and that may not be until tomorrow, so
5 there is a risk I will have to go back over material
6 I have dealt with today because I have not had a chance
7 to digest the corrections, sir. I hope that is not the
8 case. I have done my best to look at them quickly this
9 morning.

10 THE CHAIRMAN: I have to say, looking at them, it was not
11 obvious to me there was going to be a problem, but
12 obviously you should have time to look at them properly.
13 Good, thank you.

14 MR KENNELLY: So Apple calls Dr Aviel Rubin.

15 PROFESSOR AVIEL RUBIN (called)

16 THE CHAIRMAN: Can we swear Dr Rubin, please.

17 PROFESSOR AVIEL RUBIN (affirmed)

18 Examination-in-chief by MR KENNELLY

19 MR KENNELLY: Dr Rubin, you should have a hard copy file
20 containing your reports and I will also call for them to
21 be brought up on the screen.

22 For the screen, can we have {C3/2/1}, please.

23 Thank you.

24 Do you have a hard copy of that as well?

25 A. I do.

1 Q. Can you confirm this is your first report in these
2 proceedings?

3 A. Yes.

4 Q. Could you turn to page {C3/2/176}, please.

5 A. Okay.

6 Q. Is that your signature?

7 A. Yes, it is.

8 Q. Could you now be shown, please, your second report,
9 {C3/6/1}.

10 A. I have that.

11 Q. Is this your second report in these proceedings?

12 A. Yes, it is.

13 Q. Could you turn now to page {C3/6/115}.

14 A. Okay.

15 Q. Is that your signature?

16 A. Yes, it is.

17 Q. Could you now go to -- could you be shown {C3/10/1} and
18 you should have a hard copy of the corrections you wish
19 to make. Is this the list of corrections you wish to
20 make?

21 A. Yes, it is.

22 Q. Could you turn, please, to page {C3/10/5}. Is that your
23 signature?

24 A. Yes, just on mine it is on page 4, but, yes.

25 Q. Can you confirm that with these corrections these

1 reports set out your expert opinion?

2 A. Yes.

3 Q. Insofar as the facts set out in these reports are within
4 your own knowledge, that they are true?

5 A. Yes.

6 MR KENNELLY: Thank you, Dr Rubin. My learned friend

7 Mr Kennedy has some questions for you.

8 Cross-examination by MR KENNEDY

9 MR KENNEDY: Good morning, Professor Rubin. I am going to

10 be asking you some questions on behalf of the Class
11 Representative.

12 A. Okay, good morning.

13 Q. You should have two bundles in front of you. You should
14 have a black lever arch file which should contain your
15 first report, your second report and the joint experts'
16 statement. Do you have that?

17 A. I do.

18 Q. Then you should have a white file which should have
19 60-something tabs in it, 66 tabs in it?

20 A. Yes.

21 Q. Got that? Those are the documents that I will be taking
22 you to in the course of cross-examination. There is
23 only a limited amount of confidential material in those
24 documents but I will indicate to you when something has
25 been marked as confidential by Apple and I will ask you

1 to read it. I will not read it out and I would ask you
2 likewise not to read it out. It may be that tomorrow
3 morning we have a short session in private where there
4 is a document that I would like to discuss with you
5 which is entirely confidential, and I think we will be
6 inhibited in our ability to discuss it if we do not go
7 into private, but we will cross that bridge when we come
8 to it.

9 A. Sounds good.

10 Q. I want to start, Professor, with your previous work for
11 Apple. You acted as an expert for Apple in Grace v
12 Apple in 2018, is that correct?

13 A. I do not remember the year but I was on that case.

14 Q. You acted as an expert for Apple in Epic v Apple Inc in
15 the United States, yes?

16 A. Yes.

17 Q. Your firm also provided technical litigation consultancy
18 services to Apple in connection with those proceedings,
19 yes?

20 A. Yes.

21 Q. You acted as an expert for Apple in Epic v Apple Inc in
22 Australia, yes?

23 A. Yes.

24 Q. Have you acted for Apple as an expert in any other legal
25 or regulatory proceedings?

1 A. I believe there was a patent case that I was working on
2 for Apple, but I do not think that case even reached the
3 point of submitting an expert report, so I do not recall
4 any others.

5 Q. So it is four or five times that you have acted for
6 Apple as an expert, yes?

7 A. This is the fourth, I think.

8 Q. Plus the patent case that did not go to trial.

9 Can we pick up your first report, Professor Rubin,
10 at paragraph 10, that is {C3/2/6}. You see it is at the
11 bottom of the page. First report, {C3/2/6}.

12 A. Sorry, I had the wrong report. Yes.

13 Q. You see you say:

14 "In forming my opinion, I have also relied upon, in
15 addition to my knowledge and experience noted above, the
16 documents and materials cited here in and listed in
17 appendix B to this report. These documents and
18 materials include relevant academic literature, witness
19 statements from Apple employees, Apple internal
20 documents produced in and provided to me in this matter,
21 and publicly available evidence."

22 Yes?

23 A. Right.

24 Q. Can we go to appendix B of that report which is
25 {C3/2/200}, so page 200 for you.

1 A. I am there.

2 Q. You will see the first heading Is "Pleadings, Witness

3 Statements and Exhibits", yes?

4 A. Yes.

5 Q. You refer to Mr Schiller's statement, yes?

6 A. Yes.

7 Q. Also Mr Federighi's statement, yes?

8 A. Yes.

9 Q. Did you have regard to the evidence of any other Apple

10 employee in preparing your first report?

11 A. I do not believe so.

12 Q. But in preparing for the Epic proceedings in the

13 United States, you had discussions with Mr Kosmyuka and

14 Mr Friedman, yes?

15 A. I remember speaking with Mr Kosmyuka, and I may have

16 spoken with Mr Friedman, I do not remember for sure.

17 Q. It came up in the Australian proceedings,

18 Professor Rubin. So if we pick up the white bundle and

19 it is tab 1. For the EPE, it is {G2/43/10}. If you

20 look for page 10 on the bottom right-hand side you

21 should be able to find it. Do you see that?

22 A. Yes.

23 Q. If we pick it up at line 5, you see -- or pick it up at

24 line 0, actually:

25 "... you ... engaged in extensive preparation for

1 the proceedings between Epic and Apple in the
2 United States?"

3 Then the question is:

4 "And that preparation included conducting interviews
5 with Apple employees, including Mr Kosmynka, the head of
6 App Review at Apple; and Mr Friedman, the fraud engineer
7 ..."

8 Then you see your answer:

9 "Yes."

10 Does that refresh your memory in speaking with
11 Mr Friedman?

12 A. At the time I must have remembered that I did, so
13 I probably did.

14 Q. You spoke to Mr Kosmynka on two occasions; is that
15 correct?

16 A. Yes.

17 Q. You did not make any record of those conversations, is
18 that correct?

19 A. I do not remember making any record.

20 Q. You spoke to other Apple engineers, but what you said in
21 the Australian proceedings is that you did not recall
22 their names; does that sound right?

23 A. Possibly. I do not remember.

24 Q. Keep tab 1 open and we will have a quick look again at
25 the transcript. It is page 11, this time. {G2/43/11}.

1 You see right at the top:

2 "And you spoke with various Apple engineers in the
3 process of preparing for the US proceedings? ...

4 "And who were they? -- I don't remember."

5 Do you see that?

6 A. Yes.

7 Q. The information you acquired through those conversations
8 with Apple employees formed part of the basis of the
9 opinions you expressed in the United States Epic
10 proceedings, yes?

11 A. Yes.

12 Q. You expressed the same opinion in the Australian Epic
13 proceedings, yes?

14 A. There was a substantial overlap in the opinions that
15 I expressed in those two cases.

16 Q. There was a substantial overlap between the opinions you
17 gave in the Australian Epic proceedings and the opinions
18 you have given in these proceedings?

19 A. Some of the opinions are the same. I have also added
20 new opinions with respect to things that are specific to
21 the UK and rebuttals of Dr Lee which obviously I did not
22 have in Australia.

23 Q. You make no reference to the conversations with
24 Mr Kosmyuka, Mr Friedman or the other Apple employees in
25 appendix B to your first report in these proceedings, do

1 you?

2 A. I do not.

3 Q. But you accepted in cross-examination in Australia that,
4 with the benefit of hindsight, you would have identified
5 those discussions in your report in the Australian
6 proceedings?

7 A. To the extent that I would need to rely on those
8 conversations, I would have included that.

9 Q. You would also accept that, with the benefit of
10 hindsight, you should have identified those discussions
11 in your reports in these proceedings?

12 A. I do not think so. I formed my opinions in these
13 proceedings without referring or regard to those
14 conversations.

15 Q. Okay, we will come back to that, Professor.

16 Let us go back to paragraph 10 of first Rubin,
17 page 6, {C2/3/6}. You see that you say here that you
18 had regard to Apple internal documents produced and
19 provided to you, is that correct.? Do you see that?

20 A. Where exactly are you pointing?

21 Q. It comes about halfway down. You say, second sentence:

22 "These documents ..."

23 Etc.

24 Then the next line:

25 "... Apple internal documents produced in and

1 provided to me ..."

2 So that is in the list of materials?

3 A. Yes.

4 Q. Let us go back to appendix B. {C3/2/200}. Sorry for
5 all the back and forth, Professor Rubin. If you flick
6 through that, you will see that the only reference to
7 any Apple internal documents comes under the heading we
8 have just looked at. The first is Exhibit PS-2, Tab 12.
9 Do you see that?

10 A. I am sorry, I missed a couple of words in there.

11 Q. I was saying that the only reference we see to any Apple
12 internal documents is under the first heading, and it is
13 the third bullet. It is Exhibit PS-2, Tab 12. Do you
14 see that?

15 A. I see that.

16 Q. I think that is an erroneous reference. I think it
17 should be PS-1, tab 12. It is an exhibit to
18 Mr Schiller's statement. Is that correct?

19 A. I do not know, but it could be.

20 Q. That document is a presentation from December 2008. We
21 can get it up on the EPE. It is {D1/48/1} and it is in
22 tab 3 of your hard copy bundle, Professor Rubin. We
23 have just got the cover email because it is a long
24 document. You see it is an email from Ron Okamoto to
25 Scott Forstall, and we see:

1 "Attached is a keynote preso outlining the various
2 app commerce models ..."

3 Do you see that?

4 A. I see that.

5 Q. Attached to that email was a PowerPoint presentation
6 about in app commerce, do you recall that?

7 A. In app commerce? That sounds familiar.

8 Q. But you do not refer to this document in the body of
9 your first report, do you?

10 A. I do not know. I do not remember.

11 Q. Take it from me there is no reference in the first
12 report.

13 Fifth bullet, page 200, exhibit CMF-1, and that is
14 the exhibit to Mr Federighi's statement, yes?

15 A. I think so.

16 Q. So it is {B2/3/47}, tab 4 of your binder,
17 Professor Rubin. It should be the penultimate page. If
18 you flick right to the back, you can pick it up. Do you
19 see the cover sheet to the exhibit?

20 A. Yes.

21 Q. Could I ask you to look through the list of documents
22 quickly. (Pause)

23 A. I am sorry, I am not sure what the question was.

24 Q. I just asked you to look through the list of documents
25 that you see in the index.

1 A. Okay.

2 Q. Just scan the index. (Pause)

3 A. Okay.

4 Q. These are all publicly available documents, are they

5 not?

6 A. I think that they are.

7 Q. So they are not Apple internal documents?

8 A. Right.

9 Q. You have in fact not relied upon a single internal Apple

10 document in preparing your first report; is that

11 correct?

12 A. That sounds right.

13 Q. In your second report, Professor Rubin, you list 41

14 documents that you were provided with by Apple; is that

15 correct?

16 We can pick it up in the appendix to the second

17 report, it should be appendix B. Appendix B, pick it up

18 at {C3/6/135}. They are not numbered but I counted

19 them. There should be 41.

20 A. I am sorry, what is the question?

21 Q. The question is, you listed 41 documents that you were

22 provided with in your second report, yes?

23 A. I also am not counting them but I will take your word

24 for it.

25 Q. You will take my word for the next question, I hope,

1 which is that you referred to only five of those
2 documents in the body of your report?

3 A. That is plausible.

4 Q. Back to first Rubin, paragraph 10. Let us pick it up at
5 the sentence three lines from the bottom:

6 "Where I have relied upon witness statements ..."

7 {C3/2/6}

8 A. I am sorry, you went too fast for me. I am not sure
9 what you said.

10 Q. Sorry. First report, para 10.

11 A. Paragraph 10?

12 Q. Yes. Page 6.

13 A. Okay.

14 Q. So the same paragraph we looked at before but let us
15 pick it up three lines from the bottom. You will see
16 a sentence which begins:

17 "Where I have relied upon witness statements ..."

18 Do you see that?

19 A. Yes.

20 Q. "Where I have relied upon witness statements, I identify
21 below the ... parts of the witness evidence on which
22 I have relied for each point. I exclusively depended on
23 witness statements that I deem accurate, given their
24 alignment with corroborating evidence from independent
25 sources, and my academic and industry experience."

1 Yes?

2 A. Yes.

3 Q. You make extensive reference to Apple's witness evidence
4 in your reports, yes?

5 A. That is correct.

6 Q. By my account, over 175 citations, does that sound about
7 right?

8 A. I do not know, but I would not be surprised.

9 Q. You say here that you have only done so when you
10 consider that evidence to be accurate, yes?

11 A. Yes.

12 Q. You say that you considered the evidence to be accurate
13 if it aligns with corroborating evidence from
14 independent sources or with your academic and industry
15 experience, yes?

16 A. Yes.

17 Q. Many of the matters for which you rely on Apple's
18 factual evidence relate to Apple's internal processes,
19 yes?

20 A. That is true.

21 Q. For example, App Review?

22 A. That is true.

23 Q. Those matters are not public knowledge, are they?

24 A. I --

25 Q. I will restate: not entirely public knowledge?

1 A. That is correct.

2 Q. Presumably, you did not have knowledge or experience of
3 them prior to your instruction by Apple as an expert
4 witness?

5 A. I apologise, but I just have to hear that again.

6 Q. Presumably, you did not have knowledge or experience of
7 the non-public matters prior to your instruction by
8 Apple as an expert witness?

9 A. There may be things that I retained from previous cases,
10 but I relied on things that I saw in evidence from this
11 case, when I worked on this case.

12 Q. But for non-public matters, it would only be information
13 that you had acquired in the course of these proceedings
14 or other proceedings, yes?

15 A. These proceedings or other proceedings, yes.

16 Q. Professor Rubin, in a number of places in your report
17 you note that your opinion aligns with opinions
18 expressed by Apple's factual witnesses, yes?

19 A. Sure.

20 Q. I would like to look at a few examples. Let us go to
21 first Rubin, para 173. That is {C3/2/90}. You say:
22 "From a review --"
23 Sorry, I am going too quickly, Professor Rubin. Are
24 you there?

25 A. 173?

1 Q. 173, page 90.

2 A. Yes, I am there.

3 Q. You say:

4 "From a review of the Witness Statements of Apple
5 executives in this case, it appears that Apple also
6 concurs that centralised app distribution provides
7 enhanced security benefits for the iOS platform."

8 Yes?

9 A. Yes.

10 Q. Let us go to 242, {C3/2/125}. If we go over the page --
11 sorry, no, back to page 125, pick it up at the start:

12 "I have discussed in section VII.C above how
13 notarisation on macOS would not be sufficient to address
14 the security needs of iOS Devices, especially given that
15 iOS has a heightened security threat model. I note that
16 Apple acknowledges that notarisation on macOS ensures
17 a lower level of security than App Review. Mr Federighi
18 acknowledges ... "

19 Yes?

20 A. Yes.

21 Q. Paragraph 250, page {C3/2/130}. Pick it up at the
22 start:

23 "My review and analysis of Apple's security
24 architecture, including the measures that it takes to
25 conduct App Review on every app and app update

distributed through the App Store as discussed above,
cause me to agree with Mr Federighi and Mr Schiller ..."

A. I see that.

Q. 290, page {C3/2/152}, you see:

"Consistent with my analysis, Mr Federighi observed
during cross-examination in the Australia proceedings
..."

Then a quote from Mr Federighi.

Paragraph 331, page 169, {C3/2/169}. Near the
bottom:

"It is my observation, consistent with
Mr Federighi's statement in his witness statement, that
IAP offers 'a secure payment mechanism for users and
developers to transact --"

A. I am sorry, I did not see where you were.

Q. So it is page 169. We are in 331. Are you with me so
far?

A. I am. It says:

"Separate and apart ..."

I am not sure where you were reading.

Q. Sorry, near the bottom, the final sentence on this page:

"It is my observation ..."

Have you got that?

A. Yes, I see that now.

Q. "It is my observation, consistent with Mr Federighi's

1 statement ..."

2 Then so on?

3 A. Yes.

4 Q. Then final example, 334, page 171 {C3/2/171}, and it is

5 over the page on {C3/2/172}, pick it up about six lines

6 from the bottom:

7 "Consistent with my opinion, I note that in the

8 Australian proceedings brought against Apple by Epic

9 Games, Mr Federighi testified ..."

10 Yes?

11 A. I should be better at this but I did not catch where you

12 started.

13 Q. I have the advantage of a script, Professor Rubin, so it

14 is not your fault at all. It is about six lines from

15 the bottom.

16 A. Of page 171?

17 Q. We are on page 172.

18 A. Oh.

19 Q. It is paragraph 334, six lines from the bottom. You see

20 the word "behaviour" and then a full stop, and then you

21 will see:

22 "Consistent with my opinion ..."

23 A. Got it.

24 Q. Got it?

25 "I note that in the Australian proceedings brought

1 against Apple by Epic Games, Mr Federighi testified ..."

2 A. Yes.

3 Q. Nowhere in either of your reports or in the joint
4 statement have you expressly disagreed with any of
5 Apple's factual witnesses, have you?

6 A. I do not recall any disagreement.

7 Q. Professor, let us move on to the substance. If you go
8 to paragraph 28 of your first report, that is {C3/2/12}.

9 A. Okay.

10 Q. We will see, if we pick it up about halfway down, third
11 sentence:

12 "Security encompasses ..."

13 Do you have that?

14 A. I do.

15 Q. You say:

16 "Security encompasses issues like privacy, safety,
17 trustworthiness, and reliability, as well as detecting
18 and preventing malware, and entails protecting user
19 privacy and the principles of consent, transparency, and
20 minimisation, preventing unauthorised third-party access
21 to protected data and privileged device functionality,
22 protecting device reliability, and protecting against
23 software piracy."

24 A. Yes.

25 Q. Your definition of security is broader than Dr Lee's

1 definition of security; is that correct?

2 A. Yes.

3 Q. That difference of opinion is most relevant to the
4 question of whether objectionable content is a security
5 risk, yes?

6 A. I think that it is relevant to that. I have not
7 considered if it is the thing it is most relevant to.

8 Q. Whether objectionable content is a security risk is most
9 relevant to whether different forms of app review,
10 lower-case, so we are not talking exclusively about the
11 Apple app review, are as effective as Apple's App
12 Review, yes?

13 A. That is right.

14 Q. Turning to the threat model faced by iOS,
15 Professor Rubin. You say that iOS has an extraordinary
16 threat model, yes?

17 A. I do. I do not know if you are pointing to a specific
18 sentence, but that is true.

19 Q. You can probably pick it up in 29, the second line.
20 {C3/2/13}:

21 As I discuss in further detail in section V.B below,
22 iOS has an extraordinary threat model ..."

23 A. Yes.

24 Q. I think the reasons you have given, I am trying to
25 summarise your evidence, is that there are 1 billion

1 active iOS Devices. Those devices are almost constantly
2 on and connected to the internet. iOS device users
3 frequently download apps, of which there are now more
4 than 1.8 million available. IOS devices store and
5 transmit financial, medical and private information.
6 They have a device camera and a microphone. GPS
7 hardware follows owners nearly everywhere they go, and
8 those devices are portable. Is that a fair summary?

9 A. Yes, there is one more. I think it is in another
10 section of my report but it is important. Which is that
11 people have come to rely on their mobile devices in an
12 emergency, like if they are driving a car late at night
13 and they get a flat tyre. If their phone does not work
14 because of some malware or problem with it, that could
15 be a serious real world consequence.

16 Q. You say that the particular threat model faced by iOS
17 Devices means that the app distribution restrictions and
18 the payment system restrictions are required, yes?

19 A. Can I please hear that again?

20 Q. Of course. We will start with the definitions. So when
21 I say the app distribution restrictions, do you know
22 what I mean?

23 A. You are talking about Apple's requirement of
24 distributing centrally?

25 Q. Yes.

1 A. Okay.

2 Q. Payment system restrictions?

3 A. Yes, IAP.

4 Q. IAP. The question is: you say the particular threat
5 model faced by iOS Devices means that the app
6 distribution restrictions and the payment systems
7 restrictions are required?

8 A. Acquired?

9 Q. Are necessary.

10 A. Required. I am so sorry, it is the accent. I thought
11 you said acquired. Yes, I think they are required.

12 Q. Required.

13 A. Yes.

14 Q. Let us pick up Mr Federighi's statement at paragraph 34.
15 {B2/3/9}. It is the white bundle. Just to avoid any
16 confusion, I am always going to give you the same page
17 number that is stated at the bottom, so {B2/3/9}, you
18 are looking for the 9 on the right-hand side.

19 A. Okay.

20 Q. We will pick it up just above 34. You will see in this
21 section of the witness statement Mr Federighi is
22 discussing iOS security and the threat model it faces,
23 yes?

24 A. Yes.

25 Q. Just to get our bearings. Let us go to the bottom of

1 the page. 37, the final few words on the page:

2 "We envisioned iPhone as a device that would
3 accompany its user everywhere ..."

4 Over the page {B2/3/10}, next sentence:

5 "iOS devices contain highly sensitive personal
6 information - often more sensitive than that stored on
7 a computer. For example, iOS Devices have sensor
8 hardware - such as GPS hardware and Apple's Ultra-Wide
9 (U1) chips for spatial awareness - that detects their
10 users' location."

11 Have you got that?

12 A. Yes.

13 Q. A little bit further down, one sentence away:

14 "iOS devices holding this sensitive data, including
15 financial and health data, are smaller than Mac devices
16 and - with their microphones and cameras - are more
17 likely than Mac devices to be with their users at all
18 times."

19 A. I see that.

20 Q. Next sentence:

21 "... we wanted to radically re-think people's
22 relationship with apps, so they would feel confident
23 frequently downloading lots of apps to solve all kinds
24 of problems ..."

25 Down to 39:

1 "From the threat model perspective, iPhones present
2 a very attractive economic opportunity to attackers -
3 more attractive than Mac devices. There are over
4 a billion active iPhones - more than 10 times the number
5 of Mac devices - in use globally, and their users are
6 far more prone to download apps than typical for Mac or
7 other personal computer (PC) users."

8 Then over the page, {B2/3/11}, 40, if I could ask
9 you to read from:

10 "An iOS device is a very personal device ..."

11 Down to:

12 "... throughout the day."

13 So it is just a couple of sentences there. (Pause)

14 A. Okay.

15 Q. So you clearly relied on Mr Federighi's evidence in
16 reaching your own conclusion that iOS Devices face an
17 extraordinary threat model, yes?

18 A. Well, I am a user myself, so I had my own experience,
19 and I have studied mobile security and taught about it
20 and everything that Mr Federighi said is consistent with
21 my opinion.

22 Q. But in your report at footnotes 78 and 79, that is pages
23 62 and 63, {C3/2/62-63}. So at the bottom of page 62,
24 let us pick it up at paragraph 128 of the text. You
25 see:

1 "The value of the data [etc]. It holds some of the
2 user's most personal information [footnote 78]."

3 Then we see a citation to Mr Federighi at 40, yes?

4 A. Yes.

5 Q. Over the page, we will pick it up at the end of 128:

6 "The potential economic opportunity presented by an
7 attack on iOS --"

8 A. I am sorry, you said page 128?

9 Q. Paragraph 128.

10 A. Paragraph 128.

11 Q. So it is the final part of the sentence on page 62:

12 "The potential economic opportunity presented ..."

13 Do you have that?

14 A. Yes.

15 Q. Then read over the page:

16 "... by an attack on iOS Devices exceeds that of an
17 attack on Mac devices or PCs by orders of magnitude
18 [footnote 79]."

19 Then it is "Id", which is a reference back to
20 Mr Federighi's statement and it is paragraphs 39 to 41.

21 A. Correct.

22 Q. So you have expressly cited Mr Federighi's statement in
23 support of the opinion you have given in your report,
24 yes?

25 A. Correct.

1 Q. We saw that in paragraph 10 of your first report you
2 have said that you have only relied on Apple's witness
3 evidence where you were satisfied it was accurate, yes?

4 A. Yes.

5 Q. But in 2008 when Apple launched the App Store, there
6 were not 1 billion iPhones, were there?

7 A. There were not.

8 Q. There were about 10 million?

9 A. I do not actually know, but I will take your word for
10 it.

11 Q. That is from Apple's skeleton, paragraph 37(a). I do
12 not think we need to turn it up, but for the transcript
13 that is {A1/5/15}, so presumably correct, coming from
14 the horse's mouth. In 2008 when the App Store was first
15 launched, there were not 1.8 million iOS Apps, were
16 there?

17 A. No.

18 Q. There were about 500. Again, Apple's skeleton,
19 paragraph 27(e), {A1/5/11}.

20 So any threat model developed by Apple at around the
21 time of the launch of the App Store could not have been
22 based on the particular facts that there are now
23 1 billion iPhones and 1.8 million iOS Apps, could it?

24 A. I would say that the threat model obviously could not
25 have assumed a billion phones and things like that, but

1 Apple saw the architecture of people with phones, and
2 moving around a lot and having the phones with them, and
3 understood basic principles like defence in depth, and
4 applied those principles to the design, and then refined
5 it over the years as the threat model evolved.

6 Q. The question, Professor Rubin, was whether or not Apple
7 could have had regard to those particular facts?

8 A. No.

9 Q. But nonetheless, you thought Mr Federighi's evidence was
10 accurate and you relied upon it, yes?

11 A. Yes.

12 Q. Professor Rubin, you would agree the evaluation of
13 a particular device or systems threat model is a complex
14 process?

15 A. For some systems, yes.

16 Q. For iOS, for example, it would be a complex process?

17 A. Yes.

18 Q. You would therefore expect the threat modeling exercise
19 to be documented?

20 A. Yes.

21 Q. But you do not refer to any document which sets out
22 Apple's evaluation of the threat model faced by iOS at
23 the time of its creation, correct?

24 A. I did not refer to the threat model at the time of the
25 creation of the iPhone.

1 Q. So the question was: you do not refer to any document
2 which sets out Apple's evaluation of that threat model?

3 A. At the time of the creation?

4 Q. At the time of the creation.

5 A. That is right.

6 Q. You do not refer to any document which sets out Apple's
7 evaluation of the threat model faced by iOS at the time
8 the decision was taken to allow third parties to develop
9 native apps for iOS, correct?

10 A. You are talking 2008?

11 Q. 2008.

12 A. Yes, I do not.

13 Q. In your deposition in the United States, you said it was
14 clear to you, based on the conversations you had with
15 Apple engineers, that Apple had large teams looking at
16 the threat model facing the iPhone, yes?

17 A. Okay, it was four years ago, I do not remember what
18 I said, but I agree with that.

19 Q. You have never asked Apple for any documents which
20 reflected the work that it did evaluating the threat
21 model faced by iOS?

22 A. I do; in my second report I have quite a few references
23 to it. But I did not hear you say "at the time of its
24 creation" in that version of the question, so I ...

25 Q. Sorry, you did not hear me say "at the time of its

1 creation"?

2 A. In that version of the question. So if you are just
3 asking me at any time, I do have citations to those.

4 Q. I am afraid I am now confused, Professor Rubin.

5 A. Sorry.

6 Q. So your evidence is that you do refer to documents which
7 document the threat modeling exercise, albeit those
8 documents do not relate to the time of the creation of
9 the iPhone or the decision to open up the App Store, is
10 that ...

11 A. That is what I was trying to say, but you said it better
12 than I did.

13 Q. But you agree that if there were any such documents,
14 they would be useful to you in preparing your own
15 report?

16 A. For the purpose of this case and these proceedings, I do
17 not see the usefulness of threat modeling documents from
18 2008. I was looking at the threat models in the time of
19 the Class period and today, and so I just -- that does
20 not seem relevant to me.

21 Q. Professor Rubin, you refer to a document that you refer
22 to for the first time in your second report. I think
23 that document is going to be {D1/16}. This document is
24 confidential, so I will be careful not to read anything
25 out and you should do likewise. It is at tab 6 of your

1 bundle. The date of this document, I am told, is
2 15 August 2007, so around the time of the launch of the
3 iPhone, yes?

4 A. That is correct.

5 Q. Do you recognise this document?

6 A. I am not sure.

7 Q. You refer to it at paragraph 172 of your second report,
8 that is {C3/6/79}. Go over to 80 {C3/6/80} and pick it
9 up about halfway down, after footnote 237. Again, it is
10 confidential so let us not read it out, but you see:

11 "The [blank blank blank] for example, is a team of
12 [blank blank blank]."

13 If you look at 238.

14 A. I see that now.

15 Q. You see the Bates number, you see it ends 735.

16 Back to tab 6, you will see it ends 735. So that is
17 the document you refer to there, yes?

18 A. Yes.

19 Q. Do you know when you were first provided with a copy of
20 this document?

21 A. (Pause). It was in the -- I am pretty sure it was in
22 the summer, last summer.

23 Q. Before or after you were cross-examined in Australia?

24 A. After.

25 Q. Was it provided in response to a request you made of

1 Apple?

2 A. Yes.

3 Q. Turning to the substance of the document, are you
4 familiar with the role performed by the team referred to
5 here?

6 A. Yes.

7 Q. If we go to page 3 {D1/16/3} and pick it up near the
8 bottom, you will see non-confidential words "Core OS"?

9 A. I see it.

10 Q. That is a reference to iOS, yes?

11 A. Yes.

12 Q. Could I just ask you to review the first bullet. I am
13 not going to read it out but read it to yourself.

14 (Pause)

15 A. Okay.

16 Q. You would agree that that bullet point suggests that one
17 of the tasks for this team was to create a document
18 recording the threat model facing iOS, yes?

19 A. It does not specifically mention a document and -- I am
20 allowed to reference words that are not in pink?

21 Q. Yes, you are.

22 A. So it says:

23 "Create a threat model ..."

24 A threat model can often be a document. What I see
25 a lot of our consulting clients do is they use a threat

1 modeling tool, so it does not actually create
2 a document, it just creates a file within that tool.

3 Q. Are you distinguishing between a physical hard-copy
4 document and a file on a computer program?

5 A. I was distinguishing between a document and a tool in
6 a particular state where you have done some modeling.

7 Q. Did you say a tool in a particular state? Can you
8 explain what you mean by "in a particular state"?

9 A. Sure. So the easiest example I can give is like
10 a computer network architecture diagram. Let us say
11 I ask you to create a diagram of a network, and it would
12 have a router, a server and a client machine. So you go
13 into your account and you log in and you use this
14 graphical editor to create that visual and then you save
15 it and then you go away.

16 So I would not say you have created a document, but
17 you can come back and load your work up and see that.

18 So there are threat modeling tools which have that
19 capability of letting you work through a software
20 package to create a model, but it does not save it as a
21 document.

22 Q. The key point, Professor Rubin, is that you can come
23 back and load your work up and use the work you have
24 done already as a reference point for future analysis,
25 yes?

1 A. Yes.

2 Q. If we go over the page, the first bullet, it is not
3 confidential, you say:

4 "Document current security mechanisms especially
5 documenting their weaknesses and areas specifically not
6 covered by design."

7 {D1/16/4}

8 This clearly calls for this particular team to
9 create documentation, yes?

10 A. Yes.

11 Q. Let us go down to the heading again, not confidential,
12 "Product Security". If I could ask you to look at the
13 seventh bullet, which starts:

14 "Create a threat model ..."

15 A. Yes.

16 Q. Again, would you agree that that bullet point suggests
17 that one of the tasks of this team was to create
18 a document recording the threat model facing iOS?

19 A. It is the same answer. A threat model could be within
20 a threat modeling tool or a document.

21 Q. But you would agree that one of the tasks for this team
22 was to create a record?

23 A. That is fair.

24 Q. Or a reference tool?

25 A. That is fair.

1 Q. If this team within Apple was tasked with creating
2 a record or reference tool or document, you would expect
3 it to do so, yes?

4 A. I think so.

5 Q. Even after you received this document, you did not ask
6 Apple if they had any further documents that this
7 document suggests were created in and around 2007?

8 A. I made a substantial request of Apple for documentation
9 they would have regarding threat modeling. This was one
10 of quite a few documents that came back, but many of
11 them are public documents, so I was given references to
12 them.

13 You had me on page 77. If you look at some of the
14 footnotes like on page 75, I list some of the threat
15 modeling documents in the footnotes at the bottom.
16 I think there are some on page 74 as well and 76 as well
17 {C3/6/78-80}.

18 Q. The documents on 74/75 are directed at developers,
19 correct?

20 A. I am sorry?

21 Q. The documents that are referred to on 74 and 75 are
22 directed at developers, yes?

23 Let us pick it up at 169:

24 "Apple has also directed developers on considering
25 threat models when designing software [footnote 232] ...

1 risk assessment and threat modeling ..."

2 A. Yes.

3 Q. So this is developer documentation?

4 A. This is documentation that is targeted at developers but
5 it discusses the threat modeling that Apple has done.

6 Q. But it is not a document from 2007 and 2008 that shows
7 Apple's internal threat modeling process, no?

8 A. Right.

9 Q. Let us look at one of the other documents which you were
10 provided with at the time. If we pick it up at 173 of
11 your second report, {C3/6/80}.

12 I am going to arrange myself, Professor. If you
13 give me one moment.

14 A. Sure.

15 Q. You will see:

16 "In addition, according to my review of Apple
17 documentation, I understand that Apple incorporated the
18 threat modeling process when developing their systems
19 and continues to consider the threat model when
20 developing new functionalities and engaging in product
21 design. For example, I have observed that threat
22 modeling processes would be applied to design of ..."

23 Then there are various things which are confidential
24 referred to there, yes?

25 A. Yes.

1 Q. I want to have a look at the document that you refer to
2 in footnote 242. That is {D2/987/1} and it is tab 8 of
3 your white bundle, Professor Rubin. We will pick it up
4 on page 1. Again, this whole document is confidential
5 so I will be careful. You should do likewise.

6 Do you recognise this document?

7 A. I think so.

8 Q. I am going to ask you some questions about it but they
9 will be slightly opaque given the confidential nature,
10 okay?

11 A. Okay.

12 Q. This is a formal documented threat model for
13 a particular application created by Apple, yes?

14 A. Yes.

15 Q. That application forms only a small part of the overall
16 iOS eco-system, yes?

17 A. That is true.

18 Q. But a formal documented threat model was created for it,
19 yes?

20 A. (Pause). I am not seeing where it says that. I do not
21 know.

22 Q. It is the nature of the document. Look at the -- if we
23 look at the above the line next to the Apple symbol,
24 which I assume is not confidential, you see the title of
25 the document, and if we pick it up four paragraphs down,

1 you will see a description of what this document does.

2 A. Yes.

3 Q. Then fifth paragraph, if you read the sixth and seventh
4 words it might help.

5 A. Which paragraph?

6 Q. Fifth paragraph. So you see a numbered list, 1-9.

7 A. Right.

8 Q. If you pick up in the paragraph below that. If you read
9 the first few words to yourself, up to the comma.

10 A. Yes.

11 Q. So you would agree this is a formal documented threat
12 model for that particular application?

13 A. Yes.

14 Q. Were you provided with a copy of this document at the
15 same time as the August 2007 document we just looked at?

16 A. Most likely.

17 Q. After you received and reviewed this document, did you
18 ask Apple if they had an equivalent document for the
19 iPhone?

20 A. No.

21 Q. The App Store?

22 A. No.

23 Q. I want to consider the iOS threat model in comparison to
24 Android and to Mac, okay?

25 A. Okay.

1 Q. Worldwide, there are more Android devices than iOS
2 Devices, yes?

3 A. Yes.

4 Q. Android devices, like iOS Devices, are constantly on and
5 connected to the internet, yes?

6 A. Yes.

7 Q. Android device users store and transmit financial,
8 medical and private information, yes?

9 A. Yes.

10 Q. Android devices typically have a camera?

11 A. Yes.

12 Q. A microphone?

13 A. Yes.

14 Q. GPS hardware?

15 A. Yes.

16 Q. Android device users download lots of apps?

17 A. Yes.

18 Q. Two-factor authentication tokens are often sent to
19 Android devices?

20 A. Yes.

21 Q. Android devices are often used in emergency situations
22 like those you described for iOS Devices, yes?

23 A. Hopefully not often, but they are available for that.

24 Q. They are available for that. So in terms of device use
25 and the information that the device handles, Android

1 devices and iOS Devices are in fact very similar?

2 A. True.

3 Q. Let us turn to Mac then. Mac devices store and transmit

4 financial medical and private information, yes?

5 A. Yes.

6 Q. Confidential and proprietary information?

7 A. Yes.

8 Q. They typically have a camera?

9 A. The newer ones, yes.

10 Q. Microphone?

11 A. Yes.

12 Q. GPS hardware for the portable ones?

13 A. I do not think so. I have a one-year old Mac and there

14 is no GPS hardware on its.

15 Q. To state the obvious, Mac laptops are portable?

16 A. Yes.

17 Q. Users often leave their Mac device in sleep mode rather

18 than switching it off?

19 A. I do not know the answer to that but it makes sense.

20 Q. Two-factor authentications are also often sent to Mac

21 devices?

22 A. Yes.

23 Q. I am going to suggest to you, Professor Rubin, that you

24 have overstated in your reports the differences between

25 iOS Devices and Mac devices when it comes to their use

1 case and the information they hold?

2 A. I disagree with that.

3 Q. Professor Rubin, in an earlier answer you referred to
4 defence in depth, and I want to come on to discuss what
5 you mean by defence in depth.

6 If we could pick up the joint experts' statement,
7 which will be in the black binder and it should be
8 behind the third tab. It is {C4/1/8} for the
9 transcript. If we pick it up, I am afraid it is
10 landscape which makes it more awkward still. Pick it up
11 at the bottom, you will see "19 (Issue 1C.i)"., yes?

12 A. I see that.

13 Q. I am sorry, I will just take you to the heading further
14 up the page. This is a summary of your main conclusions
15 on the security expert issues, yes?

16 A. Yes.

17 Q. So we are in your section. What you say is:

18 "iOS implements a 'defence-in-depth' security
19 architecture tailored to the particular threat landscape
20 of iOS, where each layer of protection is designed to
21 yield unique security benefits. IOS layers include
22 Apple's App Review of every app and app update,
23 centralised app distribution through the App Store and
24 IAP for all digital goods and service transactions, in
25 combination with other layers like on-device security

1 ..."

2 App Review, centralised distribution and IAP are
3 separate layers in Apple's defence in depth security
4 architecture, yes?

5 A. Yes.

6 Q. You say that in addition to those layers, there are also
7 on-device security protections, which are a combination
8 of hardware and software protections, yes?

9 A. Yes.

10 Q. We can see a description of those if we pick it up at
11 page 10 and it is paragraph 22. {C4/1/10}. Sorry,
12 I misspoke, Professor Rubin, there is not a description
13 there. We will pick it up in any event at paragraph 22.
14 What we see is, this is the second paragraph:

15 "Security best practices call for layered defences -
16 each layer strengthens the overall security posture of
17 the system because an untrustworthy or malicious app
18 must bypass all layers to reach an iOS device."

19 Do you see that?

20 A. Yes.

21 Q. Then paragraph 23, staying on the same page, picking it
22 up about halfway through or a third of the way through
23 the second line:

24 "... iOS App developers and iOS Device users enjoy
25 the combined security benefits of all layers within

1 iOS's defence in depth security architecture. The
2 combined security benefits have resulted in iOS being
3 safer than other platforms, facing fewer attacks and
4 malware infections than Android, Windows or macOS."

5 A. Yes.

6 Q. And you would agree, Professor Rubin, that the security
7 of a given type of device is a product of the
8 combination of security measures that it enjoys, yes?

9 A. I am not sure I understand the question.

10 Q. What we have just seen, Professor Rubin, is that Apple
11 layers a number of different security mechanisms on top
12 of each other. So if we start at the bottom, we have
13 got hardware, we have got software, we have got App
14 Review, and we have got centralised distribution, yes?

15 A. Right.

16 Q. What we saw in your summary of your conclusions is you
17 say that iOS device users enjoy the combined security
18 benefits of all layers?

19 A. Right.

20 Q. The question is when you are comparing two types of
21 device, so take iOS versus Mac, the overall degree of
22 security enjoyed by each type of device is a product of
23 the combination of the different security measures that
24 have been taken in respect of that device?

25 A. That and the threat model of each device.

1 Q. The threat model facing each device?

2 A. Yes.

3 Q. So that is true for a comparison of iOS and Mac, yes?

4 A. I would say so.

5 Q. It is true for a comparison of Android and iOS, yes?

6 A. Yes.

7 Q. Let us start with on-device protection. Let us go back

8 to your first report, it is paragraph 159, it is

9 {C3/2/82}, so internal page 82 for you, Professor Rubin.

10 What I want to do is just quickly -- sorry, we will see

11 the heading "Apple's On-Device Runtime Security

12 Protections", so that is where we are in your first

13 report, this is your description of those protections,

14 and I just want to run through quickly each of the

15 protections you identify, okay?

16 A. Okay.

17 Q. So the first is sandboxing, and sandboxing:

18 "... confines an application within a restricted

19 environment to prevent it from accessing unauthorised

20 resources or affecting other parts of the system."

21 Yes?

22 A. Yes.

23 Q. The second feature you identify, this is over the page,

24 160, digitally signed entitlements, yes? {C3/2/83}

25 A. That is a paragraph, yes.

1 Q. Yes, and you say the purpose of digitally signed
2 entitlements is to provide:

3 "... further assurance that an app's permissions
4 remain within the bounds of what has been officially
5 approved, vetted, and could not be modified when the app
6 is installed on an iOS Device."

7 Yes?

8 Q. Paragraph 161, mandatory code signing. We see:

9 "iOS requires that all executable code be signed
10 using an Apple-issued code-signing certificate;
11 otherwise, an app could not be run on an iOS device.
12 Third-party apps must also be validated and signed using
13 an Apple-[signed] certificate. Mandatory code signing
14 extends the chain of trust from the operating system to
15 apps - iOS users would be able to know that an app they
16 install from the Apple App Store on iOS Devices is from
17 a trusted source ..."

18 Then so on, yes?

19 A. Yes. Just you said "Apple-signed certificate", and it
20 is an "Apple-issued certificate".

21 Q. This is in the second line, is it?

22 A. Third to the fourth.

23 Q. Ah, I misspoke. Thank you, Professor Rubin.

24 A. Sure.

25 Q. The code-signing that you are addressing here is the

1 code-signing that happens after App Review, is that
2 correct?

3 A. Correct.

4 Q. Then 162, you see a discussion of the hardware security
5 modules. The first that is identified is the Secure
6 Element which:

7 "... stores payment information, such as account
8 information associated with a payment card."

9 Yes?

10 A. Yes.

11 Q. Over the page {C3/2/84}:

12 "Apple iOS also utilises a Secure Enclave, which ...
13 stores encryption and decryption keys and biometrics
14 data utilised for Touch ID and Face ID authentication."

15 Yes?

16 A. Yes.

17 Q. You say further down:

18 "Under extreme circumstances, when the kernel is
19 compromised, the Secure Enclave remains unaffected,
20 preventing ... access to sensitive information ..."

21 Yes?

22 A. Yes, "unauthorised access".

23 Q. Is that true also of the secure element as opposed to
24 the enclave?

25 A. I think the secure element has a tamper proof

1 functionality but I would have to look at the specs to
2 refresh myself.

3 Q. In case it is helpful, Professor, the reason I ask is
4 that you say just above that:

5 "... secure element and secure enclave are isolated
6 from the main processor to provide an extra layer of
7 security that keeps sensitive data secure even if an
8 application processor kernel becomes compromised."

9 What was not clear to me is whether the sentence
10 "under extreme circumstances", whether that was an
11 extension of the point that you were making in that
12 sentence. So it is really a clarification for my
13 benefit.

14 A. I see. I would say yes.

15 Q. Again, for my benefit and the Tribunal's benefit could
16 you give an example of the type of extreme circumstance
17 you are referring to in the sentence that we have just
18 looked at?

19 A. Sure. So what I talk about in the report is a kernel
20 compromise. The kernel is the lowest level of the
21 operating system that controls the functionality of the
22 device so it is considered a complete and total
23 compromise of a system if the kernel gets compromised.

24 What I am saying here is that Apple has added some
25 hardware that protects critical information like

1 financial data even if the kernel is compromised. So
2 a kernel compromise could occur if a piece of malware is
3 able to exploit a vulnerability in the system and run
4 hacker written code on the kernel, and so that is not
5 something that is supposed to happen but that is why we
6 have malware, malicious software, and when that does run
7 and compromises the kernel the attacker still would not
8 have access to credit card information for example
9 because it would be in the separate secure hardware.

10 Q. Thank you, Professor Rubin. On to 163. You say:

11 "The Secure Enclave also includes a unique ID (UID)
12 ..."

13 Then further down:

14 "Apple also uses Kernel Integrity Protection..."

15 Do you see that?

16 A. Yes.

17 Q. Then final item, 164:

18 "In addition Apple utilises Address Space Layout
19 Randomisation (ASLR)... "

20 Yes?

21 A. Yes.

22 Q. If we go back to the joint statement, so that is the
23 third tab in your black binder, and for the EPE it is
24 {C4/1/33}, again, fighting with the layout for a moment.
25 What we are interested in Professor Rubin is issue

1 1C.i-3. Do you have that?

2 A. Yes.

3 Q. The proposition is:

4 "Apple's hardware security and biometrics, and
5 software security mechanisms will continue to provide
6 security protections even if Apple's Restrictions are
7 removed."

8 Two questions, Professor Rubin. The reference to
9 "Apple's hardware security and biometrics, and software
10 security mechanisms", does that refer back to the
11 security protections we have just looked at in your
12 first report?

13 A. Yes.

14 Q. Where it refers in that proposition to "Apple's
15 Restrictions", that is a reference to what I call the
16 app distribution restrictions and the payment system
17 restrictions, yes?

18 A. Yes.

19 Q. Let us look at the responses to the proposition. The
20 first column is Dr Lee, the second column is you.

21 Dr Lee says:

22 "I agree.

23 "They function independently of the app review
24 process, app distribution model, and the ASPS."

25 Then across to you:

1 "I agree that these security mechanisms will
2 continue to run in an alternative world where
3 centralised app distribution, App Review, or IAP are not
4 implemented on iOS."

5 Yes?

6 A. Yes.

7 Q. So a rare moment of agreement between you and Dr Lee
8 that these security mechanisms, the hardware and the
9 software mechanisms we have looked at, operate
10 independently of app review, centralised distribution
11 and the ASPS/IAP, yes?

12 A. Yes.

13 Q. If we just go to paragraph 225 of your first report. It
14 is {C3/2/116}. Just pick it up at the start. I will
15 give you a second, Professor Rubin. Sorry, I am going
16 too quickly.

17 A. I have found it.

18 Q. 225 says:

19 "In Apple's Australian proceedings against Epic
20 Games, Mr Schiller was asked whether 'most of Apple iOS
21 security mechanisms are at a device level and would
22 remain in place if Apple allowed direct downloading from
23 developers as the Mac system does', to which Mr Schiller
24 answered 'No'. Mr Schiller was then asked whether 'all
25 of those device-level protections would remain in place

1 if Apple were to allow third-party iOS App stores', to
2 which Mr Schiller also answered 'No'."

3 Then you say:

4 "Similar to my opinions, Mr Schiller's answers
5 emphasise the reduced security and the expansion of
6 attack surface if iOS Devices had to adopt the app
7 distribution model and security measures of macOS."

8 Yes?

9 A. Yes.

10 Q. As we have seen, in fact, you do not agree with
11 Mr Schiller, do you, because your opinion, as we have
12 seen in the joint experts' statement, is that the
13 hardware and software security measures on iOS Devices
14 are independent of App Review, centralised distribution
15 and the ASPS/IAP, yes?

16 A. So when we looked at, a minute ago, the joint report
17 chart ... I do not know the right way to refer to you,
18 if you are a learned friend or counsel.

19 The lawyer asking me questions, and I apologise if
20 that is not an appropriate way to say it, only looked at
21 the first paragraph of my response {C4/1/33}, and if we
22 look below that I said:

23 "However, Apple's hardware security and biometrics,
24 and software security mechanisms cannot fully replace
25 the security benefits of centralised app distribution

1 model, App Review and IAP. Centralised app distribution
2 model, App Review and IAP are still critical layers in
3 iOS's 'defence-in-depth' architecture that yield unique
4 security benefits."

5 So I think what I was saying here is that if you
6 removed these layers, yes, the hardware would still be
7 there and the software security layers would still be
8 there, but they would not be as effective at providing
9 security for iOS.

10 Q. If we just go back to 225. Let us pick it up at the
11 second sentence at {C3/2/116}. Mr Schiller was then
12 asked whether all of those device-level protections
13 would remain in place if Apple were to allow third-party
14 iOS App stores, to which Mr Schiller also answered no,
15 and your answer to that question is yes, as we have
16 seen?

17 A. I think the confusion is that I think they would be in
18 place but they would not be as effective.

19 Q. You did not think it would be helpful to the Tribunal to
20 point out that Mr Schiller was in fact incorrect about
21 whether or not they would remain in place?

22 A. I think you would have to ask him what he meant by that.

23 MR KENNEDY: Sir, I am about to move on to another topic, so

24 I do not know whether that is a convenient moment,
25 before we launch into the vagaries of App Review.

1 THE CHAIRMAN: Yes. I will say ten minutes. Thank you.

2 (11.39 am)

3 (A short break)

4 (11.49 am)

5 MR KENNEDY: Professor Rubin, I now want to ask you some
6 questions about Apple's App Review. I will start with
7 an apology, because some of this is tedious even by my
8 standards.

9 Let us pick it up in your first report, {C3/2/68}.

10 I want to look in detail, I am afraid, at paragraph 140.

11 A. Okay.

12 Q. What I want to do, I am just going to go through it
13 sentence by sentence, and I just want to understand the
14 nature of the evidence and where it comes from, okay?

15 A. Okay.

16 Q. So let us start with the first sentence:

17 "Apple has a comprehensive manual and automated app
18 review process for all apps and app updates submitted to
19 the App Store - which, I understand from Apple's witness
20 evidence, averages over 100,000 submissions per week
21 globally."

22 That is simply a summary of Mr Schiller's evidence,
23 is that correct?

24 Turn it up if it is helpful.

25 A. I am sorry?

1 Q. Is it simply a summary of Mr Schiller's evidence?

2 A. Yes.

3 Q. Then if we look at the second sentence:

4 "This App Review process plays a critical role in
5 Apple's security mechanisms for making the App Store,
6 'a safe and trusted place for customers to discover
7 apps, and a great opportunity for developers to deliver
8 apps and services across iPhone, iPad, Mac, Apple TV,
9 and Apple Watch in 175 regions' ..."

10 The first part of that second sentence simply quotes
11 from some Apple marketing material, yes?

12 Again, we can turn it up if it is helpful.

13 A. The last part each time you said I could not catch.

14 Q. Sorry, I am saying that we can turn up the document in
15 question if it is helpful.

16 A. Oh, I see.

17 Q. We can turn up Mr Schiller's statement, we can turn up
18 the marketing material. If you think you do not agree
19 with the question, you can say, well, let us look at the
20 document. It is out of fairness to you,
21 Professor Rubin.

22 A. I appreciate that.

23 So this is the -- it is from the developer App Store
24 website, so I think you could call it marketing material
25 or developer information.

1 Q. Footnote 103 which, for the transcript, is {D2/651/1}.

2 We see the text underneath the bold heading:

3 "The App Store is a safe and trusted place."

4 Those are the words that you quoted, yes?

5 A. Yes.

6 Q. Let us look at the second half of the second sentence, I
7 think we should read in the words:

8 "App Review benefits ..."

9 Sorry, can we go back to {C3/2/68}. I am looking
10 after footnote 103. I think the syntax should read in
11 the words:

12 "App Review... benefits from over 15 years of
13 improvements and innovations in response to the
14 discovery and evolution of new safety threats."

15 Yes, do you see that?

16 A. Yes.

17 Q. Footnote 104, witness statement of Philip Schiller at
18 66. Let us turn that up. It is {B2/5/19}. In your
19 white bundle, Professor Rubin, it is tab 18, and you are
20 looking for page 19 at the bottom. It is probably the
21 third page of the actual hard copy for you.

22 Have you got that?

23 A. Yes.

24 Q. Could I ask you quickly to read paragraph 66 of
25 Mr Schiller's statement. I am afraid it is slightly

1 long. (Pause)

2 A. Okay.

3 Q. We see no mention here of improvements and innovations

4 in respect of the discovery and evolution of new safety

5 threats, do we?

6 A. (Pause). I do not see a discussion of the evolution of

7 new safety threats in there.

8 Q. Let us look at the second citation, which is 78. It is

9 page 22. It is a couple of pages forward for you,

10 Professor Rubin. You will see a heading "Apple

11 continually improves the App Store". Have you got that?

12 A. No.

13 Q. So we are in tab 18 of the hard copy. You are looking

14 for {B2/5/22}.

15 A. Okay.

16 Q. It is paragraph 78.

17 A. I am there.

18 Q. It continues over the page, and can I ask you to read

19 paragraph 78 to yourself. Again, I apologise for the

20 length. (Pause)

21 A. Okay, I have read it.

22 Q. You will agree that only subparagraph (d) bears any

23 relevance to what you say in paragraph 140 of your first

24 report, yes?

25 A. I agree.

1 Q. That concerns a single development in 2016, yes?

2 A. Yes.

3 Q. It does not refer to 15 years of improvement and
4 innovations in response to the discovery and evolution
5 of a new safety threat?

6 A. I do think paragraph (d) at a high level is similar and
7 consistent with what I say in paragraph 140.

8 Q. Okay. Let us look at the third sentence of
9 paragraph 140, so back to {C3/2/68}, back in your first
10 report, Professor Rubin. You say:

11 "As part of this process [this is App Review], Apple
12 has developed sophisticated machine learning tools and
13 a custom app review environment that automatically
14 surfaces relevant and important facts and presents them
15 to a human for review."

16 Footnote 105 cites to the statement of Mr Federighi.
17 Let us open that up. It is {B2/3/23} for the EPE, and
18 it is tab 4 of your white bundle, Professor Rubin, and
19 you are looking for page 23 of the internal bundle
20 numbering.

21 A. Okay.

22 Q. Could I ask you to read the paragraphs you cite, which
23 are 80, 81 and 82 of that statement. (Pause).

24 A. Okay.

25 Q. No mention here of a custom app review environment that

1 automatically surfaces relevant and important facts and
2 presents them to a human for review?

3 A. (Pause). These three paragraphs do not talk about the
4 presentation to human review.

5 Q. Let us have a look at Mr Kosmyнка's statement. It is
6 {B2/6/21}, it is tab 56 of your white binder. Again,
7 you are looking for internal page 21, Professor Rubin.
8 We are interested in paragraph 80 when you get there.

9 A. Okay.

10 Q. Just so you have your bearings, we are in a discussion
11 of the Columbus project. Are you familiar with the
12 Columbus project?

13 A. Yes.

14 Q. Let us pick it up three lines from the bottom. This is
15 not confidential so I can read it out:

16 "Another element [of the Columbus project] was
17 'assisted review', where computer tools would provide
18 human beings with important information and facts,
19 enabling them to make more accurate App Review
20 decisions, and to do so more efficiently."

21 Do you see that?

22 A. Yes.

23 Q. If we could go to {H2/9/151}. This is confidential,
24 Professor Rubin. This is the deposition of Mr Kosmyнка
25 in the Epic US proceedings, so 2021.

1 A. Is this in my binder?

2 Q. It is, sorry, my apologies. Tab 59. You will see

3 a pink sheet which indicates confidentiality, and what

4 we are interested in is page 193.

5 A. Okay, I have got it.

6 Q. What is being discussed here is the App Review process,

7 and let us pick it up at line 22 on the left-hand side

8 {H2/9/193}, and could you read lines 22-25.

9 A. Okay.

10 Q. I do not think that the word in isolation is

11 confidential, but what we see is that Mr Kosmynka used

12 the word "surface" in connection with that review, yes?

13 A. Yes.

14 Q. Let us go back to 140 of your first report, back to the

15 third sentence which is where we started. {C3/2/68}

16 "... Apple has developed sophisticated machine

17 learning tools ... automatically surfaces relevant and

18 important facts and presents them to a human for

19 review."

20 Do you see that?

21 A. Yes.

22 Q. Is this sentence based not only on Mr Federighi's

23 evidence but also on your conversations with

24 Mr Kosmynka?

25 A. I would not say it is based on the conversations.

1 I would say I probably forgot to cite his declaration in
2 this case and perhaps his deposition testimony as well.

3 Q. You forgot to cite the deposition?

4 A. That is what I think, sitting here.

5 Q. Sorry, one point of clarification, Professor Rubin, when
6 you say "his declaration in this case and perhaps his
7 deposition testimony", what do you mean by
8 "declaration"?

9 A. The previous tab that we looked at, was that not his
10 statement in this case?

11 Q. That is his statement in this case, and that document is
12 dated -- let us pick it up, it is 56 of the binder. It
13 is dated 15 September 2024, and I think your first
14 report is dated 15 May 2024?

15 A. Right. That would explain why I did not cite it.
16 I think what I should have cited was the deposition
17 testimony.

18 Q. Because you did not get it from Mr Federighi. You saw
19 that, yes?

20 A. The paragraphs that I cite from Mr Federighi do not
21 mention it, and Mr Kosmyinka's deposition does, so
22 I cited the wrong thing.

23 Q. Okay.

24 Fourth sentence:

25 "Apple's App Review 'is an essential line of

1 defence' that significantly contributes to iOS's
2 security."

3 Do you see that?

4 A. Yes.

5 Q. There are two citations in the footnote. Let us go to
6 the first one, it is {D1/1461/1}. It is tab 19 for you,
7 Professor Rubin. It is not going to be that helpful to
8 have it in hard copy but let us get it up anywhere.

9 Is it possible to search across the document for the
10 word "essential"?

11 Take it from me, Professor Rubin, that the word
12 "essential" and the word "defence" do not appear in that
13 document.

14 A. Okay.

15 Q. Let us go to the second document you cite, it is
16 {D1/1269}. Again, it is tab 61 for you,
17 Professor Rubin, but not -- sorry, {D1/1289}, my
18 mistake. This is the second document and, again, take
19 it from me that the words "essential" and "defence" do
20 not appear in that document.

21 A. Okay.

22 Q. So the quoted words do not appear in either document
23 that you cite.

24 A. Correct.

25 Q. If we could go to {D1/1114}. This is 62 of your bundle.

1 The mystery is solved; we find the words on page ...

2 A. I am sorry, just give me a minute to get there.

3 Q. Of course. (Pause)

4 A. Okay.

5 Q. If you pick it up on page 2, you will see a bold heading

6 "App Review"? {D1/1114/2}

7 A. Yes.

8 Q. Then you will see:

9 "The App Review team is an essential line of

10 defence ..."

11 Do you see that?

12 A. Yes.

13 Q. That appears to be the document that you intended to

14 cite, yes?

15 A. That looks right.

16 Q. If we go back to 140 of your first report{C3/2/69}, just

17 look at the sentence again, fourth sentence:

18 "Apple's App Review is ..."

19 The question is: you are not expressing your own

20 opinion here, are you?

21 A. In paragraph 140?

22 Q. In paragraph 140. You are simply quoting from Apple's

23 document, yes?

24 A. I disagree with that. I am expressing my opinions here

25 and I am using these documents and these statements from

1 witnesses as support.

2 Q. Can you see where the confusion arises as to whether or
3 not that is your own opinion or simply a quotation from
4 Apple's own document?

5 A. No.

6 Q. Let us look at the final part of 140. It starts:

7 "In 2022, for example, Apple's App Review rejected
8 nearly 1.7 million apps or app updates. This includes
9 rejecting more than 400,000 apps for privacy violations
10 (such as asking for more user data than the app needs or
11 mishandling the data the app collects), 29,000 apps for
12 containing hidden or undocumented features, and more
13 than 153,000 apps because they were found to be spam,
14 copycats, or misleading to users in ways that
15 manipulated them into making a purchase."

16 Do you see that?

17 A. Yes.

18 Q. You take these figures from Mr Schiller's witness
19 statement, correct?

20 A. Yes.

21 Q. Did you ask Apple for any data or information underlying
22 these figures?

23 A. No.

24 Q. So you have not formed your own view on their accuracy?

25 A. I am assuming that Mr Schiller represented that

1 accurately.

2 Q. We saw, Professor Rubin, that the final category you
3 list are apps that were found to be spam, copycat or
4 misleading to users, and I am going to emphasise these
5 words, "in ways that manipulated them into making
6 a purchase", do you see that?

7 A. Yes.

8 Q. Let us go back to Mr Schiller's statement. It is
9 {B2/5/32}, and it is tab 18 for you, Professor Rubin,
10 and it is internal page 32 that you are looking for. We
11 are looking at paragraph 116.

12 A. Okay.

13 Q. You will see this is where you took the figure from,
14 yes? If we look at (b):

15 "App Review rejected over 153,000 apps for being
16 spam, copycats or misleading users."

17 Yes?

18 A. Yes.

19 Q. But Mr Schiller does not refer to apps being misleading
20 to users in ways that manipulated them into making
21 a purchase, does he?

22 A. He does not say that in that paragraph.

23 Q. Let us look at the underlying press release which is
24 referred to in the body of Mr Schiller's statement at
25 {D1/1461}.

1 Let us look at the first page. It is tab 19 of your
2 hard copy; the next tab, Professor Rubin {D1/1461/2}:

3 "App Store stopped more than \$2 billion in
4 fraudulent transactions in 2022."

5 Let us go to page 4, and what we are interested in
6 is the last paragraph. Do you have that? {D1/1461/4}

7 It starts:

8 "There are other reasons an app can be rejected for
9 fraud."

10 A. Yes.

11 Q. You see:

12 "For example, over 153,000 app submissions rejected
13 from the App Store last year were found to be spam,
14 copycats, or misleading ..."

15 Yes?

16 A. Yes.

17 Q. Again, the underlying press release does not refer to
18 apps being misleading to users in ways which manipulated
19 them into making a purchase, does it?

20 A. It does not say that.

21 Q. So the inclusion of those words in 140 of your statement
22 is an interpolation by you, yes?

23 A. (Pause). Yes, I do not see that I can say that the
24 153,000 apps were spam, copycats or misleading users,
25 and the part I am saying I do not think I can say is "in

1 ways that manipulated them into making a purchase",
2 because it is not in either of these documents. I would
3 just take that out.

4 Q. Take that out?

5 A. Yes.

6 Q. Professor Rubin, there are 405 pages of reports in this
7 proceeding from you alone. We have looked at one
8 paragraph, and we obviously do not have time to go
9 through each of them, but do you see the difficulty you
10 placed me and the Tribunal in, in deciphering which
11 parts of your reports represent your own opinion, which
12 parts are simply factual background, and in that case,
13 the latter case, what the basis for those facts are?

14 A. I see what you are saying. The parts where I have
15 a footnote and I cite to a particular piece of evidence
16 is intended to be me showing support for the rest of the
17 text in there which is offering my opinion.

18 Q. Professor Rubin, you would accept that it is uncommon to
19 express an opinion whilst also directly quoting from
20 a document, going back to:

21 "Apple's App Review 'is an essential line of
22 defence' ..."

23 A. I do not actually see the problem in providing an
24 opinion and quoting other sources to support that
25 opinion.

1 Q. Let us move on, Professor Rubin. Let us go to para 146
2 of your first report. That is {C3/2/73}. I am afraid
3 this is a very similar exercise to the exercise we have
4 just endured. Here we are addressing Apple's use of
5 machine learning tools as part of App Review, okay?

6 A. Okay.

7 Q. Still in the section of your first report that explains
8 Apple's App Review process, and I want to pick it up at
9 the fourth sentence. It is about a third of the way
10 down. It says:

11 "Apple's machine learning and heuristics ..."

12 Have you got that?

13 A. Yes.

14 Q. "Apple's machine learning and heuristics are based on
15 Apple's insight into developers and past experiences to
16 quickly extract large volumes of relevant information
17 and subsequently present this information clearly and
18 concisely to a human reviewer in order to facilitate the
19 expedient and accurate review of apps."

20 Yes?

21 A. Yes.

22 Q. 132 is a citation to Mr Federighi's statement. If we
23 could have that up, it is {B2/3/23}, tab 4 for you,
24 Professor Rubin, and what you want is page 23 internal.

25 A. Okay.

1 Q. Let us pick it up at 80. What we see is, halfway
2 through the second sentence:

3 "Apple has invested, and continues to invest,
4 substantial resources in the development and improvement
5 of its computer analysis for App Review, including by
6 incorporating the use of machine learning and other ...
7 technologies."

8 Yes?

9 A. Yes.

10 Q. Paragraph 81:

11 "The tools developed for App Review, which benefit
12 from over a decade of data used to train machine
13 learning algorithms to identify malicious apps or other
14 potential issues, look at the app's configuration files
15 and metadata ..."

16 Etc; do you see that?

17 A. I see that.

18 Q. There is no other reference to machine learning in that
19 paragraph. I will just let you read the rest of the
20 paragraph. (Pause)

21 A. Right.

22 Q. If I told you that there are no other references in the
23 rest of paragraph 79-83 to machine learning, would you
24 accept that?

25 A. I am willing to operate on that assumption.

1 Q. Mr Federighi does not say that Apple's machine learning
2 tools quickly extract large volumes of relevant
3 information, does he?

4 A. Can you point me again to where you are referring to?

5 Q. If you look at the second sentence, so you cite 79-83,
6 yes?

7 A. Yes.

8 Q. I have asked you to assume that I am correct in saying
9 that the only two references to machine learning are the
10 second sentence of 80 and the first sentence of 81, yes?

11 A. Okay.

12 Q. Neither of those two sentences says, in terms, that
13 Apple's machine learning tools quickly extract large
14 volumes of relevant information, right?

15 A. That is what machine learning tools do, so ...

16 Q. Nor does Mr Federighi say that the information is
17 presented clearly and concisely to a human reviewer in
18 order to facilitate the expedient and accurate review of
19 apps, correct?

20 A. He does not say it in those words.

21 Q. Let us go to Mr Kosmyinka's statement again. It is
22 {B2/6/14}. It is tab 56 for you, Professor Rubin. We
23 are interested in paragraph 47 this time. The words we
24 are interested in are more than halfway down, the
25 sentence beginning "The ..." It is not confidential:

1 "The computer analysis phases gather information and
2 then present it in an organised way for interpretation
3 by human reviewers."

4 Yes?

5 A. Yes.

6 Q. We have seen that Mr Kosmynka's statement is dated
7 15 September 2024 and that your first report is dated
8 15 May 2024, yes?

9 A. Yes.

10 Q. Is this another instance when you have relied on your
11 discussions with Mr Kosmynka in preparing your first
12 report without making that clear?

13 A. No, I did not rely on the discussions that I had
14 four years, four and a half years earlier with
15 Mr Kosmynka.

16 Q. Let us go to the fifth sentence of paragraph 146.
17 {C3/2/73}. You see:

18 "Apple can incorporate information regarding
19 malicious or otherwise problematic apps into its machine
20 learning tools and App Review process to improve
21 detection of potentially problematic apps during future
22 review."

23 Footnote 133 is a citation back to Mr Federighi's
24 statement at 79-83 which we have just looked at, yes?

25 A. Yes.

1 Q. I have shown you the only two references to machine
2 learning in those paragraphs?

3 A. Right.

4 Q. Mr Federighi does not say what you say in the fifth
5 sentence of paragraph 146, correct?

6 A. I think Mr Federighi does imply that.

7 Q. Let us have a look at Mr Kosmyuka's statement,
8 paragraph 89, {B2/6/24}. This is confidential,
9 Professor Rubin, so I am just going to ask you to read
10 the first three sentences of that paragraph to yourself,
11 paragraph 89. (Pause)

12 A. Okay.

13 Q. Same question: is this another instance where you have
14 relied on your discussions with Mr Kosmyuka without
15 making that clear in your report?

16 A. No.

17 Q. The sixth sentence of 146, {C3/2/73}. I will give you
18 time to get back there. We see:

19 "... when Apple's analytical tools determine that an
20 app improperly collects location data --"

21 A. I am sorry, I am not with you.

22 Q. I am so sorry. Sixth sentence. We just looked at
23 footnote 133. You will see a sentence that begins "For
24 example"?

25 A. Okay.

1 Q. Yes? I just want to pick it up after the comma:

2 "... when Apple's analytical tools determine that an
3 app improperly collects location data and sends such
4 data to data brokers without user consent, this app is
5 rejected."

6 Yes?

7 A. Yes.

8 Q. Let us go back to Mr Kosmyinka's statement, {B2/6/20}.

9 Tab 56 for you, Professor Rubin, internal page 20. You
10 will see a paragraph non-confidentially starting "By way
11 of example of this ...", do you see that?

12 A. Yes, 73.

13 Q. Yes, 73. Could I just ask you to read the paragraph to
14 yourself very quickly. It is confidential, so do not
15 refer to it in open court. (Pause)

16 A. Okay.

17 Q. Is this an example of a time when you have relied on
18 your discussions with Mr Kosmyinka without (inaudible)
19 your report?

20 A. No.

21 Q. It is fairly specific information that is included in
22 your report about a specific example of malicious
23 behaviour, I have to be slightly circumspect in our
24 description, and the only place we find that specific
25 description of malicious behaviour is in Mr Kosmyinka's

1 statement which was not available to you at the time you
2 prepared your report. Did you guess? Got lucky?

3 A. No, I had information about these examples from things
4 like Mr Kosmyinka's deposition in the Australia case, as
5 well as documents that I reviewed in the case that
6 mention these things.

7 Q. You do not cite them in a footnote to paragraph 146,
8 correct?

9 A. Correct.

10 Q. So we do not know where you got it from?

11 A. Correct.

12 Q. The seventh sentence of 146, {C3/2/73}:

13 "Data related to the violation and rejection is then
14 collected to train Apple's machine learning tools, which
15 would facilitate future recognition of location data
16 subversion."

17 Yes?

18 A. Okay.

19 Q. Neither Mr Federighi nor Mr Kosmyinka address the use of
20 Apple's machine learning tools to recognise specifically
21 location data subversion, do they?

22 A. I would have to look through there to answer that.

23 Q. Are you aware of any place in which they do say that?

24 This is your report, Professor Rubin.

25 A. I would have to look. I am not aware.

1 Q. That sentence purports to be a statement of fact about
2 something that Apple does:

3 "Data related the violation and rejection is then
4 collected to train Apple's machine learning tools ..."

5 A. That is my understanding of how Apple's machine learning
6 tools work. It is the same as how any machine learning
7 tools work, is they collect data and they train on the
8 data and then they can be used to identify it.

9 Q. The eighth sentence, paragraph 146 {C3/2/73}:

10 "Apple's App Review further benefits from its own
11 specialised knowledge of its own hardware and software."

12 Do you see that?

13 A. Yes.

14 Q. Citation again to Mr Federighi's statement at 79. Are
15 you here expressing your own opinion or are you simply
16 summarising Mr Federighi's evidence?

17 A. Which tab was that in again?

18 Q. Mr Federighi?

19 A. Yes.

20 Q. Tab 4. It is {B2/3/23}, 79. The final sentence.

21 A. So what is your question?

22 Q. The question is: is the eighth sentence of paragraph 146
23 of your report a statement of opinion or simply
24 a recitation of Mr Federighi's evidence?

25 A. Here I am reciting what Mr Federighi's statement was.

1 Q. Let us look at the ninth sentence. You say:

2 "These techniques and knowledge ..."

3 You are referring back to machine learning tools
4 that you have been discussing and the knowledge of
5 Apple's own hardware and software, correct?

6 "... cannot be easily replicated outside of Apple."

7 Yes?

8 A. Yes.

9 Q. I think that is a statement of your opinion, yes?

10 A. Yes.

11 Q. But you provide no reasons for that opinion here in
12 paragraph 146, correct?

13 A. In that paragraph I do not provide any reasons.

14 Q. Nearly there. The final sentence:

15 "These tools also enable Apple to provide extremely
16 fast and efficient App Review without facing the same
17 extent of the accuracy tradeoff that third-parties would
18 generally have to make."

19 Is that a statement of fact or a statement of
20 opinion?

21 A. This is my opinion.

22 Q. Let us go to paragraph 151 of your first report,
23 {C3/2/77}.

24 Sorry, let us go back to the last answer you gave.

25 I asked:

1 "Is that a statement of fact or a statement of
2 opinion?"

3 You answered:

4 "This is my opinion."

5 But again, you provide no reasons for that opinion
6 in that paragraph, correct?

7 A. Well, the reasons for these last two sentences are
8 supported by all of the text earlier in that section.

9 Q. There is no mention anywhere earlier in that section to
10 the tools that are used by third parties?

11 A. I do not discuss that in that section.

12 Q. But in the final sentence, you are purporting to make
13 a comparison between the extent to which Apple faces the
14 accuracy trade off and the extent to which third parties
15 face the accuracy trade off?

16 A. In the sentence that is all I say.

17 Q. But I have no idea what the basis is for that
18 comparison. There is nothing that precedes it.

19 A. No, I was retained as an expert and I am providing an
20 expert opinion here.

21 Q. But not expert reasons?

22 A. Not in that sentence.

23 Q. Let us go first Rubin, 151. {C3/2/77}. We are still in
24 the section of your first report dealing with the app
25 review process. Do you have that?

1 A. Yes.

2 Q. What we see is, first sentence:

3 "Apple's App Review process implements malware

4 scanning."

5 A. I am sorry?

6 Q. 151.

7 A. Oh, 151.

8 Q. First sentence:

9 "Apple's App Review process implements malware

10 scanning."

11 Yes?

12 A. Yes.

13 Q. Then you say:

14 "Malicious actors are constantly writing new malware

15 ... Through its various tools, Apple can take

16 information [etc]."

17 Then the conclusion:

18 "This method of malware scanning prevents many

19 malicious apps from entering the App Store."

20 Yes?

21 A. I am sorry, you paraphrased a few words here and there

22 as you were going really fast. Let me just read this

23 paragraph.

24 Q. Take all the time you need, Professor.

25 A. Thank you. (Pause).

1 Okay, what is the question?

2 Q. The question is: you have not provided any empirical
3 evidence of the number of malicious apps that have been
4 prevented from entering the App Store by Apple's malware
5 scanning, correct?

6 A. I do not have any numbers here.

7 Q. Or anywhere else in your reports?

8 A. I do not recall without searching.

9 Q. Let us go to your second report, paragraph 158.
10 {C3/6/72}. It is in the second tab of the black binder
11 for you, Professor Rubin.

12 A. Okay.

13 Q. Could I ask you to read that paragraph to yourself and
14 then I am going to ask you some questions about it,
15 okay? (Pause)

16 A. Okay.

17 Q. Let us pick it up in the second sentence, which begins
18 "I anticipate ..."; do you see that?

19 A. Yes.

20 Q. You say:

21 "I anticipate that Apple's knowledge repository
22 containing over a decade of knowledge from App Review is
23 particularly relevant to App Review."

24 Yes?

25 A. Yes.

1 Q. Have you reviewed or examined any repository used by
2 Apple for the purposes of App Review?

3 A. I did not.

4 Q. We see in that sentence a reference to "over a decade of
5 knowledge from App Review", yes?

6 A. Yes.

7 Q. You make the same point in a number of places across
8 your second report, that Apple is uniquely well-placed
9 to review iOS Apps because it has over a decade of
10 experience of doing so, yes?

11 A. I believe I say that in multiple places.

12 Q. Para 18, para 122, 123, 156, 157, 161, 162, 166 and 178.

13 A. I cannot verify that.

14 Q. -- control there for a decade.

15 Professor Rubin, you would accept that if the App
16 Distribution Restrictions had never been in place, other
17 app distributors, other iOS App distributors, might have
18 the same amount of experience as Apple in carrying out
19 App Review, yes?

20 A. Can I hear the first part of that?

21 Q. Of course. You would accept that if the app
22 distribution restrictions had never been in place, other
23 iOS App distributors might have the same amount of
24 experience as Apple in carrying out App Review?

25 A. If there was another App Store that had the same volume

1 of apps and the same resources that Apple had to perform
2 the analysis that they did, then theoretically I suppose
3 there could be another entity that could have built
4 a knowledge base like that.

5 Q. Even if the app distribution restrictions were only
6 removed in 2015 -- so rather than 2008, 2015 -- those
7 other iOS App distributors could have nearly a decade of
8 experience, yes?

9 A. Again, I think, subject to the assumption that they have
10 the same number of apps submitted, and the same
11 resources and talent that Apple has, then they could
12 have built up such a knowledge base.

13 Q. If they had that knowledge base, those other iOS App
14 distributors would be able to leverage that experience,
15 yes?

16 A. If that were possible and someone had a knowledge base
17 like that, they could leverage it.

18 Q. Let us go to paragraph 159 of second Rubin, so it is
19 just the next paragraph, and again, I just ask you to
20 read that to yourself. {C3/6/73}. (Pause)

21 A. Okay.

22 Q. So you make a criticism of Dr Lee and the analysis that
23 he has done, yes, and then you go on to say:

24 "I have therefore performed a more detailed analysis
25 of these tools, based upon the evidence which Dr Lee has

1 himself referred to, and what Apple in fact does."

2 Yes?

3 A. Yes.

4 Q. Then in the paragraphs that follow, I will give you
5 a second to look through them in a minute, you summarise
6 evidence that Mr Kosmyinka gave in the United States and
7 evidence that he has given in these proceedings, and if
8 I could ask you to look through paragraphs 160 up until
9 166. It may assist you to look at the footnotes whilst
10 you do so. {C3/6/73-75}. (Pause).

11 A. How far did you want me to read?

12 Q. Just up until the end of 165 and we are going to have
13 a look at 166 together, okay?

14 A. Okay, I have still got two pages to go.

15 Q. Take your time, Professor. We have got a day and a half
16 to go. (Pause)

17 A. Okay.

18 Q. Let us go to 166 together, {C3/6/76}. You say:

19 "These proprietary tools developed by Apple for its
20 App Review process each benefit from Apple's experience
21 and expertise with the malicious apps and developers
22 that have sought to infiltrate the App Store as well as
23 Apple's internal knowledge of its own platform and
24 functionalities, and therefore could not be developed or
25 deployed with the same effect by a third-party."

1 Yes?

2 A. Yes.

3 Q. In reaching that conclusion, you have simply relied upon
4 Mr Kosmyнка's evidence, correct?

5 A. I mean, obviously my expertise and experience too, but
6 in terms of the facts that I considered, I was relying
7 on Mr Kosmyнка's statement.

8 Q. You did not carry out a review of the code that
9 comprises each of the tools you consider in these
10 paragraphs?

11 A. Correct.

12 Q. You have not used the tools personally?

13 A. I did not.

14 Q. You have not seen them in operation?

15 A. Correct.

16 Q. You do not in fact, in the paragraphs you were just
17 looking at, refer to any third-party tools, correct?

18 A. I do not discuss those specifically in this section.

19 Q. There is no basis for the conclusion in the final clause
20 that those tools could not be developed or deployed with
21 the same effect by a third-party, correct?

22 A. There is a basis for that.

23 Q. What we have seen, Professor Rubin, is that you simply
24 summarise Mr Kosmyнка's evidence, which considers only
25 Apple's tools, yes, and we see simply the conclusion

1 that third parties could not do it?

2 A. Right, but I am familiar with the tools that -- with
3 many of the third-party tools, so I am able to make that
4 conclusion.

5 Q. Again, Professor, you see the difficulty that I am in
6 and the difficulty that the Tribunal is in. You may
7 well be familiar with the various tools, but you do not
8 refer to them here. You do not say -- let us take an
9 example -- DT App Analyser does X. Product Y produced
10 by company Z is similar but deficient in respect to A, B
11 and C, do you?

12 A. Right, so one of the issues with integrating third-party
13 tools is that Apple built a pipeline of their process,
14 so they take concepts that exist in some third-party
15 tools but they have been specialised to feed into each
16 other and so my point is you cannot just take
17 third-party tools and plug them in and expect them to
18 work in a pipeline that is been very customised and
19 developed with that intention.

20 Q. But the import of the conclusion you present,
21 Professor Rubin, is that a third-party could not develop
22 or deploy its own tools to the same effect as Apple and
23 no basis for that conclusion is presented in the
24 paragraphs that precede it?

25 A. I do not agree with that assessment.

1 Q. Let us move on to human review as part of Apple's App
2 Review. What I would like to do is I would like to
3 summarise what I understand your evidence to be about
4 human review, okay?

5 A. Okay.

6 Q. I am going to ask you some questions. I will take it
7 one by one and you can say yes/no, accurate/inaccurate
8 for each one, okay.

9 As I understand it, your evidence is that human
10 review is more adept at protecting against social
11 engineering attacks, yes?

12 A. That is correct.

13 Q. When I say more adept I mean as compared to computer
14 software tools?

15 A. That is what I assumed.

16 Q. It is better positioned to determine whether an app will
17 do everything it promises to do, yes?

18 A. I agree with that.

19 Q. Better positioned to determine whether an app contains
20 hidden or undisclosed behaviour, yes? If at any point
21 you would like to see the reference I have the
22 references to your report. I can take you to them.

23 A. That is fine.

24 Q. You just have to agree.

25 A. That is fine. I just wanted to consider it and give it

1 some thought.

2 Q. Of course.

3 A. Yes, I agree with that.

4 Q. Better positioned to determine new types of threats and
5 issues?

6 A. I agree with that.

7 Q. Finally, better positioned to determine whether user
8 generated content is offensive or whether it violates
9 restrictions on content in apps for children constitutes
10 false or misleading content or seeks information in
11 violation of privacy guidelines?

12 A. I agree.

13 Q. So let us take them in turn. Let us start with social
14 engineering and whether an app does everything that it
15 promises to do. What I would like to do is I would like
16 to look at Dr Lee's second report which is {C2/13/21}
17 and Professor Rubin, it is tab 50 of the white bundle
18 for you and you are looking for page 21 in the bottom
19 right-hand side. We are going to pick it up at
20 paragraph 35. Just have a look at 35 -- sorry, if we go
21 to page {C2/13/19} just so that we get our bearings.

22 A. Okay.

23 Q. What you say is:

24 "My response to the specific claims Professor Rubin
25 makes regarding the necessity of App Review (in

1 particular, human review), including comparison with
2 Apple's on-device and runtime security mechanisms is as
3 follows ..."

4 What we will see is that Dr Lee goes through each of
5 these items and he sets out his opinion.

6 I want to pick it up, page {C2/13/21} and it is the
7 bullet which starts:

8 "Human review is no more effective than automated
9 review/on-device security mechanisms at identifying
10 social engineering attacks."

11 I want to pick it up in the third sentence which
12 begins "while". It is about five lines down. Have you
13 got that?

14 A. Yes.

15 Q. What Dr Lee says:

16 "While human reviewers can help identify obvious
17 social engineering attacks, evidence shows that human
18 review has often not been successful in identifying
19 sophisticated engineering attacks on iOS Devices. It is
20 not surprising that human reviewers have failed to
21 identify non-obvious social engineering attack apps, for
22 example, those which use a recognisable brand name to
23 encourage users to install malicious apps, such as the
24 recent example of the ChatGBT app."

25 Let us have a quick look at the ChatGBT. It is

1 {D1/1462/1} and it is tab 63 for you. If we just pick
2 it up in the -- have you got that? You see it is
3 a headline, "Scammers exploit AI trend". It is the web
4 page.

5 A. Yes, I see that.

6 Q. Let us just pick it up in the first paragraph starting
7 "Sophos researchers". Then let us look at the third
8 sentence:

9 "Because the free versions have near zero
10 functionality and constant ads, they coerce unsuspecting
11 users into signing up for a subscription that can cost
12 hundreds of dollars a year."

13 Yes?

14 A. I see that.

15 Q. That is an example of fleeceware; is that correct?

16 A. Yes.

17 Q. Fleeceware is a form of social engineering attack, yes?

18 A. Yes.

19 Q. Although Dr Lee describes this attack as non-obvious, it
20 would be fair to say that it is not a sophisticated
21 attack?

22 A. It could be sophisticated in certain ways, but it is
23 pretty clear to a security expert if it is named ChatGBT
24 instead of ChatGPT that something is wrong there.

25 Q. It would be clear to a human reviewer within Apple that

1 a reference to ChatGBT was trading on the name of
2 ChatGPT?

3 A. That would raise a flag I think.

4 Q. From the description we see in this article these apps
5 were clearly rubbish, they were junk?

6 A. Yes.

7 Q. Near zero functionality, and constant ads, and it is
8 your evidence that human review is better positioned to
9 protect against social engineering attacks, yes?

10 A. Yes, it is not perfect, but it is better than what
11 a computer can do.

12 Q. Better positioned to determine whether an app will do
13 everything it promises to do, yes?

14 A. Yes.

15 Q. This is an example of a time when human review failed to
16 prevent this attack, yes?

17 A. Right.

18 Q. Cryptojacking is another form of social engineering,
19 correct?

20 A. Yes.

21 Q. If we go to second Lee, paragraph 35, {C2/13/23}. I am
22 afraid the use of bullets makes it slightly difficult to
23 navigate, but ... we want to pick it up in bottom of or
24 halfway through page 22, {C2/13/22}. You will see:

25 "Human review may be more effective than automated

1 review on-device security mechanisms at evaluating
2 motivations ..."

3 Yes?

4 A. Yes.

5 Q. If we go to the top of page {C2/13/23}, we see:

6 "There have been multiple cases where human review
7 has failed to detect harmful apps including the
8 malicious Ace Pro and MBMBitScam iOS Devices apps that
9 used crypto currency trading as a cover to steal money
10 deposited by users."

11 Yes?

12 A. I see that.

13 Q. The attack described there using crypto currency trading
14 as a cover to steal money deposited by users is another
15 example of a social engineering attack, yes?

16 A. Yes.

17 Q. Another attack that was missed by Apple's human App
18 Review, yes?

19 A. It seems that way.

20 Q. It has a hidden behaviour which is at the bottom of the
21 page, this is the sixth bullet point, page 23. You see
22 the heading:

23 "Human review is no more effective than automated
24 review/on-device security mechanisms at determining
25 whether an app contains hidden and/or undisclosed

1 behaviours."

2 A. I see that.

3 Q. The second sentence:

4 "If an app is submitted to App Review containing
5 hidden or obfuscated behaviours, human reviewers may not
6 test the app in the way that will trigger those
7 behaviours; that is, they may have no better chance than
8 automatic tools."

9 If we go over the page pick it up at the second
10 sentence:

11 "For example, Apple had to issue a security update
12 for iOS and macOS to patch an image processing
13 vulnerability identified by the University of Toronto."

14 Known as the BLASTPASS bug, yes?

15 A. Right.

16 Q. Dr Lee is right, is he not, that whether or not human
17 review identifies hidden behaviour is essentially
18 a question of chance?

19 A. Well, there is always a probability of identifying or
20 missing a piece of malware or a social engineering
21 attack. The point that I was making is that in general
22 humans are better at spotting these types of things such
23 as hidden functionality or hidden behaviour than
24 a computer would be because computers run algorithms, so
25 you are going to have to know in advance what you are

1 looking for; whereas a human can look at something and
2 see that something might be wrong, and so really the
3 strength is in the combination of the human and the
4 computers doing the review.

5 Q. You would agree that whether or not humans are better at
6 identifying any particular form of hidden behaviour or
7 attack will depend on the nature of the specific attack,
8 yes?

9 A. Yes.

10 Q. If we just quickly go back to Dr Lee's fourth bullet,
11 which is page 22 above the heading concerned with
12 motivation. {C2/13/22}. About two-thirds of the way
13 down you will see a sentence beginning, "For example the
14 Jekyll app..." Do you see that? Some of the words are
15 confidential so I am not going to read them out.

16 A. Wait, sorry, I was looking too low. So you want me to
17 start where it says, "For example the Jekyll app"?

18 Q. Exactly. Just remember that anything pink is
19 confidential.

20 A. Right. (Pause). Okay.

21 Q. That is an example of an app that had hidden behaviour,
22 yes?

23 A. Yes.

24 Q. An example of an attack that neither a computer review
25 or human review detected, right?

- 1 A. Right, this one slipped through the cracks.
- 2 Q. Overall, Professor Rubin, you do not present any
3 empirical evidence as to the relative efficacy of human
4 review versus computer review in identifying hidden app
5 behaviour, do you?
- 6 A. No, I do not think that is something that could be
7 measured like how much more effective is human review or
8 computer review. But I do believe that running human
9 review in concert with computer review that has been
10 specifically designed to facilitate human review is the
11 best way to review apps.
- 12 Q. Professor Rubin, there is a specific guideline under the
13 App Review Guidelines that prohibits hidden
14 functionality, yes?
- 15 A. That is right.
- 16 Q. Are you aware that when Apple rejects an app it tags the
17 app with the reason for the rejection in accordance with
18 the guidelines, yes?
- 19 A. Yes.
- 20 Q. So when an app was rejected for failing to comply with
21 the hidden functionality guideline that would be
22 recorded, yes?
- 23 A. Yes.
- 24 Q. Do you know whether that would be recorded -- I will
25 restate the question. Do you know whether the record

1 would indicate whether or not the hidden behaviour was
2 identified by computer review or by human review?

3 A. I do not know.

4 Q. New types of threat. I put my bundle away prematurely.
5 It is second Lee, para 35, second bullet. Still in tab
6 50 for you. It is {C2/13/20} for the EPE.

7 A. I am sorry, that went too fast.

8 Q. We are staying in second Lee. It is paragraph 35. It
9 is tab 50 for you and we want the second bullet point.
10 So we are going to be on page 20.

11 A. Got it.

12 Q. We see:

13 "Human review" [this is in bold] is no more
14 effective than automated review/on-device security
15 mechanisms at detecting new types of ... threats."

16 Do you see that?

17 A. "New types of issues and threats", yes.

18 Q. "Issues and threats".

19 I want to pick it up. Right at the bottom you will
20 see that on the last line you will see the word "Human".

21 A. Yes.

22 Q. You see Dr Lee expresses the view that:

23 "Human review is limited as human reviewers rely on
24 their own knowledge, experience, consistency,
25 interpretation and recall, which often does not extend

1 to new or obfuscated attacks."

2 Yes?

3 A. I think that could be a limitation of human review.

4 Q. The final category that we started of my summary of your

5 evidence, the final category was offensive user

6 generated content, content in apps for children, so

7 inappropriate content in apps for children. False or

8 misleading content or information sought in violation of

9 privacy guidelines, yes?

10 A. That sounds right.

11 Q. Each of these examples is only a security issue in the

12 broader sense in which you use it as opposed to Dr Lee,

13 yes?

14 A. Can I please hear the list again now that I have your

15 question?

16 Q. Of course. Why do we not do it one by one and you can

17 tell me whether you agree with the proposition?

18 A. Okay.

19 Q. Offensive user generated content?

20 A. Okay.

21 Q. Content, inappropriate content in apps for children?

22 A. Okay.

23 Q. False or misleading content?

24 A. So I think false or misleading content would include

25 social engineering attacks which are one of the main

1 security issues.

2 Q. We have had a look at social engineering already, yes?

3 A. Yes.

4 Q. The final one was information sought in violation of

5 privacy guidance?

6 A. Yes.

7 Q. So we are not concerned here with malware in the narrow

8 sense, correct?

9 A. I do not think you listed anything there that relates to

10 malware.

11 Q. Let us go to the second Rubin, paragraph 123.

12 Sir, I am conscious of the time. Perhaps if we just

13 finish this question and that would be this topic

14 finishing. One moment, sir, just to ...

15 THE CHAIRMAN: How long will that take you?

16 MR KENNEDY: Four or five minutes, sir.

17 THE CHAIRMAN: Yes, go ahead, thank you.

18 MR KENNEDY: Sorry, Professor Rubin, we were at second

19 Rubin, paragraph 123 which is {C3/6/54}.

20 A. Okay.

21 Q. If I just ask you to read that to yourself quickly.

22 (Pause).

23 A. Down to 124.

24 Q. Yes. (Pause).

25 A. Okay.

1 Q. In this paragraph you are responding to Dr Lee's
2 evidence on the efficacy of the human review element of
3 Apple's App Review, yes?

4 A. Yes.

5 Q. Your evidence here is summarising Mr Kosmyuka's
6 evidence, yes?

7 A. I rely on some statements by Mr Kosmyuka to form my
8 opinions.

9 Q. So you are saying this does represent your opinion?

10 A. I do not understand your question. My report is here to
11 represent my opinions in this case and so ...

12 Q. The question is whether you are simply summarising
13 Mr Kosmyuka's evidence or also expressing your own
14 opinion.

15 A. I am expressing my opinions here and using Mr Kosmyuka
16 as support.

17 Q. You suggest, Professor Rubin, that Dr Lee does not take
18 into account the tools and processes used by Apple as
19 part of App Review. You say: "Dr Lee does not address
20 these factors." This is the penultimate sentence.

21 A. Right.

22 Q. But you are aware that in his first report, Dr Lee does
23 address his understanding of the tools and processes
24 used by Apple as part of App Review, yes?

25 A. I recall him discussing them in his first report.

1 Q. He put in a third report that responded to Mr Kosmyinka's
2 evidence on those tools and processes, yes?

3 A. Right.

4 Q. Then the final sentence:

5 "Dr Lee provides no evidence to support his claim
6 that Apple's App Reviewers achieve a specific level of
7 efficiency at the expense of accuracy."

8 Do you see that?

9 A. Yes.

10 Q. We saw earlier in paragraph 146 of your first report
11 a reference to the accuracy trade off, do you recall
12 that? You can turn it up. It is page {C3/2/73}.

13 Final sentence:

14 "These tools also enable ..."

15 A. Right.

16 Q. So would you agree with the statement of general
17 principle that there is a trade-off between accuracy and
18 efficiency when it comes to software analysis?

19 A. Yes.

20 Q. You do not present any empirical evidence on the
21 relationship between accuracy and efficiency in the
22 context of Apple's human review element of App Review?

23 A. Right, I do not see how one would measure that but there
24 might be ways that it could be done.

25 Q. Are you aware that App Review has something it calls the

1 SLA, the Service Level Agreement?

2 A. Yes.

3 Q. That is that they commit to, I am going to mis-remember

4 the stats, but they commit to reviewing 80% of all apps

5 within 24 hours and 90% within 48 hours or something,

6 yes?

7 A. That is either correct or close.

8 Q. You are aware that Apple monitors the accuracy of the

9 decisions that human reviewers make?

10 A. I am not sure I was, but --

11 Q. Take it from me --

12 A. -- I would not be surprised.

13 Q. -- and we can have a look at them later if needs be, but

14 they monitor that.

15 A. I accept that.

16 Q. They monitor the time it takes human reviewers to make

17 these decisions.

18 A. I accept that.

19 Q. So would you accept that data would or might be

20 available that would allow you to assess empirically the

21 trade-off between accuracy and efficiency in the context

22 of Apple's human review element of that review?

23 A. I am not sure that is what the data would tell me but --

24 Q. But you have not looked into it?

25 A. No.

1 MR KENNEDY: Sir, that would be a convenient moment.

2 THE CHAIRMAN: Thank you. In terms of timing, how are you

3 doing?

4 MR KENNEDY: We are on page 41, sir, of 84, so we are almost

5 bang on halfway.

6 THE CHAIRMAN: Good. In that case we do not need to start

7 before 2 then.

8 MR KENNEDY: No, sir.

9 THE CHAIRMAN: Dr Rubin, while we are breaking, so we are

10 going to break until 2 o'clock, you are not to discuss

11 your evidence with anybody else, please.

12 A. Okay.

13 THE CHAIRMAN: Thank you.

14 (1.05 pm)

15 (Luncheon Adjournment)

16 (2.00 pm)

17 THE CHAIRMAN: Yes, Mr Kennedy.

18 MR KENNEDY: Good afternoon, Professor Rubin.

19 A. Afternoon.

20 Q. You are still on App Review for a couple more questions

21 and then I am going to move on.

22 Professor Rubin, in your first report at

23 paragraph 240, you say that not all third-party

24 marketplaces necessarily share the same goals or

25 resources as Apple, nor do they all have goals or

1 priorities necessarily aligned with those of Apple, yes?

2 We can turn it up. It is {C3/2/124}.

3 A. Right.

4 Q. But would you accept that it is possible for a
5 third-party marketplace to carry out a more
6 comprehensive and more effective app review than Apple?

7 A. So your question is if it would be possible for
8 a third-party app store to carry out a more
9 comprehensive review than what Apple does?

10 Q. Yes.

11 A. I do not know that such a third-party app store would
12 have the resources, but theoretically I suppose
13 something like that would be possible.

14 Q. You are familiar with the Steam platform, yes?

15 A. Yes, the gaming platform.

16 Q. Steam conducts both computer review and human review of
17 the games that are submitted to the platform, yes?

18 A. I think so.

19 Q. Steam has stated that its manual review of the game
20 build is typically between three and five business days.
21 Are you familiar with that?

22 A. I am.

23 Q. Malware is extremely rare on Steam, correct?

24 A. I am not certain.

25 Q. Let us have a look. Can we go to {G1/11/1}. This is an

1 expert report prepared in the context of the Epic
2 Australia proceedings, and it is a report of
3 Professor Anil Somayaji who was instructed by Epic in
4 those proceedings. Do you recall that?

5 A. Yes.

6 Q. If we go to page {G1/11/64}, we should see a table 5,
7 "Distribution Platform Curation Comparison", and this
8 table compares the different app review and developer
9 vetting processes across different platforms, do you see
10 that? We have iOS App Store, macOS on the left-hand
11 side, yes?

12 A. Yes.

13 Q. If we go over the page, {G1/11/65}, we should see Steam
14 and then -- so Steam for Windows on the left-hand side.
15 If we go to the farthest right column, we will see:

16 "I am aware of only one incident of malware being
17 distributed on Steam ... in 2018 ..."

18 You are aware of this information due to your
19 involvement in the Australian proceedings, yes?

20 A. Right.

21 Q. But you make no mention of Steam or Steam's app review
22 process in your own reports, correct?

23 A. Correct.

24 Q. Moving on, Professor Rubin, to the relationship between
25 centralised distribution and Apple's App Review. Were

1 you in court last Wednesday when I cross-examined
2 Mr Federighi?

3 A. Yes.

4 Q. You will know, then, that Mr Federighi's evidence was
5 that in the absence of the app distribution
6 restrictions, Apple could and would review all iOS Apps
7 for distribution in the United Kingdom against the full
8 set of App Review guidelines, yes?

9 A. Yes.

10 Q. So it follows that centralised distribution through the
11 App Store is not necessary to ensure that every iOS App
12 goes through the initial Apple App Review process, yes?

13 A. Correct.

14 Q. What I want to do, therefore, is I want to ask you some
15 questions about what you say are the benefits of
16 centralised distribution as distinct from App Review,
17 okay?

18 A. Okay.

19 Q. For the purposes of the questions that follow, I want
20 you to assume that Apple is carrying out App Review of
21 all iOS Apps regardless of where they are ultimately
22 distributed against the full set of App Review
23 guidelines. Okay?

24 A. Okay.

25 Q. That is the premise. Before I do that, I just want to

1 cover off one ancillary point. In your first and second
2 reports, you say that a number of security consequences
3 flow from the fact that under notarisation for iOS,
4 under the DMA regime, Apple is only enforcing a subset
5 of the full App Review guidelines, yes?

6 A. Yes.

7 Q. You would accept that those security consequences would
8 not arise if Apple was instead applying the full App
9 Review guidelines?

10 A. Yes.

11 Q. Let us pick it up, your first report, at page 78, that
12 is {C3/2/78}. It is your black binder. You are going
13 to see a heading, I hope, right at the bottom of the
14 page, "(b) Other Benefits of Centralised App
15 Distribution". Heading (a) on page 68 is "Apple's App
16 Review", so we are now moving to what you describe as
17 the non-App Review benefits of centralised distribution,
18 yes?

19 A. So am I on page 78 or 68?

20 Q. We are on 78 for the other benefits. We are on 68 just
21 so you can see the previous heading, just to help you
22 get your bearings.

23 A. Okay, got it.

24 Q. Okay. So we are on 78, and let us start with para 155
25 over the page on 79. {C3/2/79}. We see:

1 "With centralised distribution, Apple effectively
2 takes steps to prevent repeated instances of malware
3 from entering the iOS platform. For example, App Review
4 can, after identifying one app that contains malicious
5 functionality, determine whether other apps submitted to
6 the App Store also contain that malicious functionality,
7 share a binary, or utilise the same tools that likely
8 embedded the malicious functionality."

9 Yes?

10 A. Yes.

11 Q. In a world of decentralised app distribution and
12 mandatory app review, after identifying one app that
13 contains malicious functionality, Apple could determine
14 whether other apps submitted for app review in the past
15 also contain malicious functionality, share a binary, or
16 utilise the same tools that likely embedded the
17 malicious functionality in the original app, correct?

18 A. Under the assumption of a full app review, yes.

19 Q. Apple could review any future apps submitted to App
20 Review for the same malicious functionality?

21 A. So in this assumption we are assuming that App Review is
22 centralised?

23 Q. Yes.

24 A. Yes, so then my answer is yes.

25 Q. -- malicious functionality. My first question was: you

1 could review the back catalogue and you could identify
2 whether the other apps had the same malicious
3 functionality. My second question was: as new apps are
4 coming into the centralised app review function, you
5 could review those apps for the same malicious
6 functionality, yes?

7 A. Yes.

8 Q. Apple could reject any apps that were found to contain
9 that malicious functionality, yes?

10 A. Yes.

11 Q. The second benefit you identify is at 156 and 167. Let
12 us pick it up at 156:

13 "Centralised app distribution allows Apple to obtain
14 information in advance about iOS App developers. The
15 information that Apple requires developers to submit
16 when enrolling in the Apple Developer Program, Apple
17 Developer Enterprise Program, or iOS Developer
18 University Program, can assist in security protection
19 efforts."

20 Yes?

21 A. Yes.

22 Q. Let us go to the joint statement. It is {C4/1/94}. It
23 is in the third tab of your hard copy binder,
24 Professor Rubin. What we want is issue 3B-10.

25 Professor Rubin, I am missing a page and so I am

1 just going to have to use the screen.

2 You see the proposition is:

3 "Apple could continue to enforce mandatory developer
4 verification and mandatory code signing using
5 certificates issued by trusted authorities, as well as
6 implementing other measures [and so on]."

7 Yes?

8 A. Yes.

9 Q. If we see the next column is Dr Lee:

10 "I agree.

11 "These policies ... are orthogonal to the app review
12 process and app distribution model."

13 Then the next is you:

14 "I agree that Apple could continue to enforce these
15 security mechanisms in an alternative world where apps
16 can be distributed through websites and third-party app
17 marketplaces."

18 Yes?

19 A. Yes.

20 Q. So in the counterfactual world that we are discussing,
21 it would be possible for Apple to require all developers
22 of iOS Apps to register with Apple before permitting
23 them to distribute iOS Apps even if Apple permitted
24 distribution of iOS Apps otherwise than through the App
25 Store, yes?

1 A. Yes, that works in this counterfactual.

2 Q. In fact, that is what Apple has done under the DMA

3 regime, yes?

4 A. That is my understanding.

5 Q. If all apps are required to be submitted to App Review,

6 Apple could continue to reject apps from a developer

7 that has proven to be malicious, yes?

8 A. Yes.

9 Q. Apple could use developer information for other

10 attribution purposes, yes?

11 A. Yes.

12 Q. Or when certificates are being abused?

13 A. Yes.

14 Q. Apple could remove from the App Store any apps

15 associated with a malicious developer, yes?

16 A. From their App Store?

17 Q. From the Apple App Store.

18 A. From the Apple App Store, yes.

19 Q. Apple could ask other app stores to remove those apps

20 from their app stores, yes?

21 A. I assume if Apple knew who all the app stores were that

22 were hosting, they could ask that.

23 Q. In any eventual, Apple could revoke the signing

24 certificates of malicious developers, yes?

25 A. Yes.

1 Q. That would prevent the malicious app from being run on
2 an iOS device regardless of distribution source, yes?

3 A. Yes.

4 Q. If there continued to be mandatory developer
5 registration for all iOS App developers, Apple could
6 ultimately terminate a malicious developer account, yes?

7 A. Yes.

8 Q. If we look at the fifth sentence in first Rubin, para
9 157 at {C3/2/80}, what we want is the fifth sentence
10 which begins right at the bottom of the page at 80:

11 "However, bad actors ..."

12 Have you got that?

13 A. Not yet.

14 Q. The final word on page 80, "However".

15 A. Got it.

16 Q. "However, bad actors have sought to avoid or circumvent
17 Apple's rejection or account termination by acquiring
18 multiple Apple Developer ... accounts."

19 Do you see that?

20 A. Yes.

21 Q. We see footnote 171. You say:

22 "Based on my understanding of the malicious actors
23 and identity vetting in general, attempting to acquire
24 multiple accounts is a common practice of malicious
25 actors. My understanding aligns with the witness

1 statement of Mr Philip Schiller at [116]."

2 If we just turn up paragraph 116 of Mr Schiller's
3 statement, it is {B2/5/32}. It is tab 18 in your hard
4 copy bundle, Professor Rubin. We are looking for
5 page 32 internal.

6 A. Okay, I'm there.

7 Q. We are at 116, and we want subparagraph -- forgive me,
8 Dr Rubin, one second. Subparagraph (h):

9 "428,000 fraudulent developer accounts were
10 terminated."

11 Yes?

12 A. Yes.

13 Q. He just refers here to fraudulent accounts, he does not
14 refer to multiple accounts, correct?

15 A. Right.

16 Q. The second reference you give is to Mr Federighi's
17 witness statement at 120, which is {B2/3/37}. It is
18 tab 4 for you, Professor Rubin. Internal page 37.

19 A. Okay.

20 Q. I think it is a bad reference, because this is about
21 qualifying for the Apple Developer Enterprise Program.
22 Do you see that?

23 A. Yes.

24 Q. The correct reference I think is meant to be 123 which
25 is over the page on {B2/3/39}. Can I just ask you to

1 read paragraph 123 to yourself. (Pause)

2 A. Okay.

3 Q. Again, Mr Federighi says nothing about developers

4 attempting to create multiple accounts here, correct?

5 A. He says fake developer identities, not multiple.

6 Q. So when you say "bad actors have sought", you are not

7 referring to any actual instance on iOS, correct?

8 A. I am assuming that some of the fake developer identities

9 would be bad actors creating multiple accounts.

10 Q. Let us go to your second report, paragraph 130.

11 {C3/6/58}

12 A. Okay.

13 Q. You say:

14 "Furthermore, where Apple continues to perform its

15 full App Review upon apps available in the App Store,

16 but other app marketplaces conduct lower levels of

17 review, 'it could become more fruitful for malicious

18 developers to seek to impersonate a legitimate developer

19 or otherwise seek to mislead users into believing that

20 Apple has conducted its full App Review on an app'."

21 Yes?

22 A. Yes.

23 Q. You are quoting from your own first report, yes?

24 A. Yes.

25 Q. If an app is submitted to Apple for App Review before

1 distribution through a third-party marketplace, so a
2 centralised App Review, any attempt to impersonate
3 a legitimate developer should be identified by Apple at
4 that stage, yes?

5 A. It would be the same process or the same result.

6 Q. The premise of your 130 in your second report was fully
7 decentralised distribution with decentralised App
8 Review, yes?

9 A. Decentralised App Review?

10 Q. I believe so. You see:

11 "... where Apple continues to perform its full App
12 Review upon apps available in the App Store, but other
13 ... marketplaces conduct lower levels of review ..."

14 A. Okay, yes.

15 Q. This appears to be envisaging a decentralised App Review
16 and the risk that you identify there, and what I am
17 putting to you is that that risk would not eventuate in
18 the hypothetical world that we are considering where
19 there is centralised Apple App Review of all apps?

20 A. The risk that it would become more fruitful, is that
21 what you are asking me? Or the risk that there would be
22 fraudulent developers?

23 Q. What I am putting to you is that the risk that you
24 identify, which is of a legitimate developer seeking --
25 sorry, of a malicious developer seeking to impersonate

1 a legitimate developer, does not arise in circumstances
2 where you continue to have full App Review of all iOS
3 Apps by Apple?

4 A. I think that risk still exists.

5 Q. But the risk would be no greater than it is in the
6 present day where Apple vets all developers and reviews
7 all apps?

8 A. Correct.

9 Q. First Rubin, para 158 {C3/2/81}. If we pick it up in
10 the third sentence, can you see the sentence starting,
11 "In particular ..."?

12 A. Yes.

13 Q. You say:

14 "... there are numerous security benefits associated
15 with using updated versions of Apple's iOS SDK, which is
16 only distributed through Apple."

17 Yes?

18 A. Yes.

19 Q. Then the first benefit you identify is:

20 "... to download the SDK, each developer must first
21 be registered with a valid Apple Developer Program
22 identity."

23 Yes?

24 A. Yes.

25 Q. We have just been discussing that, and I think you

1 accepted that in the counterfactual world we are
2 considering, you could continue to have mandatory
3 developer verification, yes?

4 A. Yes.

5 Q. So that particular benefit would continue to exist in
6 our counterfactual world, yes?

7 A. Yes.

8 Q. If we go over the page, {C3/2/892}, after the word
9 "above", you will see "Second".

10 Have you got that?

11 A. I am sorry, I did not know you were waiting for me.

12 Yes. I am there.

13 Q. "Second, Apple's centralised SDK distribution ensures
14 that Apple Developers are always able to acquire the
15 most up to date SDK versions issued by Apple."

16 Yes?

17 A. Yes.

18 Q. You are addressing here centralised distribution of the
19 SDK, correct?

20 A. Yes.

21 Q. That has nothing to do with centralised distribution of
22 iOS Apps, correct?

23 A. That is right.

24 Q. Down two lines:

25 "Third, by having a centralised distribution

1 channel, it is easier for Apple's latest SDK updates and
2 bug fixes to be communicated to Apple Developers."

3 Yes?

4 A. Yes.

5 Q. Again, you are addressing here a centralised
6 distribution channel for the SDK updates and bug fixes,
7 yes?

8 A. Yes.

9 Q. That too has nothing to do with the centralised
10 distribution of iOS Apps, correct?

11 A. That is correct.

12 Q. "Fourth, Apple can provide technical support for
13 developers using the official iOS SDK."

14 A. Yes.

15 Q. Apple's ability to provide technical support to
16 developers using their SDK does not depend on whether a
17 distribution of iOS Apps is centralised or not, correct?

18 A. That is right.

19 Q. If we read on, we see, it is the penultimate sentence:

20 "In the past, there have been malicious apps
21 associated with the use of infected third-party SDKs
22 obtained through illegitimate channels. Further, there
23 have been third-party SDKs associated with specific
24 functionality that have violated Apple's policies."

25 Yes?

1 A. Right.

2 Q. Here you are referring to SDKs that developers might use
3 in their apps which are not provided by Apple, correct?

4 A. Right.

5 Q. Identifying SDKs associated with malicious apps is one
6 of the things that App Review seeks to do, yes?

7 A. I did not hear you at the end.

8 Q. I am so sorry. Identifying SDKs associated with
9 malicious apps is one of the things that App Review
10 seeks to do, yes?

11 A. Yes.

12 Q. So this is not distinct from App Review, correct?

13 A. Correct.

14 Q. If we could go to the article that you refer to in
15 footnote 177, which is at {D2/537/1}, and it is tab 30
16 of your hard copy bundle, Professor Rubin. You will see
17 it is an article from The Verge.

18 A. Okay.

19 Q. Dated 11 December 2020. If we go to page {D2/537/2}, we
20 will see near the top of the page:

21 "X-Mode works by giving developers code to put into
22 their apps, known as an SDK, which tracks users'
23 location and then sends that data to X-Mode, which sells
24 it."

25 Yes?

1 A. Yes.

2 Q. Next paragraph:

3 "Apple is giving developers two weeks to remove the

4 SDK ..."

5 Yes?

6 A. Yes.

7 Q. So this concerns an SDK that was in apps that were

8 available on the Apple App Store, yes?

9 A. That is what it sounds like.

10 Q. Those apps would necessarily have gone through Apple App

11 Review, yes?

12 A. Yes.

13 Q. This is an example of a privacy issue which was missed

14 by Apple's App Review, yes?

15 A. Yes.

16 Q. Dr Rubin, you have suggested in your reports that

17 decentralised distribution of iOS Apps would lead to

18 fragmentation of that information, yes?

19 A. Yes.

20 Q. You identify two consequences of this. The first is the

21 reduced effectiveness of Apple's App Review?

22 A. Yes.

23 Q. The second is a greater burden on iOS device users to

24 make decisions about where to download apps from, yes?

25 A. Yes.

1 Q. Let us start with the reduced effectiveness of App
2 Review, okay?

3 A. Okay.

4 Q. You say that Apple's App Review would be less effective
5 because information about apps would be fragmented
6 between different parties, yes?

7 A. Yes.

8 Q. If all apps, all iOS Apps distributed in the United
9 Kingdom were subject to full Apple App Review prior to
10 distribution from any source, Apple would remain
11 a repository for all information gained during App
12 Review, yes?

13 A. Gained during the App Review process, but there are
14 other inputs that feed into it that Apple would lose.

15 Q. I am going to come on to the other inputs.

16 A. Okay.

17 Q. But at the initial stage, no fragmentation, because
18 Apple continues to be a centralised repository for
19 information, yes?

20 A. Can we go back to the last question. I may have
21 misunderstood.

22 Q. Yes. If all iOS Apps distributed in the United Kingdom
23 were subject to full App Review prior to distribution
24 from any source, Apple would remain a repository for all
25 information gained during App Review, correct?

1 A. Okay, yes.

2 Q. In terms of any review carried out by a third-party

3 store, all iOS Apps would have already been subject to

4 static analysis by Apple?

5 A. Yes.

6 Q. Dynamic analysis by Apple?

7 A. Yes.

8 Q. Human review against the full set of Apple App Review

9 guidelines?

10 A. If that is your counterfactual, then, yes.

11 Q. So any review by the third-party would be additional to

12 Apple's App Review, yes?

13 A. Right.

14 Q. The only information that would be available to the

15 third-party app store, which was not available to Apple,

16 would be any marketing materials the developer presented

17 to the third-party store, yes?

18 A. Well, also reviews that were posted by users.

19 Q. We are going to come on to reviews.

20 A. Sure, but I just did not want to leave it out.

21 Q. Of course not, but we will get there.

22 In terms of marketing materials, Apple would have

23 any marketing materials and presentation for the App

24 Store, yes?

25 A. Yes.

1 Q. The third-party store would be able to review the
2 marketing materials, review the app itself, and
3 determine whether the marketing materials were
4 misleading or inaccurate?

5 A. They could do that if they had the capability. If they
6 had the tools, the resources and the reviewers then that
7 is something that they could do.

8 Q. They could compare how the app looks and functions and
9 they could compare the written information that was
10 going to go on their site, yes?

11 A. Assuming they have the incentive and resources to do it
12 then they could do it.

13 Q. The third-party would have all the information it
14 requires to make that assessment?

15 A. They could have that if they kept it.

16 Q. So in terms of App Review, the fragmentation of
17 information only relates to information about apps after
18 they have been through the initial App Review, yes?

19 A. Well, the initial App Review would be affected by the
20 fragmentation, but otherwise you are right.

21 Q. Professor Rubin, we have agreed that Apple remains
22 a repository for all information gained during App
23 Review, yes?

24 A. Right, but there is information gained at other times as
25 well.

1 Q. But the other times are necessarily after App Review.

2 We have agreed that during App Review, Apple still has
3 everything, yes?

4 A. Right. I just want to make the point that when App
5 Review is happening, App Review takes advantage of
6 Apple's knowledge base, of all of their information that
7 they have collected. Machine learning depends on that.
8 So if they lose other signals that they might have
9 gotten based on the post-distribution of the app, they
10 will not be able to factor that into their App Review
11 analysis.

12 Q. We are going to come on to post-distribution. It is
13 just going to help me, and hopefully help the Tribunal,
14 if we go in chronological order.

15 I understand you say there is a feedback loop, but
16 let us work through chronologically and we can come back
17 to the feedback loop, okay?

18 A. Okay.

19 Q. So let us turn then to post-approval detection and
20 removal, okay?

21 A. Okay.

22 Q. You make a number of points about post-approval
23 detection and removal across both reports, and so once
24 again what I have tried to do is I have tried to boil
25 them down to six propositions. I am going to give you

1 the propositions and you can tell me whether I have got
2 them right, okay?

3 A. Sounds good.

4 Q. Proposition 1: Apple may lose its ability to monitor
5 apps for post-distribution malicious behaviour?

6 A. I agree.

7 Q. Proposition 2: third-party app distributors may not have
8 the ability or willingness to monitor iOS Apps for
9 post-distribution malicious behaviour?

10 A. I agree.

11 Q. Proposition 3: third-party app distributors may not
12 inform Apple if they detect malicious apps
13 post-distribution?

14 A. I agree.

15 Q. Proposition 4: Apple may not be able to identify apps
16 that are similar to apps that are identified
17 post-distribution which are malicious?

18 A. I agree.

19 Q. Proposition 5: Apple may not be able to prevent further
20 distribution of malicious apps detected
21 post-distribution?

22 A. Agreed.

23 Q. Proposition 6: malicious apps may be re-submitted after
24 they are detected post-distribution?

25 A. Can I hear that again?

1 Q. Malicious apps that are detected post-distribution may
2 be re-submitted to Apple or a third-party distributor?

3 A. They can be.

4 Q. I have explored these issues with Apple's factual
5 witnesses in cross-examination last week and the week
6 before, so what I am going to do is I am going to set
7 out that factual evidence, and I am going to ask you
8 some questions about your opinion based on that
9 evidence, okay?

10 A. Okay.

11 Q. Let us start with proposition 1, which is identification
12 by Apple. In cross-examination, Mr Kosmynka confirmed
13 that Apple would continue to be able to, firstly, use
14 computer tools to monitor all iOS Apps distributed in
15 the United Kingdom for changes in runtime behaviour
16 post-distribution, regardless of distribution source.
17 Okay?

18 A. Is there any way to put that on the screen, or ...

19 Q. You do not have a transcript?

20 A. If it is at one of my tabs, yes, I can go there.

21 Q. If you can go to Day 5 -- sorry, this is the transcript
22 of these proceedings, obviously. Day 5, page 200, and
23 let us pick it up at line 19.

24 A. It would just be easier for me, because that was kind of
25 long.

1 Q. Of course.

2 A. Thanks.

3 MR KENNEDY: It should be -- I think we are running into the
4 age-old problem that the pdf and the Opus version do not
5 match, sir. I am not sure it is a problem I can solve
6 on the fly.

7 I think the best I can do, Professor Rubin, is
8 reread the question to you, okay?

9 A. Okay.

10 MR KENNELLY: I am sorry, if he has been asked to confirm or
11 examine evidence given by Mr Kosmyнка, he ought to see
12 it on the transcript. That is the only fair way of
13 doing it.

14 THE CHAIRMAN: Mr Kennedy can put it to him as
15 a hypothetical, can he not? It is convenient that Mr --
16 it is obviously important what Mr Kosmyнка said, but
17 I do not think it is crucial to the premise, is it?

18 MR KENNELLY: As long as the witness understands what is --

19 THE CHAIRMAN: It is entirely open to you to show the
20 transcript to the witness and then of course point out
21 the differences. I think that is probably how we are
22 going to have to do it, Mr Kennedy, unless you are able
23 to find it ...

24 MR KENNEDY: Sir, I think that is probably the best way of
25 doing it. We can perhaps get proper references for

1 tomorrow. Or, as you suggest, Mr Kennelly can go to
2 them in re-examination if he thinks I have not
3 summarised them --

4 THE CHAIRMAN: Well, I think if you are putting it to him as
5 being the evidence of Mr Kosmynka, then he is entitled
6 to see the transcript.

7 MR KENNEDY: Of course, sir.

8 THE CHAIRMAN: If you put it to him as a hypothetical and
9 say if this was the position, then he can answer that
10 question, can he not?

11 MR KENNEDY: He can, sir. Let us do it that way.

12 THE CHAIRMAN: Let us see how you get on, and if you strike
13 a problem with that then we will have a break at some
14 stage and you might be able to ...

15 MR KENNEDY: We might be able to do it relatively quickly,
16 sir, perhaps in the transcriber's break, but we will do
17 it on a hypothetical basis as you suggest, sir.

18 Professor Rubin, I would like you to assume that in
19 the counterfactual world that we have been describing,
20 Apple would continue to be able to use the computer
21 tools it currently uses as part of App Review to monitor
22 all iOS Apps distributed in the United Kingdom for
23 changes in runtime behaviour post-distribution
24 regardless of the distribution source, okay?

25 A. Okay.

1 Q. That is assumption one. I want you also to assume that
2 Apple would continue to be able to receive information
3 about malicious applications through user reports,
4 developer reports, from within Apple itself, through
5 press reports, through social media, and by monitoring
6 publications from privacy experts, okay?

7 A. Okay.

8 Q. I would like you to assume that Apple would not be able
9 to use user reviews if those user reviews were not
10 posted on the App Store?

11 A. Okay.

12 Q. I would like you to assume that Apple would not be able
13 to get information about malicious apps through Apple
14 Care or through the Report a Problem flow?

15 A. Okay.

16 Q. With respect to user reviews, you accepted in the
17 Australian proceedings that App Store user reviews only
18 have a limited role in ensuring iOS platform security,
19 right?

20 A. By having a limited role, I mean they are not the only
21 thing.

22 Q. So what I am going to put to you, on the basis of the
23 assumptions that I have asked you to make, is that in
24 the counterfactual, Apple will continue to be able to
25 monitor for post-distribution malicious app behaviour

1 through the vast majority of the channels it currently
2 uses?

3 A. So that may be the most assumptions I have ever been
4 asked to make to answer one question, so I am going to
5 try to answer that, with the caveat that there were
6 a lot of assumptions in there.

7 I think what you are saying is that if Apple were
8 somehow able to have all of the information from the
9 third-party app stores that they could possibly have,
10 and the same information that Apple normally gets in the
11 centralised App Store post review, then if they only did
12 not have access to reviews and Apple Care and feedback
13 from users, would they still be able to have most of the
14 capability of doing App Review? Was that the question?

15 That is my understanding of the question, and I do
16 not want to answer it unless that is exactly right.

17 Q. It is not exactly right. I did not ask you to make any
18 assumptions about third-party app stores providing any
19 information to Apple. I am focusing here on comparing
20 the information that Apple has available to it in the
21 actual world based on the evidence we have seen in these
22 proceedings.

23 A. In the real world or in the counterfactual?

24 Q. In the real world.

25 A. Okay.

1 Q. I have asked you to assume -- perhaps we will do it this
2 way. I have asked you to assume that two pieces of
3 information are absent in the counterfactual world,
4 okay?

5 A. Okay.

6 Q. The first is user reviews --

7 A. Okay.

8 Q. -- if they are not on the App Store. The second/third
9 is information coming through Apple Care or the Report
10 a Problem flow.

11 A. Okay.

12 Q. So that is the missing information.

13 A. Okay.

14 Q. What I put to you is that in the counterfactual world,
15 Apple would continue to be able to monitor for
16 post-distribution malicious app behaviour through the
17 vast majority of the channels it currently uses?

18 A. I think that depends entirely on the level of
19 cooperation of the third-party app stores.

20 Q. I have not asked you to make any assumptions about
21 third-party app stores, we are talking about the
22 information that Apple currently gets. I have not asked
23 you to make any assumptions --

24 A. Okay, I am confused about the counterfactual, because
25 I do not understand how I can assume that you have

1 third-party distribution, and yet Apple is still getting
2 all of the feedback that it would normally get. So it
3 does seem contradictory to me.

4 Q. Shall we look at the sources of the feedback --

5 A. Okay.

6 Q. -- and work out whether or not they are connected to
7 third-party app stores, yes?

8 A. Okay.

9 Q. So I have asked you to assume that Apple's computer
10 tools can look at runtime behaviour of iOS Apps
11 regardless of distribution source?

12 A. Okay.

13 Q. I have asked you to assume that users might still email
14 Apple and say: I have a problem with my app?

15 A. They might or they would necessarily do it?

16 Q. They might.

17 A. Okay.

18 Q. Developers might email Apple and say: this app is
19 malicious.

20 A. Okay.

21 Q. Or an employee within Apple itself might email someone
22 in the App Review team and say: I have got this app,
23 I got it from wherever, it is malicious.

24 A. Okay.

25 Q. Apple could continue to monitor the press, social

1 media --

2 A. Sure.

3 Q. -- and publications from privacy experts.

4 A. Sure.

5 Q. So third-party app stores do not have to do anything for

6 those channels, this is all Apple directed.

7 A. Right, but you are saying they might, the third-party

8 stores might notify Apple about something, so --

9 Q. I am sorry to interrupt you. I have not said anything

10 about third-party app stores telling Apple anything at

11 all.

12 A. Okay. I am really honestly not understanding something

13 here. When you say that somebody might email Apple

14 about a problem, who are you referring to?

15 Q. Users.

16 A. Users. Okay. But they might -- this is where my

17 confusion comes -- they might email the third-party --

18 Q. They might.

19 A. -- store instead of Apple, in which case Apple would not

20 be getting the same information.

21 Q. We are talking about possible sources of information.

22 In the actual world, not every user that has a problem

23 with an app emails Apple.

24 A. Right. But in your counterfactual, they might have

25 emailed Apple, but because they got the app from

1 a third-party app store, they might email that app
2 store.

3 Q. Yes.

4 A. Okay. But I also do not understand what the ultimate
5 question is at the end of all of these assumptions.

6 Q. I will have another go, okay?

7 A. Let us try.

8 Q. Then we will move on.

9 In the counterfactual world that I have asked you to
10 imagine, Apple will continue to be able to monitor for
11 post-distribution malicious apps through the vast
12 majority of the channels it currently uses?

13 A. Okay.

14 Q. Do you agree or not?

15 A. Do I agree they would be able to do that? I think many
16 of the channels would not be available to them but some
17 of them would be.

18 Q. Which channels do you say would not be available to
19 them?

20 A. User reviews, feedback from users that email their
21 third-party app store instead of emailing Apple, and
22 then there is the effect that the feedback loop has on
23 the quality of app reviews, so the quality of app review
24 itself would be degraded.

25 Q. We are going to come back to the feedback loop.

1 A. Okay.

2 Q. So that was Apple's ability to identify malicious
3 behaviour post-distribution.

4 Now we are moving on to third-party app
5 distributors, okay?

6 A. Okay.

7 Q. Again, we are going to do it on the basis of assumptions
8 since my transcript references are off.

9 I would like you to make two assumptions -- these
10 are not assumptions, they are a proposition of fact.
11 Are you aware that under the decentralised iOS App
12 distribution model that applies under the DMA,
13 alternative app stores in the EU are under a contractual
14 obligation to Apple proactively to seek to identify and
15 take appropriate action against malicious apps?

16 A. Yes.

17 Q. I want you to assume that it may be possible for Apple
18 to require developers of alternative app stores in the
19 United Kingdom to report information to Apple about
20 malicious apps or malicious developers they discover on
21 their App Store.

22 A. Okay.

23 Q. Based on that one proposition of fact and that one
24 assumption, what I am going to put to you is that in the
25 counterfactual, third-party app stores are likely to

1 have a legal obligation to monitor for post-distribution
2 malicious app behaviour?

3 A. I agree with that.

4 Q. Also to report such behaviour to Apple?

5 A. I agree with that.

6 Q. Moving from the counterfactual to the actual. In the
7 actual world, malicious apps are often discovered by
8 third parties who are under no obligation to report
9 those to Apple but who nonetheless do report those
10 issues to Apple, correct?

11 A. Yes.

12 Q. There is no reason to think that those third parties
13 would act any differently in the counterfactual world,
14 is there?

15 A. There is, because it is possible that users are
16 reporting the problems they find with their apps back to
17 Apple because they downloaded the app from Apple, and if
18 they downloaded the app from a third-party, those users
19 might report it to the third-party that they downloaded
20 the app from as opposed to reporting it to Apple.

21 Q. Any monitoring for post-distribution malicious app
22 behaviour would be incremental to the monitoring that
23 Apple was doing, yes?

24 A. Yes.

25 Q. Now, Professor Rubin, you have suggested that other

distributors of iOS Apps may have fewer resources to carry out a post-approval review, yes?

A. Yes.

Q. If I could just ask you to go to Professor Sweeting's report in these proceedings, which is {C3/3/141}, and it should be tab 26 of your bundle. For the EPE, can we go to page 1, just so I can show Professor Rubin what the document is {C3/3/1}.

Professor Sweeting is an economist instructed by Apple in these proceedings, okay?

A. Okay.

Q. If we could pick it up at paragraph 308 {C3/3/141}, you will see a heading, "Likely Scenarios Absent the Distribution Requirements", okay?

A. Okay.

Q. In this section of his report, Professor Sweeting is looking at the counterfactual world from an economic perspective, okay?

A. Okay.

Q. If we go over to 309 {C3/3/142}, pick it up at the second sentence:

"In my view, one reasonably plausible state of the world is one in which a small number (potentially two or three) of larger alternative iOS App transaction platforms exist in addition to the App Store, along with

1 a fringe of much smaller alternative iOS App transaction
2 platforms."

3 Yes?

4 A. You read that right.

5 Q. Then picking it up at 310:

6 "It is likely that at least some of the operators of
7 these larger platforms would be existing technology
8 firms, such as Google, Amazon, Microsoft, Facebook and
9 Sony [and so on]."

10 Yes?

11 A. Yes.

12 Q. Companies like those identified by Professor Sweeting
13 are likely to have similar resources to Apple to carry
14 out-post approval review, are they not?

15 A. Yes.

16 Q. Moving then to proposition 3, which is the
17 identification of similar apps.

18 A. Okay.

19 Q. Again, we will do it on the assumptions basis. I want
20 you to assume that if Apple became aware of malicious
21 apps post-distribution, it could review the App Store
22 and all iOS App submissions past, present and future for
23 similar vulnerabilities and exploits, okay?

24 A. One more time?

25 Q. I want you to assume that if Apple became aware of

1 a malicious app post-distribution, it could review the
2 App Store and all app submissions past, present and
3 future for similar vulnerabilities and exploits?

4 A. In the current world, in the real world?

5 Q. In the counterfactual world.

6 A. In the counterfactual world.

7 Q. So centralised app review, decentralised distribution?

8 A. Yes.

9 Q. Just to pick up two points from your evidence. If Apple
10 continued to review all iOS Apps prior to distribution,
11 and if Apple became aware of a malicious app after it
12 passed App Review, Apple could adjust the App Review
13 process to prevent such apps from being approved in the
14 future, yes?

15 A. Yes.

16 Q. It could adjust its custom written malware scanners,
17 yes?

18 A. Yes.

19 Q. Proposition 4: removal. We discussed this before, that
20 if Apple became aware of a malicious app following App
21 Review, Apple could remove it from the Apple App Store?

22 A. Yes.

23 Q. It could revoke the app signature?

24 A. Yes.

25 Q. It could ultimately ban a developer from submitting iOS

1 Apps in the future, yes?

2 A. Yes.

3 Q. Two questions for you, Professor Rubin. If Apple

4 revokes an app signature, that would prevent any new

5 installations of the app from any source of

6 distribution, yes?

7 A. Yes.

8 Q. If the signature was revoked, users who had already

9 downloaded the app from any distribution source would be

10 unable to launch the app on their device; is that

11 correct?

12 A. If they are online at some point after that, yes.

13 Q. You do not refer to Apple's ability to revoke signatures

14 in this way in either of your reports, do you?

15 A. I do not recall saying that.

16 Q. Final proposition, resubmission. If we go to first

17 Rubin, 174. It is {C3/2/91}. If I could just ask you

18 to read that to yourself, Professor Rubin. (Pause)

19 A. Which paragraph?

20 Q. 174. (Pause)

21 A. Okay.

22 Q. We see towards the end:

23 "I note that Mr Federighi observed that slight

24 modification and resubmission of malware could occur,

25 explaining that, with apps submitted for notarisation on

1 macOS ..."

2 Then you quote from Mr Federighi's statement, yes?

3 A. Yes.

4 Q. Could we go to Mr Federighi's statement. It is
5 {B2/3/35}.

6 It is tab 4 for you of the white bundle,
7 Professor Rubin. Pick it up at paragraph 121.

8 A. Paragraph 121?

9 Q. I may have given you a bad reference. If you give me
10 one moment, please. It is 112, page 35. Apologies.

11 If we could pick it up halfway down, the sentence
12 beginning "In our experience ..." Do you have that?

13 A. Yes.

14 Q. "In our experience, when one instance of malware is
15 detected on macOS and blocked at the code-signing level,
16 it is possible for the developer to slightly modify the
17 malicious software and resubmit it again through the
18 notarisation server. Without Human Review, XProtect
19 will not immediately spot the modified malware.
20 Centralised distribution on iOS permits Apple to block
21 not just the old malware, but also new iterations of
22 that malware (identified with the critical assistance of
23 human review)."

24 Yes?

25 A. Yes.

1 Q. So if all iOS Apps distributed in the United Kingdom
2 were subject to full App Review, including human review,
3 the issue identified here by Mr Federighi should not
4 arise, correct?

5 A. With the caveat about the loss signals affecting the App
6 Review quality.

7 Q. Professor Rubin, give me one moment to make sure that
8 I have checked off the items on my six propositions and
9 then we will carry on. (Pause)

10 Professor Rubin, drawing the threads together,
11 I will slightly restate my question in light of being
12 hampered by the transcript: in light of the assumptions
13 I have asked you to make, what I am going to suggest to
14 you is that there will be no material reduction in the
15 identification and removal of malicious apps
16 post-distribution in a counterfactual world?

17 A. I disagree with that.

18 Q. Professor Rubin, the second consequence that you
19 identify from fragmentation of information is
20 a reduction in users' ability to choose between apps
21 from different distribution sources, yes?

22 A. Right.

23 Q. If we could pick it up at first Rubin, para 255, which
24 is {C3/2/133}. Have you got that?

25 A. Okay.

1 Q. "First, the general user population may lack the
2 security and privacy-oriented technical understanding -
3 and the incentive to gain such understanding - needed to
4 make well-founded decisions regarding security and
5 privacy."

6 Yes?

7 A. Yes.

8 Q. What I want to do is I want to have a look at the
9 document you cite at footnote 318, it is a document
10 called the New Landscape of Digital Literacy. It is at
11 {D1/870.1}, and for you, Professor Rubin, it is tab 13
12 of the white bundle, okay?

13 A. Okay.

14 Q. You see the cover there?

15 A. I do.

16 Q. Have you read this document before?

17 A. Yes.

18 Q. On page 2 you will see the capsule summary.

19 {D1/870.1/2}. If we pick it up in the third sentence:

20 "This report uses data from a rigorously designed
21 international assessment to analyse workers' current
22 level of digital skills."

23 Do you see that?

24 A. Yes.

25 Q. So this report is not concerned with the general user

1 population of iOS device users, is it?

2 A. That is not the listed population for this article.

3 Q. I will ask you to take it from me, but there is in fact
4 no mention of the word "security" in this report, is
5 there?

6 A. This is about digital literacy, which I think is
7 relevant to choosing app stores, which is the context
8 that I quoted it in.

9 Q. There is, in fact, no mention of the word "privacy" in
10 this report, is there?

11 A. I do not recall any.

12 Q. Let us go to para 256 of your first report, so staying
13 on page 133 {C3/2/133}. You see:

14 "Even when users have some degree of technical
15 sophistication, it can sometimes still be very hard for
16 them to identify that they are under attack, let alone
17 identify the source of the attack, particularly given
18 the increasing sophistication of the attack."

19 Yes?

20 A. Yes.

21 Q. Footnote 322, you cite a paper by Fratantonio et al
22 which describes something they call a cloak and dagger
23 attack. Yes? It is at {C5/197/1}. It is tab 14 for
24 you, Professor Rubin.

25 A. Okay.

1 Q. This was an attack that was carried out on the Android
2 platform, correct? You see that from the abstract?

3 A. Yes.

4 Q. Again, you see it from the abstract but it exploited
5 certain permissions on the Android platform, yes?

6 A. Yes.

7 Q. The first Rubin, 257, pick it up at the start:

8 "It should also be noted that the belief that a user
9 may choose which store to patronise relies upon the
10 assumption that a user has a realistic choice amongst
11 multiple app stores."

12 {C3/2/135}.

13 I would like to show you some more of the economic
14 evidence in this case. If we could go to Dr Singer's
15 report at {C2/8/129}. That is in the next tab for you,
16 Professor Rubin. Dr Singer is an economist instructed
17 by the Class Representative in these proceedings.

18 A. Okay.

19 Q. If we pick it up at paragraph 259, which is internal
20 page 129 {C2/8/129}. Pick it up one line down, starting
21 with the word "Moreover ...", you see Dr Singer says:

22 "... in the counterfactual, presumably most, if not
23 all, iOS Apps would seek to have a presence on the App
24 Store."

25 Do you see that?

1 A. Yes.

2 Q. You are not in a position to disagree with Dr Singer's
3 opinion about what is likely from an economic
4 perspective in the counterfactual?

5 A. I am not offering economic opinions.

6 Q. If Dr Singer is correct, iOS Devices would typically
7 have a choice whether to purchase an iOS App from the
8 App Store or from an alternative app store, yes?

9 A. Your question is if users would have a choice to go to
10 the App Store or to a third-party store?

11 Q. Yes.

12 A. I assume that is the case.

13 Q. Go to second Rubin, paragraph 138, which is {C3/6/62}.
14 We will pick it up in the second sentence:

15 "Even if an app has been submitted for review under
16 the full set of App Store Review Guidelines, users will
17 still have to bear the burden of risk evaluation at the
18 point where they choose an app for installation. Users
19 must evaluate whether a third-party distribution source
20 is presenting an app accurately, or whether the app's
21 description is intentionally or unintentionally
22 misleading. Attackers can exploit the gap that can be
23 created between the information presented on
24 a third-party distribution source and the app
25 installation sheet displayed on iOS or the other

1 information that was reviewed by Apple."

2 Yes?

3 A. Yes.

4 Q. The premise in this paragraph is that Apple is
5 conducting full App Review of all iOS Apps regardless of
6 where they are distributed, yes?

7 A. Yes.

8 Q. So consistent with the hypothetical that I have been
9 positing for you, yes?

10 A. Yes.

11 Q. If a third-party distribution source presented an app in
12 an incorrect -- sorry, an inaccurate or misleading way,
13 they should only be misrepresenting an app that complied
14 with the full App Review guidelines, yes?

15 A. Either that or one that made it through App Review
16 anyway.

17 Q. If it made it through App Review anyway, that would be
18 because Apple had failed to identify it as malicious
19 during App Review, yes?

20 A. Yes.

21 Q. Let us have a look at what you say about app
22 installation sheets. Pick it up in the next paragraph,
23 it is 139. You say:

24 "In addition, users could have less information
25 available to them for making this risk evaluation in

1 a model of multiple distribution sources. For example,
2 the risks of the alternative source posting misleading
3 information, or not checking user-facing information for
4 accuracy or security considerations, cannot be fully
5 avoided even by use of mechanisms, and particularly app
6 installation sheets that Apple introduced in the light
7 of the DMA."

8 Yes?

9 A. Yes.

10 Q. You go on to give a number of reasons about app
11 installation sheets. Let us look at them in turn.

12 Starting with 140, you say:

13 "App installation sheets do not necessarily include
14 information equivalent to that on product pages for apps
15 distributed through the App Store, which includes
16 developer identity, other apps from the same developer,
17 rating on the App Store, number of ratings, user
18 reviews, app change history, types of in app purchases
19 and Privacy Nutrition Labels."

20 Do you see that?

21 A. Yes.

22 Q. Footnote 175 is a web page, how to help ensure that you
23 only install apps from the App Store in the
24 European Union. That is at {D2/585/1}, and it is tab 17
25 for you, Professor Rubin. I will give you a moment to

1 refamiliarise yourself with that document. (Pause)

2 A. Okay.

3 Q. What this document does not set out is the information

4 that is included on the App Store but not included on

5 the app installation sheets, correct?

6 A. It does not speak about that.

7 Q. It does not allow us to make the comparison that you

8 posit in that paragraph, correct?

9 A. That is a form of attack, so I do not think Apple would

10 want to describe an attack in something that they

11 release to the users.

12 Q. Let us go to para 141. This is the second reason. You

13 say:

14 "Second, a malicious developer may exploit the other

15 information that users can see about an app on a place

16 controlled by the malicious developer ... For example,

17 when submitting an app to Apple's Notarisation for iOS,

18 an attacker may describe an app as a generic social

19 media app that seeks camera access to--"

20 A. I am sorry ...

21 Q. I am sorry?

22 A. You read the first sentence then you jumped somewhere

23 else, and I ... I was not keeping up with you.

24 Q. I have skipped down three sentences, I am afraid.

25 A. Okay. So you went from "malicious developer" to where?

- 1 Q. To "For example".
- 2 A. Okay.
- 3 Okay.
- 4 Q. App installation sheets include the app name, yes?
- 5 A. Yes.
- 6 Q. An app description?
- 7 A. Yes.
- 8 Q. Screenshots of what the app looked like when it was
- 9 reviewed by Apple, yes?
- 10 A. Yes.
- 11 Q. None of that information can be changed by the developer
- 12 following the notarisation process?
- 13 A. Right.
- 14 Q. On the hypothetical that we have been considering, so
- 15 not notarisation for iOS but full App Review, the same
- 16 process could be followed, yes?
- 17 A. Yes.
- 18 Q. So if an iOS App was presented as a copycat of
- 19 a well-known app on a third-party app marketplace but
- 20 had not been presented that way during App Review, that
- 21 should be apparent to an iOS device user from
- 22 a comparison of the app description and the screenshots
- 23 on the app installation sheet and the information
- 24 provided on the third-party marketplace, yes?
- 25 A. In some instances but I think this is an area where

1 a clever and tricky developer could actually fool
2 a user, especially non-tech savvy user.

3 Q. Let us come on to privacy nutrition label. So over the
4 page, 142. {C3/6/64}. You say that Apple will not
5 enforce privacy nutrition labels in the European Union,
6 yes?

7 A. Correct.

8 Q. That is a function of the particular regulatory regime
9 under the DMA, yes?

10 A. Correct.

11 Q. You noted in paragraph 140 that it was the UK
12 Competition and Markets Authority that asked Apple to
13 make privacy nutrition labels available to users, yes?

14 A. I am sorry, I did not catch it.

15 Q. Let us go back to 140 and let us look four lines from
16 the bottom. After footnote 175 you say:

17 "...Privacy Nutrition Labels, which the UK's
18 Competition and Markets Authority asked Apple to make
19 available to users."

20 A. Right.

21 Q. So it is unlikely that in the United Kingdom Apple would
22 not be allowed to enforce Privacy Nutrition Labels, yes?

23 A. I do not know, that seems like it.

24 Q. I said we would come on to user reviews. We are there
25 now.

1 A. Okay.

2 Q. First Rubin, paragraph 303. {C3/2/157}. I just ask you

3 to --

4 A. Sorry, I think I am in the wrong ...

5 Q. Page 157.

6 A. Okay.

7 Q. I just ask you to remind yourself of what you said

8 there. (Pause).

9 A. Okay, I have read it, 303.

10 Q. Then let us go to second Rubin, 133, and that is

11 {C3/6/59}. This is where you pick up the point about

12 user reviews in your second report or I should say one

13 of the places you pick up user reviews in your second

14 report. (Pause).

15 A. Okay.

16 Q. F-Droid is the only example you give of an app

17 distribution source that does not offer user reviews,

18 correct?

19 A. I do not recall if I have any elsewhere but in this

20 section that is the only example.

21 Q. I could not find any others. An F-Droid is a catalogue

22 of free and open source software, yes? We get that at

23 {D2/5/11}.

24 A. Is there a tab for me?

25 Q. I am so sorry, it is tab 27. Just take that from the

1 first paragraph.

2 A. Sure.

3 Q. This is F-Droid's website if that is not obvious.

4 A. I am sorry, I forget what the question was.

5 Q. The question was that F-Droid is a free and open source,
6 a catalogue of free and open source software, yes?

7 A. Yes.

8 Q. There are about 3,800 apps in the catalogue. Take that
9 from {D2/510.1} and that is electronic only,
10 Professor Rubin. This is Wikipedia, as you can see. If
11 we can go to page 3, {D2/510.1/3}, we see the heading,
12 "Scope of project".

13 The F-Droid website lists the apps hosted, over
14 3,800, and then we see a comparison to the Google Play
15 Store which has about 3 million. Do you see that?

16 A. Yes.

17 Q. Would it be fair to say that F-Droid is a pretty niche
18 offering, yes?

19 A. I think that is right.

20 Q. Unlikely to be of interest to the more tech savvy
21 Android user, yes?

22 A. I think so.

23 Q. The other issue you identify in the paragraphs we have
24 seen in your reports are app distribution sources that
25 offer views but do not monitor those reviews for

1 accuracy, yes? We can pick it up in 133, {C3/6/59}
2 third sentence:

3 "A third-party distribution source may not offer
4 reviews or monitor them for accuracy."

5 Yes?

6 A. Right.

7 Q. But you do not give a real world example of an app
8 distribution source that offers reviews but does not
9 monitor them for accuracy, correct?

10 A. I do not see one here.

11 MR KENNEDY: Sir, that might be a convenient moment. I am
12 about to move on to my last topic, last topic on
13 distribution. We are on track to finish distribution
14 today I think.

15 THE CHAIRMAN: Good, we will take a ten-minute break.

16 (3.08 pm)

17 (A short break)

18 (3.21 pm)

19 MR KENNELLY: Final topic on distribution, Professor Rubin.

20 I want to look at the comparisons you draw between iOS
21 and other platforms, so Android, Windows and Mac, okay?

22 A. Okay.

23 Q. Let us pick it up in your first report, page 101.
24 {C3/2/101}.

25 A. Okay.

1 Q. You will see the heading "Android, with Sideloaded and
2 Less Stringent App Review, Is Less Secure Than iOS",
3 yes?

4 A. Yes.

5 Q. In this section of your first report, you set out your
6 opinion on how Android compares to iOS in terms of
7 security, yes?

8 A. Yes.

9 Q. In your first report, you identify a number of
10 differences between app distribution on iOS and app
11 distribution on Android, yes?

12 A. Yes.

13 Q. I want to look at them in turn. If we could pick it up,
14 next paragraph, paragraph 195, you say:

15 "However, unlike iOS, Android permits the
16 installation of apps from multiple sources, including
17 third-party marketplaces, sideloading, and preloading by
18 OEMs."

19 Yes?

20 A. Yes.

21 Q. So that is the first difference. That is decentralised
22 distribution, yes?

23 A. Yes.

24 Q. If you go to paragraph 201 of your first report,
25 {C3/2/104} and pick it up at the first sentence:

1 "Apps that are sideloaded on to an Android device
2 may undergo limited to no app review at all ..."

3 You say:

4 "... and, for this reason, could contain any manner
5 of malware or spyware."

6 Yes?

7 A. Yes.

8 Q. So if an Android app is downloaded from
9 a non-Google Play Store app store, or from a website,
10 the app may or may not have been reviewed for security
11 issues depending on the source, yes?

12 A. Correct.

13 Q. Then you say:

14 "Android also maintains fewer authorisation
15 mechanisms."

16 A. Where is that?

17 Q. I have a bad reference Professor Rubin. If you give me
18 one moment I will find it for you. Give me one moment
19 and I will find the reference. (Pause)

20 Sorry, Professor, it is back to 195. That is my
21 mistake. It is the next sentence, it is just the next
22 sentence of a different paragraph.

23 You see:

24 "Android also maintains fewer authorisation
25 mechanisms; it does not, for example, requires apps to

1 be signed with ..."

2 Sorry, for the EPE, could we go back to 195 which
3 starts on 101, please. {C3/2/101} It is the second
4 sentence.

5 Have you got that, Professor Rubin?

6 A. Yes.

7 Q. "... fewer authorisation ..."

8 Picking it up:

9 "... certificates obtained from Google or another
10 principal certificate authority."

11 That is a reference to code signing, yes?

12 A. Right.

13 Q. Code signing is an important part of Apple's defence in
14 depth approach to security, yes?

15 A. Yes.

16 Q. If we go to paragraph 198, that is page {C3/2/103}, we
17 see the second sentence:

18 "... developer identities associated with sideloaded
19 apps are not checked so that there is no deterrence for
20 malicious Android app distribution via sideloading."

21 Yes?

22 A. Right.

23 Q. This is a reference to developer registration, yes?

24 A. Yes.

25 Q. Developer registration is also an important part of

1 Apple's defence in depth approach to security, yes?

2 A. Yes.

3 Q. Then para 200, you say -- sorry, it is page {C3/2/104},
4 you say:

5 "These design choices presented above stand in stark
6 contrast to Apple's App Review and centralised app
7 distribution security layers, which are discussed below.
8 In my opinion, these differences are likely to be a key
9 reason why there are significantly more infections in
10 Android than in iOS."

11 Yes?

12 A. Yes.

13 Q. By "these differences", you are referring to
14 decentralised app distribution, absence of mandatory app
15 review, absence of code signing and absence of developer
16 registration, yes?

17 A. Yes.

18 Q. You attribute the higher number of malware infections on
19 Android as compared to iOS to the combined effect of
20 these differences, yes?

21 A. Yes.

22 Q. Not solely to the decentralised app distribution model
23 in Android?

24 A. Right.

25 Q. There is a further difference between Android devices

1 and iOS Devices that you do not mention in your report
2 and that is that Android devices are manufactured by
3 multiple OEMs, yes?

4 A. That is true.

5 Q. As a result of the fact that Android devices are
6 manufactured by multiple OEMs, there is more
7 inconsistency in the frequency and the timing of
8 security updates on Android devices as compared to iOS
9 Devices, yes?

10 A. Yes.

11 Q. This is also a material contributing factor for the
12 difference in security between iOS and Android, yes?

13 A. Yes.

14 Q. Professor Rubin, your reliance on third-party analyses
15 of security as between Android and iOS, I want to have
16 a look at those now. Let us pick it up in first Rubin,
17 para 180, which is back on page 94, just so we see ...
18 {C3/2/94}.

19 Introducing those third-party analyses, you say:

20 "In order to compare the security benefits enjoyed
21 by iOS Devices and their users, I have first considered
22 available third-party analysis on the security threats
23 experienced by other mobile devices.

24 "In my opinion, the evidence shows that non-iOS
25 mobile devices historically have been the victims of

malware far more often than iOS Devices and this suggests that a significant reason for that is the fact that these non-iOS mobile devices run operating systems that do not use the centralised app distribution model."

Yes?

A. Yes.

Q. With respect to Android, you rely on two third-party analyses. You rely on the Nokia Threat Intelligence Reports, yes?

A. Yes.

Q. You rely on the RiskIQ study?

A. Yes.

Q. Let us start with RiskIQ, {D1/806/1}, and it should be in tab 65 for you, Professor Rubin.

A. Okay.

Q. "2020 Mobile App Threat Landscape Report" from RiskIQ, yes?

A. Yes.

Q. Let us pick it up on page {D1/806/6}. You see a bar chart, yes?

A. Yes.

Q. Heading:

"The most prolific stores of blacklisted apps in 2020 were ..."

Then we see number 1 was Google, which is the

1 Google Play Store, yes?

2 A. Yes.

3 Q. Number 2, I think it is Xiaomi. 3, APK20. 4, Pconline.

4 5, Tencent, yes?

5 A. Yes.

6 Q. So this bar chart shows that the first party Android App

7 Store, which is the Google Play Store, has the greatest

8 number of blacklisted apps, yes?

9 A. Yes.

10 Q. Decentralised distribution cannot account for the high

11 incidence of blacklisted apps on the Google Play Store,

12 can it?

13 A. No.

14 Q. The Google Play Store is or would be the centralised

15 distribution point on Android if Android were

16 centralised, yes?

17 A. I mean, that is kind of a tricky question. I think that

18 Android is not centralised. The Google Play Store is

19 Google's primary App Store, but some of the security

20 problems that I talk about in this case have to do with

21 the existence of a fragmented distribution environment

22 like there is in Android.

23 Q. We will come on to that, but it is fair to say that

24 Google Play Store is the first party store on Android,

25 yes?

1 A. Yes.

2 Q. As you say, some other factor must therefore explain the
3 high incidence of blacklisted apps on the Play Store,
4 yes?

5 A. Some other factor?

6 Q. Other than decentralised distribution.

7 A. No, I think that does contribute to it, the fact that
8 there even exists multiple distribution points, and that
9 it is not a centralised distribution is a factor.

10 Q. And let's look at the next section at page 6:

11 "Some app stores are more dangerous than others and
12 have a higher concentration of malicious apps. In 2020,
13 these were the stores from which you were most likely to
14 download a malicious app."

15 We see Xiaomi, Baidu, Pconline, AppLenovo and APK20,
16 yes?

17 A. Yes.

18 Q. There is some overlap with the bar chart that we just
19 looked at, but no mention of the Google Play Store in
20 the list, correct?

21 A. Correct.

22 Q. There is no explanation in this document, or no clear
23 explanation in this document as to why these five app
24 stores are the app stores from which you are most likely
25 to download a malicious app, correct?

1 A. I do not think they give an analysis, it is just
2 measurements.

3 Q. If we go to {C5/246/1}. This is electronic only,
4 Professor Rubin, so it will come up on your screen.
5 This is a document that Dr Lee was shown yesterday. Do
6 you recall that?

7 A. Yes.

8 Q. It is a study that looked at where unwanted apps on
9 Android had been downloaded from, yes? If we could pick
10 it up on page {C5/246/2} and if we could zoom in on the
11 paragraph on the left-hand side that says "Then we
12 examine ..." I just want to pick up, it is four lines
13 from the bottom, five lines from the bottom:

14 "To compare distribution vectors, we compute their
15 vector detection ratio (VDR), ie the ratio of unwanted
16 apps installed through that vector over all apps
17 installed through that vector."

18 Yes?

19 A. Yes.

20 Q. So that is the definition of VDR.

21 A. Yes.

22 Q. If we go to the first bullet, we see Google Player is
23 responsible for 87% of all installs and 87% of unwanted
24 installs but has a VDR of 0.6, yes?

25 A. You said 87 twice, the second one is 67, but otherwise.

1 Q. I misspoke, thank you. 87 and 67 is what I should have
2 said.

3 A. Yes.

4 Q. If we look at the second bullet, we see:

5 "... alternative markets are the largest, being
6 responsible for 5.7% of all installs and 10.4% of
7 unwanted installs. However, on average they are five
8 times riskier (3.2% VDR) than the Play market (0.6%)."

9 That should say VDR, yes?

10 A. Yes.

11 Q. So this document explains, I think, why Google Play does
12 not appear in the second list that we saw on page 6 of
13 the RiskIQ document, yes?

14 A. This is consistent with that.

15 Q. This document also highlights the importance of not
16 comparing absolute numbers or rates of malware
17 incidents, yes?

18 A. They take a relative approach.

19 Q. It is always necessary to consider how a given figure
20 for malware relates to the total relevant population of
21 apps or devices, yes?

22 A. I think that is a useful tool.

23 Q. Let us go then to the Nokia Threat Intelligence Report.
24 Let us go to {D1/1473} and start at page 1. It is
25 tab 21 for you, Professor Rubin. This is the 2023

1 report. You will be more than familiar with it?

2 A. I am.

3 Q. If we could pick it up at page {D1/1473/10}, what we see
4 is -- are you at page 10?

5 "This section of the report provides a view of
6 malware activity in fixed broadband and mobile networks
7 around the world in 2022 and the first quarter of 2023.
8 The data has been aggregated from CSP networks where
9 Nokia NetGuard Endpoint Security solution is deployed.
10 This network-based malware detection solution enables
11 Nokia customers to monitor their networks for evidence
12 of malware infections in consumer and enterprise
13 endpoint devices, including mobile phones, laptops,
14 personal computers, tablets and IOT devices. It is
15 deployed in major fixed and mobile networks around the
16 world, monitoring network traffic for more than
17 200 million devices."

18 Do you see that?

19 A. Yes.

20 Q. The NetGuard Endpoint Security solution is technology
21 that Nokia provides to communications services
22 providers, yes?

23 A. Yes.

24 Q. Communications services providers would include mobile
25 operators and internet service providers, yes?

1 A. Yes.

2 Q. Do you know if Nokia provides NetGuard Endpoint Security
3 to mobile network operators in the United Kingdom?

4 A. I do not know that.

5 Q. Do you know if Nokia provides NetGuard Endpoint Security
6 to internet service providers in the United Kingdom?

7 A. I do not know that either.

8 Q. So you do not know whether any of the data in the Nokia
9 2023 report relates to users of mobile devices and other
10 devices in the United Kingdom?

11 A. I do not know.

12 Q. We see that the technology in question is deployed in
13 major fixed and mobile networks around the world,
14 monitoring traffic for more than 200 million devices.
15 Do you know what proportion of the 200 million devices
16 referred to here are Android devices?

17 A. (Pause). Can I please hear the first part of that
18 question?

19 Q. Do you know what proportion of the 200 million devices
20 referred to here are Android devices?

21 A. I would assume that it is the same distribution as found
22 in the normal internet population but I do not know what
23 that number is.

24 Q. You would agree with me that in order meaningfully to
25 compare the incidence of malware between different types

1 of device, what we are interested in is, for example,
2 what proportion of Android devices are infected with
3 malware and what proportion of iOS Devices are infected
4 with malware, not simply what percentage of the total
5 number of devices infected with malware were Android
6 devices or Apple devices?

7 A. I think both of those are instructive.

8 Q. Worldwide, there are significantly more Android devices
9 than iOS Devices, yes?

10 A. Yes, I believe Dr Lee said there were twice as many.

11 Q. In the Nokia 2021 report, page 18, it is {D1/1044/18},
12 tab 22 for you, Professor Rubin, you see the
13 middle column, "Secure Mobile App Distribution". You
14 see:

15 "As of July 2021, Android devices --"

16 A. I am sorry, what page?

17 Q. Page 18. It is the last page in the hard copy.

18 A. Okay.

19 Q. Middle column, near the bottom, "Secure Mobile App
20 Distribution" in blue?

21 A. Yes.

22 Q. "As of July 2021, Android devices accounted for 72.21%
23 of all mobile device. IOS devices accounted for 26.92."

24 Yes?

25 A. Right.

1 Q. So that is the figure given in 2021 by Nokia. So all
2 else equal, you would expect more malware in absolute
3 terms to be detected on Android devices than on iOS
4 Devices, yes?

5 A. With all things being equal, a little over twice as
6 much.

7 Q. So the fact that the Nokia reports find more malware
8 associated with Android devices than iOS Devices is, at
9 least in part, simply because there are significantly
10 more Android devices, yes?

11 A. That accounts for a factor of two, but the study found
12 a lot more than that.

13 Q. Go to para 186 of your first report. That is {C3/2/96}.

14 A. Okay.

15 Q. You see the report, and this is a reference to the --

16 A. Which paragraph?

17 Q. 186.

18 A. Okay.

19 Q. You see that footnote 219 is referring to the Nokia
20 Threat Intelligence Report, 2023, yes?

21 A. I am sorry, I cannot hear you.

22 Q. I am sorry. Paragraph 185, so just looking above.

23 A. Okay.

24 Q. We see that footnote 219 is a reference to the 2023
25 Nokia Threat Intelligence Report, yes?

1 A. What I heard was you said figure 2.9, but I know that is
2 not what you said.

3 Q. Footnote 219.

4 A. Footnote, okay. Yes.

5 Q. So that is the 2023 report. So the report that is
6 referred to in para 186 at the start appears to be
7 referring back to the 2023 report; is that right?

8 A. Yes.

9 Q. If we could go back to the 2023 report -- sorry, shall
10 we read 186:

11 "The report attributes the greater percentage of
12 Android device infections to the fact that Android
13 permits the distribution of apps from just about
14 anywhere, which refers to the fact that Android devices
15 can download apps from not only third-party marketplaces
16 but anywhere with an app's APK".

17 Yes?

18 A. Yes.

19 Q. If we just go back to the report itself to look at the
20 reasons identified, it is {D1/1473/15}. Let us pick it
21 up at 15. It is tab 21 for you, Professor Rubin. You
22 see the heading "Android Malware", yes?

23 A. Yes.

24 Q. You see:

25 "Android-based devices are not inherently insecure.

1 However, most smartphone malware is distributed as
2 Trojanised applications and since Android users can load
3 applications from just about anywhere, it is much easier
4 to trick them into installing applications that are
5 infected with malware."

6 Yes?

7 A. Yes.

8 Q. That is where you are picking up that quote, yes?

9 A. Yes.

10 Q. "Android users can protect themselves by only installing
11 applications from secure app stores like Google Play and
12 installing a mobile anti-virus product on their device."

13 Yes?

14 A. Yes.

15 Q. Apps distributed through the Google Play store are
16 subject to a combination of human and computer review,
17 yes?

18 A. Yes. I do not know that Google reviews every single app
19 by human review but a lot of them are.

20 Q. Some are, yes. We have seen that Android apps that are
21 distributed from other sources may not have been subject
22 to the same level of review, yes?

23 A. Right.

24 Q. Some may not have been reviewed at all, yes?

25 A. Yes.

1 Q. That difference in the extent of app review between
2 Android distribution sources accounts, at least in part,
3 for the relative incidence of malware across Android app
4 stores, yes?

5 A. That is a factor.

6 Q. It is your evidence that even the app review carried out
7 by Google on the Google Play Store is of a lesser
8 quality than Apple's App Review?

9 A. Yes.

10 Q. So you would agree that one of the reasons that Android
11 devices suffer from more malware than iOS Devices is
12 because of variable quality in app review, yes?

13 A. Yes.

14 Q. The suggestion in the Nokia report and in your report
15 that Android devices suffer from more malware because
16 Android users can load applications from just about
17 anywhere is overly simplistic, is it not?

18 A. It is one of the reasons, it is not the only reason.

19 Q. Decentralised distribution is not the only reason that
20 contributes to the higher incidence?

21 A. Right.

22 Q. The figures given in the 2023 Nokia report do not
23 isolate the number of malware incidents on Android that
24 are referable to the decentralised distribution model,
25 correct?

1 A. Correct.

2 Q. The figures given in the 2021 Nokia report likewise do
3 not isolate the number of malware incidents that are
4 referable to the use of a decentralised distribution
5 system?

6 A. Correct.

7 Q. The same is true of the 2020 Nokia report?

8 A. That is right.

9 Q. Each of the reports just provides an overall figure or
10 overall proportion of malware on Android and an overall
11 figure for iOS, or in some cases no figure for iOS, yes?

12 A. They drill down a little more but they do not break it
13 out based on applying what percentage for what cause.

14 Q. So none of the reports says isolate a number
15 attributable to decentralised distribution?

16 A. Right.

17 Q. Professor Rubin, you say in your first report that the
18 Android marketplace in China illustrates the real life
19 consequences of fragmentation in app distribution?

20 A. Correct.

21 Q. If you go to the joint experts' statement, that is in
22 your black bundle. It is {C4/1/65} for the EPE. It
23 will just take me a moment to get there.

24 What we are interested in is proposition 1C.iii-8.

25 Do you have that?

1 A. I am looking at it.

2 Q. Great. What we see is the proposition is:

3 "The comparison of iOS to the Android market in
4 China needs to consider the significant contextual
5 differences, such as government interference and the
6 lack of availability of the Google Play Store. Reports
7 on malware and app violations are useful and applicable
8 to the iOS context if they can adequately address the
9 technical factors influencing the security, in
10 particular, the permission of self-signed apps."

11 Yes?

12 A. Yes.

13 Q. We see your response, which is the final column:

14 "I agree. The fact that Google's Play Store is
15 banned in China, and that Google had little control over
16 the various third-party Android app stores in China
17 precisely contributes to the fact that third-party
18 Android app stores in China are known to host a higher
19 prevalence of malicious apps.

20 "As an example, Pinduoduo, one of China's most
21 popular shopping apps with more than 750 million active
22 users per month, was found to have distributed
23 malware-embedded apps on Android devices in China. The
24 distribution of malware embedded Pinduoduo app was
25 facilitated by the use of third-party app stores and

1 direct distribution mechanisms.

2 "On the other hand, Pinduoduo does not appear to
3 offer the malware-embedded version of its app in a more
4 curated App Store - namely Apple's App Store or, it
5 appears, the Google Play Store, which both has app
6 review in place."

7 Yes?

8 A. Yes.

9 Q. In the absence of the App Distribution Restrictions, the
10 App Store would not be banned in the United Kingdom,
11 would it?

12 A. Can I hear that again?

13 Q. In the absence of the app distribution restrictions, the
14 Apple App Store would not be banned in the
15 United Kingdom?

16 A. So we are talking about a counterfactual?

17 Q. A counterfactual world.

18 A. Got it. I assume it would not be.

19 Q. In the absence of the app distribution restrictions,
20 Apple would continue to control iOS?

21 A. Yes.

22 Q. Subject to any relevant laws, it would have control over
23 third-party app stores on iOS, yes?

24 A. Yes.

25 Q. In the absence of the app distribution restrictions,

1 Apple could and would continue to review all iOS Apps
2 and regardless of where they are ultimately distributed,
3 yes?

4 A. I think that is one of the assumptions that we are
5 making.

6 Q. That is one of the assumptions. The Chinese Android
7 marketplace bears no resemblance to the counterfactual
8 in this case, does it?

9 A. You know, it has some resemblance. There are
10 third-party distribution marketplaces and there would be
11 third-party market distributions, but there are
12 differences as well.

13 Q. The central features that you identified in your
14 response in the JES was the banning of Google Play, that
15 would not apply, or the analogy would not apply to Apple
16 App Store, correct?

17 A. Right.

18 Q. The second factor was Google's lack of control over
19 various third-party stores, that does not apply?

20 A. Right.

21 Q. The final paragraph looks at Pinduoduo's conduct in more
22 curated app stores, including the App Store and Google
23 Store which have app review, and you have accepted that
24 in the counterfactual world I am positing, there would
25 be app review of all iOS Apps, right?

1 A. Yes.

2 Q. Let us move on to Windows. First Rubin, para 208,
3 {C3/2/108}. You see the heading:
4 "Windows with Limited Oversight on Hardware
5 Security, User Privileges, or App Distribution, is Less
6 Secure Than iOS."
7 Yes?

8 A. Yes.

9 Q. In this section, you set out your view on how Windows
10 compares to iOS in terms of security, yes?

11 A. Yes.

12 Q. You say at 208:
13 "Windows has three main issues which are not present
14 on iOS that hurt its overall security posture."
15 A. Yes.

16 Q. Second sentence. Just have a look at each of them in
17 turn.
18 The first is identified in paragraph 209 and it is
19 the absence of a hardware backed security in Windows
20 11 -- sorry, the absence of a hardware backed security
21 prior to Windows 11, yes?

22 A. Right.

23 Q. You contrast that over the page, {C3/2/109}, with the
24 existence of Secure Enclave in iOS, yes?

25 A. Yes.

1 Q. You accepted earlier that Secure Enclave operates
2 independently of centralised app distribution, yes?

3 A. It does.

4 Q. Then paragraph 210, the second difference you identify
5 is that Windows allows for installation of software that
6 requires high levels of privilege, yes?

7 A. Right.

8 Q. The final sentence of that paragraph, you say:
9 "Apps installed by users on iOS Devices through
10 Apple's App Store, on the other hand, cannot be executed
11 with elevated privileges."
12 Yes?

13 A. Correct.

14 Q. You say that privileges are:
15 "... akin to having entitlements for an app running
16 on an iOS device."
17 Yes?

18 A. Right.

19 Q. Entitlements -- and the entitlements used by an iOS App
20 are reviewed as part of the App Review process, yes?

21 A. Yes.

22 Q. The third difference is at 211, and it is that users can
23 ignore warnings about potentially malicious software and
24 execute that software, yes?

25 If we go over the page, {C3/2/110}, 212, picking up

1 the second sentence:

2 "... as discussed in the example above, warnings
3 from Microsoft may be ignored by users; on-device
4 security protections on Windows could be bypassed when
5 users run unknown software on Windows devices. But iOS,
6 in contrast to Windows, can do more than warning users
7 that using administrative credentials to run an unknown
8 program could render a computer vulnerable to attack.
9 App Review specifically checks for and will reject apps
10 that maliciously request unnecessary, or unreasonable
11 privileges."

12 So again, that is a function of App Review, yes?

13 A. Yes.

14 Q. We saw earlier, you agreed earlier, that centralised
15 distribution is not necessary to having App Review of
16 every iOS App, yes?

17 A. You are asking me if I said that you can have App Review
18 of all the apps and then still distribute them in
19 third-party marketplaces? That is possible.

20 Q. So none of the differences that you identify with
21 respect to Windows relate to centralised app
22 distribution, correct?

23 A. (Pause). The third one has to do with the fact that
24 users can turn off their systems control over
25 installation of applications that come from unknown

1 places or different distribution sites, so I think that
2 one does relate.

3 Q. But we saw on paragraph 12 that what you contrast that
4 with is App Review's control of the permissions that
5 apps can seek, and you say that App Review can reject
6 apps that maliciously request unnecessary or
7 unreasonable privileges, yes?

8 A. Yes.

9 Q. So that is the feature of App Review, not centralised
10 distribution, yes?

11 A. Well, you asked me if any of the Windows controls had
12 anything to do with distribution sites, and I think the
13 Windows one does, but the corresponding iOS defence is
14 not related to centralised or distributed distribution.

15 Q. So the benefit that arises from the iOS defence, as you
16 describe it, does not relate to centralised distribution
17 but relates to App Review, correct?

18 A. Yes.

19 Q. Get back to paragraph 193 of your first report,
20 {C3/2/101}. You say:

21 "I consider that the results of these third-party
22 studies ..."

23 That is a reference to the Nokia and RiskIQ
24 documents that we have been looking at.

25 "... support the conclusion that iOS's layered

defences and particularly its inclusion of the App Review layer and centralised distribution, make iOS a safer and less vulnerable platform than competitor platforms. Below, I go on to explore in greater depth how Android and Windows differ from iOS, in order to see why this might be the case. As I explain below, my opinion is that Windows and Android face greater security issues because they have non-centralised app distribution models [and so on]."

Yes?

A. Yes.

Q. When you refer here to "below", you are referring to paragraphs 208 to 212 of your report which we just looked at, yes?

A. Also the Android section, but for the Windows part, yes.

Q. Just focusing on Windows for the moment, it is the paragraphs we looked at, yes?

A. Yes.

Q. Just staying around 101, 100. If we could just go to paragraph 192, we see the first sentence:

"third-party analyses also demonstrate that the Windows PC platform also experiences more malware infections than iOS. In the 2023 Nokia Threat Intelligence Report, Windows devices account for 11% of infections in all mobile networks from laptops and PCs

1 receiving a shared network connection through a mobile
2 device. In the same study, Windows devices account for
3 20% of infections in a broadband network. In Nokia's
4 2020 study, Windows ... devices accounted for an even
5 higher percentage of infected devices than Android, at
6 38.92 in 2020 and 23.10 in 2021."

7 Yes?

8 A. Yes.

9 Q. The absence of hardware-backed cryptographic modules
10 prior to Windows 11 would account in part for the higher
11 incidence of malware on Windows devices as compared to
12 iOS Devices, yes?

13 A. I do not think so. I think the hardware devices are
14 useful for protecting payment information, but I have
15 not looked at whether or not they contribute at all in
16 preventing malware.

17 Q. The absence of something like a hardware-backed
18 cryptographic module might create an incentive for
19 attackers to target Windows devices, no?

20 A. Yes.

21 Q. That might lead to a higher rate of malware in the
22 Windows devices?

23 A. Fair enough.

24 Q. The fact that Windows allows the installation of
25 software that requires high levels of privilege might

1 also account, in part, for the high incidence of malware
2 in Windows as compared to iOS, yes?

3 A. Yes, for the same reason.

4 Q. As with the fact that Windows users could ignore
5 warnings about potentially malicious software and
6 execute that software?

7 A. Yes.

8 Q. So decentralised distribution is not the only factor
9 that contributes to the higher incidence of malware on
10 Windows devices as compared to iOS Devices, correct?

11 A. Yes.

12 Q. The figures in each of the Nokia reports that you refer
13 to in paragraph 192 do not isolate the number of malware
14 incidents that are referable to a decentralised
15 distribution model on Windows, correct?

16 A. Right, the Nokia reports do not get into that.

17 Q. Turning away from Windows, Professor Rubin, and on to
18 Macs, I want to start by discussing some of the
19 differences between Mac and iOS security architecture,
20 okay?

21 Mac has a decentralised app distribution model, yes?

22 A. Yes.

23 Q. Sandboxing is not mandatory on Mac devices, correct?

24 A. I think it comes with it but you can turn it off.

25 Q. Mac device users can install unsigned apps?

1 A. Yes.

2 Q. Mac users can install apps that have not been reviewed
3 by Apple through the notarisation for Mac process?

4 A. Yes.

5 Q. Even apps that have been notarised have not been subject
6 to human review?

7 A. Correct.

8 Q. Mac users have a higher level of privilege as compared
9 to iOS device users?

10 A. Yes.

11 Q. Let us go to first Rubin, 224, which is {C3/2/116}, you
12 see that third-party analyses also demonstrate that the
13 macOS platform --

14 A. I am sorry, I am not with you.

15 Q. I am sorry. 224, page 116 of your first report.

16 A. Okay.

17 Q. "Third-party analyses also demonstrate that the macOS
18 platform experiences more malware infections than iOS.
19 In the 2023 Nokia Threat Intelligence Report, macOS
20 devices account for 7% of infections in all mobile
21 networks from laptops and PCs receiving a shared network
22 connection through a mobile device. In the same study,
23 macOS devices account for 16% of infections in
24 a broadband network."

25 Do you see that?

1 A. Yes.

2 Q. If we go to paragraph 253 of your second report, sorry

3 to jump around. That is {C3/6/112}.

4 A. Okay.

5 Q. We see:

6 "As I discussed in my May report, not only does

7 macOS have a different threat model than iOS, but it

8 also has a greater infection rate."

9 Then skip the next sentence:

10 "In 2021, Nokia reported that Mac devices

11 constituted 9.20% of the infected devices --"

12 A. I cannot hear you anymore, I am sorry.

13 Q. I am so sorry. I am just reading the third sentence,

14 beginning "In 2021, Nokia reported ...", yes?

15 A. Okay, got it.

16 Q. Then the next sentence is:

17 "In 2023 ..."

18 That is another reference to the 2023 Nokia report,

19 yes?

20 A. Yes.

21 Q. You would agree with me that the reduced security

22 enjoyed by Mac device users as compared to iOS device

23 users is a product of the combination of the differences

24 in security architectures that we have just discussed?

25 A. Yes.

1 Q. It is not solely attributable to Mac's decentralised app
2 distribution model?

3 A. Right.

4 Q. The same question about the Nokia reports, this time the
5 2023 and 2021 reports. Likewise for Mac, they do not
6 isolate the number of malware incidents that are
7 attributable to decentralised distribution, correct?

8 A. Correct.

9 Q. Go back to first Rubin, paragraph 241, {C3/2/125}. If
10 we pick it up about two-thirds of the way down, you see
11 a sentence that begins:

12 "I further consider the experience of macOS to be
13 useful to consider here."

14 Can I just ask you to read to the end of the
15 paragraph, please. (Pause)

16 A. Okay.

17 Q. Could I ask you to familiarise yourself with --
18 refamiliarise yourself with paragraphs 243-246 so just
19 this section of your report and what you are discussing
20 here. {C3/2/126-127}.

21 A. So two pages of reading again. I just want to make sure
22 I do not waste your time.

23 Q. Absolutely.

24 A. Okay.

25 Q. Take as much time as you want. It may be very familiar

1 to you. It may have been a while. (Pause).

2 A. Okay.

3 Q. In those paragraphs what you do is you identify

4 differences between notarisation for macOS and App

5 Review for iOS, yes?

6 A. Yes.

7 Q. We have been over this but you have accepted that App

8 Review for iOS is separate from centralised distribution

9 for iOS, yes?

10 You can have App Review for iOS without having

11 centralised app distribution for iOS, yes?

12 A. You cannot maintain the same level of security in my

13 opinion but it could be done.

14 Q. So your analysis in this section does not demonstrate

15 that there are security benefits to centralised app

16 distribution which cannot be replicated in other app

17 distribution models?

18 A. This is not the part of my report that deals with that.

19 Q. Professor Rubin, one question on enterprise,

20 distribution.

21 A. Sure.

22 Q. Ad hoc distribution. If we go to the first Rubin, 226,

23 {C3/2/117}.

24 A. Okay.

25 Q. If I can ask you to read 226 to yourself if you have not

1 already done so. (Pause).

2 A. Okay.

3 Q. As we can see from the final sentence of that paragraph:

4 "In my opinion, consideration of these two
5 distribution options does not demonstrate that Apple's
6 centralised App Review is not needed."

7 So your analysis in this section is concerned with
8 the security benefits of Apple's App Review, yes?

9 A. Yes.

10 Q. That is enterprise. Professor Rubin, that brings us to
11 the end of the distribution section, subject to just two
12 clarificatory questions which I have about the scope of
13 your evidence which I will ask in a second and then,
14 sir, we can consider -- we have a couple of options as
15 to what we do next but I will clarify the scope of your
16 evidence quickly.

17 First, I just want to understand to confirm that
18 I understand your evidence in relation to issue 2 in the
19 expert list of issues. Issue 2 states -- we can pick it
20 up actually at the back of your report, appendix A. So
21 {C3/2/195}. Hopefully that will come up on the screen
22 for you.

23 A. Okay.

24 Q. Issue 2:

25 "As to sideloading specifically and any other

1 relevant alternative means of app or app store
2 distribution on iOS Devices what are the security risks,
3 if any, associated with sideloading (or any other
4 relevant alternative means of app or app store
5 distribution)?"

6 Yes?

7 A. Yes.

8 Q. As I understand your evidence, is that the security
9 risks associated with sideloading are the security risks
10 you identify in connection with moving away from a model
11 of centralised app distribution generally?

12 A. As opposed to what? I am not sure I understand what you
13 are asking.

14 Q. What I am asking is whether your evidence in relation to
15 issue 2 is simply your evidence in relation to issue 1C
16 essentially, which is: what are the benefits associated
17 with centralised app distribution?

18 A. We can look at that section but what I recall is
19 offering evidence about the risks that are associated
20 with sideloading in general and also with specific
21 examples from Android.

22 Q. We can perhaps come back to it in the morning then,
23 Professor Rubin, and I have the same question in respect
24 of issue 3A, which we can see is:

25 "What are the security risks associated with

1 permitting the download of other app stores from the app
2 store ..."

3 It is the same question which is whether this was
4 simply -- your evidence was simply the same as your
5 evidence early on issue 1C?

6 A. With respect to 3A, I talk about two things. I talk
7 about the security risks of having third-party
8 distribution stores and I talk about the risks of having
9 an app on the device that is a marketplace because that
10 app will result in other apps being downloaded to the
11 device and so that is not something that App Review is
12 capable of reviewing the way it reviews regular apps
13 that are not marketplaces.

14 Q. Those are the risks that you identify with unreviewed
15 apps generally, yes?

16 A. Those, what do you mean by those?

17 Q. You say that one of the risks that is associated with an
18 alternative app store being available on iOS is that App
19 Review would not be able to review the apps within the
20 app store?

21 A. Right.

22 Q. The risks that are associated with that putative
23 inability to review the apps within the app store are
24 the same risks with having unreviewed apps coming from
25 any particular source, yes?

1 A. Well, it has to do with an app having the ability to
2 download content that cannot be reviewed, so you could
3 only continue to review that through the ongoing
4 monitoring process.

5 Q. But on the hypothesis that we have been discussing at
6 length this afternoon and with centralised App Review of
7 all iOS Apps, that particular risk falls away, yes?

8 A. I do not think so because we are talking about apps that
9 are going to download other apps, and so all of the App
10 Review that you would normally do on an app to see if it
11 contains malware etc is not possible because the app is
12 a marketplace as opposed to a program that somebody
13 uses.

14 Q. But all of the apps available on the alternative app
15 store in this hypothesis have also been through Apple's
16 App Review?

17 A. I see, under the counterfactual, yes.

18 Q. Under the counterfactual.

19 A. Yes.

20 Q. So that risk falls away if you have on this
21 counterfactual hypothesis, you have centralised App
22 Review of all iOS Apps, yes?

23 A. Unless there is a vulnerability in the marketplace
24 itself which has become a much more powerful app than
25 other apps.

1 MR KENNELLY: It may be unnecessary but it might be better
2 if my learned friend spelt out that hypothesis again to
3 make sure there is no confusion because various
4 hypotheses have been canvassed, just to make sure the
5 witness understands precisely the hypothesis which is
6 the basis for the question.

7 MR KENNEDY: I think we can leave it there, sir, and I can
8 come back to it tomorrow if there is any need to.

9 THE CHAIRMAN: Dr Rubin, I think what counsel is trying to
10 get at is whether you are making any new and different
11 points from the ones that we have discussed today in
12 those issues 2 and 3. So I think --

13 MR KENNEDY: That is what I am getting at, sir.

14 THE CHAIRMAN: -- what Mr Kennedy is trying to suggest to
15 you, is there anything we have not talked about that you
16 want to say in relation to these. Now you are not under
17 an obligation to volunteer these. He has to ask you the
18 right questions but he is just trying to get to the
19 bottom -- I think what he is trying to get at is whether
20 when you talk about sideloads that is something which
21 is incrementally different and problematic in the sort
22 of scenarios that Mr Kennedy has been putting to you.

23 Now, if you want to hear the scenarios again, as
24 Mr Kennelly suggests, I am sure Mr Kennedy can do that
25 but that is the reason you are being asked these

1 questions. I do not think there is anything -- it is
2 obviously a long day and you are struggling a bit to
3 work out how it fits in but I think that is how it fits
4 in.

5 A. I am trying to work out. I am just not really sure
6 I understand.

7 MR KENNEDY: That is precisely it, sir. There is no hidden
8 motive at all. It is simply I was not sure from reading
9 your evidence what you said the relationship between
10 these things were and for my benefit and for the
11 Tribunal's benefit I was trying to clarify that.

12 A. Sure, sure.

13 Q. But we can perhaps come back to it tomorrow if there is
14 anything further. I will review what has been said so
15 far and if I have any further questions I will ask you
16 tomorrow, Professor Rubin. Thank you for that.

17 Sir, that concludes distribution subject to that
18 point.

19 THE CHAIRMAN: Yes.

20 MR KENNEDY: So we could either make a start on payments or
21 we could rise, sir, and come back tomorrow at 10.30.

22 I think that we will have time to finish payments
23 tomorrow even if we rise now, but I am in your hands.

24 THE CHAIRMAN: Subject to anything that Mr Kennelly wants to
25 say about it, what I do not want to do is to find that

1 anybody feels unnecessarily constrained and 15 minutes
2 may make a difference on Thursday. What is your current
3 estimate about when you will be finished tomorrow
4 morning? Do you have a sense of that?

5 MR KENNEDY: Somewhere between an hour and a half and
6 two hours, sir.

7 THE CHAIRMAN: On that basis, Mr Kennelly, do you have any
8 views? I think it is really for you. You have the
9 benefit here because I suppose actually it's, thinking
10 about it, it is probably -- it is for both of you, is it
11 not really, as to whether we --

12 MR KENNELLY: Indeed. I think in those circumstances
13 although I am sure we would all love to go home
14 Mr Kennedy should probably carry on.

15 THE CHAIRMAN: That is what I was probably going to say.

16 MR KENNEDY: I am grateful, sir.

17 I was going to start, sir, in a short private
18 session. Perhaps it is a convenient thing to do with
19 the final 15 minutes of the day.

20 THE CHAIRMAN: Yes, do you think you will have to go into
21 private again?

22 MR KENNEDY: I hope not. There is one possible area where
23 we need to discuss some numbers which might be
24 convenient in private but that is not right at the end
25 of the payments section but it is just a short

1 self-contained three pages on.

2 THE CHAIRMAN: Let us do that then. So we will turn off the
3 live stream please and everybody in the room is in the
4 confidentiality room. So we are on a private section
5 and we are able to talk about the things that ...

6 (4.17 pm)

7 (Private session)

8 (4.29 pm)

9 (The hearing adjourned until Wednesday, 29 January at
10 10.30 am)

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

INDEX

Housekeeping1

PROFESSOR AVIEL RUBIN4

 (called)

PROFESSOR AVIEL RUBIN4

 (affirmed)

Examination-in-chief by MR KENNELLY4

Cross-examination by MR KENNEDY6

 (Private session)192

- 1
- 2
- 3
- 4